

Exhibit B

IN THE UNITED STATES DISTRICT COURT
FOR THE NORTHERN DISTRICT OF CALIFORNIA
SAN FRANCISCO DIVISION

APPLE COMPUTER, INC.,)
Plaintiff/Counterdefendant,))
)
vs.) CASE NO. C06-00019 MHP
)
BURST.COM, INC.,)
Defendant/Counterclaimant.)

ORAL VIDEOTAPED DEPOSITION

SHEILA HEMAMI

SEPTEMBER 4, 2007

VOLUME 2

ORAL VIDEOTAPED DEPOSITION OF SHEILA HEMAMI,
produced as a witness at the instance of the Plaintiff
and duly sworn, was taken in the above-styled and
numbered cause on the 4th day of September, 2007, from
8:22 a.m. to 2:03 p.m., before Dana Richardson,
Certified Shorthand Reporter in and for the State of
Texas, reported by computerized stenotype machine at the
offices of Weil, Gotshal & Manges, L.L.P.,
700 Louisiana, Suite 1600, Houston, Texas 77002,
pursuant to the Federal Rules of Civil Procedure and the
provisions stated on the record or attached hereto.

Job No. 1601-84301

Sheila Hememi - 9/4/07

2 (Pages 2 to 5)

Page 2	Page 4
1 APPEARANCES	08:21:50 1 THE VIDEOGRAPHER: Beginning the deposition of
2 FOR PLAINTIFF:	08:21:51 2 Sheila Hemami. It is the 4th of September, year 2007. The
3 Mr. Garland T. Stephens	08:21:56 3 time is 8:22. We're on the record.
4 WEIL, GOTSHAL & MANGES, L.L.P.	08:22:00 4 If the attorneys want to introduce themselves
5 700 Louisiana, Suite 1600	08:22:02 5 for the record, then we can swear in the witness and go.
6 Houston, Texas 77002	08:22:08 6 MR. STEPHENS: Garland Stephens of Weil,
7 Telephone: (713) 546-5044 - Fax: (713) 224-9511	08:22:10 7 Gotshal & Manges representing the plaintiff, Apple.
8 E-mail: garland.stephens@weil.com	08:22:13 8 MR. PAYNE: Les Payne for defendant, Burst.
9 -and-	08:22:17 9 MR. STEPHENS: I should also mention that
10 Ms. Jayna Whitt - (via telephone)	08:22:19 10 present monitoring the deposition on the phone is Jayna Whitt,
11 APPLE, INC.	08:22:22 11 in-house counsel for Apple.
12 1 Infinite Loop, MS 3-PAT	12 SHEILA HEMAMI,
13 Cupertino, CA 95014	13 having been first duly sworn, testified as follows:
14 Telephone: (408) 974-4262	14 EXAMINATION
15 E-mail: jwhitt@apple.com	15 BY MR. STEPHENS:
16 FOR DEFENDANT:	08:22:32 16 Q. Good morning, Dr. Hemami.
17 Mr. Leslie V. Payne	08:22:34 17 A. Morning.
18 HEIM, PAYNE & CHORUSH, L.L.P.	08:22:34 18 Q. I'd like to ask you first about your opinion about
19 600 Travis Street, Suite 6710	08:22:37 19 the person of ordinary skill in the art as it relates to the
20 Houston, Texas 77002	08:22:40 20 patents in this lawsuit. You summarized that opinion in
21 Telephone: (713) 221-2003 - Fax: (713) 221-2021	08:22:45 21 declarations that you filed in support of Burst's opposition
22 E-mail: lpayne@hpellp.com	08:22:48 22 to Apple's motions for summary judgment; is that right?
23 ALSO PRESENT:	08:22:52 23 A. I think it only appeared in the second declaration.
24 Mr. George White, Videographer	08:22:56 24 It certainly was in the second.
25	08:22:57 25 Q. Okay. Now, one of the things that you said is that a

Page 3	Page 5
1 INDEX	08:23:02 1 person of ordinary skill in the art at the time of the patent
2 SHEILA HEMAMI	08:23:08 2 application leading to the '995 patent was filed would have
3 Examination by Mr. Stephens 4	08:23:12 3 had an understanding of digital communications technologies
4 Signature Page 202	08:23:15 4 and their available bandwidths and audio and/or video
5 Court Reporter's Certificate 203	08:23:19 5 compression techniques. Do you remember that?
6	08:23:21 6 A. Yes.
7 EXHIBITS	08:23:24 7 Q. What kind of digital communications technologies and
8	08:23:29 8 available bandwidths would a person of ordinary skill in the
9 EXHIBIT DESCRIPTION PAGE	08:23:32 9 art at the time the '995 patent application was filed have?
10 Exh.247 Declaration of Sheila S. Hemami 58	08:23:38 10 A. So, the digital communication techniques that I had
11 in Support of Burst.Com, Inc.'s	08:23:42 11 in mind were -- let me start off by sort of giving a -- a very
12 Opposition to Plaintiff Apple	08:23:47 12 general classification. One would be the types of digital
13 Computer, Inc.'s Motion for	08:23:51 13 communication techniques that one would learn about in, say,
14 Summary Judgment on Invalidity	08:23:55 14 an undergraduate overview course on digital communication.
15 Based on Kramer and Kepley	08:24:00 15 So, various modulation strategies for over-the-air
16 Patents	08:24:07 16 communication, terrestrial and satellite; assorted air control
17 Exh.248 Declaration of Dr. Sheila 111	08:24:12 17 coding that might go with those techniques; and essentially,
18 Hemami in Opposition to Apple's	08:24:18 18 at a lower level, digital signalling strategies. For example,
19 Second Motion for Summary	08:24:23 19 understanding the PCM in the context of digital communication
20 Judgment of Invalidity	08:24:27 20 was a modulation technique. I believe that such a person
21	08:24:32 21 would also be aware of the various types of landline or
22 Exh.249 Walter Patent No. 4,506,387, 111	08:24:43 22 cables, physical media, rather than over-the-air propagation
23 Bates Nos. APBU-00000814	08:24:49 23 media for digital communication, including both copper wire
24 through APBU-00000823	08:24:54 24 and also fiber optic. So, I guess following up on that, one
25	08:25:01 25 would understand, one would know available bandwidths, the --

08:25:07 1 the general availability of these techniques, meaning how
 08:25:11 2 would one go about obtaining them or from what service
 08:25:15 3 providers would one contract, this type of thing.
 08:25:20 4 Q. Okay. So, I'd like to explore that in a little bit
 08:25:23 5 more detail. When you talk about physical media for
 08:25:27 6 land-based communications, like copper wire, fiber optic and
 08:25:33 7 the available bandwidths, would that include, for example,
 08:25:37 8 Ethernet?
 08:25:38 9 A. I believe that such a person would definitely have an
 08:25:40 10 understanding of Ethernet. That certainly doesn't fall in the
 08:25:44 11 category of classical digital communication techniques that I
 08:25:48 12 studied, but I think one of skill in the art would certainly
 08:25:52 13 be aware -- aware of that.
 08:25:53 14 Q. And what bandwidths would a person of ordinary skill
 08:25:56 15 in the art understand to be associated with Ethernet?
 08:26:01 16 A. At that time period, the Ethernet range was between 1
 08:26:05 17 and 10 megabit per second.
 08:26:07 18 Q. And with respect to copper wire, what kinds of
 08:26:17 19 communications facilities would a person of ordinary skill in
 08:26:22 20 the art understand to have been available?
 08:26:25 21 A. For digital communication in that time frame, ISDN,
 08:26:32 22 Integrated Services Digital Network, which I -- I believe I
 08:26:35 23 mentioned in my claim construction report, was seen as a
 08:26:43 24 up-and-coming or near-future technology that would be broadly
 08:26:49 25 available to consumers or -- when I say "consumers," I mean

08:28:32 1 were they to contract with the -- the service providers. I
 08:28:38 2 don't know at the time if it was possible for a person in
 08:28:40 3 their home to call up the phone company and -- and get a T1
 08:28:47 4 line.
 08:28:48 5 Q. But if a large company, for example, wanted a T1
 08:28:51 6 line, they could get one from AT&T at the time?
 08:28:54 7 A. That -- that is my understanding, yes.
 08:28:56 8 Q. And a person of ordinary skill in the art would have
 08:28:58 9 understood that?
 08:29:00 10 A. I do believe that, yes.
 08:29:07 11 Q. Okay. Any other kinds of communications facilities
 08:29:11 12 over copper wire that a person of ordinary skill in the art
 08:29:15 13 would have understood at the relevant time?
 08:29:16 14 A. Let me just clarify something, going back to --
 08:29:18 15 you -- you had pointed out modems, which I had omitted. And
 08:29:22 16 certainly modems were used for -- were and still are used for
 08:29:25 17 digital transmission but using analog signalling. Just to
 08:29:28 18 clarify, one does not need a digital facility in order to use
 08:29:33 19 a modem. One essentially forces what's own -- one's own
 08:29:36 20 digital facility over the -- over the analog line.
 08:29:39 21 Q. That raises an interesting point. I think in the
 08:29:42 22 tutorial, you said that systems designers weren't really
 08:29:45 23 concerned with the details of the transmission medium. Do you
 08:29:49 24 recall that?
 08:29:50 25 A. Certainly somebody -- yes, I do. One is -- who is

08:26:53 1 end users, not necessarily -- it wasn't something that sat in
 08:26:57 2 the middle of a telecommunications system that the system
 08:27:00 3 implementer would only have access to. It would be something
 08:27:03 4 that residential and business customers would -- would have
 08:27:06 5 access to.
 08:27:07 6 Q. Okay. Other than ISDN, what other kinds of
 08:27:11 7 communications facilities would a person of ordinary skill in
 08:27:14 8 the art understand to be available over copper wire?
 08:27:17 9 A. Based on my recollection now, that is the predominant
 08:27:25 10 digital signalling strategy that I considered that was --
 08:27:27 11 would be seen as future -- broadly available in the future.
 08:27:31 12 So, people were designing for it even though you couldn't
 08:27:33 13 necessarily pick up the phone and call the phone company
 08:27:36 14 and -- and sign up for it at the time.
 08:27:39 15 Q. Okay. Would they have been -- would they have been
 08:27:45 16 aware of, for example, modems?
 08:27:47 17 A. Oh, yes, of course.
 08:27:52 18 Q. And what about the higher bandwidths offering that
 08:27:58 19 large telecommunications companies made available, for
 08:28:02 20 example, T1 and other types of digital communications lines?
 08:28:08 21 A. So -- I -- I believe that such a person would know
 08:28:10 22 that it -- these T1 lines were available to -- I should say
 08:28:15 23 these T1 lines were -- or T1 and similar lines, potentially
 08:28:22 24 the aggregate lines that had the higher bandwidths, would be
 08:28:26 25 available to -- I think it's fair to say business customers,

08:29:52 1 designing a system -- I think I was using that in the context,
 08:29:56 2 perhaps, of referring to the communication link or the pipe.
 08:29:58 3 And the -- the description of the pipe simply involves a
 08:30:04 4 bandwidth and potentially the error rate or quality of
 08:30:08 5 service.
 08:30:08 6 Q. And that would apply to all these different types of
 08:30:11 7 communications media we've been talking about, right?
 08:30:13 8 A. Certainly for -- for all the media, the system
 08:30:15 9 designer could walk around to the other side of the cable or
 08:30:18 10 whatever the connection is and completely characterize that
 08:30:22 11 connection that he or she was going to use by the -- the
 08:30:25 12 relevant transmission characteristics that they were
 08:30:28 13 interested in for their application, absolutely.
 08:30:31 14 Q. And that would apply to modems as well as things that
 08:30:36 15 were digital from end to end; is that right?
 08:30:38 16 A. Yes. Yes. Well, you know, I guess when we look at
 08:30:41 17 it that way, of course, you feed the modem digital
 08:30:44 18 information, you feed the other lines digital information.
 08:30:47 19 So, it's really what happens on the other side of the --
 08:30:49 20 Q. And then the modem digital information comes out the
 08:30:51 21 other end, right?
 08:30:54 22 A. It comes -- well, it depends what you define the
 08:30:54 23 "other end" as. Clearly the modem is transmitting to another
 08:30:57 24 modem which receives the modulated analog signal and -- that's
 08:31:00 25 the "dem" part of the modem -- and demodulates it back to a --

Page 10	Page 12
08:31:03 1 a digital signal which it deliveries to its connection.	08:33:51 1 in a primary rate and a basic rate; is that right?
08:31:08 2 Q. Okay. And, so, a system designer wouldn't really	08:33:54 2 A. There were -- well, "available" is a -- what did you
08:31:11 3 care about the physical level signalling. All they'd really	08:33:59 3 say, there were available, that was -- that's a generous term.
08:31:15 4 care about is what kind of bandwidth can I get from the input	08:34:04 4 I think that perhaps we should talk about ISDN in terms of
08:31:19 5 port to the output port, right?	08:34:06 5 what the designers envisioned because the actual
08:31:23 6 A. Given that the terms "input port" and "output port"	08:34:10 6 implementation of ISDN varied widely, I think, both in the US
08:31:26 7 have meaning in this case, I feel a little bit more	08:34:17 7 and also overseas. So, speaking from the standpoint of the
08:31:29 8 comfortable if we just sort of call it the -- the link.	08:34:26 8 system architects, meaning the groups that standardized or got
08:31:30 9 What -- what kind of -- I think you mentioned bandwidth.	08:34:31 9 together and tried to put together the whole protocol and --
08:31:34 10 There's a secondary issue which is generally of concern which	08:34:34 10 and so on and so forth, there were basic rate interface which
08:31:37 11 we haven't spoken about much in this case because I think it's	08:34:42 11 was envisioned as what were called two B lines plus one D line
08:31:40 12 not particularly relevant; but just for -- for completeness,	08:34:48 12 and primary rate interface which was a much greater number of
08:31:43 13 the quality of service is also important. Obviously if	08:34:56 13 B lines -- but I think that number varied depending on Japan,
08:31:46 14 somebody's trying to sell me a 1 megabit-per-second connection	08:35:00 14 Europe and the US -- and a signalling D line. I don't have
08:31:49 15 and one out of every two bits is going to be wrong, that	08:35:04 15 the -- the greater number off the top of my head, but it is
08:31:53 16 doesn't do me a whole lot of good.	08:35:07 16 double digit.
08:31:55 17 Q. Sure. But one of ordinary skill in the art would	08:35:07 17 Q. Okay. Does 26 ring a bell for the United States?
08:31:56 18 have understood that with an intermediate error correction	08:35:11 18 A. It doesn't ring a bell; but I would believe that, if
08:31:58 19 protocol, you could trade off the available bandwidth for	08:35:14 19 you told me it was true.
08:32:02 20 error correction, right?	08:35:15 20 Q. Okay. And there was a difference in the bandwidth
08:32:03 21 A. In -- intermediate, I think that's something that the	08:35:15 21 for the D line between primary rate interface and basic rate
08:32:08 22 system designer would have to put in -- into their system.	08:35:19 22 interface, correct?
08:32:09 23 But, yes, that is the whole point of error-correcting --	08:35:21 23 A. That's right. The basic rate interface D channel
08:32:11 24 Q. Okay.	08:35:24 24 bandwidth was 16 kilobits per second, and the primary rate
08:32:11 25 A. -- error-correcting protocols -- or I should say	08:35:27 25 interface was 64 kilobits per second.
Page 11	Page 13
08:32:14 1 error-correcting codes.	08:35:31 1 Q. On the D line?
08:32:18 2 Q. Now, you -- well, I just want to make sure I've got	08:35:33 2 A. On the D line, that's right, on the signalling
08:32:22 3 completeness on the copper wire part. Are there any other	08:35:36 3 channel.
08:32:25 4 facilities that a person of ordinary skill at the relevant	08:35:37 4 Q. And for the B lines on both, it was 64 kilobits per
08:32:28 5 time would have understood to be available over copper wire	08:35:40 5 second, right?
08:32:32 6 besides the ISDN modems and T1 and related facilities?	08:35:40 6 A. Yes.
08:32:40 7 A. Now, here, when you say "copper wire," can you --	08:35:44 7 Q. What's the relationship between ISDN and T1?
08:32:44 8 Q. Well, that was your term. I -- I --	08:35:51 8 A. My understanding is that there is no relationship.
08:32:46 9 A. Okay. So -- so, copper wire with respect to ISDN and	08:35:54 9 Q. All right. Did -- did T1 sometimes consist of a
08:32:52 10 modems, I was specifically thinking of the public switched	08:36:01 10 plurality of B channels?
08:32:56 11 telephone network which was -- which was installed. Obviously	08:36:03 11 A. Well, T1 was partitioned into 64 kilobit-per-second
08:33:02 12 we could go buy copper wire at the hardware store and -- and	08:36:13 12 channels. So, it's total bandwidth was 1.544 megabit per
08:33:04 13 switch it however we wanted. So, drawing upon my memory right	08:36:18 13 second. Some of that was used for framing, essentially
08:33:08 14 now -- and I have to say I have not reviewed these items in	08:36:21 14 synchronization bits, so that the system continued to actually
08:33:11 15 detail -- I think that we have covered a fair chunk of them.	08:36:26 15 read the ones and zeros out as they were put on. Now, off the
08:33:15 16 Q. Okay. Now, Ethernet would be a sort of flavor of	08:36:30 16 top of my head, I don't recall what percentage. I think every
08:33:21 17 copper wire transmission; is that fair to say?	08:36:33 17 193rd bit may have been a framing bit.
08:33:22 18 A. Well, certainly, yeah, I think, colloquially, we	08:36:36 18 But T1 consisted of some integer number of
08:33:25 19 could call it copper wire. It's certainly not -- you know,	08:36:39 19 64 kilobit-per-second channels; and the origin of the
08:33:27 20 our Ethernet cables are not fiber.	08:36:42 20 64 kilobit-per-second was, of course, the spoken voice system.
08:33:30 21 Q. Yes. And at the prime, they were probably more often	08:36:45 21 PCM, pulse-coded modulated speech for the phone system, was
08:33:32 22 coaxial cable than a twisted pair of copper; is that right?	08:36:50 22 8,000 samples per second at 8 bits per sample. So, because T1
08:33:37 23 A. I believe that they were both used at the time, but,	08:36:55 23 was sort of developed as part of the -- the phone system, it
08:33:38 24 yes.	08:36:58 24 was logical to partition it into these 64 kilobit-per-second
08:33:39 25 Q. Okay. Now, you mentioned ISDN. That was available	08:37:03 25 channels.

08:37:04 1 Now, as far as the T1 line is concerned, bits
 08:37:07 2 are just bits. So, whether you put a phone conversation into
 08:37:10 3 one of those slots or a B channel from ISDN onto one of those
 08:37:15 4 slots is transparent to the signalling equipment.
 08:37:18 5 Q. So, one of ordinary skill in the art at the
 08:37:22 6 appropriate time would have understood that you could map ISDN
 08:37:27 7 on to a T1; is that right?
 08:37:30 8 A. I guess I'm not sure what you mean by "map."
 08:37:32 9 Q. Well, let me phrase it differently. Would it have
 08:37:36 10 been a reasonable thing to do, to use a T1 line to deliver a
 08:37:43 11 primary rate interface ISDN channel?
 08:37:48 12 A. I don't know if that was done. I just don't know.
 08:37:54 13 Q. Okay. What is a T1?
 08:37:58 14 A. T1 is the acronym that was created by AT&T in
 08:38:07 15 contrast to E1, which used twice the rate in Europe, for
 08:38:10 16 essentially long-distance, high-speed multiplexing of spoken
 08:38:18 17 voice. So, this -- these lines were at, as I mentioned,
 08:38:23 18 1.544 megabit per second. And at origin, my understanding is
 08:38:30 19 that, I think, we as an average phone user would not see a T1
 08:38:35 20 line or know a T1 line or even need to know what a T1 line is.
 08:38:40 21 It was something that was buried deep inside the phone system
 08:38:43 22 as operated by AT&T for their long-distance, high-speed
 08:38:48 23 trunking.
 08:38:49 24 Q. And it was one of the primary means for delivering
 08:38:51 25 phone calls; is that right?

08:40:24 1 Q. So, you don't know, for example, whether the AT&T
 08:40:28 2 5ESS switch supported T1 interface cards?
 08:40:31 3 A. I can tell you that at some point I did know the
 08:40:33 4 answer to that; but right now, I can't tell you that.
 08:40:37 5 Q. Okay. Is it your expectation that it would?
 08:40:40 6 A. If you would give me a little bit of technical
 08:40:43 7 information on that switch, I could read it and probably make
 08:40:46 8 a reasonable guess.
 08:40:47 9 Q. Okay.
 08:40:47 10 A. Simply stringing numbers together, I couldn't tell
 08:40:50 11 you.
 08:40:50 12 Q. All right. Fair enough. So, do I understand
 08:41:01 13 correctly, then, that the ISDN B channels had the same
 08:41:06 14 bandwidth as a partition of a T1, then; is that right?
 08:41:10 15 A. In terms of the T1 being set up to multiplex multiple
 08:41:16 16 64-kilobit-per-second calls, sure.
 08:41:18 17 Q. Okay. And that would also be true for the primary
 08:41:22 18 rate ISDN D line, also 64 kilobits per second just like a T1
 08:41:25 19 partition, right?
 08:41:28 20 A. It's a 64-kilobit-per-second channel. So, being at
 08:41:31 21 64, certainly it could be directly mapped to a -- "mapped" is
 08:41:35 22 not a good word, but we don't seem to have a good
 08:41:39 23 alternative -- directly transmitted over one of the
 08:41:41 24 appropriate slots on a -- on a T1 line.
 08:41:43 25 Q. Okay. So, one of ordinary skill in the art at the

08:38:53 1 A. Well, certainly for great distance transmission,
 08:39:01 2 getting phone calls from Point A to Point B. At some point,
 08:39:03 3 they came from the home or point of origin to a switching
 08:39:08 4 office to a central office. At some point, they got on a -- a
 08:39:11 5 common carrier or a -- or a T1 line. In 1988 -- at what point
 08:39:18 6 T1 was used for more or less local transmission, I don't know.
 08:39:25 7 So, in other words, within Houston, I don't know in 1988 if
 08:39:28 8 you would find T1 lines within Houston or if that would be
 08:39:31 9 something that would run from Houston to Dallas.
 08:39:34 10 Q. I see. So, in 1988, you may not use a T1 line on a
 08:39:40 11 normal phone call within Houston; but there was a fairly high
 08:39:43 12 likelihood that you would use a T1 line or some higher rate
 08:39:46 13 line if you made a phone call from Houston to Dallas; is that
 08:39:49 14 right?
 08:39:51 15 MR. PAYNE: Objection, form.
 08:39:53 16 A. I think that based on my knowledge of T1 use at the
 08:39:56 17 time, what you said is accurate. Now, I -- I could go look
 08:40:01 18 this up and verify that I was either right or wrong, but my
 08:40:05 19 understanding now is that that would be the case and I don't
 08:40:08 20 know to what extent T1 penetration had -- at what lower level
 08:40:12 21 closer to the user end it had gone.
 08:40:15 22 Q. (By Mr. Stephens) Okay. But the large switches that
 08:40:16 23 were used to switch telephone calls between users directly
 08:40:21 24 supported T1; is that right?
 08:40:23 25 A. I don't know that for a fact.

08:41:45 1 time would understand that you could use T1 partitions to
 08:41:50 2 deliver the B and D channels from a primary rate interface
 08:41:53 3 ISDN connection, right?
 08:41:56 4 A. Well, deliver to where? Can you clarify that?
 08:41:59 5 Q. Yeah. Deliver to the other end of the channel.
 08:42:02 6 A. Which channel?
 08:42:04 7 Q. The collection of channels that make up the primary
 08:42:08 8 rate interface.
 08:42:14 9 A. Can I ask you to start over again? I think --
 08:42:15 10 Q. Yeah. Okay. So, let me see if I can --
 08:42:18 11 A. Put that all together.
 08:42:18 12 Q. Let me try to put it all together. So, if -- would
 08:42:20 13 one of ordinary skill in the art have understood that a
 08:42:23 14 telecommunications carrier could use T1 facilities to make a
 08:42:30 15 primary rate interface ISDN line available to one of its
 08:42:36 16 subscribers?
 08:42:37 17 A. I don't know -- so, I'm going to attempt to rephrase
 08:42:41 18 what I think you're asking; and please correct me if I -- if
 08:42:45 19 I've misunderstood. I don't know if the service providers --
 08:42:51 20 for one, I don't know that primary rate interface was actually
 08:42:55 21 ever delivered to anybody. But notwithstanding that, I don't
 08:42:58 22 know if the service providers envisioned providing the primary
 08:43:03 23 rate interface by simply sticking a T1 line -- making it
 08:43:10 24 available at the point of connection or whether they
 08:43:14 25 envisioned some intermediate connection. I don't know that.

Page 18

Page 20

08:43:20 1 Q. But either was possible, right?
 08:43:22 2 A. Well, many, many things could be possible; but from
 08:43:26 3 the standpoint of the -- the service providers, I don't know
 08:43:29 4 if they would consider that possible or not. This -- it may
 08:43:34 5 or may not have involved laying a lot of extra cable, and I
 08:43:38 6 think we know from sort of practice and common sense that any
 08:43:43 7 installation that involves substantial upgrade of an existing
 08:43:48 8 communication infrastructure in the country is not a trivial
 08:43:53 9 operation.
 08:43:54 10 Q. And, yet, that's something that's been done on an
 08:43:58 11 ongoing basis from the time that the first telegraph lines
 08:44:02 12 were laid in the mid 19th century, right? There's been a
 08:44:04 13 continual process of upgrading the data rates -- or bandwidth,
 08:44:10 14 maybe, is a better term -- of the -- the physical
 08:44:13 15 communications infrastructure in the United States, right?
 08:44:15 16 A. I think, perhaps, I misspoke; and I just have to
 08:44:18 17 inject a little piece of information here. It was Ezra
 08:44:21 18 Cornell who actually developed the technique for
 08:44:25 19 simultaneously laying the lines and digging the trench, and
 08:44:29 20 that's why he made all the money and Samuel Morris did not.
 08:44:32 21 So, let me clarify what I meant. Certainly if
 08:44:34 22 we look at the initial phone system and the -- the current
 08:44:40 23 phone system, we have just tremendous improvement since --
 08:44:44 24 since Day 1. However, I'm referring here to, perhaps, a major
 08:44:52 25 infrastructure replacement. So, let me give an example, maybe

08:44:59 1 through use of a counter-example.
 08:45:02 2 The reason that ISDN was so anticipated was that
 08:45:06 3 if we think about the -- the state of phone service to homes
 08:45:11 4 in the United States, homes, business, really, any entity that
 08:45:15 5 had a telephone in the US in 1988 and even today, the vast
 08:45:20 6 majority of those connections are analog -- analog connections
 08:45:26 7 connecting to analog equipment at both ends and copper wire.
 08:45:31 8 We can see that in the basement, if you go look at -- if you
 08:45:33 9 have an older home, go look at the equipment there. ISDN was
 08:45:37 10 really a big deal. People really looked forward to it,
 08:45:42 11 started designing all kinds of -- of applications and -- and
 08:45:45 12 coming up with things that could be done over it because it
 08:45:49 13 provided high-speed digital service to the home over existing
 08:45:55 14 infrastructure. There was no need for the phone company or
 08:45:59 15 any other service provider to go out and take every single
 08:46:04 16 home and re-cable things. Now, ISDN never quite caught on
 08:46:12 17 because DSL -- and then later we see cable modem -- came in,
 08:46:19 18 and DSL offered high-speed digital connections over the same
 08:46:25 19 analog phone line.
 08:46:26 20 Q. Okay. But I want to go back to my other question.
 08:46:30 21 You would agree, I -- I think, that -- correct me if I'm
 08:46:35 22 wrong. "Would you agree" is a better way to put it. Would
 08:46:38 23 you agree that since the laying of the first telegraph cables
 08:46:43 24 in the mid 19th century, the telecommunications infrastructure
 08:46:47 25 in the United States has undergone a process of constant

Page 19

Page 21

08:46:51 1 improvement in the bandwidth that was available over the --
 08:46:57 2 that infrastructure?
 08:46:59 3 A. I don't know if I would say the improvement was
 08:47:01 4 constant. I suspect that if we go back and -- and -- and lay
 08:47:05 5 it all out -- and I think that Steve Wicker's freshman notes
 08:47:10 6 probably would do a good job of doing this -- probably it's --
 08:47:12 7 it's much more of a step function as opposed to constant,
 08:47:18 8 where as new technologies were developed that required a
 08:47:24 9 substantial replacement of a large amount of infrastructure,
 08:47:28 10 at some point the decision was made to go ahead and do that.
 08:47:31 11 Now, that's clearly not an instantaneous thing. It's not that
 08:47:36 12 one day, all of a sudden everybody has a different type of
 08:47:39 13 connection. But I -- I don't think that we would see constant
 08:47:47 14 same-rate changes over the entire time period for upgrading,
 08:47:50 15 for lack of a better word, of the system.
 08:47:52 16 Q. Well, I didn't mean to imply that they happened at a
 08:47:55 17 steady rate. In fact, if anything, the rates been
 08:47:58 18 accelerating, right?
 08:48:04 19 A. I'm not sure if I -- I think that -- people having no
 08:48:06 20 communication to some communication was probably the biggest
 08:48:10 21 jump that was ever done, and it's -- I think I would argue,
 08:48:12 22 too, that going from Morse to speech to something that one
 08:48:17 23 hears and speaks probably is also a quantum leap relative
 08:48:21 24 to -- to anything that -- that we have done since. But I
 08:48:24 25 think that -- that the point we're arguing is a little bit

08:48:27 1 esoteric. Certainly the system has improved, but I think that
 08:48:32 2 it -- it --
 08:48:35 3 Q. Maybe I can ask a different question. Maybe that
 08:48:37 4 will help.
 08:48:37 5 A. Let's try that.
 08:48:38 6 Q. Is it fair to say that since the inception of the
 08:48:41 7 telephone system in the early 20th century or late
 08:48:44 8 19th century, that there have been large numbers of engineers
 08:48:48 9 working to increase the bandwidth of the telecommunications
 08:48:53 10 infrastructure in the United States?
 08:48:54 11 A. The word "large" being somewhat unquantifiable, I
 08:48:57 12 think that's a fair statement.
 08:48:59 13 Q. Okay.
 08:49:00 14 A. I mean, certainly a lot of engineers were employed by
 08:49:03 15 both AT&T and the subsequent spinoffs of -- of companies.
 08:49:06 16 Q. And based on that fact alone, it has been foreseeable
 08:49:10 17 through that entire period that there would be periodic
 08:49:12 18 increases in the bandwidth available over the
 08:49:15 19 telecommunications infrastructure in this country, right?
 08:49:19 20 A. I -- I don't think I would agree with that. For many
 08:49:21 21 years, engineers believed that they had reached the upper
 08:49:25 22 limit of what could be done over the analog phone line. So,
 08:49:29 23 I -- I would not say that -- that -- we always constantly
 08:49:34 24 assume that there will be tremendous improvements ahead. I
 08:49:39 25 think there certainly have been times where people have

08:49:41 1 thought this is really -- we've hit the fundamental,
 08:49:44 2 theoretical limit of what can be done.
 08:49:46 3 Q. Well, you're talking about the fundamental,
 08:49:48 4 theoretical limit of what can be done over one pair of copper
 08:49:52 5 wires, right?
 08:49:53 6 A. Well, I'm giving an example; but I don't think that
 08:49:55 7 that's the only -- the only situation where people have said,
 08:49:59 8 "Oh, we've really banged up against the top, and we can't
 08:50:03 9 improve things anymore."
 08:50:04 10 Q. So, can you identify for me a situation where people
 08:50:07 11 have said, "Oh, it's not possible to send information faster
 08:50:10 12 than a particular rate?"
 08:50:11 13 A. Well --
 08:50:11 14 Q. And I don't mean over a twisted pair of copper wires
 08:50:14 15 or a single fiber. I mean from Point A to Point B through any
 08:50:19 16 means.
 08:50:22 17 MR. PAYNE: Objection, form.
 08:50:23 18 A. I'm not sure right now, over the -- off the top of my
 08:50:26 19 head, I can draw out any particular example. But I'm sure
 08:50:30 20 that these exist. I mean, some media just have physical
 08:50:35 21 limits that are seen as insurmountable.
 08:50:41 22 Q. (By Mr. Stephens) Again, my question is not about a
 08:50:43 23 single media. It certainly has been well understood for a
 08:50:46 24 very long time that you could increase the bandwidth by
 08:50:49 25 putting parallel media together, right?

08:52:14 1 right? You -- you can lay a second cable. You might have to
 08:52:17 2 pay a lot of money to do it; but you can do that, right?
 08:52:21 3 A. Well --
 08:52:21 4 MR. PAYNE: Objection, form.
 08:52:22 5 A. -- this is -- I mean, we're completely sort of
 08:52:24 6 discussing this whole thing in the Ether. There's no specific
 08:52:28 7 example. You can always lay a second cable. And to -- to
 08:52:31 8 make generalizations like that, you know, I think is -- is
 08:52:35 9 okay at a very abstract level; but it doesn't necessarily give
 08:52:40 10 us any insight as to whether for any particular engineering
 08:52:44 11 problem or design solution that turns out to be a valid
 08:52:48 12 solution.
 08:52:49 13 Q. (By Mr. Stephens) Okay. Well, I take it, though,
 08:52:52 14 from your testimony, that certainly in the mid Eighties it was
 08:52:56 15 widely expected that ISDN would deliver a significant increase
 08:53:04 16 in the digital bandwidth available to the typical consumer,
 08:53:07 17 correct?
 08:53:08 18 A. I think that's a fair statement, yes. Yes.
 08:53:10 19 Q. Okay.
 08:53:10 20 A. That was expected. Yet another great engineering
 08:53:14 21 nondelivery.
 08:53:15 22 Q. Well, it was not delivered because something better
 08:53:19 23 came along, DSL, right?
 08:53:21 24 A. Well, we as engineers are very poor at predicting the
 08:53:26 25 market. That's been shown time and time again.

08:50:52 1 A. I'm sorry, when I said "single media," I meant just
 08:50:55 2 the physical characteristics of whatever it is you're trying
 08:50:59 3 to transmit over, whether you're going in parallel or -- I
 08:51:00 4 mean, you know, that's sort of a -- I wouldn't -- I wouldn't
 08:51:02 5 have included that in -- you know, I don't think somebody
 08:51:06 6 coming up and saying, "Hey, you could put two of those."
 08:51:10 7 "Oh, my gosh, you're right."
 08:51:11 8 You know, I -- I wouldn't count that as a
 08:51:13 9 fundamental block or breakthrough either.
 08:51:16 10 Q. Okay. That's what I'm getting at. That's been known
 08:51:20 11 for a very long time, right, that if you can only send data
 08:51:22 12 over a given wire at a certain rate, you can put two down and
 08:51:25 13 then send twice as much data, right?
 08:51:27 14 A. From a hypothetical standpoint, two is always going
 08:51:31 15 to be greater than -- twice as much as one. From a practical
 08:51:36 16 standpoint, you may or may not be able to obtain a second
 08:51:39 17 channel. It may require physical installation of the second
 08:51:42 18 channel. It may be a case of satellite transponders where
 08:51:46 19 there just are no more transponders to be purchased or -- or
 08:51:51 20 there's no more capacity in the sky. So, from a theoretical
 08:51:56 21 standpoint, certainly on a sophomore quiz, if they said, "Put
 08:52:02 22 two lines in parallel," that's always a valid answer. I think
 08:52:04 23 when we go to actual systems, we're -- we're often hit with
 08:52:08 24 constraints that sometimes don't allow such a solution.
 08:52:11 25 Q. Well, those constraints can be overcome, generally,

08:53:28 1 Q. Okay. So, we've talked about copper wire. You also
 08:53:31 2 mentioned fiber optic as a physical media for land
 08:53:35 3 transmission. What would one of ordinary skill at the
 08:53:39 4 relevant time have understood to be available or expected in
 08:53:41 5 the way of fiber optic facilities?
 08:53:44 6 A. So, for this, I don't have those numbers off the top
 08:53:49 7 of my head; but I will say they are in my claim construction
 08:53:54 8 report on -- under the section that describes what was
 08:53:58 9 available for fiber optic at the time.
 08:54:00 10 Q. Okay.
 08:54:01 11 A. It lays out, also, the progression of how the
 08:54:03 12 bandwidths had increased and -- and what had been tested and
 08:54:07 13 what was coming.
 08:54:08 14 Q. Do -- do you remember, sort of, order of magnitude?
 08:54:09 15 A. Oh, it was with a "g." These were giga -- gigabits
 08:54:14 16 per second.
 08:54:24 17 Q. Now, you also mentioned in your declaration that a
 08:54:39 18 person of ordinary skill would have had an understanding of
 08:54:43 19 audio and/or video compression techniques. What would a
 08:54:47 20 person of ordinary skill have understood about audio and/or
 08:54:51 21 video compression techniques at the relevant time?
 08:54:55 22 A. So, one of ordinary skill, first, would have
 08:54:58 23 understood the -- the fundamental characteristics of the
 08:55:00 24 signal. That audio signal is inherently a one-dimensional
 08:55:06 25 signal. Certainly if it's stereo, it's two-channel; but we

Page 26

08:55:13 1 still consider it a -- a one-dimensional signal. It -- it is
 08:55:15 2 only a signal that varies with time. One of ordinary skill
 08:55:19 3 who dealt with audio compression would understand the general
 08:55:24 4 statistical characteristics of audio signals and the
 08:55:29 5 differences between the various types of audio signals that
 08:55:34 6 one might be interested in compressing. One large difference
 08:55:40 7 which I've addressed quite a bit is certainly the difference
 08:55:44 8 between speech or voice and wideband audio; so, a very
 08:55:49 9 specialized signal, which would be speech, as opposed to a far
 08:55:53 10 more general signal encompassing what is generally considered
 08:55:56 11 to be an entire range of human hearing up to -- up to
 08:56:00 12 20 kilohertz. And I think, also, one would understand general
 08:56:05 13 processing techniques that were reasonable given the
 08:56:09 14 statistical characteristics of the signal and also the way
 08:56:13 15 that the -- the human ear perceives sound.
 08:56:20 16 For video, one of ordinary skill would
 08:56:25 17 understand the similar items but as they pertain to video.
 08:56:32 18 That video is a -- a three-dimensional signal that varies with
 08:56:37 19 both space and time. One of ordinary skill would understand
 08:56:43 20 the different formats in which raw or uncompressed video could
 08:56:50 21 be represented and the implications that those formats might
 08:56:53 22 have on the representation, compression and processing of the
 08:56:58 23 video, statistical characteristics of the signal -- again --
 08:57:03 24 and I -- the term "statistical characteristics" is something I
 08:57:08 25 use to describe both of these signals. The -- what one would

Page 27

08:57:13 1 understand of those characteristics, obviously, would be very
 08:57:15 2 different for both audio and video -- appropriate strategies
 08:57:20 3 for processing, preprocessing and processing and compressing
 08:57:25 4 both the video, including various mathematical techniques, and
 08:57:30 5 a little bit, also, about how we see and how quality of the
 08:57:38 6 video signal might be quantified.
 08:57:41 7 Let me back up. Similarly for audio, there
 08:57:44 8 would be an understanding with -- with respect to quality.
 08:57:48 9 When we talk about compression, I can compress any signal to
 08:57:54 10 any size. The resulting output may be completely unsuitable
 08:57:58 11 for anybody or anything. So, there's always a -- a
 08:58:03 12 understanding that one has to consider, what is, very
 08:58:07 13 colloquially here, I'm referring to, is the quality of the
 08:58:11 14 output signals. Is the output signal suitable for the purpose
 08:58:17 15 for which it was designed or advertised?
 08:58:20 16 Let me add one thing that would be common to
 08:58:23 17 both of these skill sets as well, and that would be an
 08:58:27 18 understanding of various noise lists or entropy coding
 08:58:32 19 techniques, essentially bit compaction techniques. Once you
 08:58:35 20 have a sequence of bits, can you make them smaller?
 08:58:40 21 Q. Anything else?
 08:58:41 22 A. I'm sure more things will come to me, but I think
 08:58:44 23 that's a good list for starters.
 08:58:46 24 Q. Okay. Now, you mentioned that you can compress any
 08:58:49 25 signal to any size. And I took that to mean that you were

Page 28

08:58:52 1 implying that quality is important because of that; is that
 08:58:55 2 right?
 08:58:55 3 A. Yeah. I mean, an example would be, you know, purely
 08:58:58 4 from a theoretical standpoint -- this goes back to what I said
 08:59:02 5 before about arguing things from a theoretical standpoint -- I
 08:59:06 6 could take a picture of what I see here, you and the
 08:59:09 7 videographer and the equipment and everything outside; and I
 08:59:12 8 could compress that image, which clearly has a lot of content
 08:59:16 9 and information in it, to a single number. Now, a reasonable
 08:59:19 10 choice would be the average pixel value of everything here.
 08:59:23 11 And from a rate distortion standpoint -- that is, how many
 08:59:26 12 bits you're spending for how much distortion you get -- that
 08:59:29 13 is one point on the rate distortion curve; and I can certainly
 08:59:33 14 calculate the distortion. It's going to be very large, but
 08:59:37 15 it's a -- it's a fair theoretical representation. If you want
 08:59:41 16 this giant distortion, here's the representation of the image.
 08:59:45 17 Now, that image, it wouldn't even be considered
 08:59:47 18 an image to anybody except for me, the theoretical compression
 08:59:50 19 person. So, this is an extreme example, but you -- we could
 08:59:56 20 consider backing that image up to a point where at some point
 08:59:59 21 it's decided that that picture is an adequate representation
 09:00:02 22 of what I saw here today in front of me as opposed to some
 09:00:06 23 theoretical representation, which doesn't provide anybody any
 09:00:09 24 information whatsoever. And -- and what is enough is a
 09:00:14 25 function of the application. If the answer -- if the point of

Page 29

09:00:16 1 the picture is to answer the question, how many humans were in
 09:00:20 2 my field of view, it doesn't have to be very good. If the
 09:00:23 3 question was, how many cars were on the highway, we need a
 09:00:26 4 much higher quality image.
 09:00:29 5 Q. Okay. I guess what I was getting at was: Is that
 09:00:30 6 something that a person of ordinary skill in the art would
 09:00:34 7 have understood at the relevant time?
 09:00:36 8 A. Yes. Yes.
 09:00:37 9 Q. Okay.
 09:00:38 10 A. This is sort of a fundamental aspect of -- of
 09:00:39 11 compression.
 09:00:41 12 Q. Okay. So, a person of ordinary skill in the art at
 09:00:44 13 the time would have understood that it's possible to compress
 09:00:46 14 any signal to any size but that you have to take into account
 09:00:49 15 the quality that you need for your particular application; is
 09:00:52 16 that right?
 09:00:53 17 A. Yes. The -- the average person on the street may not
 09:00:55 18 accept what you've provided as compression and may, instead,
 09:00:58 19 call it garbage.
 09:01:01 20 Q. Okay. Now, are there specific audio compression
 09:01:09 21 techniques that a person of ordinary skill in the art would
 09:01:12 22 have been aware of?
 09:01:14 23 A. So, what type of audio are you referring to here?
 09:01:17 24 Q. Well, let's talk -- well, first of all, would you
 09:01:22 25 agree that the word "audio" includes both speech and what you

Page 30

Page 32

09:01:26 1 refer to as wideband audio?
 09:01:28 2 A. Well, it depends what we're -- in the context of
 09:01:32 3 what -- I think in the context of what we're -- the litigation
 09:01:34 4 that's going on, I would like to delineate wideband audio from
 09:01:39 5 speech or narrowband audio and the -- you know, if we can
 09:01:42 6 agree on a definition for the term "audio," then --
 09:01:44 7 Q. Well, let's say, for the moment, that I'm just asking
 09:01:46 8 about how a person of ordinary skill would use the word
 09:01:49 9 "audio" at the relevant time. Would that person have
 09:01:53 10 understood the word "audio" to include -- to be a generic term
 09:01:55 11 that would include both speech and wideband audio?
 09:01:58 12 A. I think that person would have required clarification
 09:02:01 13 as to what the context of the term was. Clearly, you know,
 09:02:04 14 there would be a sentence around it. They might be working at
 09:02:07 15 some firm that specifically dealt with voice coding strategies
 09:02:11 16 or wideband audio compression strategies. So, within that
 09:02:16 17 context, I think they would have an understanding of what it
 09:02:18 18 was. But were you to just ask somebody, "Well, do you do
 09:02:21 19 audio compression, and what do you work on," at some point,
 09:02:25 20 they would delineate whether they are a speech coding -- what
 09:02:28 21 we colloquially call -- I colloquial -- a speech coding person
 09:02:30 22 or a wideband audio coding person.
 09:02:35 23 Q. Okay. Now, would a person of ordinary skill in the
 09:02:36 24 art at the relevant time have been aware of that distinction?
 09:02:39 25 A. Oh, yes.

09:04:36 1 those techniques are -- are source independent from the
 09:04:40 2 standpoint that they don't care where the bits came from.
 09:04:43 3 They're just going to try and do the best job they can on
 09:04:46 4 compacting them. So, that type of -- of compression technique
 09:04:49 5 would be -- would be known to folks who worked both in
 09:04:53 6 audio -- any type of audio compression and also in video
 09:04:57 7 compression.
 09:04:57 8 Q. Okay. Can you give me some specific examples?
 09:05:00 9 A. So, Huffman coding is one example; arithmetic coding;
 09:05:04 10 Lempel-Ziv coding or Lempel-Ziv-Welch coding are -- are
 09:05:09 11 dictionary-type techniques. Those are three examples.
 09:05:16 12 Q. Now, you referred to that as entropy coding. Entropy
 09:05:20 13 is a measure of information; is that right?
 09:05:25 14 A. Yeah, I think that's a fair statement. In our world.
 09:05:28 15 It's not the thermodynamic entropy. Yes.
 09:05:29 16 Q. Understood. And that Claude Shannon is the person
 09:05:31 17 who first applied the word "entropy" to measuring information;
 09:05:32 18 is that right?
 09:05:34 19 A. I don't know that he was; but, again, if you tell me
 09:05:36 20 that's true, I would believe it.
 09:05:37 21 Q. Okay. Well, he certainly did apply it in that
 09:05:39 22 context.
 09:05:41 23 A. He did very well, yes.
 09:05:42 24 Q. Okay. And entropy is a measure of the information of
 09:05:51 25 a particular, shall we say, piece of content; is that right?

Page 31

Page 33

09:02:40 1 Q. Okay. And would they have some understanding of both
 09:02:42 2 sides of that divide?
 09:02:45 3 A. Certainly there -- there's no question that a speech
 09:02:49 4 coding person would know that what they were doing was very,
 09:02:52 5 very suited, designed, specially engineered for speech signals
 09:03:04 6 and voice signals. And historically that came out of the fact
 09:03:08 7 that the phone system dealt with speech, and there was this
 09:03:11 8 group of speech engineers at AT&T that -- you know, that was
 09:03:15 9 their thing. It was to -- to transmit voice over these lines.
 09:03:18 10 So, they -- they worked on speech. Those individuals would
 09:03:22 11 also know that what they were doing was unsuited for wideband
 09:03:30 12 audio. That's why we saw MP3 came out of a lab in Germany as
 09:03:38 13 opposed to the AT&T speech group, which was widely, maybe even
 09:03:42 14 universally, regarded as the best speech coding group in the
 09:03:47 15 world.
 09:03:47 16 Q. So, let me back up for a second. And before we sort
 09:03:51 17 of zero in on audio, were there compression techniques that
 09:03:55 18 were not specific to audio or video that would have been known
 09:03:59 19 to a person of ordinary skill in the art at the relevant time?
 09:04:02 20 A. Well, I mentioned noiseless or entropy coding, which
 09:04:07 21 is a strategy for merely taking sequences of bits and reducing
 09:04:13 22 the number of bits in those sequences. Those techniques are
 09:04:19 23 blind to where the bits came from. So, those noiseless or
 09:04:28 24 entropy coding techniques, just like the disk doubler stuff
 09:04:33 25 like we used to run on the hard drives to create more room,

09:05:56 1 A. Colloquially, I think that's correct. It -- it
 09:06:02 2 becomes a little -- certainly if we're talking about something
 09:06:06 3 that I would call an IID Gaussian source. I have a black box
 09:06:09 4 and I've rigged it up to spit out numbers according to some
 09:06:13 5 probability distribution. Then we know that the numbers
 09:06:16 6 coming out of the black box really don't mean anything. They
 09:06:19 7 are simply drawn according to some probability distribution;
 09:06:22 8 and we can certainly compute various entropy measures, first
 09:06:27 9 order entropy, second order, on that data.
 09:06:29 10 Now, when we talk about what is information and
 09:06:33 11 we start talking about something that truly is information,
 09:06:35 12 for example, the documents in front of you, the picture of the
 09:06:38 13 room, the -- the audio transcript of today's deposition, we
 09:06:44 14 can convert these signals to numbers, we can digitize them
 09:06:51 15 somehow or appropriately represent the text in your documents,
 09:06:54 16 but measuring the true information content starts to become
 09:06:58 17 somewhat esoteric. Okay. So, entropy is the best we can do
 09:07:04 18 numerically. Maybe that's the best way to put it. It's a
 09:07:07 19 numerical measure. It may or may not. What is the true
 09:07:09 20 information in an image? I don't know.
 09:07:12 21 Q. So, entropy, in a sense, is the best we can do
 09:07:16 22 numerically to measure the amount of information in an image
 09:07:20 23 or in an audio --
 09:07:20 24 A. Well, I would even modify that to say that -- in
 09:07:25 25 fact, in my research group, if we want to try and get the best

Page 34	Page 36
09:07:30 1 bound we can on entropy for an image, what we do is we	09:10:06 1 asymptotically optical compression algorithm?
09:07:34 2 actually push it through a bunch of compression algorithms	09:10:11 2 A. Oh, he -- yes, he certainly -- David Huffman produced
09:07:39 3 because the -- the smallest file, as long as the image looks	09:10:13 3 the first entropy code that could be proven to achieve first
09:07:44 4 adequate according to our definition of quality, that's a --	09:10:23 4 order entropy.
09:07:46 5 that's a representation of the image. And the -- the smallest	09:10:24 5 Q. And it was very well known for that reason; is that
09:07:50 6 file is going to give me at least an upper bound on how small	09:10:26 6 right?
09:07:55 7 I can make that image file and still have it contain the	09:10:27 7 A. I don't know if it was well known for that reason.
09:07:58 8 original information. I certainly could not get the number of	09:10:28 8 It was incredibly easy to design a Huffman code, which I think
09:08:01 9 bits representing that file using any standard measure of	09:10:34 9 probably went a very long way towards its acceptance. It's
09:08:07 10 entropy that we teach in an information theory course because	09:10:37 10 very easy to implement, very easy to explain to people and
09:08:10 11 what that algorithm does is so sophisticated at squashing	09:10:42 11 it's not patented, which is another big bonus in use.
09:08:17 12 things and extracting redundancy and packing it well, we don't	09:10:45 12 Q. So, you've mentioned Huffman, Lempel-Ziv and
09:08:19 13 have any entropy measure that that's sophisticated. This may	09:10:50 13 arithmetic coding as examples of general purpose compression
09:08:22 14 be completely incomprehensible to you.	09:10:56 14 algorithms that would have been known to a person of ordinary
09:08:25 15 Q. I --	09:10:59 15 skill. Are there any others?
09:08:25 16 A. So, I'm trying -- there's -- there's entropy we can	09:11:00 16 A. Oh, I'm sure there are others. I just can't produce
09:08:28 17 compute and it has a definition and a number and then there's	09:11:04 17 any names off the top of my head. There were various -- they
09:08:31 18 also, maybe what I should call, experimental entropy which	09:11:07 18 were all -- actually, there are many, many techniques. If we
09:08:35 19 is -- I know fundamentally that entropy is a measure of	09:11:09 19 go back and look at the transactions on information theory,
09:08:39 20 information. And if I can represent an image with a file this	09:11:12 20 people were constantly coming up with techniques to
09:08:42 21 small, I know that the entropy of the image is, at most, that	09:11:15 21 strategically code more efficiently using variable rate. You
09:08:46 22 many bits even though I may not be able to compute that with	09:11:19 22 know, Golomb-Rice codes are another example I can think of.
09:08:49 23 an equation.	09:11:23 23 Q. And why were they doing that? Why were people
09:08:51 24 Q. I think you're going in a direction that -- that	09:11:26 24 working on producing new compression algorithms?
09:08:53 25 suggests what I'm after. What I'm -- what I'm really trying	09:11:32 25 A. The simple answer is they had government funding to
Page 35	Page 37
09:08:55 1 to understand is: Does the Shannon type entropy measure	09:11:36 1 do it.
09:08:59 2 represent the best you can do in terms of compression without	09:11:37 2 Now, here, are you talking specifically about
09:09:04 3 discarding information?	09:11:39 3 the noiseless coding techniques that we've just discussed?
09:09:06 4 A. If we are speaking about real information, then I	09:11:43 4 Q. Sure.
09:09:11 5 would say no, real information meaning an image, an audio	09:11:49 5 A. I don't know what the impetus was for the noiseless
09:09:15 6 sequence, a video, a seismograph, you know, an EKG trace,	09:11:54 6 coding techniques other than simply compacting bits. Now,
09:09:20 7 anything like that. If we are talking about numbers that we	09:11:57 7 clearly when these -- I mean, Huffman did his codes in the
09:09:24 8 generate using a mathematical algorithm to simply give me	09:12:01 8 Sixties, I think. The Lempel-Ziv family of codes was in the
09:09:30 9 random numbers, then we really can't necessarily do too much	09:12:07 9 Seventies. Disk space and just storage space in general was
09:09:33 10 better than that.	09:12:11 10 extremely expensive. So, there is a desire -- there was a
09:09:33 11 Q. Okay.	09:12:17 11 desire to, if we had digital information, which was seen as,
09:09:35 12 A. Now, we may not, really, be able to compute that --	09:12:21 12 you know, extremely -- you can create a lot of it in a little
09:09:38 13 compute that entropy measure; but we have an idea of what the	09:12:27 13 bit of time, and any savings of the disk space would be a
09:09:42 14 right way to do it would be.	09:12:33 14 substantial help to preserving that disk space and allowing
09:09:43 15 Q. Well, but for many distributions, it's a	09:12:38 15 one to store more information on it.
09:09:46 16 straightforward calculation, right?	09:12:40 16 Now, I think, also, that digital communication
09:09:47 17 A. Well, first order entropy is a straight-order	09:12:43 17 links were not of the tremendous bandwidths that we see today.
09:09:48 18 calculation; but information theory tells us that if we code	09:12:48 18 There were -- NASA had to do a lot of communication with the
09:09:52 19 multiple samples simultaneously, we can actually do better.	09:12:51 19 stuff that they were sending up. In that case, they have low
09:09:55 20 So, instead of coding each sample once -- well, let's talk	09:12:55 20 power. You don't necessarily want to signal at a high rate.
09:10:00 21 about text because that's easier. Instead of coding each	09:12:59 21 You want to conserve the power on the satellite or on whatever
09:10:02 22 letter --	09:13:01 22 is -- is -- you know, you can't re-gas it very easily. And
09:10:01 23 Q. Well, let me stop you. I'm sorry.	09:13:04 23 then you have a need to make sure that the bits that you send
09:10:02 24 A. -- code two together.	09:13:10 24 are sort of as efficient as possible.
09:10:03 25 Q. Is it fair to say that Huffman coding was the first	09:13:12 25 Q. So, people understood that you could send a given

Page 38	Page 40
09:13:16 1 file, for example, over a given link faster by compressing it	09:16:03 1 Q. Okay. And that was available in the mid Eighties,
09:13:20 2 first, right?	09:16:05 2 right?
09:13:27 3 A. Let me rephrase that a little bit. Some of these	09:16:05 3 A. In the mid Eighties. That, I don't know. I don't
09:13:30 4 techniques, there's no guarantee that you actually end up with	09:16:07 4 know the -- the -- the birth date of -- of GIF.
09:13:33 5 a smaller file. So, to simply make a blanket statement like	09:16:10 5 Q. Do you know whether it was invented at CompuServe?
09:13:38 6 that is not true. It's certainly the case that if I have two	09:16:14 6 A. I do believe it was but --
09:13:42 7 files and one is larger than the other and I transmit them	09:16:15 7 Q. I mean the GIF image.
09:13:47 8 over the same bandwidth, it's going to take longer to transmit	09:16:17 8 A. Yeah, but didn't they have -- I thought it --
09:13:51 9 and receive the longer file than it is the shorter file.	09:16:22 9 Q. Well, let me just -- let me try to ask it
09:13:53 10 Q. Right. It's essentially a law of nature that fewer	09:16:22 10 differently. And I don't want to go off on so many
09:13:57 11 bits takes less time to send over a given channel, right?	09:16:26 11 sidetracks. We have real limited time here. So, what I'm
09:14:01 12 A. All other things being equal, yes.	09:16:29 12 trying to get at is whether one of ordinary skill in the art
09:14:03 13 Q. Okay. And the goal of these general purpose	09:16:32 13 would have understood that compression techniques were
09:14:07 14 compression algorithms was to take a wide variety of file	09:16:36 14 available, as a general matter, to reduce file sizes?
09:14:11 15 types and reduce the number of bits needed to represent them,	09:16:39 15 A. One of ordinary skill would understand that there
09:14:15 16 right?	09:16:41 16 would be lossless techniques and lossy techniques. We've just
09:14:15 17 A. I don't know if that was the goal of these	09:16:45 17 talked about the lossless techniques. But the lossless
09:14:17 18 techniques. I mean, a lot of these people were esoteric	09:16:48 18 techniques --
09:14:20 19 information theorists who --	09:16:50 19 Q. Hold on. Let me stop you for a moment because I
09:14:23 20 Q. Well, let me ask it differently.	09:16:52 20 don't want to go off on -- on a sidetrack.
09:14:23 21 A. -- I think, happen to be working on the compression	09:16:55 21 A. There's one important thing I want to say, though.
09:14:24 22 problem. And that certainly was an outcome that these	09:16:57 22 Q. Okay. Go ahead. Go ahead.
09:14:28 23 techniques could be used to reduce the number of bits to	09:16:58 23 A. These lossless techniques, yeah, by virtue of the
09:14:32 24 represent a file.	09:17:00 24 fact that they had to preserve, bit for bit, what came out the
09:14:34 25 Now, let me also just clarify one thing here.	09:17:03 25 other side was what went in after we compressed and
Page 39	Page 41
09:14:36 1 These compression algorithms that we're talking about is --	09:17:04 1 decompressed, they were substantially limited in the reduction
09:14:39 2 are lossless compression algorithms or noiseless. So -- and I	09:17:08 2 that they could achieve.
09:14:43 3 think I had a slide in my tutorial that -- that illustrated	09:17:09 3 Q. Okay. But they frequently would achieve some
09:14:48 4 this very nicely. If we take a file and we apply one of these	09:17:14 4 reduction, even if it wasn't a lot, right?
09:14:52 5 techniques to it and, you know, with the caveat that	09:17:17 5 A. Yes.
09:14:55 6 Lempel-Ziv, in particular, and some of the dictionary-based	09:17:18 6 Q. Okay.
09:14:58 7 techniques may actually expand it, when we go through the	09:17:19 7 A. There would be -- one would expect on large files --
09:15:01 8 compressor, we end up with an output -- let's call it a	09:17:25 8 and here, I'm just going to say "large" and not qualify it,
09:15:05 9 compressed file even though it may not be smaller. We go	09:17:26 9 but that all of those techniques that we've discussed would --
09:15:07 10 through a decompressor. What comes out of the decompressor is	09:17:31 10 would -- would produce a smaller file size, albeit may not --
09:15:11 11 bit for bit equivalent to what went in.	09:17:35 11 maybe not even half, perhaps only 20, 30 percent smaller.
09:15:13 12 Q. I understand that. My question, though, is that	09:17:39 12 Q. Okay. But a person of ordinary skill in the art
09:15:18 13 implementations of these general purpose compression	09:17:43 13 would have understood at the relevant time that that would
09:15:20 14 algorithms were widely used by the mid Eighties on a wide	09:17:45 14 have taken half or two-thirds or whatever the compression rate
09:15:24 15 variety of file types to reduce the number of bits required to	09:17:50 15 was the amount of time required to transmit the uncompressed
09:15:28 16 represent those files, right?	09:17:54 16 file over a given channel, right?
09:15:30 17 A. I -- I honestly don't know if I would say they were	09:17:56 17 A. Certainly over -- one could compute the -- the
09:15:34 18 widely used. I can certainly tell you that there were seven	09:17:59 18 corresponding reduction if -- from a theoretical standpoint,
09:15:38 19 or eight companies that claimed to have patents on arithmetic	09:18:04 19 if one were to implement that. Of course, let's never mind
09:15:42 20 coding; and arithmetic coding, to this day, is not very widely	09:18:07 20 that you have to put equipment at both ends and you may or may
09:15:47 21 used for that reason.	09:18:10 21 not be able to do that.
09:15:49 22 Q. Okay. But, for example, the Lempel-Ziv-Welch	09:18:12 22 Q. Well, it was completely predictable, right?
09:15:51 23 algorithm was used to compress images in GIFs, right?	09:18:14 23 MR. PAYNE: Objection, form.
09:15:56 24 A. And that, we know, also died because of patent	09:18:15 24 A. What does "predictable" mean?
09:15:59 25 issues. But, yes, the GIF image format used LZW.	09:18:16 25 Q. (By Mr. Stephens) Meaning that if you took a file and

Page 42	Page 44
09:18:17 1 you compressed it and you compared it to the uncompressed	09:29:06 1 reduce the amount of time required to send that file, right?
09:18:20 2 version, you knew with absolute certainty that you would have	09:29:11 2 MR. PAYNE: Objection, form.
09:18:25 3 some approximate reduction in the transmission time over a	09:29:15 3 A. I -- I'm not quite sure I would phrase it like that.
09:18:28 4 given channel that reflected the difference in the number of	09:29:20 4 We would -- perhaps, I think it's more accurate to give it as
09:18:30 5 bits between the compressed and uncompressed versions, right?	09:29:23 5 a two-step sequence of events. Compression reduces the number
09:18:34 6 A. Well, from a theoretical standpoint but, also, you	09:29:29 6 of bits; and by merely computing transmission time, were that
09:18:37 7 know, let me say that, here, we're talking about a theoretical	09:29:34 7 file to be transmitted over an identical communication link as
09:18:40 8 channel. You may have 50 megabit per second, for example -- I	09:29:37 8 the uncompressed file, we would compute the time for
09:18:45 9 think you have a -- and, now, that channel, we may or may not	09:29:40 9 transmission would be smaller. I'm uncomfortable putting
09:18:49 10 have access to the whole 50 megabits. It may be -- let's --	09:29:43 10 "goal" in there because this is a -- compression is employed
09:18:53 11 Q. Let me -- let me just ask you to assume --	09:29:52 11 in a system for -- for various reasons.
09:18:55 12 A. Wait. Let's just talk about the T1 example. T1	09:29:54 12 Q. (By Mr. Stephens) Okay.
09:18:59 13 chunks come in 64 kilobits per second. So, if I have	09:29:54 13 A. And the system designer obviously is building the
09:19:03 14 something at 65 kilobits per second, I'm a little out of luck	09:29:57 14 system for whatever the -- the end goal is, and he or she may
09:19:08 15 because I have to -- I have to get 128 kilobit-per-second	09:29:59 15 have various reasons for doing things.
09:19:10 16 channel.	09:30:03 16 Q. Okay. Now, going back to audio, what kinds of
09:19:12 17 Q. I'm sorry, I'm not asking about whether you -- the	09:30:12 17 wideband audio compression algorithms would a person of
09:19:13 18 rate of the file. I'm asking about a file of a given size and	09:30:19 18 ordinary skill have known about at the relevant time?
09:19:16 19 how long it would take to transmit it.	09:30:21 19 A. Okay. So, again, here, let me just start off and say
09:19:20 20 A. So, let me go back.	09:30:25 20 that these were described in my claim construction report.
09:19:22 21 MR. PAYNE: Wait. Is there a question on the	09:30:28 21 So, to the extent that I missed some, they -- there is a --
09:19:23 22 table?	09:30:34 22 Q. Okay.
09:19:24 23 MR. STEPHENS: No. Why don't we take a break	09:30:35 23 A. -- outline of these given there.
09:19:26 24 and change the tape.	09:30:37 24 So, we -- wideband audio, certainly one of
09:19:27 25 THE VIDEOGRAPHER: Off the record at 9:19.	09:30:44 25 ordinary skill would be aware of DPCM, which I think we've
Page 43	Page 45
1 (Recess taken)	09:30:50 1 discussed and that is mentioned in my report. One could also
09:27:38 2 THE VIDEOGRAPHER: This is the beginning of	09:30:57 2 start off with just the basic technique of pulse-coded
09:27:38 3 Tape 2 of the deposition of Dr. Hemami. The time is 9:27.	09:30:59 3 modulation followed by quantization where the quantization
09:27:45 4 We're back on the record.	09:31:05 4 strategy -- PCM does not, itself, provide any compression, but
09:27:46 5 Q. (By Mr. Stephens) Dr. Hemami, before the break, we	09:31:11 5 quantization is the procedure by which we throw information
09:27:48 6 were talking about the person of ordinary skill in the art and	09:31:14 6 away and -- and an appropriate quantization strategy could be
09:27:51 7 what they would have known at the relevant time. Is it fair	09:31:21 7 employed to achieve compression. And, in fact, what those
09:27:54 8 to say that -- that a person of ordinary skill at the relevant	09:31:25 8 appropriate quantization strategies were was a topic of
09:27:57 9 time would have understood that, all of the things being	09:31:30 9 research and interest at that time.
09:27:59 10 equal, it takes less time to send a given file with fewer	09:31:33 10 Additionally, there were also subband coding
09:28:03 11 bits?	09:31:45 11 algorithms which attempted to -- let me just say, with respect
09:28:08 12 A. All things being equal, given that we compute	09:31:53 12 to compression, all of these techniques are assuming that we
09:28:12 13 transmission time according to bits divided by bandwidth,	09:31:55 13 are starting off with a signal that has been digitized, not
09:28:17 14 obviously if the numerator bits is smaller, the resulting time	09:32:01 14 starting off with an -- an analog signal. The subband coding
09:28:21 15 is going to be smaller.	09:32:06 15 techniques segmented the -- or separated the input signal into
09:28:24 16 Q. Okay. Is it also fair to say that a person of	09:32:15 16 frequency bands and processed each band and compressed each
09:28:27 17 ordinary skill at the relevant under -- relevant time would	09:32:20 17 band separately and independently according to the
09:28:29 18 have understood that the goal of compression is to take a	09:32:23 18 characteristics of those bands.
09:28:33 19 given file and reduce the number of bits required to represent	09:32:27 19 Q. Any others?
09:28:37 20 it?	09:32:31 20 A. I think that generally covers the -- a wide swath of
09:28:46 21 A. I think using the term "goal" is perhaps a little bit	09:32:39 21 algorithms.
09:28:49 22 vague here. I think one of ordinary skill would understand	09:32:42 22 Q. Are there any others that come to mind?
09:28:53 23 that compression generally reduces file size.	09:32:44 23 A. Not that come to mind now, certainly.
09:28:58 24 Q. Okay. So, a person of ordinary skill at the relevant	09:32:47 24 Q. Okay. Fine. What analog compression algorithms
09:29:02 25 time would have understood that compressing a file is a way to	09:32:51 25 would have been known to a person of ordinary skill at the

Page 46	Page 48
09:32:53 1 relevant time?	09:35:59 1 familiar with the process of resampling?
09:32:54 2 MR. PAYNE: Objection, form.	09:36:02 2 A. I call it "rate changing," and I teach it in my
09:32:56 3 A. So, I -- I don't know what an analog compression	09:36:05 3 course.
09:32:59 4 algorithm is.	09:36:06 4 Q. Okay.
09:32:59 5 Q. (By Mr. Stephens) Okay. So, you don't know how you	09:36:06 5 A. But there's an important step that you left out.
09:33:01 6 would compress an analog signal?	09:36:09 6 Q. What is that, filtering --
09:33:03 7 A. I don't even know what "compress" means as applied to	09:36:11 7 A. Yes.
09:33:07 8 an analog signal. I think that you have to give a little bit	09:36:11 8 Q. -- to reduce the bandwidth appropriately?
09:33:10 9 more detail as to what you're asking.	09:36:14 9 A. Yes, exactly.
09:33:11 10 Q. What else would you need to know?	09:36:14 10 Q. Okay. So, if you do that, you -- what was the word,
09:33:16 11 A. Well, certainly in this case, we have a construction	09:36:16 11 "rate changing," that you used?
09:33:17 12 as compressing referring to reducing the number of bits; and	09:36:18 12 A. Yes.
09:33:22 13 an analog signal has no bits.	09:36:18 13 Q. Is that a form of compression?
09:33:26 14 Q. Okay. Let me be very clear. I'm not asking about	09:36:21 14 A. I don't believe that one of ordinary skill would
09:33:29 15 compressed as it's used in the Court's claim construction.	09:36:24 15 consider that to be a form of compression.
09:33:33 16 I'm asking about compress or compression as it was used by	09:36:26 16 Q. Okay.
09:33:36 17 people of ordinary skill at the relevant time, in the mid --	09:36:26 17 A. Now, having -- and I believe that I also gave some
09:33:40 18 mid Eighties.	09:36:28 18 examples in my previous deposition along those lines that --
09:33:40 19 A. If we're referring -- again, going back to my	09:36:33 19 that this is -- this would not be considered compression.
09:33:43 20 description of a person of ordinary skill, I think this person	09:36:35 20 Now, having said that, I have certainly seen examples in
09:33:46 21 would answer that one cannot compress an analog signal --	09:36:42 21 literature where authors or inventors refer to dropping
09:33:50 22 Q. Okay.	09:36:53 22 samples as compression. It's maybe, perhaps, a little bit of
09:33:50 23 A. -- that that signal would require digitization prior	09:36:59 23 an interpretation. In those examples, the -- let's call it
09:33:55 24 to any potential compression operation.	09:37:05 24 the reduced information following the -- the rate changing or
09:34:16 25 Q. You mentioned that PCM plus quantization is a form of	09:37:10 25 resampling operation, was then subsequently compressed
Page 47	Page 49
09:34:20 1 compression that would have been known to a person of ordinary	09:37:13 1 according to a conventional or -- or compression algorithm
09:34:22 2 skill in the art and that quantization is throwing information	09:37:17 2 as -- as one of ordinary skill would understand compression to
09:34:26 3 away. What about resampling?	09:37:21 3 mean.
09:34:28 4 A. Can you be more specific about what you mean by	09:37:21 4 Q. Okay. Well, let me -- let me --
09:34:30 5 "resampling"?	09:37:23 5 A. So, I -- it's a little bit -- I think, in general,
09:34:31 6 Q. Yeah. So, for example, if you take a -- a	09:37:25 6 you could possibly get people involved in a debate on this;
09:34:33 7 44.1 kilohertz audio signal from a CD and you resample it at	09:37:28 7 but I think, for the most part, most people would come down on
09:34:40 8 40 kilohertz, would that be a form of compression?	09:37:32 8 the side of that's -- that's -- doesn't fall in the general
09:34:45 9 A. Can you explain the resampling operation?	09:37:36 9 class of what we consider to be either lossy or lossless
09:34:48 10 Q. Yeah. So, you, for example, interpolate intermediate	09:37:38 10 compression.
09:34:52 11 points so that you can reduce the number of samples necessary	09:37:39 11 Q. Okay. Is it a technique that would have been known
09:34:58 12 to represent the same audio signal and throw away information	09:37:43 12 to a person of ordinary skill in the art even though it's not,
09:35:02 13 in the process.	09:37:46 13 as you say, necessarily compression?
09:35:12 14 A. From a theoretical perspective, what you have just	09:37:50 14 A. Well, I -- I think that the two things that you said
09:35:16 15 described actually destroys signal.	09:37:53 15 are sort of independent. Rate changing is certainly something
09:35:21 16 Q. I understand it's a lossless -- I mean, it's lossy.	09:37:56 16 that would be known to someone who knew compression from the
09:35:25 17 A. It's a little bit more than lossy. It's actually	09:38:03 17 standpoint that in order to understand compression, one has to
09:35:31 18 inducing -- it's really creating signal where there was none	09:38:06 18 have some background in signal processing; and rate changing
09:35:35 19 before and --	09:38:09 19 is a fundamental operation or -- or sequence of events to
09:35:36 20 Q. Well, let me make a simpler example, then, to start	09:38:13 20 understand from a standpoint of signal processing. So,
09:35:39 21 with. What if you just threw away every other sample?	09:38:16 21 certainly that person would understand a rate changing
09:35:44 22 A. So, generally, I don't believe that that would be	09:38:18 22 operation.
09:35:50 23 considered to be compression, independent of the horrible	09:38:19 23 Q. Okay. Meaning a person of ordinary skill in the art
09:35:54 24 things that it's doing to the signal.	09:38:22 24 would have understood rate changing as part of their technical
09:35:57 25 Q. Okay. Well, let me -- let me try again. Are you	09:38:25 25 vocabulary?

Page 50	Page 52
09:38:28 1 A. Yes. That would be -- yes, exactly. You could walk	09:41:28 1 Q. Okay. But a person of ordinary skill would have
09:38:29 2 into their office and ask them to diagram it out and explain	09:41:30 2 understood you can do that, right?
09:38:33 3 what happens and so on.	09:41:31 3 A. A boss of ordinary skill may come in and say, "Ah,
09:38:34 4 Q. Okay. And rate changing a signal to a lower sample	09:41:35 4 you've ruined the signal." So, from that perspective, we
09:38:38 5 rate does reduce the number of bits required to represent that	09:41:39 5 could certainly put a system together that would do that.
09:38:41 6 signal, right?	09:41:41 6 Q. And a person of ordinary skill would have understood
09:38:43 7 A. No, not necessarily.	09:41:43 7 that, right?
09:38:44 8 Q. I understand not necessarily, but it can?	09:41:44 8 A. Again, that falls -- both -- both aspects that we've
09:38:46 9 A. No. I -- in and of itself, no.	09:41:47 9 talked about, both the filtering and resampling as you've
09:38:50 10 Q. All right. Hold on. Let me ask a different -- a	09:41:50 10 described it and also the consideration of the arithmetic,
09:38:52 11 different question, then, more specific. So, if you take a	09:41:54 11 fall into the category of DSP and that a compression engineer
09:38:55 12 44.1 kilohertz, 16 bit sample from a CD and you rate change it	09:41:58 12 would understand.
09:39:02 13 so that it's 40 kilohertz and 16 bits, is it possible to do	09:41:59 13 Q. Okay. Okay. Now, if you could, give me a list of
09:39:08 14 that using rate changing as you've described it?	09:42:11 14 those kinds of speech compression algorithms that you think a
09:39:11 15 A. Well, let's be very -- the -- my concern here is with	09:42:18 15 person of ordinary skill would have known about at the
09:39:15 16 the bits. Okay. So, we start off with 16 bit samples and	09:42:20 16 relevant time.
09:39:21 17 we -- I think we agreed that we would append a filtering	09:42:21 17 A. So, this is not going to be a exhaustive list of all
09:39:25 18 operation prior to the -- the -- the down-sampling	09:42:29 18 the speech coding techniques that were available, but
09:39:29 19 operation -- and the process of filtering that signal is going	09:42:33 19 certainly there were -- so, Vector quantization was an
09:39:35 20 to change its dynamic range. So, from the standpoint of --	09:42:40 20 approach that was in high favor for -- for speech
09:39:47 21 there's a little bit more design here involved, I guess is	09:42:46 21 applications. There were various waveform coders which
09:39:50 22 what I'm trying to get at so --	09:42:52 22 actually attempted to match the -- the signal, the speech
09:39:51 23 Q. All I'm asking about is whether it's possible to use	09:42:56 23 signal, characteristics of the speech signal. There were
09:39:55 24 resampling to change a wideband audio signal from	09:43:01 24 coders that -- I'm not sure what the right technical term for
09:39:59 25 44.1 kilohertz, 16 bits, like you have on an ordinary CD, to	09:43:07 25 these coders are, but essentially, their goal was to produce a
Page 51	Page 53
09:40:04 1 40 kilohertz, 16 bits. Is that possible to do?	09:43:11 1 signal that sounded like the speech, but -- and I -- I'm
09:40:06 2 A. Well, anything is possible. We could eject 15 of the	09:43:19 2 delineating sound, then, because if you, for example, were to
09:40:09 3 bits and get it down to a 1 bit signal. We're then back to	09:43:22 3 look at the traces, they would look completely different, but
09:40:13 4 the quality issue that I had mentioned earlier.	09:43:25 4 to the -- to the ear, they would sound the same. So, these
09:40:16 5 Q. Okay. But a person of ordinary skill in the art	09:43:28 5 coders were effectively coders that modeled the generation
09:40:18 6 would have understood that you could down-sample	09:43:32 6 system and attempted to, based on that model, cause a
09:40:21 7 44.1 kilohertz, 16 bit wideband audio signal to a	09:43:39 7 generation system to produce sounds that were similar enough
09:40:25 8 40 kilohertz, 16 bit wideband audio signal, right?	09:43:45 8 with what had actually been spoken. Those are several
09:40:31 9 A. As you have described it, which would have more	09:43:51 9 examples.
09:40:34 10 distortion, never mind the filtering and frequency content	09:43:51 10 Q. Okay. Anything else you can think of?
09:40:37 11 that we've ejected, but the fact that we've gone from a 16 bit	09:43:52 11 A. Oh, I'm sure things will come to me as we go along.
09:40:40 12 representation to a 16 bit representation with some arithmetic	09:43:56 12 Q. Is silence compression a speech compression method
09:40:43 13 in between. So, we have -- in this discussion, we have	09:43:59 13 that was known?
09:40:46 14 completely not dealt with the fact that the filtering	09:44:01 14 A. I don't know that I would say silence compression was
09:40:50 15 operation is going to adjust the number of bits that we need	09:44:03 15 a speech compression method because, clearly, speech is not
09:40:53 16 to represent those samples at a given signal-to-noise ratio	09:44:07 16 silence. Silence compression was -- maybe we could call it a
09:40:58 17 so --	09:44:15 17 additional strategy that could be employed especially in
09:41:00 18 Q. It is possible to do, right? It is possible to take	09:44:21 18 two-way, bidirectional conversations where typically we have
09:41:03 19 a 44.1 kilohertz, 16 bit representation and use down-sampling	09:44:26 19 only one party speaking at a time.
09:41:07 20 to change it to a 40 kilohertz, 16 bit representation, right?	09:44:32 20 Q. Any other speech compression algorithms that you can
09:41:12 21 A. In a -- from a -- again, if I gave this to a student	09:44:36 21 think of that a person of ordinary skill in the art would have
09:41:16 22 on a piece of paper, they can certainly put something together	09:44:39 22 been aware of?
09:41:20 23 that would do that. Now, again, going back to whether this is	09:44:40 23 A. Well, we also then have sort of our traditional PCM
09:41:23 24 suitable for any particular application, this becomes another	09:44:45 24 with companding and DPCM techniques.
09:41:27 25 question.	09:44:51 25 Q. Okay. Anything else that comes to mind?

Page 54	Page 56
09:44:53 1 A. Not immediately.	09:48:12 1 aware of the existence of various IEEE journals, also the Bell
09:44:53 2 Q. Okay. How about video? What kinds of compression	09:48:21 2 System Technical Journal, which was a journal that was
09:44:59 3 techniques would have been known to a person of ordinary skill	09:48:23 3 published by AT&T Bell Labs at the time, and the various
09:45:01 4 in the art regarding video?	09:48:30 4 conferences that occurred. And here, I'm referring to, I
09:45:03 5 A. So, in video, we can first sort of segregate two very	09:48:37 5 guess, the technical conferences where researchers and
09:45:09 6 broad classes of -- of video compression. The first class	09:48:44 6 industrial people would publish their papers and exchange
09:45:13 7 were strategies by which each video frame or field was treated	09:48:49 7 ideas.
09:45:18 8 completely independently as if it were a still image and the	09:48:51 8 Q. Okay. Anything else?
09:45:25 9 second was often called intra-frame video coders and I think	09:48:55 9 A. The standards organizations did -- I mean, ITU
09:45:31 10 that the scene adaptive coder which I discussed with Mr. Brown	09:49:02 10 certainly had the -- the working groups on the video
09:45:37 11 on my last deposition is an example of that. The other	09:49:08 11 teleconferencing standards in existence, and the ISO -- I
09:45:41 12 category of video coders were what we call inter-frame,	09:49:14 12 think the MPEG committee was formed in 1987 or 1988, but those
09:45:48 13 broadly, inter-frame coders and these coders attempted to	09:49:20 13 committees were not -- I'm sort of thinking out loud here. I
09:45:57 14 exploit the gross similarity between any two adjacent video	09:49:25 14 think that what we've covered is good, those committees. Even
09:46:00 15 frames or fields that, in general, in absence of a scene	09:49:28 15 now, it's sometimes difficult to get information out of them.
09:46:05 16 change or a cut to commercial, any two video frames are going	09:49:31 16 Q. Well, the ITU committees published standards, right?
09:46:09 17 to be very, very similar and one should be able to exploit the	09:49:35 17 A. They do publish standards, yes, they do. They do.
09:46:13 18 similarity for those -- in order to more efficiently represent	09:49:38 18 Q. And they publish draft standards as well, right?
09:46:17 19 the data. And within both of these types of general classes,	09:49:40 19 A. Draft standards do come out of those groups.
09:46:24 20 there were all kinds of approaches to -- to doing these	09:49:45 20 Q. And those are commonly used by people in the
09:46:29 21 things.	09:49:47 21 telecommunications industry, right?
09:46:30 22 Q. So, there were many different approaches to both	09:49:48 22 A. I don't know to what extent they were used. I mean,
09:46:32 23 intra-frame and inter-frame video compression coding that were	09:49:50 23 I think you really have no reason for a draft standard unless
09:46:36 24 known to people of ordinary skill?	09:49:54 24 you're going -- it depends what standard it is. There are
09:46:38 25 A. I think for video compression engineers, yes. I	09:49:58 25 many, many, many standards that these groups put out; and I
Page 55	Page 57
09:46:40 1 would say that they were aware of the different strategies and	09:50:00 1 don't know to what extent all of them are used. I think some
09:46:44 2 the different approaches that -- that people had taken and	09:50:03 2 of them are probably way more popular than others.
09:46:46 3 were developing at the time to move toward video compression	09:50:04 3 Q. Well, if you wanted your telecommunications system to
09:46:54 4 strategies.	09:50:07 4 inter-operate with someone else's, you'd probably want to look
09:46:55 5 Q. Okay. And -- and they were also aware of techniques	09:50:10 5 at the standards.
09:46:58 6 that combined the two of them together; is that right?	09:50:10 6 A. Certainly. Of course. Of course.
09:47:00 7 A. Well, it's almost impossible to have an inter-frame	09:50:11 7 Q. Now, what about audio, what kind of literature would
09:47:03 8 coder without some intra-frame coding. So, when I say	09:50:14 8 people of ordinary skill in the art been aware of in audio,
09:47:06 9 "inter-frame," I'm using that as a broad class that somewhere	09:50:16 9 meaning either wideband audio or speech compression?
09:47:10 10 in there you're going to have some frame that is coded	09:50:21 10 A. So, in audio, not only would there be appropriate
09:47:14 11 completely independently. Even if you rig the system to	09:50:26 11 technical journals and -- and records of conferences but
09:47:17 12 pretend like it's not, it's -- it's still going to end up, at	09:50:31 12 also -- well, I say "also." Maybe it falls in the same
09:47:22 13 some point, being coded independently.	09:50:38 13 category. Before, I mentioned IEEE and AT&T journals, which
09:47:25 14 Q. Okay. Now, would a person of ordinary skill at the	09:50:43 14 are clearly put out by technical organizations. With respect
09:47:29 15 appropriate time have understood how to use the literature to	09:50:47 15 to audio, I think the Journal of the Acoustical Society of
09:47:33 16 locate other compression techniques besides the ones they were	09:50:50 16 America would also be something that might be known to an
09:47:36 17 already familiar with?	09:50:53 17 audio person.
09:47:40 18 A. I think that at the time -- now, here -- maybe you	09:50:54 18 Q. About the audio engineering society?
09:47:44 19 can explain a little bit more what the "literature" is since I	09:50:56 19 A. Yes.
09:47:49 20 think you and I may have different definitions for that.	09:50:58 20 Q. Any others?
09:47:51 21 Q. Okay. What I mean, for example, are professional	09:51:02 21 A. No, not -- not that I can think of. You know, audio
09:47:54 22 journals and technical libraries, various source books of	09:51:04 22 was substantially more advanced than -- all audio, speech,
09:48:02 23 algorithms and the like.	09:51:10 23 wideband, much more advanced than video with respect to the
09:48:03 24 A. So, I think that with respect to specifically	09:51:14 24 technical community's understanding of how to process and
09:48:04 25 addressing video compression, one of ordinary skill would be	09:51:18 25 handle the signal.

09:51:20 1 Q. The ITU also had published a number of standards for
 09:51:23 2 audio compression, correct?
 09:51:26 3 A. ITU.
 09:51:28 4 Q. Like, just for example, G.721?
 09:51:31 5 A. Ah, yes. Yes. Thank you. That's right. That's
 09:51:36 6 right. Now, the G.721 standard -- now, at this point, I'd
 09:51:40 7 like to ask for a copy of my declaration.
 09:51:43 8 Q. Okay. Which one?
 09:51:43 9 A. The first one.
 09:51:44 10 Q. Okay.
 11 (Exh.247 marked)
 09:51:58 12 Q. (By Mr. Stephens) Okay. I'm handing you what's been
 09:52:00 13 marked as Exhibit 247.
 09:52:04 14 MR. STEPHENS: A copy -- you got one? Okay.
 09:52:06 15 MR. PAYNE: What did you say, 247?
 09:52:09 16 MR. STEPHENS: Yes.
 09:52:14 17 A. Okay. So, what I wanted to check was the reference
 09:52:16 18 that I had looked at on G.722. So, let me say, off the top of
 09:52:21 19 my head, I don't know what G.721 is.
 09:52:25 20 Q. (By Mr. Stephens) Okay. But G.722 is also an audio
 09:52:27 21 compression standard?
 09:52:31 22 A. Whether it was adopted as a standard, I don't know.
 09:52:35 23 But certainly it was put together by the group and envisioned
 09:52:37 24 and -- and evaluated.
 09:52:39 25 Q. And at least published as a draft standard, if not a

09:53:50 1 would have been able to implement algorithms on computers for
 09:53:55 2 the purposes of verification or testing or development.
 09:54:19 3 Q. Would a person of ordinary skill in the art at the
 09:54:24 4 appropriate time have understood that you could store
 09:54:26 5 compressed audio on a computer's hard drive?
 09:54:37 6 A. This is going to sound a little nutty, but can you
 09:54:40 7 clarify what you mean by "store"? Simply, the bits resided
 09:54:43 8 there?
 09:54:44 9 Q. Yes.
 09:54:45 10 A. I think that one of ordinary skill, certainly
 09:54:48 11 whatever type of audio he or she were processing, would have
 09:54:55 12 understood that at least small pieces of that audio, if not
 09:54:59 13 the entire digitized audio, could be stored on the hard drive.
 09:55:04 14 Q. And -- and, in fact, could be stored on the hard
 09:55:07 15 drive in an ordinary file in an ordinary file system, right?
 09:55:11 16 A. Well, can you clarify what you mean by "ordinary"?
 09:55:15 17 Q. FAT16, FAT32.
 09:55:19 18 A. I think that as any other file would be sitting on
 09:55:21 19 the file system, sure.
 09:55:23 20 Q. Okay. And just to be clear for the record, the FAT16
 09:55:27 21 file system is the one that was used by MS-DOS on IBM PCs in
 09:55:31 22 that time, right?
 09:55:33 23 A. I don't know that.
 09:55:33 24 Q. Okay. But what you said would be applicable to the
 09:55:37 25 type of file system that was used on ordinary PCs at the time,

09:52:42 1 standard, right?
 09:52:43 2 A. At least published as a draft, yeah. People had to
 09:52:45 3 know about it somehow.
 09:52:46 4 Q. And you can download it from the IT website today,
 09:52:50 5 right?
 09:52:50 6 A. Today, yes.
 09:52:51 7 Q. And you could have gotten it from the ITU with a
 09:52:54 8 phone call?
 09:52:56 9 A. Oh, I'm sure it would have been, though.
 09:52:57 10 Q. But it was a standard process?
 09:52:59 11 A. It was. Well, I assume so. I certainly -- I didn't
 09:53:02 12 do it at the time.
 09:53:02 13 Q. Okay. So, ITU standards are also one of the sources
 09:53:05 14 of audio compression information that a person of ordinary
 09:53:08 15 skill in the art would likely have known about, right?
 09:53:11 16 A. Again, here, we're using "audio" as a general term.
 09:53:14 17 722 certainly, which I refer to here, was listed as wideband
 09:53:19 18 audio. Actually, it was for wideband speech, as I explained
 09:53:22 19 in the -- in the declaration. So, with the caveat that it
 09:53:26 20 would be for the appropriate audio for whatever the standard
 09:53:29 21 dealt with.
 09:53:29 22 Q. Okay. Now, would a person of ordinary skill in the
 09:53:32 23 art at the appropriate time have been familiar with computers?
 09:53:39 24 A. I think, certainly, one of ordinary skill would have
 09:53:44 25 been familiar with, used computers, programmed computers. One

09:55:40 1 right?
 09:55:45 2 A. Honestly, I don't think that people would be doing --
 09:55:47 3 these people would be doing this on PCs at the time. I think
 09:55:50 4 it was more likely it would be done on some type of higher-end
 09:55:53 5 work station.
 09:55:54 6 Q. Work station?
 09:55:55 7 A. Yes, simply from a standpoint of both available
 09:55:59 8 software that one might want to use and also computational
 09:56:04 9 horsepower that was required.
 09:56:07 10 Q. Okay. But a person of ordinary skill in the art at
 09:56:10 11 the time would have understood that you could store audio
 09:56:13 12 files on a Unix workstation or an IBM PC or an Apple Macintosh
 09:56:19 13 at the time, right?
 09:56:21 14 A. Certainly that they could be stored. It may not have
 09:56:24 15 been trivial to get them on to a particular machine.
 09:56:27 16 Q. Okay. But there were hardware devices available in
 09:56:31 17 the Eighties that would allow you to digitize sound and store
 09:56:34 18 it on a computer's hard drive, right?
 09:56:39 19 A. The hardware device itself? You -- I guess if I
 09:56:46 20 interpret your question, it sounds like you're saying that
 09:56:50 21 there was a hard -- hardware device that sat directly and fed
 09:56:53 22 onto the hard drive and took analog audio in.
 09:56:54 23 Q. No, no. I just mean --
 09:56:54 24 A. Just merely the existence of digitized audio?
 09:56:59 25 Q. That's right.

Page 62	Page 64
<p>09:56:59 1 A. Yeah, I think there was equipment that could digitize 09:57:02 2 audio and put that audio on to some type of format or entity 09:57:07 3 such that it could be introduced into the computer. 09:57:10 4 Q. Okay. And persons of ordinary skill in the art 09:57:13 5 understood that at the relevant time, right? 09:57:15 6 A. I think that, certainly, audio compression people 09:57:17 7 would be well aware of that -- 09:57:19 8 Q. Okay. 09:57:19 9 A. -- because, otherwise, they would not be very well 09:57:22 10 suited to doing audio compression. 09:57:24 11 Q. Okay. Now, what about video compression? 09:57:28 12 A. Now, video compression starts to become a little bit 09:57:30 13 more challenging because one reason that audio was and still 09:57:35 14 is so much more advanced compared to video is because it's a 09:57:40 15 lot smaller, file-wise. There are many, many fewer samples 09:57:44 16 per second required to represent any type of audio, whether 09:57:49 17 it's speech, narrowband, wideband, than video, which if we 09:57:55 18 just think about sort of VHS quality in North American, is, 09:58:01 19 at -- at best, 30 megabits per second. You know, that's far 09:58:06 20 greater than the numbers that we've thrown around in this case 09:58:10 21 for -- for any type of uncompressed audio. And as a result, 09:58:17 22 some frames or portions of frames or cropped versions of 09:58:22 23 frames could certainly be stored on a hard disk. But quickly, 09:58:26 24 there was a capacity issue. So, if we simply talk about 09:58:30 25 storing by video, there -- in terms of what was in an ordinary</p>	<p>10:00:18 1 compressed form on a hard drive on a computer? 10:00:22 2 A. Well, there's a key piece of information, I guess 10:00:27 3 that -- that is missing in order to answer that question, 10:00:32 4 which is: What is the length of the work? So, let me address 10:00:35 5 that: I understand "work" to be something that's the result 10:00:44 6 of a creative effort. So, I would say that the video of my 10:00:49 7 deposition today -- you may disagree, but it's not -- not the 10:00:53 8 result of a creative effort. 10:00:56 9 Q. I do strongly disagree, but that's okay. 10:00:58 10 A. Do you understand what I mean? 10:01:01 11 Q. I -- actually, I don't. But that's okay. 10:01:04 12 A. Well -- 10:01:04 13 Q. And I'm -- I'm not asking you to explain that. 10:01:05 14 Please just continue with your answer. 10:01:09 15 A. Yeah. So -- okay. So -- so, for example, a public 10:01:15 16 service announcement, I would say, is not a creative work. 10:01:19 17 Q. Look -- 10:01:20 18 A. But -- but I'm trying to get at length here. Okay. 10:01:23 19 So -- 10:01:26 20 MR. PAYNE: Let's just -- what's the question? 10:01:28 21 Q. (By Mr. Stephens) The question is: When did it first 10:01:31 22 become possible to store a full motion video work on a hard 10:01:35 23 drive? 10:01:35 24 MR. PAYNE: Okay. That's -- that sounds like an 10:01:37 25 enablement question to me. I mean, you're --</p>
Page 63	Page 65
<p>09:58:39 1 Unix workstation or PC or Mac, that disk would have filled up 09:58:46 2 very, very quickly. 09:58:49 3 Q. But certainly people working in the video compression 09:58:52 4 arts were performing video compression on computers of some -- 09:58:57 5 some type and storing video sequences in compressed form on 09:59:03 6 hard drives in the Eighties, right? 09:59:06 7 A. I don't know that the compressed sequences were -- 09:59:11 8 now we can throw it away, right? We have our Apple. It does 09:59:13 9 iMovie, whatever, you know, the little mini -- mini disk that 09:59:20 10 comes in a Handycam. In the Eighties, not only were the raw 09:59:26 11 files very large but the compression was not nearly as 09:59:31 12 efficient as what we're doing today, too. So, the resulting 09:59:35 13 file sizes that people were dealing with were much, much 09:59:39 14 larger. So, to say that the whole sequence -- I mean -- 09:59:42 15 Q. Let me -- let me ask a different question. 09:59:44 16 A. I think several frames were compressed, very short 09:59:46 17 chunks. I don't think they were all done on a single computer 09:59:50 18 at once. They may well have been done in parallel over many 09:59:54 19 computers and spooled off on to storage or physically removed 09:59:58 20 or written off to magnetic tape, simply because of the sheer 10:00:03 21 volume of the data. 10:00:04 22 Q. I want to ask a question now using the terms as 10:00:07 23 construed by the Court -- I mean, as you understand them to be 10:00:10 24 unconstrued by the Court. When did it first become possible, 10:00:13 25 in your understanding, to store a full motion video work in</p>	<p>10:01:39 1 MR. STEPHENS: Look, let her -- if you're going 10:01:39 2 to make your objection, that's fine, make your objection. 10:01:41 3 MR. PAYNE: Well, I might -- 10:01:43 4 MR. STEPHENS: Don't coach the witness. 10:01:43 5 Don't -- 10:01:45 6 MR. PAYNE: I'm not coaching her. The -- the 10:01:47 7 purpose of the deposition today is to ask her questions 10:01:48 8 about -- 10:01:49 9 MR. STEPHENS: I'm trying to find out about the 10:01:49 10 scope and content of the prior art. You can't tell me I'm not 10:01:53 11 entitled to do that. 10:01:54 12 MR. PAYNE: Okay. I'm telling you you're only 10:01:56 13 entitled to address issues set forth in her declarations, per 10:02:01 14 our agreement. 10:02:02 15 MR. STEPHENS: Make your objection and direct 10:02:03 16 her not to answer if you -- 10:02:06 17 MR. PAYNE: So -- certainly. So, you know, I -- 10:02:09 18 I -- I think you're -- you're arguably going over the line, 10:02:15 19 but I'll let her answer. 10:02:18 20 MR. STEPHENS: Okay. 10:02:18 21 Q. (By Mr. Stephens) When did -- 10:02:18 22 A. So, can you repeat the question, please? 10:02:20 23 Q. Yeah. When did it first become possible to store a 10:02:23 24 full motion video work on a hard drive? 10:02:26 25 A. So -- okay, let me not pontificate on the length.</p>

Page 66	Page 68
10:02:31 1 But, first off, we have -- there would be, in making this	10:05:43 1 proprietary compression algorithm and -- and --
10:02:33 2 computation, some length of the full motion video sequence,	10:05:47 2 Q. And you could store that on a computer, right, on a
10:02:37 3 which would be sufficiently long enough to somehow indicate	10:05:50 3 disk drive?
10:02:40 4 that it had some type of creative content. And the second	10:05:50 4 A. Well, I think the -- the motivation for DVI was to
10:02:44 5 issue is: What is the size of the hard drive? The third	10:05:53 5 put it on CDs.
10:02:50 6 issue -- if I only said two, I was mistaken -- is: What is	10:05:55 6 Q. And CDs had relatively low bit rates compared to hard
10:02:53 7 the compression algorithm and to what size is -- you know,	10:06:00 7 drives, right, bandwidth on and off the disk?
10:03:01 8 essentially what is -- the combination of the length of the	10:06:10 8 A. Okay. Let me think. CDs have a data rate of
10:03:05 9 sequence and the compression algorithm gives us a resulting	10:06:14 9 1.4 megabit per second. Yes, yes.
10:03:10 10 file size; and that file size, then we want to compare to hard	10:06:17 10 Q. Actually, they have a bit rate of about 150
10:03:14 11 disks. Now, I have -- I do not have hard disk evolution at my	10:06:20 11 kilobytes -- okay, right, 150 kilobytes per second.
10:03:21 12 fingertips.	10:06:24 12 A. Well --
10:03:22 13 Q. So, you don't know the answer, then?	10:06:24 13 Q. 1.2 --
10:03:23 14 A. That's right.	10:06:25 14 A. Yeah. The only reason I remember that is MPEG-1 was
10:03:24 15 Q. Okay. Do you know if it was possible by 1988?	10:06:29 15 designed for video on a CD. So, you have 1.4 megabits per
10:03:32 16 A. I know that in preparing for my tutorial, I did	10:06:33 16 second coming off, and that .4 is basically all error
10:03:36 17 verify that the example that I gave was reasonable and	10:06:36 17 correction. So, that leaves you with 1.
10:03:41 18 feasible. So, I would say, yes, it was possible in 1988.	10:06:38 18 Q. Okay. So -- so, again, just to make the record
10:03:45 19 Q. And the example that you gave was -- how -- how was	10:06:41 19 clear, if you could do video that would play back at a bit
10:03:48 20 that constructed?	10:06:42 20 rate low enough to be playable from a CD, then it would also
10:03:50 21 A. Well, I considered a work of some length and	10:06:52 21 play back from a hard drive, right?
10:03:59 22 applied -- actually, I think I applied the compression	10:06:54 22 A. I -- I don't know the hard drive transfer speeds off
10:04:03 23 strategy that was directly suggested.	10:06:59 23 the top of my head.
10:04:06 24 Q. Now, you're talking about the very, very short video	10:06:59 24 Q. Okay. But they were -- you do know that they were
10:04:09 25 that you had available at the -- at the tutorial; is that	10:07:03 25 higher than CD-ROMs?
Page 67	Page 69
10:04:14 1 right?	10:07:04 1 A. I do believe that. So, provided that you could get
10:04:15 2 A. That I didn't play?	10:07:06 2 the blocks off and all the file management was there, then --
10:04:17 3 Q. Right. But you produced it to us at that time.	10:07:12 3 and you could move around that drive fast enough, yes, I do
10:04:19 4 A. Okay. So -- so, I would -- that -- that was	10:07:15 4 believe that you would be able to play a file off of a hard
10:04:22 5 solely -- that -- I would say that was a bit short to be	10:07:19 5 drive.
10:04:26 6 considered a work. I was not -- that length, whatever it was,	10:07:21 6 Q. So, a person of ordinary skill in the art at the
10:04:32 7 ten frames or something, no, I would -- I would not say that	10:07:25 7 relevant time would have known of compression algorithms that
10:04:35 8 that's -- that's fair. That -- that video existed simply to	10:07:28 8 compressed video sufficiently to store it in a file on a hard
10:04:38 9 show what the video would look like using a compression	10:07:35 9 drive, right?
10:04:42 10 algorithm. But I did verify, to myself, that for a reasonable	10:07:35 10 A. Well, let me say that that -- DVI was proprietary,
10:04:47 11 link; and I think it was -- it's -- it was a three-minute	10:07:39 11 their compression algorithm. They did not release it. And in
10:04:51 12 music video, two-minute music video. It's -- it's on the	10:07:44 12 order, actually, to get that compression, you had to
10:04:55 13 slides, that that was a fair operation.	10:07:48 13 physically send your stuff to -- I believe it was Intel or
10:05:00 14 Q. And you came out to a over a hundred megabytes in	10:07:52 14 whoever was implementing the -- the compression. And, so, I
10:05:03 15 storage space; is that right?	10:07:57 15 think while one of ordinary skill may have known of the
10:05:04 16 A. I don't remember.	10:08:00 16 existence of DVI, one of ordinary skill certainly didn't know
10:05:06 17 Q. Okay.	10:08:05 17 what DVI was doing.
10:05:06 18 A. What I will say is that I -- I wanted to make sure	10:08:07 18 Q. Okay.
10:05:09 19 that I represented a fair presentation. So, I did check very	10:08:08 19 A. Intel was very -- that was very important to them.
10:05:20 20 carefully.	10:08:09 20 Q. Were there any algorithms that would have been known
10:05:20 21 Q. Now, you're aware that DVI had demonstrated video	10:08:12 21 to a person of ordinary skill in the art that such a person
10:05:26 22 compression prior to that, right? I think you refer to that	10:08:15 22 would have understood would allow you to compress video and
10:05:32 23 in your declaration.	10:08:18 23 store it on a hard drive?
10:05:33 24 A. Yeah, DVI -- I mean, other people demonstrated video	10:08:22 24 A. Can you ask that again, please?
10:05:40 25 compression prior to that, too. DVI, they had a particular	10:08:24 25 Q. Yeah. Were there any algorithms that would have been