

Sheila Hememi - 9/4/07

19 (Pages 70 to 73)

Page 70

10:08:26 1 known to a person of ordinary skill at the relevant time that
 10:08:30 2 that person would have understood would allow you to store
 10:08:34 3 compressed video on a hard drive?
 10:08:43 4 A. You mean the whole video? When you say "store
 10:08:47 5 compressed video," you're referring to the entire file?
 10:08:49 6 Q. Well, let's say a full-motion video work, as
 10:08:54 7 construed by the Court.
 10:08:55 8 A. Well, again, we have a bunch of variables here that
 10:08:59 9 are -- come into play and are unknown, how long is the work,
 10:09:04 10 what is the compression algorithm and how big is the disk.
 10:09:08 11 Q. Okay. And I'm asking you to make whatever reasonable
 10:09:11 12 assumptions you need there to answer the question.
 10:09:13 13 A. We also have this issue of quality in the back of our
 10:09:17 14 mind, right? Clearly we don't want to represent every frame
 10:09:21 15 by a single number. So, compression algorithms existed; and
 10:09:28 16 certainly one could take video -- let's go with my
 10:09:40 17 three-minute length -- or two-minute, whatever I did in the
 10:09:44 18 tutorial -- and compress it. And providing that the
 10:09:49 19 compressed -- combination of the compression algorithm in the
 10:09:53 20 hard disk size gave us a file that there was sufficient room
 10:09:56 21 to put it on the hard disk, then certainly it could be stored
 10:10:00 22 on the hard disk.
 10:10:00 23 Q. Okay. And a person of ordinary skill would have
 10:10:02 24 understood that before Mr. Lang came up with his invention,
 10:10:07 25 right?

Page 71

10:10:07 1 A. Well, I don't think that storing a file is part of
 10:10:10 2 the -- I mean, storing is certainly one of the -- the steps in
 10:10:13 3 the claims, but --
 10:10:14 4 Q. I -- I'm not ask --
 10:10:15 5 A. -- any file can be stored on a disk, right? I mean,
 10:10:17 6 this is -- you know, this happens to be a file where the bits
 10:10:22 7 represent compressed video. But, you know, this is simply
 10:10:25 8 storing a file on a disk. I mean, this was known starting
 10:10:28 9 whenever the first disks came out in the Fifties or Sixties.
 10:10:33 10 Q. Well, and -- and the ability to store compressed
 10:10:34 11 video on a disk was known as soon as algorithms became
 10:10:38 12 available that allowed you to compress it to a reasonable size
 10:10:42 13 that would fit on then-available disks, right?
 10:10:46 14 A. Well, the ability to store anything on a disk goes
 10:10:49 15 along with how big is the disk and how big is your thing. So,
 10:10:53 16 this is just one particular example of data.
 10:10:56 17 Q. Okay. So, as long as it will fit on a disk, you can
 10:10:59 18 store any kind of digital information on a disk, right?
 10:11:02 19 A. That's a bit broad, and I'm not sure I want to agree
 10:11:04 20 to that. But, you know, bits are bits, right? And what do
 10:11:08 21 disks do? They store bits so --
 10:11:10 22 Q. Okay. So, I'd like to talk a little bit now about
 10:11:13 23 what computers are and what a person of ordinary skill in the
 10:11:18 24 art would have understood about computers in the mid Eighties.
 10:11:19 25 A person of ordinary skill in the mid Eighties would have

Page 72

10:11:23 1 understood that typical, let's say, workstations had both disk
 10:11:33 2 drives -- well, had disk drives, right?
 10:11:39 3 A. What is a typical workstation? Can you be a little
 10:11:41 4 bit more specific? Previously you mentioned a PC. So, I'm --
 10:11:44 5 I just want to make sure we're talking about the --
 10:11:47 6 Q. Well, you said that a PC might not be what a person
 10:11:49 7 of ordinary skill in the art would use to develop algorithms,
 10:11:52 8 for example.
 10:11:53 9 A. Right.
 10:11:54 10 Q. So, I'm talking about the kind of computer that a --
 10:11:56 11 A. Okay.
 10:11:56 12 Q. -- person of ordinary skill --
 10:11:58 13 A. Okay.
 10:11:58 14 Q. -- would use to develop algorithms. That would
 10:12:00 15 normally have had a disk drive, right?
 10:12:02 16 A. You know, I don't actually know that it would have
 10:12:03 17 normally had a disk drive.
 10:12:04 18 Q. If it didn't have a disk drive, how would it store
 10:12:07 19 files?
 10:12:07 20 A. Oh, I'm sorry. You're referring to hard disk drive.
 10:12:10 21 Q. Yes.
 10:12:11 22 A. Yes, I believe it would have had a -- a hard disk
 10:12:13 23 drive.
 10:12:13 24 Q. Or it might have had a -- a Ethernet card and used a
 10:12:17 25 file server, right?

Page 73

10:12:20 1 A. Then, I guess, we wouldn't call that a -- a -- more
 10:12:24 2 likely, that workstation would be a little bit more akin to a
 10:12:28 3 dumb terminal that's running off of some large compute server.
 10:12:31 4 Q. Well, certainly was commonplace to -- to use Ethernet
 10:12:34 5 to network Unix workstations in the mid Eighties, right?
 10:12:39 6 A. Yes. But given the type of development that -- that
 10:12:41 7 these folks would be doing on -- even audio, still relatively
 10:12:45 8 large file sizes, I don't know that they would want to be
 10:12:52 9 constantly shuffling those files back and forth over the
 10:12:55 10 network as they processed them. I suspect that it would be
 10:12:58 11 slow for them, and it would be maddening for anybody else on
 10:13:01 12 the same network.
 10:13:02 13 Q. Well, whether you do it when you're processing them
 10:13:05 14 or just to offload the disk space on to a file server
 10:13:08 15 somewhere, certainly it was something that people of ordinary
 10:13:11 16 skill in the art at the time would have understood as within
 10:13:14 17 the range of solutions available to them for working on
 10:13:19 18 compressed audio or video, right?
 10:13:22 19 MR. PAYNE: Objection, form.
 10:13:22 20 A. I sort of lost what the "it" was in that question.
 10:13:26 21 Q. (By Mr. Stephens) Meaning transferring a file over a
 10:13:28 22 network to a disk drive on another machine.
 10:13:30 23 A. There certainly were remote compute servers, yes.
 10:13:34 24 Q. I'm not asking about a compute server. I'm asking
 10:13:37 25 about a remote disk drive.

Merrill Legal Solutions

www.merrillcorp.com

1-888-513-9800

10:13:42 1 A. I don't know what the common configuration for those
 10:13:45 2 systems were.
 10:13:47 3 Q. It certainly would have been known to one of ordinary
 10:13:50 4 skill in the art that you could transfer a file, an audio
 10:13:52 5 file, let's say, on one Unix workstation hard disk to a hard
 10:13:58 6 disk residing on another machine on the same network, right?
 10:14:04 7 MR. PAYNE: Objection, form.
 10:14:05 8 A. I believe that was possible.
 10:14:07 9 Q. (By Mr. Stephens) And with Ethernet, that transfer
 10:14:10 10 would have happened faster than real-time, correct?
 10:14:18 11 A. Now, the Ethernet data rates were 1 to 10 megabit per
 10:14:29 12 second; but Ethernet is a shared resource. So, I think it
 10:14:32 13 depends on how much other traffic there is on the network as
 10:14:34 14 to whether that's a faster-than-real-time transfer or not.
 10:14:37 15 Q. Okay. So, let's assume there's no one else using the
 10:14:40 16 network at the time.
 10:14:41 17 A. I think it would be reasonable to expect that the
 10:14:48 18 transmission time for the audio file would be less than the
 10:15:02 19 playback time of the original file.
 10:15:05 20 Q. And a person of ordinary skill in the art would have
 10:15:08 21 understood that if they were using a workstation to develop
 10:15:13 22 digital audio compression methods in the mid Eighties, right?
 10:15:18 23 MR. PAYNE: Objection, form.
 10:15:19 24 A. I'm not sure that's something that occurred to them.
 10:15:22 25 I think if -- if a genie showed up and asked them to, "Hey,

10:16:35 1 copy based on the length in minutes that it would take to
 10:16:39 2 listen to the performance, right, particularly for compressed?
 10:16:43 3 A. You could, you could. As an engineer, you could
 10:16:45 4 certainly upper-bound it.
 10:16:46 5 Q. I'm not asking about an upper bound. I'm asking --
 10:16:49 6 A. No, no, no. Yeah, but this is how engineers think,
 10:16:52 7 right? You said you could not; and actually, the -- the -- I
 10:16:55 8 think the engineer would say, "Well, first off, I know what
 10:16:57 9 the audio is. I mean, I know it's four hours or three
 10:16:59 10 minutes. And if it's compressed, then I have a range of what
 10:17:03 11 I expect it to be." So, you know, back-of-the-envelope
 10:17:09 12 calculations, one can actually -- and -- and similar
 10:17:11 13 back-of-the-envelope calculations for available bandwidth, if
 10:17:15 14 it's a shared resource or not.
 10:17:16 15 Q. Okay. Now, if you're -- if you're copying a file
 10:17:18 16 from one disk to another, you generally want that to happen
 10:17:22 17 quickly, right?
 10:17:25 18 MR. PAYNE: Objection, form.
 10:17:26 19 A. I -- I'm not sure that we really want anything to
 10:17:29 20 happen when we copy -- I mean, I -- I just --
 10:17:31 21 Q. (By Mr. Stephens) Well, would you agree that the
 10:17:33 22 history of computer technology development has been one of
 10:17:38 23 ever-increasing speeds?
 10:17:39 24 A. That is certainly true.
 10:17:41 25 Q. And that applies both to I/O, to disk drives and to

10:15:25 1 what is the bit rate of your audio and what is the bit rate of
 10:15:27 2 your Ethernet connection," they might say, "Gee, you're
 10:15:31 3 right."
 10:15:31 4 Q. (By Mr. Stephens) Well, let me ask it a little
 10:15:33 5 differently. So, if I have a file on my disk inside my Unix
 10:15:38 6 workstation and I want to copy that to another disk, whether
 10:15:42 7 that's on the -- my same machine or across the network to
 10:15:47 8 another machine, there's no connection between that copying
 10:15:53 9 time and the amount of time required to play that file back,
 10:15:56 10 is there?
 10:15:57 11 A. The data transfer time is solely a function of the
 10:16:00 12 available bandwidth and the file size, as we have discussed.
 10:16:04 13 So, the transfer is ignorant of whatever the bits represent.
 10:16:08 14 Q. So, the --
 10:16:08 15 A. Now, let's -- let me -- I mean, there is a little bit
 10:16:10 16 of a connection. Clearly, if we have a four-hour Wagner
 10:16:13 17 opera, that is going to have a larger file than a three-minute
 10:16:20 18 Beatles tune.
 10:16:22 19 Q. Well, bigger files take somewhat longer to --
 10:16:25 20 A. Yes.
 10:16:25 21 Q. -- transmit?
 10:16:25 22 A. Yes. So, I mean, I don't think we can completely
 10:16:28 23 decouple the two.
 10:16:30 24 Q. Well, it's decoupled in the sense that there's -- you
 10:16:32 25 can't predict the amount of time required to make the file

10:17:46 1 transfers between the simple processing unit in RAM?
 10:17:50 2 A. I think that internal bus speeds as well as
 10:17:53 3 transistor switching speeds -- really, it's the transistor --
 10:17:56 4 let me just put in a little blurb here. This is all
 10:17:59 5 electrical engineers, nothing to do with computer scientists,
 10:18:03 6 in terms of increasing speed of computers. Certainly the --
 10:18:07 7 the Moore's Law and faster and faster transistors have driven
 10:18:11 8 all of -- all of the speed increases that you've discussed.
 10:18:14 9 Q. Well, and it's also generally been desirable by users
 10:18:18 10 of computers to make them compute faster, right?
 10:18:23 11 A. Well, users of computers and just about everything
 10:18:27 12 else that we deal with in daily life. Yeah, I think that's
 10:18:30 13 the case.
 10:18:31 14 Q. And it's also been desirable to make the disk drives
 10:18:35 15 transfer data faster during that period of computer
 10:18:38 16 development, right?
 10:18:42 17 A. Again, I don't know what desirable is. This is all
 10:18:44 18 natural evolution of the equipment.
 10:18:45 19 Q. So, the natural evolution of computer systems has led
 10:18:50 20 to ever-faster disk drives, right?
 10:18:51 21 A. To this point, yes.
 10:18:55 22 Q. And that's partly because what you can do with a
 10:18:58 23 computer is limited by how fast you can move data around,
 10:19:02 24 right?
 10:19:04 25 A. It depends on what you're trying to do.

10:19:05 1 Q. As a -- as a very general principle, that's true,
 10:19:08 2 right?
 10:19:08 3 A. Well, in some applications, yes. It's the data
 10:19:11 4 movement, which is the bottleneck. In other applications, it
 10:19:15 5 may well be the -- the computing.
 10:19:17 6 Q. Okay. But in many applications and particularly in
 10:19:19 7 many multimedia applications, it's how fast you can move data,
 10:19:23 8 right?
 10:19:24 9 A. I think that multimedia has been also -- "hampered"
 10:19:29 10 is the wrong word. Multimedia has a lot of computational
 10:19:32 11 requirements, and I think that it's -- it's not fair to say
 10:19:36 12 it's solely data transfer speeds within the machine that
 10:19:40 13 that -- that have -- that are the issue for multimedia.
 10:19:46 14 Q. Okay. But it's "an" issue for multimedia, right?
 10:19:47 15 A. It is "an" issue for multimedia. But, again, as I
 10:19:51 16 mentioned, the driving data rate for MPEG was getting video
 10:19:55 17 off a CD.
 10:19:56 18 Q. Okay. And, so, once I have a multimedia file on my
 10:20:00 19 hard drive and I want to copy it to another hard drive, what
 10:20:04 20 determines how fast that copy occurs?
 10:20:10 21 A. Well, we have the -- the computer itself has to --
 10:20:16 22 operating system has to deal with issuing commands and causing
 10:20:23 23 the copy to occur at a higher level. The disk drives have I/O
 10:20:32 24 speeds, as you mentioned, which are caused by both the
 10:20:37 25 fundamental physical read/write data rate off the disk as well

10:21:56 1 the art.
 10:21:57 2 MR. PAYNE: Objection, form.
 10:21:57 3 A. Well, first off, I don't know that the term
 10:21:59 4 "multimedia file" even existed --
 10:22:02 5 Q. (By Mr. Stephens) Well, let's say audio file.
 10:22:05 6 A. -- in the 1988 time frame. So, however the audio was
 10:22:08 7 represented, it was simply bits. And as far as the file
 10:22:14 8 system is concerned, bits are bits and the bits will be moved
 10:22:17 9 from Point A to Point B and there's no reason to expect that
 10:22:20 10 the bits that happen to belong to an audio file would be
 10:22:23 11 treated any better or any worse than the bits that belong to,
 10:22:27 12 say, a -- a user's dissertation file.
 10:22:31 13 Q. So, the computer doesn't know how long it would take
 10:22:34 14 to play that audio file back when it's moving it from one disk
 10:22:38 15 to another, right?
 10:22:40 16 A. A generic, ignorant computer without prior
 10:22:45 17 programming or special features, certainly it has no way to
 10:22:49 18 know anything. The -- what the computer knows about the data
 10:22:51 19 is really just the file system structure and how big it is and
 10:22:55 20 where it is.
 10:22:55 21 Q. So, the transfer time would not be limited to or
 10:23:01 22 restricted to the amount of time required to play that file
 10:23:04 23 back, right?
 10:23:06 24 MR. PAYNE: Objection, form, incomplete
 10:23:08 25 hypothetical.

10:20:41 1 as the level of error correction and any other signal
 10:20:43 2 conditioning or pre- or post-processing they have to do on the
 10:20:48 3 data to get it off.
 10:20:48 4 Q. Okay.
 10:20:49 5 A. And, of course, you know, they are connected by some
 10:20:50 6 type of bus. So, we have the fundamental speed of the bus as
 10:20:55 7 well.
 10:20:56 8 Q. But there's nothing in that process of copying a file
 10:21:00 9 from one disk to another that restricts the transfer speed to
 10:21:06 10 the time required or the speed required for playback; is that
 10:21:11 11 right?
 10:21:13 12 MR. PAYNE: Objection, form.
 10:21:14 13 A. Well, the -- speed required? Speed required for
 10:21:19 14 playback?
 10:21:20 15 Q. (By Mr. Stephens) In other words, the -- the time it
 10:21:22 16 takes to transfer a multimedia file from one disk to another
 10:21:27 17 on a computer in the mid Eighties was not restricted to the
 10:21:30 18 amount of time required to play that file back, right?
 10:21:37 19 MR. PAYNE: Objection, form, assumes facts.
 10:21:39 20 A. I guess I don't know that. I mean, you could
 10:21:41 21 certainly imagine building a system where you did put some
 10:21:45 22 type of constraint on what was going on.
 10:21:49 23 Q. (By Mr. Stephens) I'm not -- I'm not asking about an
 10:21:49 24 imaginary system. I'm asking about a typical multimedia -- a
 10:21:52 25 typical Unix workstation used by a person of ordinary skill in

10:23:15 1 A. Sorry, I lost my train of thought. Can you repeat
 10:23:17 2 the question?
 10:23:17 3 Q. (By Mr. Stephens) Sure. So, when you're copying an
 10:23:20 4 audio file from one disk to another disk in a Unix workstation
 10:23:24 5 in the mid Eighties, the time required to make that copy isn't
 10:23:28 6 restricted to the amount of time required to play that file
 10:23:31 7 back, right?
 10:23:32 8 MR. PAYNE: Objection, form. Where -- what are
 10:23:33 9 you talking about, Unix-based workstations? That's not a
 10:23:36 10 declaration --
 10:23:37 11 MR. STEPHENS: Make your objection. Stop --
 10:23:38 12 MR. PAYNE: I'm going to instruct her not to
 10:23:40 13 answer the question.
 10:23:41 14 MR. STEPHENS: You're going to -- okay.
 10:23:41 15 MR. PAYNE: It's beyond the declaration.
 10:23:41 16 MR. STEPHENS: All right. You're going to
 10:23:41 17 instruct her not to answer?
 10:23:43 18 MR. PAYNE: If you've got a specific prior
 10:23:45 19 art --
 10:23:45 20 THE WITNESS: I'm sorry, could I take a break
 10:23:45 21 and --
 10:23:47 22 MR. STEPHENS: No. There's a question pending.
 10:23:48 23 MR. PAYNE: -- you've got specific prior art in
 10:23:51 24 the declaration. You're suggesting hypotheticals that assume
 10:23:54 25 facts not in evidence. And, so, I have no choice but to

| Page 82 | Page 84 |
|--|---|
| 10:23:59 1 instruct her not to answer. | 10:37:58 1 A. They were available; but they certainly did not have, |
| 10:24:00 2 Q. (By Mr. Stephens) Are you going to follow your | 10:38:01 2 I think, really very much market base. |
| 10:24:01 3 counsel's advice? | 10:38:03 3 Q. Okay. |
| 10:24:02 4 A. Yes. | 10:38:04 4 A. They were expensive. I -- they were also, I think, |
| 10:24:01 5 Q. Okay. | 10:38:08 5 really marketed very much to academic institutions and perhaps |
| 10:24:02 6 THE WITNESS: Is it okay if we take a little | 10:38:13 6 not so much to the general public. |
| 10:24:04 7 break? | 10:38:15 7 Q. So, a person who had recently graduated with a |
| 10:24:05 8 MR. STEPHENS: Then we can take a break. | 10:38:20 8 electrical engineering degree might well have owned an Apple |
| 10:24:07 9 All right. I'll be asking for another day of | 10:38:25 9 Macintosh, right? |
| 10:24:09 10 deposition with this witness after we move to compel on this | 10:38:26 10 A. No, I don't think I would say they might well have |
| 10:24:10 11 point. | 10:38:27 11 owned. They may have used one, depending on what institution |
| 10:24:15 12 THE VIDEOGRAPHER: Off the record at 10:24. | 10:38:33 12 they went to. |
| 10:24:17 13 MR. PAYNE: And the objection stands. | 10:38:33 13 Q. Okay. Well, they were commonly known at least, |
| 14 (Recess taken) | 10:38:36 14 right? |
| 10:35:26 15 THE VIDEOGRAPHER: Beginning of Tape 3 to the | 10:38:36 15 A. Certainly after the commercial during the Super Bowl, |
| 10:35:28 16 deposition of Dr. Hemami. The time is 10:35. We're back on | 10:38:39 16 I think they were commonly known. |
| 10:35:33 17 the record. | 10:38:41 17 Q. And that was the Big Brother commercial you're |
| 10:35:33 18 Q. (By Mr. Stephens) Okay. Dr. Hemami, let's see, we | 10:38:44 18 referring to? |
| 10:35:37 19 were still talking about a person of ordinary skill in the art | 10:38:45 19 A. Yes. |
| 10:35:40 20 in the mid Eighties. Now, it would have been known to such a | 10:38:46 20 Q. And Apple Macintoshes had SCSI ports so that you |
| 10:35:44 21 person that analog-to-digital and digital-to-analog convertors | 10:38:50 21 could use an external disk drive; is that right? |
| 10:35:51 22 were things that were available to them, right? | 10:38:53 22 A. I do not know if that's right or not. I do not |
| 10:35:56 23 A. Yes. The existence of A to D and D to A would have | 10:38:55 23 remember what was on the back of those units. |
| 10:35:59 24 been known. | 10:38:57 24 Q. Okay. Well, certainly SCSI interfaces for external |
| 10:36:01 25 Q. And that's true both for audio and for video; is that | 10:39:01 25 computer drives were available on many platforms, right? |
| Page 83 | Page 85 |
| 10:36:03 1 right? | 10:39:04 1 A. I don't know that. I don't actually know what -- I |
| 10:36:07 2 A. Yes. Although, the A-to-D conversion video were | 10:39:06 2 don't remember what was sitting off the back of those units. |
| 10:36:13 3 substantially more specialized and difficult to get; but they | 10:39:09 3 Q. Okay. Fair enough. Amigas were another type of |
| 10:36:16 4 would be aware that it was possible to do that. | 10:39:13 4 computer that was available at the time; is that right? |
| 10:36:19 5 Q. Okay. And I think we've already talked about the use | 10:39:15 5 A. Amigas did exist, yes. |
| 10:36:29 6 of disk drives being well known at the time to store digital | 10:39:29 6 Q. Now, for any given file representing audio, there is |
| 10:36:35 7 data; is that right? | 10:39:36 7 some rate at which it will be transferred faster than |
| 10:36:36 8 A. Yes. | 10:39:39 8 real-time, right? |
| 10:36:40 9 Q. Now, was it known to use external storage devices | 10:39:44 9 A. I -- this question is a little bit vague. Perhaps |
| 10:36:43 10 like disk drives on a SCSI interface? | 10:39:48 10 you could be more specific for the -- what's going on. |
| 10:36:54 11 A. Storage external to a computer that contained the CPU | 10:39:50 11 Q. Okay. Fair enough. Sure. In your -- your tutorial, |
| 10:37:04 12 unit was known, yes. | 10:39:52 12 you talked about faster-than-real-time transmission being |
| 10:37:12 13 Q. And the Small Computer Systems Interface or SCSI | 10:39:57 13 determined by simply taking the amount of time it takes to |
| 10:37:14 14 interface, that was also known, right? | 10:40:00 14 transmit a file and comparing that to the amount of time it |
| 10:37:18 15 A. I don't know what the time was on the SCSI interface. | 10:40:02 15 takes to play back that file and if it's -- if the time |
| 10:37:20 16 Q. Would you agree that, at least with respect to | 10:40:08 16 required to transfer is less than the time required to play |
| 10:37:23 17 personal computers, the primary types of personal computers in | 10:40:11 17 back, then you've transmitted faster than real-time. Do you |
| 10:37:27 18 the marketplace at the time were PCs and Apple Macintoshes? | 10:40:13 18 remember that? |
| 10:37:32 19 A. I think we called them IBMs at the time. | 10:40:14 19 A. Yes. That's -- that's an accurate representation of |
| 10:37:34 20 Q. Okay. | 10:40:16 20 what I said in my tutorial. |
| 10:37:36 21 A. Certainly the -- well, there were actually quite a | 10:40:18 21 Q. Okay. So, for any given file, there's some |
| 10:37:38 22 lot of computers. I had several Commodore computers. I think | 10:40:20 22 transmission rate at which it will be transmitted faster than |
| 10:37:44 23 that Radio Shack's Tandy brand had a fair chunk of the market. | 10:40:24 23 real-time, correct? |
| 10:37:49 24 And I think we -- we referred to IBMs and IBM clones. | 10:40:25 24 A. According to how I explained it, yes, that's correct. |
| 10:37:53 25 Q. Okay. And Apple Macintoshes were available? | 10:40:32 25 Q. And there's generally going to be at least an average |

Page 86

10:40:35 1 bit rate associated with playback for a given file. I think
 10:40:38 2 that was also something else you explained; is that right?
 10:40:41 3 A. Well, we can compute an average bit rate for any
 10:40:46 4 digital file that is played back by simply taking the number
 10:40:49 5 of bits in a file and dividing it by the length. Now, that
 10:40:53 6 may or may not be representative of any particular instant bit
 10:40:55 7 rate.
 10:40:55 8 Q. Okay. But you can take that average bit rate and so
 10:40:59 9 long as the average transmission rate over the whole
 10:41:02 10 transmission period is higher than that average bit rate for
 10:41:05 11 playback, then, again, the transmission will be faster than
 10:41:09 12 real-time, right?
 10:41:11 13 A. Well, this goes back to the same equation that we
 10:41:14 14 dealt with before. If we take the average rate of the audio
 10:41:17 15 file and simply multiply it by the length of the file, we then
 10:41:20 16 have our bits and we can, again, then do a computation.
 10:41:24 17 Q. So, these are just simple mathematical manipulations
 10:41:25 18 of the same underlying numbers, right?
 10:41:29 19 A. Yes.
 10:41:39 20 Q. So, you would agree that you can take a given file
 10:41:44 21 and readily determine whether a given transmission channel, if
 10:41:48 22 you know the bit rate for that transmission channel, will be
 10:41:53 23 transmitted over that transmission channel faster than
 10:41:56 24 real-time, right?
 10:41:57 25 A. Well, here you -- you've referred to a file. So, if

Page 87

10:42:01 1 that file represents some type of information with a temporal
 10:42:07 2 duration, then we can certainly compare what we know about
 10:42:13 3 that file with the transmission bandwidth.
 10:42:16 4 Q. So, for example, if you know the average bit rate for
 10:42:20 5 a DVI compressed file is less than 150 kilobytes per second
 10:42:27 6 because it can be played back from a CD-ROM, then you would
 10:42:30 7 know that if you store it to a hard disk over a -- a I/O
 10:42:37 8 channel that will allow you to write to that hard disk, say,
 10:42:41 9 at a megabyte per second, that it will be transferred to that
 10:42:45 10 hard disk faster than real-time, right?
 10:42:48 11 A. Well, these are numerical comparisons; but, you know,
 10:42:51 12 the DVI system was not a system to install data on a hard
 10:43:00 13 drive. It was a system that was designed to produce
 10:43:04 14 multimedia products in the CD-ROMs. It was very explicit
 10:43:09 15 about being for training, teaching or sales. And that was a
 10:43:13 16 CD-ROM product.
 10:43:14 17 Q. Let me just stop you for a moment. DVI was designed
 10:43:19 18 to output compressed digital video, correct?
 10:43:24 19 A. No --
 10:43:25 20 MR. PAYNE: Objection, form.
 10:43:26 21 A. -- I don't think that's what DVI was designed to do
 10:43:29 22 at all. DVI was a system that was envisioned to allow -- to
 10:43:33 23 put -- for example, an example that is given in a tutorial
 10:43:40 24 textbook on DVI is to put that book on a CD-ROM. So, to
 10:43:50 25 today -- what we might call a multimedia presentation of the

Page 88

10:43:53 1 book. So, it would contain the text. It might contain some
 10:43:59 2 images. It might contain some audio. That was the goal of
 10:44:03 3 DVI, was to produce these multimedia products. The goal of
 10:44:07 4 DVI was not to produce compressed video.
 10:44:10 5 Q. (By Mr. Stephens) Are you confusing DVI with CDI?
 10:44:13 6 A. No, I'm not.
 10:44:14 7 Q. Okay. So, you're not aware that DVI was done at
 10:44:19 8 Sarnoff Laboratories specifically in order to compress motion
 10:44:22 9 video so that it could be played back from a CD-ROM? You're
 10:44:26 10 not aware of that?
 10:44:26 11 A. Well, I think, perhaps, here, then, what I should ask
 10:44:29 12 is: When you say "DVI," if you could clarify what you mean by
 10:44:33 13 "DVI." Now, what I'm referring to is the DVI system that was
 10:44:38 14 marketed by Intel and seen as a hardware and software solution
 10:44:47 15 to putting multimedia on personal computers.
 10:44:50 16 Q. Have you read about the demonstration that -- that
 10:44:54 17 was done by the people who developed DVI at Sarnoff to play
 10:44:59 18 back motion video from a CD-ROM in 1987?
 10:45:02 19 A. I may have, but I do not recall.
 10:45:04 20 Q. Okay. Are you aware of whether or not DVI was
 10:45:10 21 capable of outputting compressed digital video that could be
 10:45:16 22 played back from a CD-ROM?
 10:45:18 23 A. The video compression in DVI that was part of -- let
 10:45:22 24 me say, the video compression that was performed off site --
 10:45:26 25 this is not something that a home computer user could do -- if

Page 89

10:45:31 1 a content creator sent the content to a central location,
 10:45:40 2 which I believe was Intel, they would get it back on CD in a
 10:45:47 3 form that they could then incorporate into their product which
 10:45:51 4 was designed to be played back off the CD. So, just to back
 10:45:54 5 up just to how we got on the CD thing in the first place, this
 10:45:58 6 idea of copying these files from the CD to a hard drive was
 10:46:02 7 not something that was envisioned in the scope of DVI. It was
 10:46:06 8 not something that DVI, as the entire system with the software
 10:46:10 9 and the hardware, taught or even suggested that would be done.
 10:46:13 10 Q. I'm not -- I'm not asking about that for the moment.
 10:46:16 11 I'm simply asking about whether or not what you got back from
 10:46:18 12 Intel when you sent them a video and they sent you back a
 10:46:24 13 CD-ROM with compressed video on it was capable of being played
 10:46:28 14 back from a CD-ROM?
 10:46:29 15 A. My understanding is that it was.
 10:46:30 16 Q. Okay. So, it had a bit rate for playback of 150
 10:46:38 17 kilobytes per second or less, correct?
 10:46:39 18 A. Yes.
 10:46:40 19 Q. And, so, if you were able to copy that digital video
 10:46:45 20 content from the CD-ROM to a hard drive at a speed of
 10:46:48 21 1 megabyte per second, that transfer would happen faster than
 10:46:53 22 real-time, correct?
 10:46:53 23 A. Well, I could also put the CD in my car and drive a
 10:46:59 24 city block and as long as me driving the city block was less
 10:47:03 25 time than playing back the video, this transfer also occurred

| Page 90 | Page 92 |
|--|--|
| 10:47:06 1 faster than real-time. But this is not something that was | 10:49:44 1 I -- I don't know that it would come back in -- in a normal |
| 10:47:10 2 envisioned or taught or even considered in the DVI system. It | 10:49:46 2 file system format. I mean, this was for the final |
| 10:47:14 3 simply was outside of the scope of what was appropriate in | 10:49:49 3 production. These CDs would be burned or stamped or whatever |
| 10:47:16 4 terms of operation. | 10:49:53 4 the term is and then distributed or sold. |
| 10:47:18 5 Q. I'm not asking -- I'm not asking about whether it was | 10:49:56 5 Q. You just don't know one way or another, though, what |
| 10:47:20 6 taught or suggested or anything like that. I'm just asking | 10:49:58 6 the format was, right? |
| 10:47:23 7 about what would happen. If you were able to copy the video | 10:49:59 7 A. I don't. |
| 10:47:26 8 from the CD-ROM to a hard drive at a speed of 1 megabyte per | 10:50:00 8 Q. In a normal CD-ROM format, a typical CD-ROM format |
| 10:47:30 9 second, would that copy happen faster than real-time? | 10:50:02 9 would be mountable as a typical file system, right? |
| 10:47:40 10 A. 1 megabyte per second is 8 megabits per second. So, | 10:50:07 10 A. Again, what's a normal CD-ROM format? |
| 10:47:43 11 from a file transfer perspective, the time for the file to | 10:50:09 11 Q. For example, ISO 9600. |
| 10:47:47 12 move, simply crunching the numbers would be less time than | 10:50:12 12 A. So, when I stick a CD in a computer, it can be |
| 10:47:52 13 that file took to play back. But, again, this is not within | 10:50:14 13 mounted as a file system. Whether that was the case at that |
| 10:47:55 14 the scope or what was envisioned at all for DVI. | 10:50:18 14 time, with respect to ordinary file system activities, I don't |
| 10:47:58 15 Q. Do you know what format the CD-ROMs that Intel sent | 10:50:20 15 know. |
| 10:48:03 16 back to its customers used? | 10:50:20 16 Q. A typical CD, in your experience -- excuse me, a |
| 10:48:07 17 A. I'm not sure what you mean by "format." | 10:50:24 17 typical CD-ROM as opposed to an audio CD, when you put it into |
| 10:48:08 18 Q. For example, ISO 9600 or something like that? | 10:50:28 18 your computer, it's automatically mounted as a file system, |
| 10:48:11 19 A. No, I don't know. | 10:50:31 19 right? |
| 10:48:13 20 Q. Okay. So, you don't know whether or not what you got | 10:50:31 20 A. Not my computers but maybe an ordinary computer, yes. |
| 10:48:14 21 back as a CD-ROM was formatted as a file system that could be | 10:50:33 21 Q. Do you use a Unix machine? |
| 10:48:19 22 mounted and the contents copied to a hard drive; is that | 10:50:35 22 A. I do. |
| 10:48:22 23 right? | 10:50:36 23 Q. And it's -- |
| 10:48:23 24 A. I do not know. | 10:50:36 24 A. Actually, I use three Unix machines that look just |
| 10:48:23 25 Q. Okay. If it was, if it was a mountable CD-ROM that | 10:50:39 25 like yours. |
| Page 91 | Page 93 |
| 10:48:27 1 could be mounted like a normal file system, then you could | 10:50:39 1 Q. Okay. And you can type "mount" and maybe dash "T ISO |
| 10:48:29 2 copy the video content like any other file, correct? | 10:50:43 2 9600" and mount it -- |
| 10:48:35 3 A. That's just not something that is even in the realm | 10:50:45 3 A. And mount the system. |
| 10:48:37 4 of DVI so -- | 10:50:46 4 Q. -- as an ordinary file system, right? |
| 10:48:39 5 Q. I'm not asking about whether it was taught. I'm | 10:50:48 5 A. Yes. |
| 10:48:41 6 asking about whether it -- that is something that would have | 10:50:49 6 Q. Okay. Oh, incidentally, do you know what UUCP stands |
| 10:48:43 7 been possible. If you could mount the CD-ROM as an ordinary | 10:50:52 7 for? |
| 10:48:47 8 file system like a normal CD-ROM, then one of ordinary skill | 10:50:52 8 A. Yes. It's Unix to Unix copy protocol. |
| 10:48:51 9 would be able to copy it to a hard drive, right? | 10:50:57 9 Q. Okay. What did Mr. Lang invent? |
| 10:48:55 10 A. I don't -- I don't know what the format was. So, | 10:51:12 10 A. What did Mr. Lang invent? |
| 10:48:57 11 I -- I don't know if that was possible or not. | 10:51:15 11 Q. Yeah, what's his invention? |
| 10:49:00 12 Q. Okay. | 10:51:17 12 A. Well, Mr. Lang -- I think this is probably best |
| 10:49:01 13 A. Now, again, you know, they were very uptight about | 10:51:23 13 described and certainly more eloquently than what I'm going to |
| 10:49:03 14 their proprietary compression algorithm. The point of that -- | 10:51:27 14 say here in the -- what I think is the very last section of my |
| 10:49:10 15 the point of the off-site compression, they even built | 10:51:30 15 claim construction report prior to the -- very close to the |
| 10:49:13 16 something into the PC system, which was essentially crummy | 10:51:37 16 end of the -- prior to the -- the arguments about the |
| 10:49:16 17 compression for developers, so they could develop their | 10:51:39 17 constructions, in that based on an understanding that |
| 10:49:21 18 multimedia product without having to constantly send stuff off | 10:51:48 18 compression technology and communication technology -- let me |
| 10:49:25 19 and pay for it getting compressed and getting it back such | 10:51:58 19 just state something here. Let's remove "the based on" |
| 10:49:28 20 that sort of at the end when they were satisfied with exactly | 10:52:01 20 effect. Compression technology and communication technology |
| 10:49:28 21 how their system was going to work, they would ship the -- the | 10:52:04 21 to that point for audio and video information had been used to |
| 10:49:31 22 video that they wanted in their final product off to Intel or | 10:52:11 22 essentially provide digital broadcasting. And when I say |
| 10:49:34 23 whoever the compression house was, if I'm incorrect about | 10:52:17 23 "digital broadcasting," I'm using "broadcasting" in the sense |
| 10:49:38 24 Intel, get the compressed stuff back. Now, that was for the | 10:52:20 24 of real-time delivery. What Mr. Lang understood that was |
| 10:49:40 25 final product, which would then be manufactured. So, in fact, | 10:52:32 25 unique was that the combination of having digital information |

10:52:38 1 and high-speed communication actually allowed one to move
 10:52:42 2 beyond the realm of simple real-time delivery to actually what
 10:52:47 3 I think we would call today a fast download, that it was
 10:52:52 4 possible to decouple, to some extent, as we've been
 10:52:57 5 discussing, the transfer time of the information from the
 10:53:03 6 playback time of the information.
 10:53:06 7 So, then, Mr. Lang invented -- I hate using
 10:53:10 8 legal terms -- an apparatus and a method to -- that
 10:53:15 9 essentially provided the capability to do this. Now, of
 10:53:20 10 course, there's also a lot of other stuff in there. Editing
 10:53:23 11 of this information is one thing that comes to mind, other
 10:53:29 12 things which are facilitated, the -- the retransmission of the
 10:53:35 13 compressed information.
 10:53:40 14 Q. Okay. But putting the other things to one side for
 10:53:43 15 the moment, you would say his invention was -- the recognition
 10:53:47 16 that the combination of digital compression and fast
 10:53:51 17 transmission allowed you to decouple the transfer playback --
 10:53:56 18 transfer and playback time of an audio or video media file by
 10:54:01 19 sending it faster than real-time; is that right?
 10:54:04 20 MR. PAYNE: Objection, form.
 10:54:05 21 Q. (By Mr. Stephens) Did I miss something?
 10:54:07 22 A. Yes. And let me add to that that while -- all of
 10:54:18 23 this is being done in the context of units to whom this
 10:54:25 24 information is meaningful. Now, what I mean by that is the
 10:54:35 25 VCR-ET that is described in the specification has -- in the

10:56:35 1 you could send an audio or video file faster than real-time;
 10:56:41 2 is that right?
 10:56:41 3 MR. PAYNE: Objection, form.
 10:56:44 4 A. I think that's accurate. The realization that one
 10:56:48 5 was no longer stuck with real-time delivery.
 10:56:51 6 Q. (By Mr. Stephens) Okay.
 10:56:53 7 A. And I think that was really the -- you know, that --
 10:56:56 8 that was something that, I think, the community really did not
 10:57:04 9 foresee, audio and video information had been broadcast,
 10:57:07 10 "broadcast" meaning we got it over our television sets or over
 10:57:11 11 our radios and, you know, 6:00 news was at 6:00 and that's the
 10:57:14 12 way it was and it was this concept that -- that just because
 10:57:19 13 you went to digital, you actually could move away from this
 10:57:24 14 real-time transmission that I think is -- is an important
 10:57:30 15 feature.
 10:57:32 16 Q. Okay. Now, you said something interesting a moment
 10:57:35 17 ago. You said you can also transfer a video in your car
 10:57:41 18 faster than real-time, right?
 10:57:44 19 A. Ah, yes.
 10:57:46 20 Q. So, you can take the tangible copy of a movie and
 10:57:51 21 walk out the door of a video store and get home in less than
 10:57:57 22 two hours, typically, right?
 10:57:58 23 A. Not given my experience with Houston traffic but
 10:58:00 24 certainly in Ithaca, yes, that is a possibility. Yeah, so, if
 10:58:06 25 we go by -- again, going back to my tutorial with the little

10:54:39 1 '995, has the hardware in it in order to not simply receive
 10:54:49 2 those bits and transmit them away faster than real-time but
 10:54:55 3 also to decompress and make available for, at the very least,
 10:55:01 4 viewing or -- viewing in a broad sense, viewing or
 10:55:04 5 listening -- by a user and, of course, also -- also editing.
 10:55:11 6 But as this -- this device -- these bits have meaning to the
 10:55:17 7 device. It's not simply a -- let's contrast it with a
 10:55:21 8 satellite relay which can certainly receive the compressed --
 10:55:26 9 if -- if one is transmitting through a satellite, can
 10:55:29 10 certainly receive the bits faster than real-time and transmit
 10:55:33 11 them away and store them for some amount of time possibly, if
 10:55:37 12 we had a special satellite, and then transmit them away; but
 10:55:41 13 that satellite has no capability -- those bits are meaningless
 10:55:44 14 to that satellite. The bits may as well be, you know, some T1
 10:55:48 15 line that is being routed through the -- through the satellite
 10:55:52 16 system or, you know, some large corporation that is leasing --
 10:56:00 17 leasing their own satellite link for high-speed data
 10:56:03 18 transmission between two points. Satellite doesn't care what
 10:56:05 19 the bits are. Mr. Lang's invention, the bits are meaningful.
 10:56:10 20 They're not simply files which may or may not represent any
 10:56:14 21 particular type of information.
 10:56:17 22 Q. Let me just -- I just want to make sure I've got your
 10:56:21 23 understanding correctly. So, what Mr. Lang invented was the
 10:56:27 24 combination of digital compression and fast transmission that
 10:56:30 25 allowed you to decouple the transfer and playback time so that

10:58:09 1 stopwatch, if you clock the time it takes you to walk or drive
 10:58:13 2 home from the video store and that comes in under the time
 10:58:16 3 that is printed on the disk in terms of the viewing time, then
 10:58:22 4 certainly you have moved that disk in less time than it takes
 10:58:28 5 to watch it.
 10:58:29 6 Q. Okay. Otherwise, it would always take you 90 minutes
 10:58:33 7 to get home with a 90-minute movie, right?
 10:58:37 8 A. Some people are like that anyway, yes.
 10:58:40 9 Q. What I'm getting at is that the very fact of making a
 10:58:42 10 tangible copy of a temporal media product decouples the time
 10:58:51 11 required to transfer it from the amount of time required to
 10:58:53 12 view it, correct?
 10:58:54 13 A. I -- I think I -- with all the Ts at the beginning, I
 10:58:57 14 didn't quite follow you there.
 10:58:58 15 Q. Okay. Would you agree that the simple fact of making
 10:59:00 16 a tangible embodiment of a -- of a video --
 10:59:05 17 A. Having a physical copy of it.
 10:59:06 18 Q. -- having a physical copy of that, decouples the time
 10:59:09 19 it takes to transfer it from the time it takes to watch it?
 10:59:13 20 A. Well, in a hypothetical situation, yes. With respect
 10:59:18 21 to what we're speaking about here in these patents, I don't
 10:59:21 22 think that physical transfer -- I would include as anything
 10:59:25 23 that -- that falls into faster than real-time.
 10:59:27 24 Q. I understand you're not saying that its transmission
 10:59:29 25 is required by this patent. I'm just simply asking, not --

| Page 98 | Page 100 |
|--|--|
| <p>10:59:33 1 not as a hypothetical matter but as a very practical, real</p> <p>10:59:36 2 matter, having a physical copy of a video on a tape decouples</p> <p>10:59:39 3 the amount of time required to transfer it from one place to</p> <p>10:59:45 4 another place from the amount of time required to watch it,</p> <p>10:59:48 5 right?</p> <p>10:59:51 6 A. Well, you know, I -- I have to say that this -- this</p> <p>10:59:56 7 concept is -- is actually in a textbook that I had referred to</p> <p>11:00:00 8 earlier which starts off by saying: "You should never</p> <p>11:00:02 9 underestimate the bandwidth of a station wagon driving down</p> <p>11:00:07 10 the freeway that's filled with magnetic tapes in the back."</p> <p>11:00:11 11 And, you know, I think that this, again, this simply goes back</p> <p>11:00:13 12 to this concept of the communication link as an abstract</p> <p>11:00:16 13 entity. Again, I want to make completely clear I do not think</p> <p>11:00:21 14 that physical transfer has any relationship whatsoever with</p> <p>11:00:24 15 this particular group of -- of patents. But a communication</p> <p>11:00:27 16 link is bits per second and to get from -- from Point A to</p> <p>11:00:32 17 Point B.</p> <p>11:00:33 18 Q. What's the name of that textbook?</p> <p>11:00:37 19 A. That's the Sklar -- I can't tell you what the name</p> <p>11:00:40 20 is, but the author is S-k-l-a-r.</p> <p>11:00:43 21 Q. And that's cited in one of your reports?</p> <p>11:00:45 22 A. It's in the claim construction report, yeah.</p> <p>11:00:47 23 Q. Okay. So, that was something that people of skill in</p> <p>11:00:50 24 the art understood, actually, that physical transfer of tapes</p> <p>11:00:53 25 is one way to have a high bandwidth transmission, correct?</p> | <p>11:02:33 1 Q. Okay. So, when you're making a copy as opposed to</p> <p>11:02:37 2 broadcasting for somebody to view it, there really is no</p> <p>11:02:40 3 coupling of the amount of time required to make a copy to the</p> <p>11:02:43 4 amount of time required to view, right?</p> <p>11:02:44 5 A. Well, make a copy how?</p> <p>11:02:47 6 Q. Digitally from one hard drive to another.</p> <p>11:02:52 7 A. Well, again, we have discussed transfer rates of</p> <p>11:03:00 8 drives and that these are independent of what is stored on the</p> <p>11:03:05 9 drive. So, from that perspective, moving a file from Point A</p> <p>11:03:08 10 to Point B is -- is irrelevant as to what the file is. Now,</p> <p>11:03:13 11 again, you know, let me go back to emphasize with respect to</p> <p>11:03:17 12 this invention that, you know, I think an important feature is</p> <p>11:03:20 13 that these units that are receiving and transmitting away in</p> <p>11:03:25 14 the -- the Lang patents, the data has meaning to them and, in</p> <p>11:03:33 15 other words, these -- these units, the data could be played on</p> <p>11:03:36 16 them. A simple -- were -- were a compressed audio file</p> <p>11:03:43 17 randomly sitting on some hard drive moved to some other random</p> <p>11:03:52 18 hard drive, those bits have no special meaning to the hard</p> <p>11:03:57 19 drive. Maybe this goes back to the decoupling. They're just</p> <p>11:04:02 20 bits.</p> <p>11:04:02 21 Q. Okay. But that's not in the claims anywhere, right?</p> <p>11:04:05 22 A. What's not in the claims?</p> <p>11:04:07 23 Q. There's nothing in the claims that say that -- that</p> <p>11:04:08 24 bits have to have meaning to the devices; is that right?</p> <p>11:04:12 25 A. That statement does not appear in the claims, but I</p> |
| <p>11:00:58 1 A. Oh, I don't think that they would consider it a high</p> <p>11:01:01 2 bandwidth transmission. I think it's a matter of engineering</p> <p>11:01:03 3 efficiency. It's -- you know, what -- what is simply the</p> <p>11:01:09 4 appropriate solution for what needs to happen? If somebody</p> <p>11:01:11 5 has, you know, in the magnetic -- in the magnetic tape</p> <p>11:01:16 6 example, you know, if you have to get all the information from</p> <p>11:01:19 7 Point A to Point B, never mind the communication link.</p> <p>11:01:21 8 Somebody has to physically insert all those tapes and take</p> <p>11:01:24 9 them out. So, whereas you may have no issues with the actual</p> <p>11:01:30 10 physical propagation media, be it -- be it the RF or physical,</p> <p>11:01:34 11 you may have plenty of bandwidth. You just don't have</p> <p>11:01:38 12 somebody who's willing to sit there all day and exchange the</p> <p>11:01:40 13 tapes. So, you know, there are many factors which go into how</p> <p>11:01:45 14 do we try to get information from Point A to Point B.</p> <p>11:01:50 15 Q. Okay. But you'd also agree that the simple fact of</p> <p>11:01:52 16 having a physical copy of a video on your hard drive decouples</p> <p>11:01:57 17 the amount of time required to transfer that video to another</p> <p>11:02:03 18 hard drive, electronically, from the time required to view it,</p> <p>11:02:08 19 right?</p> <p>11:02:11 20 A. I'm not sure there's anything that has to be</p> <p>11:02:13 21 decoupled. I mean, you know, files are files; and whatever</p> <p>11:02:19 22 you do with them is a function of the application and the file</p> <p>11:02:24 23 and what you happen to be doing with your -- your computer at</p> <p>11:02:27 24 the time. So, you know, I'm not even sure there's a</p> <p>11:02:31 25 relationship here that was coupled that was then decoupled.</p> | <p>11:04:14 1 read the specification as a whole and I interpret the claims</p> <p>11:04:18 2 in light of what I read in the specification. I mean, this is</p> <p>11:04:21 3 a packaged deal.</p> <p>11:04:21 4 Q. Okay. So, what is it? What specific language in the</p> <p>11:04:23 5 claims do you have in mind that means that bits have to have</p> <p>11:04:26 6 meaning to the device?</p> <p>11:04:28 7 A. I don't have any specific language in mind.</p> <p>11:04:29 8 Although, I have to say I could go back and look at them. But</p> <p>11:04:33 9 in terms of reading the specification, the claims go with the</p> <p>11:04:37 10 specification, I'm considering this as a whole.</p> <p>11:04:39 11 Q. All right. Well, considering it as a whole, is it</p> <p>11:04:40 12 your opinion that the claims require that the device that</p> <p>11:04:43 13 practices the claims has to be capable of playing back the</p> <p>11:04:48 14 audio/video source information?</p> <p>11:04:51 15 A. I think that that is certainly a feature that is</p> <p>11:04:53 16 implied. Now, whether it becomes a rigid requirement or not,</p> <p>11:04:56 17 I don't know. I mean, I have a sense that moving data between</p> <p>11:05:00 18 two hard drives that just happen to be sitting somewhere is</p> <p>11:05:05 19 not in the spirit of the claims.</p> <p>11:05:08 20 Q. Well, if -- if we talk about the workstation that we</p> <p>11:05:13 21 were talking about before that you mentioned that a person of</p> <p>11:05:15 22 ordinary skill in the art might use to debug an algorithm or</p> <p>11:05:20 23 verify that it worked, that would be able, typically, to</p> <p>11:05:22 24 decode the audio file we're talking about, right?</p> <p>11:05:31 25 A. So -- no, actually, I don't know that any arbitrary</p> |

11:05:34 1 workstation would be able to decode an audio file.
 11:05:37 2 Q. My question is not about an arbitrary work station.
 11:05:39 3 My question is about the work station that the person of
 11:05:40 4 ordinary skill in the art is using to verify a particular
 11:05:43 5 algorithm.
 11:05:44 6 A. I don't know what that workstation is, though. I
 11:05:45 7 don't know what's on it. I don't know what they're using.
 11:05:48 8 I -- I don't know.
 11:05:48 9 Q. Okay. Now, the decoupling that we were talking about
 11:06:26 10 with respect to making copies -- actually, let me ask a
 11:06:30 11 different question. Have you ever listened to music on
 11:06:33 12 cassettes?
 11:06:33 13 A. Yes.
 11:06:33 14 Q. Do you know how cassettes are manufactured,
 11:06:37 15 prerecorded cassettes?
 11:06:39 16 A. No.
 11:06:40 17 Q. Would it surprise you to learn that they are made by
 11:06:42 18 high-speed tape duplicators?
 11:06:46 19 A. No.
 11:06:47 20 Q. So, that's another example of where -- if what you're
 11:06:50 21 doing is copying a medium or copying an audio representation
 11:06:55 22 from one medium to another, that you can decouple it from the
 11:07:00 23 amount of time required to listen to it, right?
 11:07:03 24 MR. PAYNE: Objection, form.
 11:07:04 25 A. Again, I'm not sure what the decoupling is.

11:08:16 1 between how long it would take to make the copy and the time
 11:08:19 2 required to listen, right?
 11:08:22 3 A. That's very much a function of the copying -- the
 11:08:24 4 recording technology and the copying technology. So, I mean,
 11:08:27 5 I think it's a blanket statement. I don't think that's
 11:08:30 6 necessarily true. Certainly in several of the examples we've
 11:08:33 7 discussed, it is.
 11:08:34 8 Q. Okay. Well, and as a general matter, if your goal is
 11:08:38 9 to make a lot of copies, you want to do it faster, wouldn't
 11:08:39 10 you agree with that?
 11:08:42 11 A. I don't know. I've not actually been in a position
 11:08:44 12 where I've had to make a lot of copies of -- of many things.
 11:08:48 13 And actually, I could see a situation where the most important
 11:08:52 14 thing might be the -- the fidelity of the copies, the quality
 11:08:57 15 of what was done so that speed may be one factor; but it
 11:09:01 16 certainly would not necessarily, I think, be the only one.
 11:09:04 17 Q. Okay. There's always trade-offs in engineering,
 11:09:06 18 right, almost always?
 11:09:08 19 A. Yes.
 11:09:08 20 Q. Okay.
 11:09:09 21 A. If -- if they're not tradeoffs, then we have a bad
 11:09:12 22 design.
 11:09:12 23 Q. But in mass production, it's typical to want to
 11:09:16 24 produce things quickly, right?
 11:09:18 25 A. I think a good capitalist would agree with you, yes.

11:07:08 1 Q. (By Mr. Stephens) Well, it's the decoupling --
 11:07:10 2 A. Certainly, if you do a high-speed recording, meaning
 11:07:14 3 were one to listen to what was being played as the tape spun
 11:07:20 4 about, it would come out sounding like the Chipmunks.
 11:07:24 5 Q. That's not what I'm asking about.
 11:07:26 6 A. But that's certainly not the speed at which the
 11:07:29 7 recording is intended to be listened to.
 11:07:31 8 Q. Okay. So, here --
 11:07:31 9 A. So, those two things are certainly unequal. Again,
 11:07:34 10 decouple is -- is -- I'm not quite sure what that means.
 11:07:38 11 Q. Well, it was a phrase you used just a few minutes
 11:07:41 12 ago.
 11:07:42 13 A. Yes. But in this context, you know, obviously a
 11:07:43 14 longer tape is still going to take longer to copy than a
 11:07:50 15 shorter tape. I mean, there is still going to be some
 11:07:55 16 relationship there.
 11:07:55 17 Q. That's the same as a longer file taking longer to
 11:07:58 18 copy than a shorter file, right?
 11:08:00 19 A. Yes.
 11:08:00 20 Q. That has nothing to do with what's in the file, just
 11:08:04 21 how big it is, right?
 11:08:06 22 A. Yes.
 11:08:07 23 Q. Okay. So, I guess what I'm getting at is that as
 11:08:10 24 long as you're making a copy and you're not listening to it as
 11:08:13 25 you're making the copy, there's really no necessary connection

11:09:22 1 Q. Okay. So, if your goal is to make a lot of cassettes
 11:09:26 2 to distribute music, chances are you want to be able to copy
 11:09:29 3 tapes faster than real-time to do that, right?
 11:09:32 4 A. You know, I --
 11:09:35 5 MR. PAYNE: Objection, form.
 11:09:35 6 A. -- I'm not a recording house. So, I -- I -- I really
 11:09:37 7 don't want to speculate on what they're trying to do or what
 11:09:41 8 they're not trying to do when they're trying to make lots of
 11:09:43 9 copies or not. You know, we -- we certainly see a plethora of
 11:09:46 10 copies and all kinds of things that come out of China and --
 11:09:49 11 and I have no idea how these things are put together, but they
 11:09:52 12 certainly keep coming and are apparently being purchased.
 11:09:56 13 Q. (By Mr. Stephens) Well, would a person of skill in
 11:09:58 14 the art have understood that you could make copies faster than
 11:10:02 15 real-time of audio?
 11:10:05 16 A. What is "make copies" now? First we were talking
 11:10:08 17 about -- I mean, we just talked about, I guess, mass
 11:10:11 18 production. So, what -- what do you mean by making a copy,
 11:10:14 19 now?
 11:10:14 20 Q. Take one audio recording of any kind and then produce
 11:10:19 21 an identical or nearly identical copy of it.
 11:10:26 22 A. I guess I -- I don't even know -- you know, the
 11:10:28 23 person of ordinary skill that I've described is not a
 11:10:31 24 manufacturing engineer. This person is a -- a technical
 11:10:35 25 person who can develop and understand algorithms.

| Page 106 | Page 108 |
|---|--|
| 11:10:40 1 Q. What -- | 11:13:11 1 Q. (By Mr. Stephens) Okay. Have you looked at any of |
| 11:10:40 2 A. So, you know, to just -- "copy" is a -- is a term | 11:13:13 2 the tape duplication art in this case? |
| 11:10:44 3 that is very broad at the very least. | 11:13:16 3 A. I have looked at everything that was in the file |
| 11:10:48 4 Q. Okay. Well, let me ask you a different question, | 11:13:22 4 histories. Now, I may not have a memory of looking at it; but |
| 11:10:50 5 then. Would a person of skill in the art have understood tape | 11:13:26 5 I looked at it. So, if there was tape duplication material in |
| 11:10:55 6 duplication? | 11:13:29 6 those file histories, then I read that. |
| 11:11:01 7 A. "Tape duplication" meaning mass production or what -- | 11:13:32 7 Q. Okay. But you don't know what the state of the art |
| 11:11:05 8 Q. Meaning take a copy -- take a tape and make a copy of | 11:13:39 8 was with respect to tape duplication at the time that |
| 11:11:08 9 it. | 11:13:42 9 invention of the '995 patent was conceived; is that right? |
| 11:11:1010 A. Well, I -- I think that we certainly had dual-deck | 11:13:4710 A. That's right. Beyond what is actually in the '995 |
| 11:11:1511 tape drives at the time. So, I don't even think one of skill | 11:13:4911 patent and whatever I read in the -- if there is anything in |
| 11:11:1712 in the art would -- I mean, this was something that was known, | 11:13:5312 the -- in the file histories, I don't know. |
| 11:11:2113 I think, in general, consumer electronics, in 1988. | 11:13:5513 Q. Okay. So, you just can't say whether a person of |
| 11:11:2414 Q. And, in fact, high-speed cassette duplicators were | 11:13:5814 ordinary skill in the art would have known that making copies |
| 11:11:2915 known in the art at the time, right? | 11:14:0215 faster than real-time was normal in the tape duplication art, |
| 11:11:3116 A. I don't know that. | 11:14:0516 right? |
| 11:11:3217 Q. Okay. You just don't know one way or the other? | 11:14:0617 A. That's correct. I don't. I don't know. |
| 11:11:3318 A. I'm not familiar with high-speed cassette | 11:14:0818 Q. Okay. Now, you said earlier that Mr. Lang's |
| 11:11:3519 duplicators. | 11:14:3319 invention was a combination of digital compression and fast |
| 11:11:3720 Q. Okay. In fact, the problem Mr. Lang was trying to | 11:14:3720 transmission that allowed you to move an audio or video file |
| 11:11:4021 solve, as set out in the '995 patent, was how to duplicate | 11:14:4321 faster than real-time. |
| 11:11:4522 video cassettes, right -- | 11:14:4422 A. I said maybe the realization that that combination |
| 11:11:4623 A. Yes. | 11:14:4823 allowed you to do that. |
| 11:11:4624 Q. -- one of the problems? | 11:14:4824 Q. Okay. Mr. Lang was not the first person to have that |
| 11:11:4625 A. Yes. | 11:14:4925 realization, right? |
| Page 107 | Page 109 |
| 11:11:46 1 Q. So, presumably, a person of ordinary skill in the art | 11:14:53 1 A. No, I think he was. |
| 11:11:48 2 directing themselves to the same problem that Mr. Lang was | 11:14:57 2 Q. Now, Walter -- you're familiar with the Walter |
| 11:11:51 3 attempting to solve would have had some familiarity with the | 11:15:00 3 patent, right? |
| 11:11:55 4 process of making copies of tapes, correct? | 11:15:01 4 A. Yes. |
| 11:11:57 5 A. A person of skill in the art who was directed to | 11:15:01 5 Q. You've expressed opinions about it in connection with |
| 11:12:00 6 making copies of tapes certainly would be aware that this was | 11:15:03 6 the summary judgment proceedings, right? |
| 11:12:03 7 a problem. | 11:15:05 7 A. Yes. |
| 11:12:04 8 Q. Okay. Do you think that a person of skill in the art | 11:15:07 8 Q. Walter explicitly describes using digital compression |
| 11:12:06 9 who was attempting to solve the same problem that Mr. Lang was | 11:15:12 9 and fast transmission to send a compressed digital video file |
| 11:12:0910 trying to solve would have known about how cassettes were | 11:15:1810 faster than real-time, correct? |
| 11:12:1311 duplicated at the time for mass markets? | 11:15:2011 A. Walter does send the file -- a file that has been |
| 11:12:2112 A. Well, okay. Again, let's clarify what we mean by | 11:15:2712 compressed faster than real-time. Walter is missing key |
| 11:12:2413 "skill in the art" here. I looked at this from the standpoint | 11:15:3513 elements that we see in the -- the Burst claims. |
| 11:12:2714 of designing a system that was put in place. Now, one problem | 11:15:4014 Q. Okay. |
| 11:12:3215 that was in there was this cassette duplication. Certainly | 11:15:4115 A. But I do agree, Walter certainly talks about sending |
| 11:12:4316 people who were looking into cassette duplication, I think, | 11:15:4516 a two-hour movie in 31 seconds. |
| 11:12:4617 yeah, are going to be aware that this was a -- this is a | 11:15:4717 Q. So, with respect to the realization that you could |
| 11:12:4818 problem that they want to solve. | 11:15:4818 use compression and fast transmission to send an audio/video |
| 11:12:5119 Q. So, a person looking into tape duplication would | 11:15:5419 work faster than real-time, that is spelled out very clearly |
| 11:12:5620 likely have been aware that you could duplicate tapes faster | 11:15:5820 in Walter, correct? |
| 11:12:5921 than real-time, right? | 11:16:0121 A. No, I -- I disagree with that. And I disagree with |
| 11:13:0122 MR. PAYNE: Objection, form. | 11:16:0422 that because the Lang patents specifically describe how the |
| 11:13:0223 A. I just -- I don't know any such person. So, I just | 11:16:1123 compression might be done and provide for actually doing it. |
| 11:13:0424 don't know what the world of -- of tape duplication involved | 11:16:1724 We have the compressor, decompressor. We have a system |
| 11:13:0825 at the time. It's something I'm just not familiar with. | 11:16:2125 diagram that is given that actually performs this. Walter |

| Page 110 | Page 112 |
|---|---|
| <p>11:16:27 1 tells us that his video files are compressed, but he -- I 11:16:39 2 should say his system does not actually perform that 11:16:44 3 compression. 11:16:44 4 Q. Okay. But his -- his patent tells you how to do it, 11:16:50 5 right? 11:16:51 6 A. Tells you how to do it? Can you be more specific? 11:16:53 7 To do -- 11:16:53 8 Q. Well, it describes to compress video. It describes 11:16:57 9 inter-frame differential, pulse code, compression, correct? 11:17:03 10 A. Walter does mention that, yes. 11:17:04 11 Q. Now, is that description enough to enable a person of 11:17:08 12 ordinary skill to figure out how to do the kind of compression 11:17:13 13 that's described? 11:17:14 14 A. I think that that is certainly a sufficient 11:17:17 15 description of the algorithm, but Walter teaches us that he or 11:17:23 16 his system is not actually performing the compression. 11:17:27 17 Q. Well, that's not true, is it? In fact, it uses files 11:17:30 18 that he specifically says are compressed in that form. So, 11:17:33 19 therefore, he teaches you that you need to compress them in 11:17:36 20 that form, correct? 11:17:40 21 MR. PAYNE: Objection, form. 11:17:42 22 A. Actually, could I see the Walter patent? 11:17:44 23 Q. (By Mr. Stephens) Yeah. 11:17:45 24 A. And, also, if I could just have my second 11:17:47 25 declaration, too --</p> | <p>11:23:55 1 A. He does tell us that -- he mentions an inter-frame 11:24:03 2 differential post-code modulation technique. 11:24:05 3 Q. Okay. And I think you mentioned that that 11:24:08 4 description is enough that one of ordinary skill in the art 11:24:11 5 would be able to figure out how to actually make that work, 11:24:14 6 right? 11:24:14 7 A. Yes. 11:24:15 8 Q. So, then, the Walter patent, then, tells one of 11:24:19 9 ordinary skill in the art back in 1985 that you could take 11:24:28 10 video, compress it using inter-frame differential pulse-code 11:24:33 11 modulation, store it in memory and transmit it faster than 11:24:39 12 real-time, right? 11:24:41 13 MR. PAYNE: Objection, form. 11:24:42 14 A. So, I -- I think I would disagree slightly with how 11:24:46 15 you phrased that. Walter does not perform the compression. 11:24:54 16 What Walter tells us is that you can take stored, compressed 11:25:00 17 video and transmit that from effectively a cable system head 11:25:07 18 end faster than real-time to what he calls -- what did he call 11:25:13 19 it -- data receiving station, which is essentially a set-top 11:25:17 20 box. 11:25:18 21 Q. (By Mr. Stephens) Okay. But you can't store 11:25:20 22 compressed information unless you compress it, right? 11:25:24 23 A. Well, I disagree with the statement as you have 11:25:28 24 phrased it -- 11:25:30 25 Q. Well, someone has to --</p> |
| Page 111 | Page 113 |
| <p>11:17:49 1 Q. Sure. 11:17:49 2 A. -- so I don't waste time flipping around. 11:17:52 3 Q. All right. Let's mark your second declaration first. 11:17:55 4 This will be Exhibit 248. 5 (Exh.248 marked) 11:18:00 6 MR. STEPHENS: Do you need that, Les; or do you 11:18:01 7 have one of those? 11:18:03 8 MR. PAYNE: I've got it. Thanks. 11:18:05 9 MR. STEPHENS: Sure. Let me just write on my 11:18:07 10 copy so I don't lose track of the number. Sorry. Bear with 11:18:23 11 me just a second here. These are labeled in a way that's very 11:19:04 12 hard to understand. 11:19:06 13 MR. PAYNE: Can we just take a one-minute break? 11:19:09 14 MR. STEPHENS: Yeah, sure. 11:19:09 15 THE VIDEOGRAPHER: Off the record at 11:19. 16 (Exh.249 marked) 17 (Recess taken) 11:23:27 18 THE VIDEOGRAPHER: Tape 4 to the deposition of 11:23:28 19 Dr. Hemami. The time is 11:23. We're back on the record. 11:23:34 20 Q. (By Mr. Stephens) Okay. Dr. Hemami, I think before 11:23:38 21 the break, we were talking about Walter; and I asked you 11:23:41 22 whether or not Walter specifically teaches that the files that 11:23:44 23 are being transmitted faster than real-time are compressed. 11:23:51 24 A. Yes. 11:23:54 25 Q. And he tells you how to do that, right?</p> | <p>11:25:30 1 A. -- with the use of "you" -- 11:25:32 2 Q. -- compress it. Fair enough. 11:25:34 3 A. Yes. The data must be compressed in order to have it 11:25:37 4 stored in compressed form. 11:25:39 5 Q. Okay. So, a person of ordinary skill reading Walter 11:25:41 6 would understand that in order to perform the methods that are 11:25:44 7 described in the patent, you would have to -- someone would 11:25:49 8 have to compress digital video, right? 11:25:52 9 A. I -- I think it's -- we can be a little bit stronger 11:25:56 10 than that. I think one of ordinary skill reading the Walter 11:25:59 11 patent would understand that that someone is not the cable 11:26:05 12 that the -- get the name right here -- the central data 11:26:09 13 station, that that compression occurs outside of the central 11:26:14 14 data station, which is described in detail in the Walter 11:26:19 15 specification. 11:26:20 16 Q. But it does have to be performed somewhere, right? 11:26:25 17 A. It does have to be performed somewhere by someone, 11:26:27 18 which is -- that someone is -- is not -- now, it's someone -- 11:26:30 19 something. That something is not the central data station in 11:26:36 20 the Walter specification. 11:26:38 21 Q. Okay. But Walter doesn't say you can't perform the 11:26:40 22 compression at the same central data station where you perform 11:26:45 23 other parts of the method, does it? 11:26:49 24 A. I would argue that Walter is very strong in teaching 11:26:53 25 that the central data station does not perform the</p> |

| Page 114 | Page 116 |
|--|--|
| 11:26:55 1 compression, and -- | 11:30:03 1 central data station? |
| 11:26:57 2 Q. Where does -- | 11:30:08 2 A. So, my arguments for why I feel very strongly that |
| 11:26:58 3 A. -- I think I've outlined that. | 11:30:12 3 there is no teaching and, in fact, a teaching away of the |
| 11:26:59 4 Q. Okay. Where does he say that? | 11:30:16 4 compression being performed in the central data station are as |
| 11:27:05 5 A. Sorry. I just want to use the -- so, I think | 11:30:21 5 I have outlined in Item 40, the extensive use of |
| 11:27:17 6 probably the best -- we have extensive use of the term | 11:30:24 6 "preprogrammed," the descriptions of the unit which completely |
| 11:27:23 7 "preprogrammed" throughout the Walter specification. Now, | 11:30:31 7 omit any reference to compression apparatus or a compressor, |
| 11:27:28 8 I've made mention of that in my declaration, and we also | 11:30:36 8 decompressor and -- |
| 11:27:34 9 have -- Walter gives us a very nice description of the | 11:30:39 9 Q. Okay. Now -- |
| 11:27:42 10 invention, as I mentioned, in Item 40 in Column 2, lines 9 | 11:30:40 10 A. Sorry, go -- |
| 11:27:45 11 through 46. And in the combination of this continued use of | 11:30:41 11 Q. Are you finished? I didn't mean to cut you off. |
| 11:27:52 12 "preprogrammed" in the memory modules and the -- the | 11:30:44 12 A. Yeah. |
| 11:27:58 13 description of the unit in Column 2 and, for that matter, | 11:30:46 13 Q. Now, you mentioned that one of ordinary skill would |
| 11:28:02 14 throughout the rest of the document makes it clear to me that | 11:30:47 14 be able to implement the compression that Walter discloses. |
| 11:28:08 15 the -- the compression is not performed in the central data | 11:30:52 15 How would one of ordinary skill go about doing that? |
| 11:28:11 16 station. | 11:30:57 16 A. Now, you're asking this question with respect to |
| 11:28:12 17 Q. Okay. But he never says that anywhere, does he? He | 11:31:00 17 Walter or in a vacuum? |
| 11:28:16 18 never says: "Do not perform the compression in the central | 11:31:02 18 Q. No, with somebody reading Walter in 1985. How would |
| 11:28:19 19 data station" or "you can't perform it in the central data | 11:31:04 19 a person of ordinary skill reading Walter in 1985 have gone |
| 11:28:22 20 station." There's not -- there's no language to that effect | 11:31:09 20 about implementing the compression that's described in Walter? |
| 11:28:24 21 anywhere in the specification, is there? | 11:31:13 21 A. That depends on essentially their system design |
| 11:28:28 22 MR. PAYNE: Objection, form. | 11:31:18 22 constraints. I mean, we need some constraints in order to put |
| 11:28:28 23 A. Those two sentences that you just gave do not appear | 11:31:22 23 a system together. |
| 11:28:31 24 in the specification -- | 11:31:23 24 Q. Okay. Assuming no other constraints besides what one |
| 11:28:33 25 Q. (By Mr. Stephens) And there's no language | 11:31:26 25 of ordinary skill in the art would have known about and what's |
| Page 115 | Page 117 |
| 11:28:34 1 specifically to that effect, even using different words, | 11:31:29 1 described in Walter, what's your view of how such a person |
| 11:28:37 2 correct? | 11:31:32 2 would go about implementing that compression? |
| 11:28:39 3 MR. PAYNE: I don't -- I don't know if she was | 11:31:33 3 A. Well, I -- I can't -- I can't really answer that |
| 11:28:40 4 finished with her answer. | 11:31:37 4 question without having some kind of design constraints. I |
| 11:28:41 5 MR. STEPHENS: I'm sure she's capable of telling | 11:31:40 5 mean, there are many, many ways in which that compression |
| 11:28:42 6 me if she wasn't. | 11:31:44 6 inter-frame differential pulse-code modulation could be |
| 11:28:45 7 Q. (By Mr. Stephens) It was not my intention to cut you | 11:31:48 7 implemented; and the particular application is going to -- and |
| 11:28:47 8 off. | 11:31:55 8 the desires of the -- the customer, for lack of a better word, |
| 11:28:47 9 A. So, what did I say? I said -- | 11:31:57 9 whoever the system is being designed for, are -- are really |
| 11:28:48 10 THE WITNESS: Can you read my answer, please? | 11:32:01 10 going to dictate how that would be done. |
| 11:28:48 11 (The record was read as requested.) | 11:32:04 11 Q. Okay. Could it have been done with a general purpose |
| 11:29:08 12 A. Okay. So, let me continue to the end of that | 11:32:07 12 computer? |
| 11:29:11 13 sentence. But "preprogrammed" throughout this and the very | 11:32:15 13 A. I'm a little -- again, are we talking about inserting |
| 11:29:18 14 clear absence of description of any type of hardware to | 11:32:19 14 something into the data station or somebody just walks down |
| 11:29:26 15 perform that compression does indicate that it's not in the | 11:32:22 15 the street and reads the Walter patent and goes back to their |
| 11:29:31 16 central data station. | 11:32:25 16 home and says, "Gee, I think I'll do inter-frame differential |
| 11:29:38 17 Q. (By Mr. Stephens) Again, though, there's no language | 11:32:28 17 post-code modulation"? |
| 11:29:41 18 anywhere that expressly says that it's not in the central data | 11:32:31 18 Q. No. What I'm talking about is somebody at a -- let's |
| 11:29:45 19 station; isn't that right? | 11:32:32 19 say a large sophisticated cable television organization and |
| 11:29:48 20 MR. PAYNE: Objection, form. | 11:32:36 20 read Walter and said, "Hey, this looks pretty cool. Let's |
| 11:29:48 21 Q. (By Mr. Stephens) Other than the fact that it's | 11:32:39 21 build it. Let's take a license from Walter, and let's build |
| 11:29:49 22 pre -- that the modules are preprogrammed and that it doesn't, | 11:32:43 22 it. And let's also say that the boss who made that decision |
| 11:29:52 23 in your view, expressly describe hardware for performing | 11:32:46 23 told his team of engineers: "Use a general purpose computer |
| 11:29:58 24 compression, is there anything else you would point to as | 11:32:51 24 to do the compression that's described there." Is that |
| 11:30:00 25 showing that it cannot be done or would not be done in the | 11:32:54 25 possible? |

11:32:58 1 MR. PAYNE: Objection, form.
 11:32:58 2 A. Again, nearly -- nearly anything would be possible.
 11:33:02 3 Whether it would be considered a even feasible solution at the
 11:33:06 4 time would be another -- another matter. And Walter has given
 11:33:16 5 us inter-frame differential post-code modulation and also
 11:33:24 6 suggested a compressed data rate. So, with those two things,
 11:33:32 7 what an engineer is missing is design constraints that are
 11:33:39 8 essentially put in place by, again, the customer, how big does
 11:33:44 9 this equipment -- how big should it be, how big can it be,
 11:33:49 10 maybe, is a better word, how small would you like it to be,
 11:33:52 11 how much power would you like it to consume and how long would
 11:33:58 12 you -- what -- what is your upper bound on how long it should
 11:34:03 13 take in order to perform the desired operation? Probably in
 11:34:09 14 the -- you know, a corollary would be how long are the
 11:34:14 15 programs which you intend to run through the compression
 11:34:20 16 system, sort of consistent with how long do you want it to
 11:34:24 17 take. So, without really having design constraints, it's
 11:34:30 18 difficult to say that any particular solution would be a valid
 11:34:34 19 solution.
 11:34:34 20 Q. (By Mr. Stephens) Okay. Well, let's say that your
 11:34:37 21 design constraints are that you only want to use it for
 11:34:40 22 3 minute music videos and that you want to be able to compress
 11:34:44 23 one or two of those a day to put -- add to your library so
 11:34:47 24 that in the course of a few months, you can have a few dozen
 11:34:49 25 to be able to load into memory modules so that they could be

11:36:11 1 A. Walter doesn't use those words; but certainly
 11:36:14 2 31 seconds for a 2 hour movie, we would call faster than
 11:36:19 3 real-time.
 11:36:19 4 Q. Okay. And it also discloses receiving that
 11:36:22 5 compressed digital video transmission faster than real-time,
 11:36:26 6 correct?
 11:36:27 7 A. The data receiving station receives the data, yes.
 11:36:30 8 Q. Okay. And it discloses storing that compressed
 11:36:33 9 digital representation in memory after receiving it, correct?
 11:36:39 10 A. Yes.
 11:36:39 11 Q. Okay. So, all of those elements are present, right?
 11:36:44 12 A. The elements that you have -- using the term
 11:36:47 13 "elements" sort of to refer to -- again, I'm thinking back
 11:36:50 14 through the -- the claim terms. Walter, we have drawn on two
 11:36:55 15 separate locations or entities in order to go through those
 11:36:59 16 elements.
 11:37:00 17 Q. Okay.
 11:37:00 18 A. So --
 11:37:01 19 Q. But -- hold on, before you -- I don't mean to cut you
 11:37:03 20 off, but -- but we've only drawn on one document; that's
 11:37:07 21 right?
 11:37:07 22 A. We have only drawn on the Walter patent; but Walter
 11:37:10 23 teaches us a central data station, which I -- I describe as a
 11:37:15 24 cable head end, and a data receiving station, which is the --
 11:37:22 25 what we would call today, I think, the user set-top box. And

11:34:53 1 transmitted over the system described in Walter. Would --
 11:34:56 2 could you do that on a general purpose computer in 1985?
 11:35:02 3 A. So, I would need to actually get some specifications
 11:35:07 4 for general purpose computer in 1985 and do some computation.
 11:35:11 5 I don't want to answer that just off the top of my head.
 11:35:14 6 Q. Okay. Fair enough. So, you can't say that it
 11:35:16 7 wouldn't, and you can't say that it would right now; is that
 11:35:20 8 right?
 11:35:20 9 A. Yes. I -- I just -- you know, I -- I don't want to
 11:35:23 10 speculate on what particular rates were that I might be
 11:35:24 11 correct or incorrect. I'd rather do the correct calculation.
 11:35:29 12 Q. Okay. Now, I just want to be clear. Now, you've
 11:35:31 13 said that Walter teaches away from actually putting the
 11:35:35 14 compressor into the central data station; but you haven't -- I
 11:35:38 15 think you've admitted that it does teach compression, though,
 11:35:42 16 right?
 11:35:42 17 A. Walter certainly mentions that the digital data is
 11:35:45 18 compressed, yes.
 11:35:46 19 Q. Okay. And then it also teaches storing that
 11:35:49 20 compressed digital video in memory, right?
 11:35:58 21 A. The compressed digital video is in the memory
 11:36:01 22 modules, yes.
 11:36:02 23 Q. Okay. And then it also discloses transmitting that
 11:36:05 24 compressed digital video that was stored in memory faster than
 11:36:09 25 real-time, right?

11:37:25 1 the receiving and storing and, for that matter, playback as
 11:37:32 2 well occurs at the data receiving station, which is a separate
 11:37:37 3 location than the -- the central data station. I think I -- I
 11:37:41 4 explained how these -- one of ordinary skill understands that
 11:37:45 5 these are two separate locations and would not be considered
 11:37:49 6 to be a single entity.
 11:37:51 7 Q. Okay. Now, building out a system like this, at least
 11:37:54 8 if it covered more than a -- a hotel, let's say, if it covered
 11:37:59 9 several neighborhoods, would be very expensive, right?
 11:38:02 10 A. I don't know.
 11:38:04 11 Q. Well, running optical fibers to homes in several
 11:38:08 12 neighborhoods would be expensive, right?
 11:38:11 13 A. So, I think that generally I can agree that this
 11:38:15 14 would not be a -- it would incur some cost. You know, what
 11:38:21 15 the cost is clearly depends on who you hire to run the wire
 11:38:25 16 and -- and where you got the wire from and so on.
 11:38:27 17 Q. Okay. So, if you were an engineer in 1985 tasked by
 11:38:31 18 your cable system customer to develop an actual working
 11:38:36 19 version of what's described in Walter, you would first build
 11:38:40 20 that in a prototype system, right? You wouldn't go out and
 11:38:43 21 pull the -- pull the fiber to dozens of houses before you'd
 11:38:48 22 made sure you had it working, correct?
 11:38:54 23 A. I actually don't know that that's necessarily the
 11:38:57 24 case. You know, I'm not a marketing person; but I've seen
 11:39:00 25 plenty of examples where the job is sort of moved to the point

| Page 122 | Page 124 |
|--|---|
| <p>11:39:05 1 where it's beyond return: "Oh, we've invested so much" --</p> <p>11:39:07 2 Q. I'm not asking about one --</p> <p>11:39:07 3 A. -- "we can't possibly go back here." So, no, I don't</p> <p>11:39:11 4 know that. I don't know that. I could see a scenario where</p> <p>11:39:14 5 somebody says: "You know, we really need to go practice</p> <p>11:39:16 6 running these optical fibers first and make sure that we can</p> <p>11:39:19 7 really do this because, after all, what a shame it would be</p> <p>11:39:20 8 spend all those engineering manhours on building a prototype</p> <p>11:39:24 9 when it turns out we can't actually get the optical fiber</p> <p>11:39:25 10 somewhere."</p> <p>11:39:28 11 Q. I'm not following you. You -- you're saying you</p> <p>11:39:30 12 should go ahead and build out the system before you do a</p> <p>11:39:33 13 prototype because you're not sure you can get the fiber? I'm</p> <p>11:39:37 14 not following your example.</p> <p>11:39:38 15 A. I'm -- I'm not saying you should. I'm saying that</p> <p>11:39:39 16 it -- it is not implausible that -- that somebody might do</p> <p>11:39:42 17 that.</p> <p>11:39:43 18 Q. Okay. But certainly it's common engineering practice</p> <p>11:39:46 19 when building something you've never built before to build a</p> <p>11:39:49 20 prototype or a breadboard or a brass board, as they're</p> <p>11:39:53 21 sometimes referred to, to see if you can make it work before</p> <p>11:39:55 22 you build out a large investment?</p> <p>11:39:59 23 A. Generally, I think we do have to prototype things</p> <p>11:40:01 24 before going into production of any sort.</p> <p>11:40:04 25 Q. Okay.</p> | <p>11:41:17 1 having said that, I haven't the foggiest idea what -- what was</p> <p>11:41:21 2 done, if anything at all, in prototyping the Walter system.</p> <p>11:41:25 3 Q. I'm not asking about your knowledge of what was</p> <p>11:41:27 4 actually done.</p> <p>11:41:27 5 A. But let me also say that -- that, in fact -- you</p> <p>11:41:35 6 implied that in order to -- your question started off saying:</p> <p>11:41:39 7 If you were going to prototype this, you'd have to build</p> <p>11:41:42 8 absolutely everything. And, in fact, no, actually,</p> <p>11:41:48 9 prototypes -- a prototype is a flexible term. We --</p> <p>11:41:53 10 prototypes can have all of the components of a system. They</p> <p>11:41:56 11 can have three components. There might be fake things put in.</p> <p>11:42:00 12 In particular, let me just mention, that with respect to this</p> <p>11:42:06 13 system and the fact that the compression is off site, it is</p> <p>11:42:12 14 certainly -- would certainly be feasible to prototype</p> <p>11:42:19 15 substantial portions of this system. And depending on what</p> <p>11:42:23 16 the designers thought were the most difficult parts to design,</p> <p>11:42:26 17 they may well be exercising, really, hitting on what they</p> <p>11:42:30 18 think is the toughest thing with random data in the memory</p> <p>11:42:34 19 modules.</p> <p>11:42:34 20 Q. Okay. You could do that. But if you wanted to</p> <p>11:42:36 21 prototype the system as described here, you'd have to have</p> <p>11:42:41 22 compressed digital video, right?</p> <p>11:42:45 23 A. So, "prototype the system as described here" means --</p> <p>11:42:50 24 Q. Means having all the elements that we see in</p> <p>11:42:52 25 Figure 1.</p> |
| Page 123 | Page 125 |
| <p>11:40:04 1 A. Now, sometimes the prototype becomes the lone</p> <p>11:40:07 2 product.</p> <p>11:40:09 3 Q. Okay. Now, if you're building a prototype, it's</p> <p>11:40:12 4 typical to do that in a laboratory, right?</p> <p>11:40:15 5 A. I would say, no, it's not typical. Look at Hewlett</p> <p>11:40:18 6 Packard and Apple, I mean, the famous garages, right, so --</p> <p>11:40:23 7 Q. Well, but if you're a large company, you're normally</p> <p>11:40:26 8 going to have a place, a building where you do that kind of</p> <p>11:40:29 9 development work, maybe more than one, right?</p> <p>11:40:30 10 A. I would agree that large companies may have</p> <p>11:40:35 11 development -- development facilities.</p> <p>11:40:39 12 Q. Okay.</p> <p>11:40:39 13 A. A lot of them farmed it out as well.</p> <p>11:40:41 14 Q. Okay. Now, if you're going to prototype the system</p> <p>11:40:44 15 that's described in Walter, you have to have all the elements</p> <p>11:40:46 16 we talked about, right? You have to have compression of</p> <p>11:40:49 17 video. You have to have storage in memory. You have to</p> <p>11:40:51 18 transmission faster than real-time. You have to have</p> <p>11:40:54 19 receiving and storage in memory. You have to have all those</p> <p>11:40:57 20 things to make the system described in Walter work, right,</p> <p>11:41:01 21 somewhere?</p> <p>11:41:03 22 A. You -- well, you know, the question you asked sort of</p> <p>11:41:07 23 started off one way and ended up in an inconsistent way. So,</p> <p>11:41:10 24 first off, let me say, I don't know if anybody ever prototyped</p> <p>11:41:13 25 this. I have absolutely no idea. But secondly, so -- so,</p> | <p>11:43:01 1 A. If one wanted to play back video on one's prototype</p> <p>11:43:05 2 data receiving station that actually -- no, actually -- having</p> <p>11:43:17 3 a working -- this system and any system, for that -- maybe I</p> <p>11:43:21 4 shouldn't say "any." Many systems can be completely exercised</p> <p>11:43:27 5 in prototype without actually ending up with a complete</p> <p>11:43:30 6 replica of exactly the system doing exactly what it wanted.</p> <p>11:43:33 7 Q. I understand all kinds of things are possible. But</p> <p>11:43:36 8 I'm saying, if you want a prototype of what's shown in</p> <p>11:43:39 9 Figure 1, you'd need to put all those elements together,</p> <p>11:43:41 10 right, including the compression that we talked about, which</p> <p>11:43:44 11 is not shown in Figure 1?</p> <p>11:43:46 12 A. No, no. It depends what does "prototype" mean, you</p> <p>11:43:48 13 know. Is -- it depends what we're prototyping. If -- if an</p> <p>11:43:51 14 engineer's concern is Communications Controller 64 and he or</p> <p>11:43:59 15 she thinks that, you know, everything else here, I've either</p> <p>11:44:03 16 already designed or I know of XYZ that I can go buy and put</p> <p>11:44:08 17 together, then maybe that's what -- that's what's done. I</p> <p>11:44:12 18 mean, prototype is a -- you know --</p> <p>11:44:14 19 Q. Okay. Well, let me ask a different question. Are</p> <p>11:44:17 20 you aware of any practical reason that would prevent you from</p> <p>11:44:21 21 building everything that's described in Figure 1 in a single,</p> <p>11:44:25 22 large room?</p> <p>11:44:30 23 MR. PAYNE: Objection, form.</p> <p>11:44:32 24 A. This is -- other than the fact that this is just</p> <p>11:44:36 25 something that from a practical standpoint one typically would</p> |

Page 126

11:44:39 1 not do. Certainly if a room were big enough, one could keep
 11:44:47 2 inserting things in it until everything was there.
 11:44:50 3 Q. (By Mr. Stephens) And that's also true for a cabinet,
 11:44:54 4 right?
 11:44:55 5 A. While true, one would have no motivation whatsoever
 11:44:58 6 to put a data receiving station and a central data station in
 11:45:02 7 the same cabinet or in the same room.
 11:45:05 8 Q. Okay. Unless you were trying to mock up the entire
 11:45:09 9 system and make -- see if you could make it work from end to
 11:45:11 10 end. That would be a motivation to do it, wouldn't it?
 11:45:15 11 A. Again, I -- I even disagree that -- that mocking up
 11:45:16 12 the system, you would end up with exactly the whole thing put
 11:45:19 13 together. That's just -- you know --
 11:45:21 14 Q. Can you point to a specific reason why --
 11:45:24 15 A. Yes.
 11:45:24 16 Q. -- or a specific thing that would be missing, in your
 11:45:26 17 view?
 11:45:26 18 A. Well, there may be absolutely no reason to implement
 11:45:31 19 some of -- if -- if one is prototyping -- presumably there's
 11:45:39 20 for a reason that -- you know, as opposed to just
 11:45:40 21 recreational, let's get a patent and build something. And,
 11:45:46 22 you know, these are -- people cost money. Equipment costs
 11:45:50 23 money. Time is valuable. Designs are put together in
 11:45:58 24 prototype so that people can either work out foreseen or
 11:46:02 25 unforeseen bugs or optimize options, parameters, be they

Page 128

11:47:37 1 has any motivation to do that. I understand that your
 11:47:40 2 question is --
 11:47:41 3 Q. Not that.
 11:47:41 4 A. -- not addressing that. At the same time, that is
 11:47:47 5 something I just haven't thought about.
 11:47:48 6 Q. Okay. Now, the system that's described in the Walter
 11:47:50 7 patent, at least as shown in Figure 1, is for transmitting
 11:47:54 8 video in one direction only, right?
 11:47:56 9 A. That's right. The video goes from the central data
 11:47:59 10 station to the data receiving station.
 11:48:01 11 Q. Okay. Sort of like an FM radio station, only sends
 11:48:07 12 audio in one direction, right, you can't send it back to the
 11:48:09 13 station over --
 11:48:10 14 A. Actually, FM sends it in all directions, right, even
 11:48:13 15 down into the ground. I think we would say it's a -- it's a
 11:48:15 16 one-way transfer.
 11:48:17 17 Q. Okay. Now, taking two one-way systems and putting
 11:48:23 18 them together and pointing in opposite directions is something
 11:48:26 19 that's been done in electrical engineering in the past, right?
 11:48:30 20 A. Can you give me an example?
 11:48:31 21 Q. Yeah. Radio, I picked that specifically with this in
 11:48:35 22 mind. So, you can take radios and put a transmitter in one
 11:48:40 23 place and a receiver in another; and that's a one-way system,
 11:48:44 24 right?
 11:48:44 25 A. Yes.

Page 127

11:46:09 1 hardware or software, control units, whatever, to get the --
 11:46:13 2 the system to work. Now, that could require building a
 11:46:19 3 full-blown system. It could also require complete emulation
 11:46:22 4 or simulation of substantial components of the system and
 11:46:26 5 zeroing in on only what's there.
 11:46:28 6 Q. Okay. Is there any engineering problem with building
 11:46:33 7 the system described in Figure 1 and a device to -- to
 11:46:37 8 compress the video all together in one room? I mean, putting
 11:46:45 9 aside whether you'd be motivated to do it, is there anything
 11:46:48 10 that would prevent you from doing it?
 11:46:50 11 A. This is such an abstract question, I just don't -- I
 11:46:53 12 just don't even feel I can answer this. I mean, who is "you"
 11:46:56 13 and how much money does one have and what is the room and why
 11:46:59 14 is one doing this and when is this and where am I doing this?
 11:47:01 15 Q. I'm not asking about motivations. I'm not asking
 11:47:03 16 about how much it costs. I'm asking about any engineering
 11:47:06 17 problems you can identify that would make it difficult or
 11:47:09 18 impossible -- or more difficult or impossible to build what's
 11:47:12 19 described in Figure 1 together in a single room?
 11:47:16 20 A. So, I think -- I would have to think about that
 11:47:19 21 before answering. I mean, I -- I -- I don't want to give you
 11:47:25 22 a knee-jerk reaction. Let me just say, I don't think I'm
 11:47:32 23 prepared to answer that at this -- this point.
 11:47:33 24 Q. Okay.
 11:47:34 25 A. I've certainly outlined why I don't feel that anybody

Page 129

11:48:45 1 Q. Okay. And you can add a transmitter to the place
 11:48:49 2 where you have a receiver and a receiver to the place where
 11:48:52 3 you have a transmitter and make it a two-way system, right?
 11:48:58 4 A. Well, with some design and engineering.
 11:49:01 5 Q. Or -- or none at all, right? You can take the -- a
 11:49:04 6 duplicate of the system that you created in the first place
 11:49:08 7 and simply put the ends in the opposite places and you end up
 11:49:11 8 with a two-way system, right?
 11:49:13 9 A. No. If you're both transmitting on the same
 11:49:16 10 frequency, that's not really going to work very well.
 11:49:18 11 Q. Fair enough. You might have to change the crystal to
 11:49:22 12 modify the frequency?
 11:49:23 13 A. So, we have a little bit of design and -- and various
 11:49:26 14 other -- you know, there's also, maybe, a -- a power issue on
 11:49:29 15 swapping out signals so --
 11:49:31 16 Q. Okay.
 11:49:31 17 A. There -- you know, I disagree, that you can't just
 11:49:32 18 turn something around and say: "Presto. Let's go."
 11:49:34 19 Q. Well, with a physical media, you might be able to,
 11:49:37 20 right? You just pull another wire, and suddenly it's two
 11:49:40 21 ways?
 11:49:40 22 A. Well, I think we discussed that just pulling another
 11:49:44 23 wire sounds good in theory, but in practice --
 11:49:47 24 Q. Unless you're in a single room.
 11:49:48 25 A. Well, or unless you're dealing with a cable that is

Page 130

11:49:50 1 a -- I mean, there are various examples but --
 11:49:53 2 Q. Okay. But certainly taking a one-way
 11:49:57 3 transmitter/receiver pair and building a two-way system from
 11:50:01 4 those is something that was stock and trade for electrical
 11:50:04 5 engineers for a century, right?
 11:50:07 6 MR. PAYNE: Objection, form.
 11:50:08 7 A. I really don't -- can you give me another example
 11:50:11 8 besides FM and pulling another wire?
 11:50:13 9 Q. (By Mr. Stephens) Sure. Ethernet.
 11:50:2210 A. How?
 11:50:2311 Q. You have the ability to put a modulated signal on to
 11:50:2812 the medium, the -- the wire; and you have an ability to listen
 11:50:3213 to that -- listen for that on the other end.
 11:50:3614 A. But it was designed that way from day one.
 11:50:3815 Q. Okay.
 11:50:3816 A. It wasn't a one-way system where somebody just said,
 11:50:4117 "Woo hoo, let's now" -- whatever.
 11:50:4318 Q. Okay. Well, certainly the same principles, though,
 11:50:4719 apply, right? I mean, you can --
 11:50:4920 A. No. I disagree. I disagree. I think that if a
 11:50:5321 system is being inherently designed for two-way communication
 11:50:5722 or -- let's say multi because you brought up Ethernet --
 11:51:0323 multiple use --
 11:51:0324 Q. So, okay, you think --
 11:51:0425 A. There are -- there are very different issues. I

Page 131

11:51:06 1 mean, the whole -- you know, an issue with Ethernet is -- is:
 11:51:09 2 How do all of these users share the carrier? That is a
 11:51:13 3 substantial portion of Ethernet and -- and for that matter,
 11:51:16 4 many, many other multiple -- any system that -- that has
 11:51:20 5 multiple users, you need -- the system must be designed to
 11:51:24 6 deal with that. I mean, I can think back to the radio from
 11:51:27 7 the start, Aloha Radio, where the algorithm was -- everybody
 11:51:32 8 just talks at once and, well, if you collide, you keep trying
 11:51:35 9 again. And believe it or not, this --
 11:51:3510 Q. That's the way Ethernet works today?
 11:51:3811 A. -- this works. Yes, yes, exactly, it works. It's
 11:51:4012 not particularly efficient, but there are better -- there are
 11:51:4313 better techniques for -- I mean, this carrier sends
 11:51:4514 multi-access, which is what we have in Ethernet. So, I think
 11:51:5015 that -- that, you know, two-way communication is -- is
 11:51:5516 different from one way. I don't think it's fair to say, well,
 11:51:5717 you can just reverse something and all of a sudden you get
 11:52:0218 two-way.
 11:52:0219 Q. Well, I guess the Aloha network is a pretty good
 11:52:0220 example for that, right? I mean, they use conventional
 11:52:0821 transmitter pairs on radio. You can, anyway, and add to that
 11:52:1222 a little bit of logic to figure out if the channel is already
 11:52:1623 in use.
 11:52:1724 A. Right, add to that a little bit of logic. I mean,
 11:52:1925 that's not, oh, let's take these things and run. I mean,

Page 132

11:52:22 1 these are all situations where -- you know, I wouldn't not say
 11:52:27 2 it's trivial just to grab a bunch of one-way systems and stick
 11:52:29 3 it together and have it -- have it function.
 11:52:32 4 Q. But it was something that was frequently done, right.
 11:52:35 5 Taking one-way systems and building two-way systems from them
 11:52:40 6 is something that's frequently been done in the course of
 11:52:43 7 electrical engineering history, right?
 11:52:45 8 MR. PAYNE: Objection, form.
 11:52:46 9 A. I honestly don't know that, and I would not agree to
 11:52:5010 that. I mean, certainly --
 11:52:5011 Q. (By Mr. Stephens) Okay. Fair enough.
 11:52:5112 A. -- if you would like to prepare a document and I can
 11:52:5313 read it, you could convince me, perhaps; but I just don't have
 11:52:5514 enough --
 11:52:5715 Q. Okay.
 11:52:5816 A. I don't think I believe that.
 11:52:5817 Q. Fair enough. But you would agree that electrical
 11:52:5918 engineers, as a matter of their normal practice, take existing
 11:53:0419 solutions and combine those in different ways to solve
 11:53:0620 problems, right, that's what electrical engineers do?
 11:53:0921 MR. PAYNE: Objection, form.
 11:53:1122 A. I think the nature of engineering is -- is --
 11:53:1323 considering what's available, how it can be used creatively
 11:53:1724 and what additional stuff, material, as a result of a creative
 11:53:2325 process, either -- and the creative process could be a

Page 133

11:53:27 1 combination as well.
 11:53:28 2 Q. (By Mr. Stephens) Okay. Is there anything that would
 11:53:31 3 prevent you from taking the transmitter from the top half of
 11:53:37 4 Figure 1 in the Walter patent and combining it with the
 11:53:39 5 receiver on the bottom half, putting motivation to one side?
 11:53:43 6 If you -- if you were already motivated to do that and someone
 11:53:46 7 said, "Put them together," is there anything that would
 11:53:49 8 present a difficulty that would make it impossible for you to
 11:53:53 9 do that?
 11:53:5310 A. So, I don't know how big these units are. I don't
 11:53:5811 know how large the modulators are. I don't know what
 11:54:0412 protocols are running over those fibers. Maybe, you know,
 11:54:0713 I -- I -- there are various aspects that have to be addressed,
 11:54:1014 and it's not clear to me that necessarily it's just a
 11:54:1315 straightforward sliding it in.
 11:54:1616 Q. Okay. You just don't know one way or another; is
 11:54:1817 that right?
 11:54:1818 A. I don't know.
 11:54:1919 Q. Okay.
 11:54:3420 MR. PAYNE: Do you want to take a quick lunch
 11:54:3821 break or --
 11:54:3922 MR. STEPHENS: If you guys are hungry. I know
 11:54:4023 that Dr. Hemami is on Eastern time. We can take a quick lunch
 11:54:4324 break now. That's fine. Why don't we try to keep it as short
 11:54:4525 as we can.

11:54:47 1 THE VIDEOGRAPHER: We're off the record at
 11:54:47 2 11:54.
 3 (Luncheon recess)
 12:27:29 4 THE VIDEOGRAPHER: Tape 5 of the deposition of
 12:27:30 5 Dr. Hemami. The time is 12:27. We're back on the record.
 12:27:38 6 Q. (By Mr. Stephens) Dr. Hemami, did computers in 1985
 12:27:40 7 generally have RAM?
 12:27:47 8 MR. PAYNE: Objection, form.
 12:27:48 9 A. I feel like I'm speculating answering this question,
 12:27:51 10 but I believe the answer is yes.
 12:27:52 11 Q. (By Mr. Stephens) Okay. And what does "RAM" stand
 12:27:56 12 for?
 12:27:57 13 A. Random access memory.
 12:27:58 14 Q. What does the "random access" part of that mean?
 12:28:04 15 A. Now, let me clarify. My interpretation of your
 12:28:08 16 question, you've asked me if computers have RAM. And in
 12:28:12 17 particular, computers in 1985 had RAM. My answer I'm thinking
 12:28:17 18 of, I'm answering with respect to semiconductor random access
 12:28:21 19 memory, might be called DRAM, dynamic RAM; or SRAM, static
 12:28:23 20 RAM. These semiconductor random access memories provided
 12:28:29 21 storage locations where a location might be a byte or two
 12:28:35 22 bytes in a word where each individual memory location, the
 12:28:42 23 5th byte, the 8th byte, the 19th byte, could be addressed,
 12:28:47 24 meaning one could ask the memory to deliver on its output
 12:28:52 25 lines precisely the data word, whatever the size of the word

12:30:22 1 presented, right?
 12:30:23 2 A. I'm not aware of any asynchronous memories, but that
 12:30:28 3 didn't mean that they existed. A clocked memory, we would
 12:30:30 4 have a read -- some number of cycles for read and some number
 12:30:34 5 of cycles for write.
 12:30:36 6 Q. And that's generally fixed, right?
 12:30:38 7 A. I don't know what generally -- I -- I don't know. I
 12:30:40 8 haven't reviewed data sheets from RAM in 1985.
 12:30:44 9 Q. Well, regardless of -- of what the data sheet says,
 12:30:46 10 the system that it's put into usually would have a fixed
 12:30:50 11 amount of time that it would expect the data to become
 12:30:52 12 available after, from the time you presented the address,
 12:30:55 13 right?
 12:30:55 14 A. I -- I -- I'm not sure I understand the question.
 12:30:59 15 Q. All I'm getting at is that part of the -- part of
 12:31:02 16 what it means to be random access is that you get the contents
 12:31:07 17 back in the same amount of time regardless of which address
 12:31:11 18 you present.
 12:31:12 19 A. Colloquially, yeah. I think, uh, sure, we can say
 12:31:16 20 the same amount of time. We would -- we would certainly
 12:31:17 21 expect the device to behave. But whether I accessed the first
 12:31:22 22 or the 80th location, that that data would appear in the same
 12:31:27 23 number of clock cycles on the output lines.
 12:31:29 24 Q. Okay. Now, general purpose computers are capable of
 12:31:33 25 performing any algorithm subject only to constraints on

12:28:56 1 was, that was stored at the particular location that was
 12:29:00 2 requested.
 12:29:02 3 Q. And it also meant that that memory location -- the
 12:29:08 4 data stored in that memory location would be presented in a
 12:29:12 5 very predictable amount of time, right?
 12:29:16 6 A. I'm not sure what you mean by "predictable."
 12:29:18 7 Q. Well, so, for example, a tape would not be random
 12:29:21 8 access memory, right?
 12:29:25 9 A. A tape is serial access.
 12:29:27 10 Q. Okay. And, yet, you can, using many different
 12:29:30 11 utilities, request a specific tape block from a tape and
 12:29:34 12 retrieve that information, right?
 12:29:38 13 A. If we're talking about digital magnetic tapes which
 12:29:41 14 were used as backup devices, particular blocks could be
 12:29:45 15 accessed.
 12:29:46 16 Q. Okay. So, it's not just a matter of being able to
 12:29:48 17 present an address and get back a particular set of
 12:29:52 18 addressable data?
 12:29:53 19 A. Oh, I'm sorry. I understand what you're saying.
 12:29:57 20 Yes, not only could you access each independent location but
 12:30:02 21 these chips typically came with criteria for the maximum read
 12:30:05 22 time or write time which applied equally to all locations.
 12:30:10 23 Q. Okay. And -- and generally the systems were clocked
 12:30:16 24 so that the data would actually be read out of the memory in
 12:30:18 25 the same amount of time, regardless of which address was being

12:31:39 1 storage space, right, and time?
 12:31:45 2 A. So, this is a very broad question; but I would not
 12:31:46 3 agree with that. So, let's start off with what is a general
 12:31:50 4 purpose computer and in what time frame.
 12:31:52 5 Q. 1985. Why would you not agree with that?
 12:31:58 6 A. So, you said any -- any algorithm -- let me make sure
 12:32:02 7 I get all the pieces right -- any algorithm -- and there were
 12:32:08 8 some more things there, independent of time, something along
 12:32:11 9 those lines.
 12:32:11 10 Q. Allow -- if you don't have time constraints or space
 12:32:16 11 constraints.
 12:32:16 12 A. Okay.
 12:32:16 13 Q. Right? So, it's just a matter of adding more memory
 12:32:18 14 and waiting long enough for the output to become available.
 12:32:22 15 Part of what it means to be a general purpose computer is that
 12:32:24 16 it can perform any algorithm, right?
 12:32:28 17 A. I don't think -- for one, there -- there's certainly
 12:32:30 18 analog computers. There are a lot of turbulent style airflow,
 12:32:37 19 water flow simulations that are better solved using analog
 12:32:43 20 techniques. The Air Force maintained analog computers for
 12:32:46 21 many times in designing aerodynamics and wings and so on.
 12:32:48 22 And, so, I think any --
 12:32:49 23 Q. Let's restrict ourselves to digital algorithms.
 12:32:52 24 A. You know, that's such a broad statement. I -- I --
 12:32:56 25 I'm not sure that that's actually correct. Certainly I -- it

| Page 138 | Page 140 |
|--|--|
| <p>12:33:02 1 is easy to envision scenarios where the data that you're</p> <p>12:33:08 2 processing might have a dynamic range that exceeds the</p> <p>12:33:13 3 capacity of the computer or the computer simply cannot perform</p> <p>12:33:17 4 calculations on the data that you're doing without</p> <p>12:33:21 5 substantially causing problems. Actually, there's an entire</p> <p>12:33:25 6 field of computer science algorithm design that has to do with</p> <p>12:33:30 7 solving problems that -- of algorithms that can be written</p> <p>12:33:34 8 down on paper, but when one goes -- and even here, I'm talking</p> <p>12:33:39 9 about numerical algorithms -- when one goes to implement them</p> <p>12:33:43 10 on a computer, the finite precision arithmetic really -- they</p> <p>12:33:48 11 can't be done. It's a -- it's a -- you know, you can run the</p> <p>12:33:51 12 algorithm; but the output is meaningless and -- and -- and</p> <p>12:33:52 13 doesn't -- doesn't mean anything.</p> <p>12:33:55 14 Q. Okay. Now, I asked you before about whether or not</p> <p>12:34:09 15 the algorithm described in Walter could be performed on a</p> <p>12:34:13 16 general purpose computer and you asked for constraints and</p> <p>12:34:16 17 then I gave you some and you said you wouldn't be able to</p> <p>12:34:19 18 answer without doing the calculations and the like, right?</p> <p>12:34:21 19 A. So, here, you're referring to -- to the compression</p> <p>12:34:24 20 algorithm that was mentioned?</p> <p>12:34:25 21 Q. That's right.</p> <p>12:34:27 22 A. Yes.</p> <p>12:34:28 23 Q. Now, if you had enough memory and enough disk space</p> <p>12:34:30 24 and you weren't concerned about how quickly you were able to</p> <p>12:34:33 25 perform that algorithm, you would be able to perform that</p> | <p>12:36:13 1 You know, I -- I just don't -- I just don't know.</p> <p>12:36:15 2 Q. Okay. So, is it fair to say that it's -- it may be</p> <p>12:36:19 3 possible, you're just not sure, whether the host computer,</p> <p>12:36:23 4 what's shown as 20 in Figure 1 on Walter, was capable of</p> <p>12:36:27 5 performing the inter-frame differential post-code modulation</p> <p>12:36:31 6 compression algorithm described in the Walter patent?</p> <p>12:36:36 7 A. So, I don't believe that Walter really gives us any</p> <p>12:36:39 8 description whatsoever as to what that host computer is. If</p> <p>12:36:43 9 you have a -- to save time, do -- do you have a particular</p> <p>12:36:47 10 point --</p> <p>12:36:48 11 Q. No, I don't.</p> <p>12:36:49 12 A. Okay. Okay. So, actually, let's see -- so, see,</p> <p>12:36:50 13 just in Column 4, already, we have two paragraphs that refer</p> <p>12:36:55 14 to host computer. Now, Column 3, preferred embodiment, starts</p> <p>12:37:02 15 off and mentions host computer; but it doesn't tell us</p> <p>12:37:08 16 anything about it. So, starting around line 27, we see host</p> <p>12:37:16 17 computer. Well, host computer is certainly connected to a lot</p> <p>12:37:34 18 of things, isn't it? We see that a lot.</p> <p>12:37:37 19 I'll tell you what I'm looking for. I'm looking</p> <p>12:37:40 20 for to get an understanding of what host computer did besides</p> <p>12:37:43 21 being connected to all these lines.</p> <p>12:37:45 22 Q. Well, I don't -- I'm not aware -- well, go ahead.</p> <p>12:37:48 23 I'm sorry.</p> <p>12:37:49 24 A. Well, I -- I thought that host computer involved</p> <p>12:37:54 25 directing the instructions as to what the -- a data receiving</p> |
| Page 139 | Page 141 |
| <p>12:34:35 1 algorithm on general purpose computers that were widely</p> <p>12:34:39 2 available in 1985, right? In other words, you weren't trying</p> <p>12:34:41 3 to do it in real-time?</p> <p>12:34:43 4 A. So, let me say -- I'm not sure that the disk space</p> <p>12:34:48 5 constraint would necessarily come into play. Certainly</p> <p>12:34:57 6 subtracting two frames is merely a matter of executing some</p> <p>12:35:03 7 number of -- of subtractions, the number being generally equal</p> <p>12:35:08 8 to the number of pixels you have in the -- in the frame, which</p> <p>12:35:11 9 maybe a reasonable number might be 512 by 512, so half a</p> <p>12:35:15 10 million per frame. Half a million subtractions, integer</p> <p>12:35:18 11 subtractions, typically, is something that, given enough time,</p> <p>12:35:25 12 a general purpose computer could do. Now, I don't know that</p> <p>12:35:30 13 the disk space would come into play in 1985.</p> <p>12:35:34 14 Q. When you say you don't know whether it would come</p> <p>12:35:35 15 into play, you mean you don't know whether you'd have enough</p> <p>12:35:38 16 disk space to store video?</p> <p>12:35:41 17 A. I'm not even sure in 1985 if one would involve a hard</p> <p>12:35:44 18 disk with respect to actually trying to do that type of</p> <p>12:35:49 19 computation.</p> <p>12:35:50 20 Q. Okay. So, you might put the video on to a magnetic</p> <p>12:35:54 21 tape and process it one frame at a time by advancing the</p> <p>12:35:57 22 magnetic tape, like an IBM, whatever it was, 9 track digital</p> <p>12:36:02 23 tape?</p> <p>12:36:02 24 A. So, that might be one option. Additionally, the</p> <p>12:36:07 25 digitization equipment could feed directly into the computer.</p> | <p>12:38:00 1 station has requested, but I -- I would prefer to find that</p> <p>12:38:08 2 than rely on my memory.</p> <p>12:38:09 3 Q. Oh, no. I think you're right, that there are places</p> <p>12:38:12 4 where it's described as, for example, directing the memory</p> <p>12:38:15 5 to -- and the switching system to connect the memory to the</p> <p>12:38:21 6 optic fibers -- well, to connect the memory to the laser</p> <p>12:38:26 7 diodes and then transfer the data over the optical fibers.</p> <p>12:38:30 8 So, it does describe that sort of thing. I'm just wondering</p> <p>12:38:33 9 whether you --</p> <p>12:38:35 10 A. Well, so, that's -- that's all we're told about host</p> <p>12:38:38 11 computer, if, indeed, that is all -- I think, hopefully, we</p> <p>12:38:41 12 agree on that; but I'm not really missing some -- so, if all</p> <p>12:38:45 13 we're told that that host computer does is -- following a</p> <p>12:38:48 14 command from host computer. I'm sorry. I see now, line 30 of</p> <p>12:38:53 15 Column 4, "Communications controller assumes control of fiber</p> <p>12:38:57 16 optic lines." So -- so, in reading -- finding this and in</p> <p>12:39:02 17 reading the specification, you know, my understanding of host</p> <p>12:39:06 18 computer is that host computer is simply a controller for the</p> <p>12:39:13 19 overall operation of the system. And as such, it may or may</p> <p>12:39:22 20 not be able to perform the functions that one would expect to</p> <p>12:39:31 21 be required to run a inter-frame differential pulse-code</p> <p>12:39:38 22 modulation. So, just to give you an example, it could be a</p> <p>12:39:42 23 very, very simple microprocessor or even something that we</p> <p>12:39:48 24 might call a microcontroller, which is a simpler-type unit</p> <p>12:39:52 25 which is somewhat programmable and can execute various tasks;</p> |