

Page 142	Page 144
<p>12:39:56 1 but it's not akin to what we would consider to be the 12:40:02 2 computational heart of either a -- a personal computer or a -- 12:40:08 3 a higher-end scientific computation work station. 12:40:12 4 Q. But it -- it doesn't say that it's a microcontroller, 12:40:15 5 right? 12:40:16 6 A. All it tells us is that it is host computer so -- 12:40:20 7 Q. Okay. And you can't say from that description 12:40:21 8 whether or not it's capable of performing the video 12:40:25 9 compression described, correct? 12:40:27 10 A. From -- from what's described here, I do not know, 12:40:30 11 really, what the computational horsepower of the host computer 12:40:36 12 is. 12:40:36 13 Q. Okay. Is it your -- okay. Never mind. 12:40:43 14 Now, again, looking at Figure 1, I think your 12:40:50 15 declaration says that the memory modules, 24, 26, 28, et 12:40:56 16 cetera, are removable; is that right? 12:41:00 17 A. Yeah. And if I can just take a small -- I just want 12:41:03 18 to -- I found a typo yesterday, and I would like to just 12:41:06 19 clarify that. 12:41:07 20 Q. Okay. 12:41:08 21 A. I was a little bit overeager in my use of the term 12:41:10 22 "memory module." 12:41:12 23 Q. Which declaration are you referring to? 12:41:15 24 A. This is the second one. 12:41:19 25 Q. Which paragraph?</p>	<p>12:42:55 1 I'm a little uncomfortable making a definitive statement as to 12:42:59 2 what RAID does or doesn't do. 12:43:01 3 Q. Okay. So, again, though, the question was: The 12:43:03 4 memory modules are removable, you say, right, in the central 12:43:07 5 data station? 12:43:07 6 A. Sorry, I'm still back on RAID. Let me say, I'm 12:43:11 7 definitely not sure what RAID does. Let's just leave it at 12:43:14 8 that. Now, I'm sorry, if you could repeat your question. 12:43:15 9 Q. Okay. But RAID uses that term "striping"? 12:43:17 10 A. I -- I don't remember. So, I was trying to sort out 12:43:20 11 what did I remember, what do I not remember. And what I 12:43:22 12 remember about RAID is often having an error-correcting disk. 12:43:26 13 Q. Where did you come with you the word "striping" in 12:43:28 14 your declaration? 12:43:29 15 A. This is a term I'm familiar with from my history as 12:43:33 16 an electrical engineer. I don't think I drew on any -- you 12:43:35 17 know, at some point in my life, I learned and understood what 12:43:38 18 striping data across multiple storage entities, for lack of a 12:43:43 19 better word, meant. And -- 12:43:46 20 Q. And that's where you borrowed it? 12:43:47 21 A. -- that's where it came from, yes. Now, that -- what 12:43:47 22 did I -- that may well have come from my interaction with RAID 12:43:50 23 at some point in my career. I just don't remember its origin. 12:43:55 24 Q. Okay. So, again, memory modules in the central data 12:43:58 25 station are removable, right?</p>
Page 143	Page 145
<p>12:41:19 1 A. And, yeah, let me find that. So, I'll point out that 12:41:26 2 Item 39 correctly states that Walter's central data station 12:41:28 3 stores a single/program in each memory module and -- okay. 12:41:33 4 So, in Item 45 on page 13, I've used the term "memory modules" 12:41:42 5 in Item 45; and what I should have said was "data cells." So, 12:41:50 6 that's both -- in the first sentence of -- of Item 45 should 12:41:55 7 read "rather uses multiple data cells to store a single 12:42:00 8 program." And at the very top of -- yeah, you see that, 12:42:05 9 too -- 12:42:07 10 Q. I do. 12:42:08 11 A. -- of -- of page 14, that's propagated through the -- 12:42:10 12 through that item. 12:42:12 13 Q. Okay. 12:42:12 14 A. So, I apologize. I just want to make that point 12:42:15 15 so -- 12:42:15 16 Q. So, while we're there with the "striped," that 12:42:18 17 striping is sort of like you would do in a RAID array? Is 12:42:22 18 that the way you understand it? 12:42:25 19 A. Yeah, yeah. At some level -- I mean, let me say, I'm 12:42:28 20 not -- you know, I'm familiar with RAID peripherally from a 12:42:34 21 long time ago; but the general concept of rather than stacking 12:42:37 22 up the data sequentially in a single storage, we have 12:42:43 23 essentially hopping from -- from device to device. Now, let 12:42:46 24 me add the caveat that -- that I believe that's as done in 12:42:51 25 RAID; but having not done anything with RAID since about 1994,</p>	<p>12:44:01 1 A. Yes. 12:44:01 2 Q. And -- I mean, at least that's what you say. I don't 12:44:04 3 see that anywhere in the patent. Is that fair to say? 12:44:06 4 A. Yes. And you -- you clarified that. And, yes, let 12:44:08 5 me follow that up. But my -- my reading of the patent with 12:44:12 6 the extensive use of the term "preprogrammed," as we have 12:44:16 7 discussed, says to me that these are -- these are removable 12:44:19 8 modules. And let me just add that, also, given that Walter 12:44:22 9 doesn't teach any mechanism by which the central data station 12:44:28 10 would receive content, that I -- I took as support of the fact 12:44:36 11 that these modules themselves could be removed and -- and 12:44:40 12 programmed. 12:44:40 13 Q. So, you -- from the word "preprogrammed" and from the 12:44:43 14 fact that it doesn't describe how you load the information 12:44:45 15 into the modules, you took it to mean that you received them 12:44:51 16 by FedEx or something like that? 12:44:53 17 A. That would be one option. They -- you know, courier, 12:44:56 18 somebody could walk them. We don't know where the compression 12:45:00 19 occurs. 12:45:01 20 Q. Okay. 12:45:02 21 A. I am very confident that the compression doesn't 12:45:05 22 occur here. But other than saying it doesn't occur in the 12:45:08 23 central data station, I -- I don't know where it occurs. 12:45:11 24 Q. Okay. 12:45:11 25 A. So, FedEx may not be necessary.</p>

Page 146	Page 148
<p>12:45:17 1 Q. Okay. Now, is there any reason why "preprogram"</p> <p>12:45:20 2 doesn't simply mean programming before you make the content</p> <p>12:45:23 3 available for download?</p> <p>12:45:27 4 A. In the context of the Walter patent, given that there</p> <p>12:45:34 5 was no mechanism described to get the information on to the</p> <p>12:45:39 6 central data station or no video jukebox or anything of the</p> <p>12:45:45 7 sort, that is how I interpreted that "preprogrammed" in this</p> <p>12:45:54 8 case, in the specification. The way it gets used implies that</p> <p>12:45:57 9 these are removable. And, you know, I think that -- the way I</p> <p>12:46:01 10 looked at this was -- "preprogrammed" is -- it's quite a verb.</p> <p>12:46:07 11 Contrast it with "stored." All right. From the standpoint, I</p> <p>12:46:10 12 think, of describing the operation of the Walter unit to</p> <p>12:46:14 13 somebody sitting on the bus next to me, I would say, "Well,</p> <p>12:46:17 14 see these things? They store the video." But, in fact,</p> <p>12:46:20 15 Walter does not use that term. Walter specifically uses</p> <p>12:46:25 16 "preprogrammed" over and over to describe those modules.</p> <p>12:46:29 17 Q. Well, and he might have been using that to indicate</p> <p>12:46:32 18 that they're dedicated to a particular program, right?</p> <p>12:46:37 19 A. Well, from the standpoint that he doesn't give us any</p> <p>12:46:40 20 mechanism to alter them using a central data station and he</p> <p>12:46:46 21 also tells us that a single module has a single program, I</p> <p>12:46:53 22 think that we could call that dedicated.</p> <p>12:46:56 23 Q. Okay. Well, he does, in fact, give us a way to -- to</p> <p>12:46:59 24 alter the memory modules in the data receiving station, right?</p> <p>12:47:05 25 A. The -- the memory modules in the data receiving</p>	<p>12:48:10 1 Q. Okay.</p> <p>12:48:11 2 A. Now, you know, I would like to add that the data</p> <p>12:48:13 3 receiving station -- again, we'll colloquially use a term for</p> <p>12:48:16 4 today, a "set top box" -- this is a piece of consumer</p> <p>12:48:21 5 electronics equipment. One can reasonably anticipate that</p> <p>12:48:25 6 this piece of equipment is going to sit in some reasonably</p> <p>12:48:29 7 sized cabinet and that the -- the operator, the customer, is</p> <p>12:48:35 8 not going to be taking pieces in and out. But I suspect that</p> <p>12:48:40 9 as today, the -- the companies get somewhat upset if you try</p> <p>12:48:44 10 to mess around with the inside of your box.</p> <p>12:48:48 11 On the other hand, the central data station is</p> <p>12:48:53 12 at the cable head end or certainly at a location which is</p> <p>12:48:55 13 operated by presumably the cable company; and they have an</p> <p>12:49:04 14 interest, I think, in -- in providing more than one set of</p> <p>12:49:07 15 programs for the rest of eternity. So, from the standpoint of</p> <p>12:49:11 16 these things being preprogrammed elsewhere, this is the</p> <p>12:49:17 17 mechanism by which they can change their programming.</p> <p>12:49:21 18 Otherwise we have sort of a video-on-demand system which is a</p> <p>12:49:25 19 video-to-exhaust system.</p> <p>12:49:27 20 Q. Is -- is there any engineering obstacle or anything</p> <p>12:49:31 21 unpredictable about making a memory module in the receiving</p> <p>12:49:33 22 station removable?</p> <p>12:49:38 23 A. I'm sorry, the second part was unpredictable. The</p> <p>12:49:40 24 first part was --</p> <p>12:49:41 25 Q. Let me just use the word "unpredictable" instead.</p>
Page 147	Page 149
<p>12:47:08 1 station are the local storage. So, certainly.</p> <p>12:47:10 2 Q. And it's your opinion, I think you said in your</p> <p>12:47:12 3 declaration, that that memory module is of the same type</p> <p>12:47:16 4 that's in the central data station, right?</p> <p>12:47:19 5 A. I believe that the Walter patent explicitly makes</p> <p>12:47:23 6 that statement.</p> <p>12:47:23 7 Q. Okay. Is the memory module in the data receiving</p> <p>12:47:25 8 station also removable?</p> <p>12:47:28 9 A. I do not expect that the memory module in the data</p> <p>12:47:31 10 receiving station is removable.</p> <p>12:47:32 11 Q. But the patent doesn't say one way or another about</p> <p>12:47:36 12 any of the memory modules, right, being removable?</p> <p>12:47:39 13 MR. PAYNE: Objection, form.</p> <p>12:47:40 14 A. Well, again, upon reading the specification, looking</p> <p>12:47:44 15 at the preprogramming, the extensive use of the</p> <p>12:47:47 16 "preprogramming" term --</p> <p>12:47:49 17 Q. (By Mr. Stephens) Let me just be clear. I'm not --</p> <p>12:47:50 18 I'm not asking whether or not you concluded based on what it</p> <p>12:47:54 19 does say, that they're removable. I understand you did. I'm</p> <p>12:47:55 20 asking about whether it says they're removable anywhere.</p> <p>12:47:58 21 A. There is no sentence in the patent that says this</p> <p>12:48:02 22 memory module is removable.</p> <p>12:48:04 23 Q. Okay. And that's true for both the data receiving</p> <p>12:48:06 24 station and the central data station, right?</p> <p>12:48:10 25 A. It is.</p>	<p>12:49:44 1 So, is there anything unpredictable about changing the data</p> <p>12:49:48 2 receiving station to make the memory module there removable as</p> <p>12:49:52 3 you say the ones in the central data station are?</p> <p>12:49:55 4 MR. PAYNE: Objection, form.</p> <p>12:49:55 5 A. I'm sorry, I'm still getting a little hung up on this</p> <p>12:49:59 6 question. Can I ask you to repeat it one more time?</p> <p>12:50:00 7 Q. Yeah. Is there any reason why you would not be able</p> <p>12:50:03 8 to or would expect any unusual engineering difficulties that</p> <p>12:50:07 9 would make it unpredictable or lead to an unpredictable result</p> <p>12:50:11 10 if you were to modify the data receiving station to make the</p> <p>12:50:14 11 memory module removable?</p> <p>12:50:17 12 MR. PAYNE: Objection, form.</p> <p>12:50:20 13 A. I don't know what unpredictable mean -- can -- can --</p> <p>12:50:21 14 let me ask you this. And I'm not trying to be difficult.</p> <p>12:50:25 15 Maybe it's just the metabolism is kicking in after lunch.</p> <p>12:50:30 16 Can I ask you to start the question again and</p> <p>12:50:31 17 pause before you get to the predictable part because I digest</p> <p>12:50:34 18 the question, and then all of a sudden we're on predictable</p> <p>12:50:36 19 and I don't know what predictable means and I get hung up and</p> <p>12:50:37 20 then I've forgotten what the beginning part of the question</p> <p>12:50:40 21 is. So --</p> <p>12:50:40 22 Q. (By Mr. Stephens) Okay. Could you modify the data</p> <p>12:50:43 23 receiving station to make the memory module removable?</p> <p>12:50:49 24 MR. PAYNE: Objection, form.</p> <p>12:50:50 25 A. So, I don't know. I mean, for one, I don't know why</p>

12:50:52 1 anybody would want to do such a thing.
 12:50:54 2 Q. (By Mr. Stephens) Well, we'll get to that in a
 12:50:55 3 second; but if you wanted to, is there any particular
 12:50:57 4 engineering difficulty in doing that?
 12:50:59 5 A. I don't know. I don't know. That depends on how the
 12:51:02 6 system is designed.
 12:51:05 7 Q. Okay. Well, if it was designed so that they fit in
 12:51:10 8 the same kinds of sockets that they did in the central data
 12:51:11 9 station, presumably it would be doable, right?
 12:51:14 10 A. Well, actually, we already have a very different
 12:51:17 11 setup from simply looking at the memory modules. I mean, I --
 12:51:22 12 I believe -- and I think I said this and I hope I'm not
 12:51:25 13 misspeaking when I say that Walter tells us that the memory
 12:51:28 14 module is of the same type, but the memory module as shown in
 12:51:33 15 Figure 1 in 102 has both inputs and an output and also a
 12:51:40 16 little computer control input. The memory modules in the
 12:51:45 17 central data station that we see in the upper left-hand corner
 12:51:49 18 have one -- single arrows coming out of them. We don't see
 12:51:55 19 input data going into those.
 12:51:56 20 So, this already, even though the memory module
 12:51:59 21 itself may be of the same type, says to me that these are
 12:52:03 22 integrated into their respective positions in a different
 12:52:10 23 manner.
 12:52:10 24 Q. Do you have any reason to believe that it would be
 12:52:14 25 anything other than a straightforward exercise to make the

12:53:24 1 Q. I'm not asking -- I'm specifically not asking about
 12:53:26 2 motivation. I'm asking about doing it. If you're instructed
 12:53:29 3 to do it, all you have to do is say: I'm going to put a
 12:53:31 4 socket on there instead of soldering it to the board, right?
 12:53:35 5 MR. PAYNE: Objection, form.
 12:53:38 6 A. I don't even know if a socket exists for these
 12:53:41 7 things.
 12:53:41 8 Q. (By Mr. Stephens) Do you know what they were?
 12:53:42 9 A. So, I -- that is a potential --
 12:53:43 10 Q. Do you know what these memory chips are?
 12:53:45 11 A. And we are told that they are recirculating shift
 12:53:47 12 registers.
 12:53:48 13 Q. And what is that? Is that a chip that you could go
 12:53:50 14 out and buy?
 12:53:51 15 A. Well, Walter has not given us a specific part; and I
 12:53:54 16 do not know if there were integrated units of these things
 12:53:59 17 available.
 12:53:59 18 Q. Okay. But you could fabricate one using DRAM,
 12:54:02 19 correct?
 12:54:06 20 A. No. I don't think one would fabricate one using
 12:54:10 21 DRAM.
 12:54:11 22 Q. I'm not asking whether you would.
 12:54:11 23 A. No. I don't --
 12:54:13 24 Q. I'm saying you could.
 12:54:13 25 A. No.

12:52:16 1 memory modules the data receiving station removable?
 12:52:21 2 A. What is a straightforward exercise?
 12:52:24 3 Q. Simple matter of ordinary day-to-day engineering
 12:52:26 4 judgment, not involving the exercises of unusual creativity.
 12:52:31 5 A. I think it would involve the exercise of unusual
 12:52:35 6 creativity to make the memory module in the data receiving
 12:52:39 7 station removable.
 12:52:41 8 Q. I'm not --
 12:52:42 9 A. I see absolutely no reason --
 12:52:43 10 Q. Again, I'm specifically asking you not to answer
 12:52:45 11 about motivation. I'm asking you, if you were asked to do so,
 12:52:48 12 if you were asked to make it removable, do you have any reason
 12:52:52 13 to believe that it would require anything other than ordinary
 12:52:55 14 day-to-day engineering activities to do that?
 12:53:00 15 A. What are --
 12:53:02 16 MR. PAYNE: Objection, form.
 12:53:02 17 A. -- ordinary engineering day-to-day activities? I
 12:53:05 18 mean, I can envision --
 12:53:05 19 Q. (By Mr. Stephens) Saying: I'm going to put a socket
 12:53:07 20 on this board instead of soldering it to the board. Is
 12:53:16 21 anything else required?
 12:53:18 22 A. One needs a socket, one needs to understand why would
 12:53:20 23 one want to --
 12:53:22 24 Q. I'm not asking about motivation.
 12:53:24 25 A. -- increase the cost, increase the complexity --

12:54:14 1 Q. Why not?
 12:54:15 2 A. Because DRAM is a random access memory technique --
 12:54:23 3 technology. As we discussed or as I mentioned specifically
 12:54:27 4 when I was talking about semiconductor RAM, each individual
 12:54:30 5 piece --
 12:54:31 6 Q. Okay. Go ahead.
 12:54:32 7 A. -- location needs to be addressed.
 12:54:34 8 Q. Okay.
 12:54:34 9 A. Now, if I start off with a DRAM and I want to make
 12:54:40 10 this a recirculating shift register --
 12:54:41 11 Q. No, I'm not asking you to change the DRAM into a
 12:54:44 12 recirculating shift register. I'm saying it's pretty simple
 12:54:47 13 to design a circuit that functions as a recirculating shift
 12:54:52 14 register using DRAM as the storage component, correct?
 12:54:54 15 A. I disagree that it's simple.
 12:54:54 16 Q. Well, let me -- let me give you a very specific
 12:54:57 17 example. So, if you create an address generator that simply
 12:55:01 18 makes the address change by one location with every clock
 12:55:05 19 pulse that you apply and use that to change the place where
 12:55:10 20 memory is stored and retrieved from, you can generate -- you
 12:55:13 21 can turn a DRAM into a recirculating shift register in
 12:55:18 22 function by doing that, right?
 12:55:19 23 A. You used the word "simply," and I completely disagree
 12:55:26 24 that this is a simple operation.
 12:55:26 25 Q. Okay. I --

Page 154	Page 156
12:55:26 1 A. For one, one of ordinary skill would never, would not	12:57:13 1 long -- long answers to simple questions, if you can avoid it.
12:55:28 2 do this. This is starting off with a system that is overly	12:57:18 2 I -- I'm not -- I'm not questioning whether you're intending
12:55:32 3 complex for what is needed and then having to design logic	12:57:23 3 to do it the way it's done. I'm not saying that because I
12:55:36 4 that sits on top of it or in conjunction with it.	12:57:26 4 don't believe that you are. I'm simply saying we don't have
12:55:40 5 Q. Is a counter -- is a counter a complex circuit?	12:57:30 5 much time and I have a lot of material to cover so I'm asking
12:55:43 6 A. Given that the recirculating shift register does not	12:57:32 6 you, please, to answer the question I'm asking and not give me
12:55:46 7 require the random access, the DRAM already has all of the	12:57:35 7 long answers.
12:55:50 8 write and read lines and apparatus on it to do the access	12:57:36 8 A. Just so you know, as I told Les, this is the relaxing
12:55:56 9 We're essentially, then, designing more circuitry to bypass	12:57:38 9 part the day because I have to fly later. So...
12:56:0210 that --	12:57:4210 Q. Okay. I fly a hundred thousand miles a year. I can
12:56:0211 Q. Look --	12:57:4611 definitely relate to that. Well, no, I've flown a hundred
12:56:0212 A. -- and on top of it, then, I have to actually route	12:57:4812 thousand miles --
12:56:0613 the output of my DRAM to the input.	12:57:4813 A. I'm sorry.
12:56:0914 Q. Okay. Sorry to interrupt --	12:57:4914 Q. -- as of last month.
12:56:0915 A. Now I have capacitance issues.	12:57:5115 A. I'm sorry.
12:56:1116 Q. You keep changing my question. I'm not asking you	12:57:5216 Q. Okay. So, is it a difficult thing to design a
12:56:1417 about motivation. I'm asking you about whether it's something	12:57:5517 counter?
12:56:1618 that's easy to do if you're instructed to do it.	12:57:5618 A. In the abstract, designing a counter -- well, it
12:56:1919 A. I don't think it's easy.	12:58:0019 depends who you're speaking to. To one of ordinary skill as
12:56:2020 Q. So, it's not easy to make a counter that would	12:58:0420 described in my various reports, I think designing a counter
12:56:2321 increment the address with each clock pulse; is that right?	12:58:1021 is easy.
12:56:3022 Is that your testimony?	12:58:1222 Q. Okay. And you could use a counter to make a DRAM
12:56:3023 A. I'm starting off with a recirculating shift register.	12:58:1523 function as a recirculating shift register from the outside,
12:56:3324 Q. No. I'm not. I'm starting out -- I'm asking you	12:58:2024 right? In other words, it would look like a recirculating
12:56:3425 whether --	12:58:2625 shift register to the circuits that interface with it; is that
Page 155	Page 157
12:56:34 1 A. No, no, no. I'm going to compare to that, okay?	12:58:29 1 right?
12:56:36 2 Bear with me. I have a recirculating shift register --	12:58:30 2 A. I would say that while one of ordinary skill would
12:56:39 3 Q. I would bear with you, but your counsel has severely	12:58:33 3 not do that -- and I'll cease the pontificating for the rest
12:56:42 4 limited the amount of time we have. So, I can't allow you to	12:58:39 4 of it -- certainly we could put a recirculating shift register
12:56:45 5 go off on these lectures.	12:58:42 5 in a black box and have another black box with DRAM and
12:56:46 6 MR. PAYNE: What do you mean I've limited?	12:58:47 6 associated circuitry and wiring and potential other stuff to
12:56:49 7 MR. STEPHENS: We're going to stop at 2:00	12:58:51 7 deal with excess capacitance. And to the outside observer,
12:56:50 8 o'clock, right?	12:58:55 8 the inputs and the outputs would be identical.
12:56:51 9 MR. PAYNE: Yes.	12:58:58 9 Q. Okay. Now, if you were tasked with replacing the --
12:56:5110 MR. STEPHENS: Okay.	12:59:0710 replacing FedEx for distributing video to central data
12:56:5111 MR. PAYNE: Right.	12:59:1111 stations, you could simply use the system described in
12:56:5212 MR. STEPHENS: That's severely limiting.	12:59:1912 Figure 1, right? In other words, you could -- at the place
12:56:5313 MR. PAYNE: Well, wait a second. You	12:59:2613 you compressed the video --
12:56:5514 specifically agreed to that.	12:59:2914 A. I have to ask you to start over. I'm completely
12:56:5715 MR. STEPHENS: Right.	12:59:3215 lost.
12:56:5816 MR. PAYNE: Had you wanted more time, you should	12:59:3316 Q. Okay.
12:56:5917 have told me.	12:59:3317 A. So, first off, let me say that I didn't say that
12:57:0218 MR. STEPHENS: I'm going to ask for more time	12:59:3518 FedEx was -- I said that was one option.
12:57:0319 because you instructed her not to answer a key question.	12:59:3919 Q. I think you said courier or -- well --
12:57:0520 MR. PAYNE: One question, Garland? Give me a	12:59:4020 A. Courier could be foot or bicycle, too.
12:57:0721 break, please.	12:59:4121 Q. Okay. So, if you were out to replace the manual
12:57:0822 MR. STEPHENS: A key question.	12:59:4422 delivery of the chips, you could use the system described in
12:57:0923 MR. PAYNE: Please, Garland.	12:59:4923 Figure 1 to do that, right?
12:57:0924 Q. (By Mr. Stephens) Okay. Well, whatever. We don't	12:59:5024 A. I do not see how that would happen. I'm not
12:57:1025 have much time. So, I'm going to ask you not to give me	12:59:5325 following you.

12:59:53 1 Q. Well, if my point is to get video from Point A to
 12:59:57 2 Point B, I can use the system in Figure 1 to do that, right?
 13:00:04 3 A. A courier could deliver video from -- let's just
 13:00:13 4 stick to, you know, Figure 1. So, suppose that we have some
 13:00:15 5 other location which either requires a FedEx courier or some
 13:00:19 6 type of mechanical transport. To use the system in Figure 1
 13:00:29 7 would require that first the central data station have
 13:00:37 8 receiving capabilities which it doesn't have; and secondly,
 13:00:44 9 the origin, the location of the compression would need to have
 13:00:53 10 transmission facilities. And we don't know anything about the
 13:00:59 11 location that does the compression. So, even writing that
 13:01:02 12 off, our central data station does not have reception
 13:01:06 13 capabilities. We're not -- that's lacking as described in the
 13:01:11 14 specification.
 13:01:11 15 Q. Okay. So, I just want to go back to the courier for
 13:01:15 16 a moment. So, the manual delivery of the chips that you
 13:01:19 17 described was intended to --
 13:01:23 18 A. Module.
 13:01:23 19 Q. I'm sorry, modules, memory modules -- was intended to
 13:01:27 20 transport compressed video from the place it's compressed to
 13:01:30 21 the central data station, right?
 13:01:33 22 A. Yes. We could call the compression location where
 13:01:36 23 that preprogramming occurs, yes.
 13:01:39 24 Q. Okay. So, the reason for using that courier is to
 13:01:42 25 move the video, the compressed video, from the place where

13:03:16 1 from another level of servers.
 13:03:18 2 Q. Look, I'm not asking about that. I'm simply asking
 13:03:20 3 about whether you could use the system designed in Figure 1 to
 13:03:25 4 transport video from the place where compression occurs to the
 13:03:28 5 central data station?
 13:03:31 6 A. I -- I don't know that the place where compression
 13:03:36 7 occurs would have such a system.
 13:03:38 8 Q. But if they didn't, this is one way to solve the
 13:03:41 9 problem of getting video from the central data -- from the
 13:03:44 10 place where compression occurs to the central data station,
 13:03:48 11 right?
 13:03:48 12 A. This is a video-on-demand cable system,
 13:03:55 13 video-on-demand system for use -- it's called a cable
 13:03:58 14 provider. The problem that this addresses is very, very
 13:04:03 15 different from the problem of a location needing to transfer
 13:04:12 16 or transmit all of what it's producing to a single location.
 13:04:18 17 Q. But it could be used for that, right? And, in fact,
 13:04:25 18 all that's shown in Figure 1 is transporting it to a single
 13:04:30 19 location, right?
 13:04:34 20 A. All that's shown as opposed to --
 13:04:37 21 Q. Well, there's only one receiving station shown in
 13:04:40 22 Figure 1, right?
 13:04:41 23 A. So, you're contrasting that with having multiple
 13:04:43 24 receiving stations?
 13:04:44 25 Q. Yeah.

13:01:46 1 compression occurs to the central data station where it would
 13:01:48 2 be installed in the system, right?
 13:01:49 3 A. It has to get there somehow.
 13:01:52 4 Q. Okay. So, given that problem of moving video from
 13:01:56 5 one place to another, a possible solution to that problem is
 13:02:02 6 the system shown in Figure 1, right? It does move video from
 13:02:06 7 the central data station to the data receiving station, right?
 13:02:12 8 A. The latter half of your question, it does move video
 13:02:16 9 from the central data station to the data receiving stations,
 13:02:19 10 correct. Maybe I can ask you to clarify a little bit exactly
 13:02:22 11 how that would be used in conjunction with the compression
 13:02:25 12 location.
 13:02:26 13 Q. Okay. So, if you were to locate the apparatus we see
 13:02:30 14 at the central data station, a copy of it, at the place where
 13:02:33 15 you did the compression and put a copy of the data receiving
 13:02:37 16 station at the central data station, you could then replace
 13:02:41 17 the courier by transmitting the compressed video from the
 13:02:47 18 place where the compression occurred to the data receiving
 13:02:49 19 station, right?
 13:02:50 20 A. So, effectively what you've described is almost a
 13:02:53 21 tiered system.
 13:02:55 22 Q. Yes.
 13:02:55 23 A. A second tier system. So, I -- I worked on video on
 13:03:01 24 demand in 1994; and there certainly was no discussion of
 13:03:09 25 having the video server itself be a receiving station as well

13:04:46 1 A. I guess I don't see how that's relevant to --
 13:04:48 2 Q. Well, you said it's -- it's designed to solve a
 13:04:52 3 different problem, but what's shown in Figure 1 is
 13:04:55 4 transporting video from one location to one other location,
 13:04:57 5 right?
 13:05:01 6 A. Walter teaches us a system for use in a
 13:05:04 7 video-on-demand scenario. The point of, for example, the
 13:05:08 8 call-back line is so that different users can request whatever
 13:05:17 9 video they want, that being the purpose of video-on-demand.
 13:05:20 10 You watch Shrek, and I watch Monsters, Inc. To say that this
 13:05:26 11 is simply a point-to-point delivery system because Figure 1
 13:05:31 12 only shows one data receiving station, I think, is a
 13:05:34 13 misrepresentation of what is -- what this patent addresses.
 13:05:39 14 Q. I'm not asking about whether that -- that it's
 13:05:42 15 limited to a point-to-point transmission system. It could be
 13:05:44 16 used for a point-to-point transmission system, correct?
 13:05:53 17 A. It's extreme overkill, at best, for a point-to-point
 13:05:56 18 transmission system.
 13:05:58 19 Q. Okay. Well, it could also be used for broader
 13:06:00 20 distribution, right? And I think that's your point, that it
 13:06:03 21 is, in fact, designed for broader distribution of compressed
 13:06:08 22 video, right?
 13:06:09 23 A. What's described is a central data station that can
 13:06:11 24 serve a variety of users. This is not the same scenario as a
 13:06:18 25 single compression location needing to transmit this

Page 162	Page 164
13:06:22 1 information to a single end point.	13:09:14 1 Q. And those places, those central data stations have to
13:06:25 2 Q. Now, you said that the central data station is like a	13:09:19 2 get their content from somewhere, right?
13:06:30 3 cable head end, right?	13:09:22 3 A. Well, in the case of Walter, we see the central data
13:06:32 4 A. I'm using this colloquially so...	13:09:26 4 station has these memory modules which are installed in the
13:06:35 5 Q. But most cable systems have lots of head ends, right?	13:09:29 5 case of, for example, the -- the Time Warner building in
13:06:39 6 A. Well, it depends what we call the "head end" so...	13:09:34 6 Ithaca, they certainly have cable receivers.
13:06:43 7 Q. Most cable systems have lots of things that those	13:09:38 7 Q. Okay.
13:06:46 8 cable systems refer to as the head end, right?	13:09:38 8 A. I'm sorry, satellite receivers.
13:06:49 9 A. Yeah. And let me -- you know, what I have in mind is	13:09:40 9 Q. So, the normal way to do it is electronically rather
13:06:52 10 the single Time Warner unit address, really, building in	13:09:44 10 than sending things by courier to the cable head end, right?
13:06:59 11 Ithaca. Yeah, the central data station is at some -- some	13:09:49 11 MR. PAYNE: Objection, form.
13:07:04 12 location that can access many users so that many users can	13:09:50 12 A. I don't know what's normal in distribution these
13:07:08 13 access it.	13:09:53 13 days.
13:07:08 14 Q. And most cable systems in the Eighties have multiple	13:09:53 14 Q. (By Mr. Stephens) Okay. And you don't know what was
13:07:12 15 communities that they served and each community had its own	13:09:55 15 normal in 1985, right?
13:07:15 16 cable head end, right?	13:09:56 16 A. In terms of cable head ends, that's correct.
13:07:16 17 A. That, I don't know. I don't know how cable systems	13:09:59 17 Q. Okay. So, you don't know whether a cable operator
13:07:17 18 were configured in the Eighties.	13:10:05 18 would have been motivated to use the same kind of system that
13:07:18 19 Q. It's certainly true today, right?	13:10:07 19 we see in Walter to distribute video to its cable head ends,
13:07:20 20 A. I don't actually know how cable systems are	13:10:12 20 right?
13:07:22 21 configured today.	13:10:15 21 MR. PAYNE: Objection, form.
13:07:22 22 Q. Okay. So, you just pulled the cable head end idea	13:10:15 22 A. So, I would like to see, I guess, a diagram of the
13:07:26 23 out of your head -- I'm just trying to understand how you	13:10:20 23 type of cable system that you're referring to with what's
13:07:28 24 decided that the central data station would be located in the	13:10:22 24 called head end in order to answer that.
13:07:31 25 cable head end.	13:10:26 25 Q. (By Mr. Stephens) So, you just don't know without
Page 163	Page 165
13:07:31 1 A. Well, because colloquially, I -- I would like to	13:10:27 1 having more information; is that right?
13:07:36 2 delineate between using these as -- okay.	13:10:29 2 A. Yes.
13:07:37 3 When I write these declarations in my reports, I	13:10:47 3 Q. Okay. Would a person of ordinary skill in the
13:07:42 4 am attempting, I hope, to write these things in a manner that	13:10:50 4 Eighties have understood that you could use a disk drive in
13:07:46 5 people who are not tremendously technical can understand; and	13:10:52 5 place of the memory modules in Walter?
13:07:57 6 let me just clarify something here. And my understanding of	13:10:55 6 A. I missed the very beginning verb. Did you say "would
13:08:01 7 cable head end, which I think is a general person's	13:10:59 7 a"?
13:08:06 8 understanding of cable head end, is a location which can	13:10:59 8 Q. Would a person of ordinary skill in the art in 1985
13:08:09 9 branch out and serve multiple users.	13:11:02 9 have understood that you could use a disk drive in place of
13:08:14 10 Q. Okay. And are those often connected to other	13:11:05 10 the memory module described in Walter?
13:08:16 11 locations? So, just, for example, cable head ends often will	13:11:08 11 A. I think that one of ordinary skill would have
13:08:21 12 have satellite down link, right?	13:11:09 12 understood that you would not use a disk drive in place of the
13:08:23 13 A. Cable head ends, yes, I would agree with that. They	13:11:12 13 memory modules.
13:08:27 14 do receive information, yes.	13:11:15 14 Q. Why?
13:08:28 15 Q. And they receive it so that they can retransmit it to	13:11:15 15 A. So, first off, Walter has explicitly taught us that
13:08:30 16 their subscribers, right?	13:11:19 16 those are recirculating shift registers. But outside of that,
13:08:33 17 A. Yes.	13:11:24 17 those units had particular speed requirements in order to get
13:08:34 18 Q. And a typical cable operator like Time Warner will	13:11:33 18 the data out at the speeds required for the -- the --
13:08:38 19 possess many head ends, right?	13:11:38 19 transmission. And, in fact, Walter specifically talks about
13:08:47 20 A. I was not using the term head end as one or many.	13:11:42 20 doing the setup of the data cells to somewhat reduce those
13:08:49 21 I'm -- I'm using that term from the standpoint of from a --	13:11:46 21 rates a little bit. And I do not believe that that data could
13:08:59 22 let's use Walter's term -- data receiving station, that data	13:11:53 22 have been accessed quickly enough using hard drives with the
13:09:03 23 is going to come from some central location where there are --	13:12:01 23 speeds that they had available in the Eighties.
13:09:07 24 there is equipment located designed to appropriately get the	13:12:05 24 Q. But you -- in other words you might not be able with
13:09:11 25 material to the data receiving station.	13:12:07 25 a single magnetic disk drive to get it out fast enough to send

13:12:11 1 a two-hour movie in 31 seconds; is that right?
 13:12:13 2 A. Well, a single magnetic disk drive is certainly not a
 13:12:16 3 recirculating shift register.
 13:12:16 4 Q. No, I'm --
 13:12:16 5 A. But yes.
 13:12:19 6 Q. -- I'm specifically asking about if you replaced the
 13:12:21 7 recirculating shift register with a disk drive.
 13:12:25 8 A. If you replaced a recirculating shift register with a
 13:12:25 9 disk drive -- first off, let me note that Walter states that
 13:12:34 10 the compressed data rate is, if I remember correctly, 44
 13:12:38 11 megabits per second. So, we see that on Column 7 around
 13:12:44 12 line 39. So, given the size of single disk drives in the
 13:12:50 13 Eighties, which I don't have on the tip of my tongue, but I am
 13:12:54 14 certain would not be able to store more than several seconds
 13:12:58 15 of video -- so, from the standpoint that we're -- Walter is
 13:13:02 16 referring to a two-hour movie, I think one of ordinary skill
 13:13:07 17 would understand that.
 13:13:15 18 Q. So, you -- it's your testimony that disk drives
 13:13:18 19 weren't big enough to store a two-hour movie at that data
 13:13:22 20 rate; is that right?
 13:13:23 21 A. Well, at 44 megabits per second, two hours is 7,200
 13:13:28 22 seconds. And actually, we can do the multiplication here, 31
 13:13:38 23 seconds times 10,400 megabits per second. So, this gives
 13:13:41 24 us 300 -- 300 megabits, and I don't believe in the
 13:13:51 25 mid Eighties there were 300 megabit single magnetic drives.

13:15:21 1 Walter, right?
 13:15:25 2 A. I don't know that that would be possible in that one
 13:15:31 3 would have a substantial issue in coordinating what I expect
 13:15:36 4 to be a fairly large number of drives. I mean, we're not
 13:15:39 5 talking, like, five drives here to get 300 megabits.
 13:15:42 6 Q. Do you know how many drives would be required?
 13:15:46 7 A. I am sure it would be at least double digit; but,
 13:15:49 8 again, I just don't have those disk capacities on the tip of
 13:15:53 9 my tongue right now. So, I'd rather not do some hypothetical
 13:15:57 10 calculation which may or may not. But I am confident that it
 13:16:00 11 would be double digit and possibly even over a hundred. So,
 13:16:04 12 having said that, the data could certainly be stored. One
 13:16:12 13 could have the fiber optic lines, but there's still a matter
 13:16:17 14 of getting the data off and coordinating it, for lack of a
 13:16:23 15 better way to put it, in such a manner that it can be
 13:16:28 16 appropriately multiplexed and packaged for transmission over
 13:16:35 17 the fiber optic links as taught.
 13:17:09 18 Q. Now, earlier today we were talking about audio
 13:17:12 19 compression and you said that a person of ordinary skill in
 13:17:18 20 the art would have been aware of statistical characteristics
 13:17:22 21 of audio and the way human ear processes audio information,
 13:17:28 22 right?
 13:17:28 23 A. Yes.
 13:17:28 24 Q. Now, humans hear audio in a way that makes them more
 13:17:40 25 sensitive to lower frequencies across the human hearing range;

13:13:55 1 Q. Okay. But you could stripe disk drives together in a
 13:13:59 2 RAID array to get that size, right?
 13:14:08 3 A. Can you give me a little bit more details about what
 13:14:10 4 you mean by RAID? I think I mentioned --
 13:14:14 5 Q. Redundant --
 13:14:14 6 A. Yeah, I understand what Redundant Arrays of -- I'll
 13:14:17 7 let you finish that.
 13:14:18 8 Q. I think it's variously called Inexpensive Disks or
 13:14:22 9 something else, which I don't remember.
 13:14:25 10 A. So, my familiarity with RAID also came from when I
 13:14:28 11 worked on video on demand, which was in 1994. So, all the
 13:14:32 12 nuances involved in -- in something specific known as RAID, I
 13:14:37 13 don't -- I'm uncomfortable answering. I would agree that one
 13:14:42 14 could assemble a large group of disk drives such that the sum
 13:14:47 15 of the capacity was 300 megabits.
 13:14:50 16 Q. And it was known that you could stripe data across
 13:14:53 17 them and to increase the I/O, the data rate, at which you
 13:14:57 18 could read them, right?
 13:14:58 19 A. I don't know for a fact that that was known in the
 13:15:01 20 mid Eighties, but I think it's reasonable to expect that it
 13:15:03 21 was.
 13:15:04 22 Q. Okay. And if your only concern was to send it
 13:15:08 23 quickly but maybe not send it in 31 seconds, you could use a
 13:15:13 24 RAID array of disks in 1985 to store digital video and send it
 13:15:19 25 faster than real-time over the optical network described in

13:17:44 1 is that right? Or maybe I should say more finely able to
 13:17:49 2 distinguish between tones at lower frequencies?
 13:17:53 3 A. That's very well put. Yes. We essentially have a
 13:17:57 4 filter bank in our ears, and the bandwidths of the lower
 13:18:00 5 frequency channels are narrower. So, therefore, if we have
 13:18:04 6 two tones that are some distance apart, they may fall into
 13:18:08 7 separate channels and we can delineate that. Where if we move
 13:18:13 8 them up to higher frequencies, they may fall within a single
 13:18:14 9 filter bandwidth.
 13:18:14 10 Q. And that's reflected in the way musical instruments
 13:18:18 11 are configured in octaves, right?
 13:18:24 12 A. I think that's a philosophical question. I don't --
 13:18:27 13 I don't know even what configured for a musical instrument
 13:18:32 14 means.
 13:18:33 15 Q. Well, for example, you've referred to the keys on a
 13:18:36 16 piano keyboard as being in different octaves, right?
 13:18:41 17 A. Yes, but that -- okay.
 13:18:44 18 Q. And an octave in ordinary acoustical meaning refers
 13:18:49 19 to doubling frequency. If you move up one octave, you double
 13:18:53 20 the frequency?
 13:18:54 21 A. Oh, okay. Now I understand what you're saying. So,
 13:18:56 22 I would say that, yeah, our -- as humans, our representation
 13:19:00 23 of music or acoustical information is tied in with -- with our
 13:19:04 24 hearing sensitivity, yeah. That statement, I -- that I just
 13:19:08 25 said, I would -- I would agree with.

Page 170	Page 172
<p>13:19:10 1 Q. Okay. And, so, the entire human hearing frequency 13:19:14 2 range from 10,000 hertz to 20,000 hertz is really just the top 13:19:18 3 octave; is that right? 13:19:23 4 A. Now, let's delineate -- octaves are terms that we use 13:19:29 5 as humans to describe music. With respect to the ear, we 13:19:34 6 refer to what are called critical bands. And the critical 13:19:40 7 bands are effectively -- there's no one single answer for 13:19:43 8 exactly where the edges of the critical bands are. I think 13:19:47 9 there's sort of general agreement, but specifically people can 13:19:51 10 move them around a little bit -- is the critical bands that we 13:19:54 11 refer to that actually serve as the frequency delineations in 13:20:00 12 the ear. Now -- 13:20:01 13 Q. How many critical bands are there? 13:20:04 14 A. I don't know how many critical bands there are, but 13:20:07 15 to map the critical band back to your question or the area 13:20:10 16 that you talked about between 10,000 and 20,000, I do not know 13:20:16 17 whether there are critical boundaries, critical band 13:20:21 18 boundaries in that range or not. 13:20:24 19 Q. Do you know approximately how many critical bands 13:20:26 20 there are? 13:20:30 21 A. They're on the order of tens -- "tens" meaning order 13:20:33 22 of magnitude so -- 13:20:35 23 Q. But not a hundred, right? 13:20:36 24 A. Not a hundred, no. 13:20:39 25 Q. Do you know offhand statistically how much musical</p>	<p>13:22:05 1 A. You know, I have to say that I have no idea what unit 13:22:10 2 you have, how it is computing, how much it should light up of 13:22:14 3 those, what type of preprocessing or signal conditioning 13:22:18 4 they're doing, whether that is reflecting what your graphic 13:22:22 5 equalizer settings are or not. So, I -- I would not like to 13:22:25 6 make any type of guess based on what you've just told me. 13:22:29 7 Q. Do you have any reason to believe that it -- do you 13:22:35 8 have any reason to disbelieve the proposition that I'm making 13:22:38 9 that there is relatively little musical content above 13 13:22:43 10 kilohertz? 13:22:43 11 A. Well, I'll make two comments. One is I don't know 13:22:46 12 what "relatively little" is; and secondly, I do believe that 13:22:52 13 the standard that exists for digitizing audio for compact 13:22:56 14 disks was designed by smart people and it is a reasonable 13:23:02 15 standard and the fact that they sample at 44.1 kilohertz, 13:23:08 16 which would be twice of the highest frequency of 22.05, I 13:23:13 17 believe that decision was made on sound engineering 13:23:15 18 principles. And if they really believed that, indeed, we 13:23:19 19 needed to -- we could get away with cutting off at 13.5 or 15 13:23:24 20 or 17, that we would have that because the end result means 13:23:29 21 that we would -- this goes back to our storage. We would have 13:23:33 22 more data on a compact disk. 13:23:34 23 Q. Is it fair to say, though, that that decision does 13:23:37 24 not mean that information is uniformly distributed across the 13:23:42 25 audio spectrum?</p>
Page 171	Page 173
<p>13:20:44 1 information is represented in a band from 10,000 to 20,000 13:20:48 2 hertz? 13:20:49 3 A. So, can you clarify what you mean by "statistically" 13:20:52 4 and how much -- 13:20:52 5 Q. If you take a large sample of popular music, how much 13:20:56 6 information content, how much power relative to the entire 13:21:02 7 signal is being delivered in that band from 10 to 20 13:21:06 8 kilohertz. 13:21:07 9 A. Okay. So, now we've, okay, clarified with respect to 13:21:09 10 power. 13:21:10 11 So, were we to take, say, eight FM radio 13:21:17 12 stations, hopefully one of which would include classical 13:21:21 13 music, and average out, essentially do a histogram for power 13:21:26 14 across various frequency bands, I don't know what that 13:21:28 15 histogram would look like at -- let me just emphasize that it 13:21:33 16 is important to average. We can't take a single piece of 13:21:36 17 music and say that it's -- or any audio content, a symbol 13:21:39 18 crash, and say that it's representative for the symbol class 13:21:41 19 as a whole. 13:21:42 20 Q. Well, let me just give you an example of personal 13:21:43 21 experience. I have a rather elaborate stereo system that has, 13:21:49 22 in part, a sort of frequency analyzer display which I can 13:21:52 23 watch while I'm listening to music; and I see very little 13:21:56 24 content above 13 kilohertz ever -- do you have any reason to 13:22:01 25 disagree with that -- over the type of music that I listen to.</p>	<p>13:23:44 1 A. Information is certainly not, in the content of any 13:23:47 2 type of audio with the exception of white noise, uniformly 13:23:52 3 distributed across the audio spectrum. 13:23:55 4 Q. Okay. And in fact, it is concentrated in the bands 13:23:57 5 in which human speech is also concentrated, right? 13:24:05 6 A. I have a particular plot in mind from one of my 13:24:08 7 lectures, but I honestly don't remember the axes so well. So, 13:24:12 8 I'm a little hesitant to nail it down to that, but I will say 13:24:15 9 that certainly in terms of our sensitivity, we have -- on a 13:24:19 10 log/log plot, we have a peak that goes up at frequencies below 13:24:25 11 10 kilohertz and then falls off. And I'll just add, we have 13:24:29 12 an extreme sensitivity at a high frequency range which is 13:24:33 13 where babies scream. It's true. 13:24:34 14 Q. And what is that frequency? 13:24:37 15 A. I think that's around 7,000 hertz, but it's very, 13:24:39 16 very clear on the plot. 13:24:42 17 Q. We need to change the tape. So, let's take a very 13:24:44 18 brief break. 13:24:45 19 THE VIDEOGRAPHER: Off the record at 1:24. 20 (Recess taken) 13:27:00 21 THE VIDEOGRAPHER: This is the deposition of 13:27:22 22 Dr. Hemami. The time is 1:27. We're back on the record. 13:27:27 23 Q. (By Mr. Stephens) Dr. Hemami, if you were to take 13:27:29 24 Claim 1 of the '995 patent completely apart from the 13:27:33 25 disclosure of the specification or the drawings --</p>

13:27:38 1 A. Could I just see the patent? I don't want to go on
 13:27:40 2 my memory of the claim.
 13:27:50 3 Q. All right. Just a second.
 13:28:04 4 This has previously been marked as Exhibit 1.
 13:28:13 5 MR. STEPHENS: Les, I'm assuming you've got
 13:28:13 6 that?
 13:28:14 7 MR. PAYNE: Yeah, thanks.
 13:28:15 8 A. Okay.
 13:28:16 9 Q. (By Mr. Stephens) If you took Claim 1, would you be
 13:28:17 10 able to build that -- a person of ordinary skill in the art,
 13:28:21 11 would they have been able to build that just based on what
 13:28:25 12 they knew as a person of ordinary skill in the art?
 13:28:28 13 MR. PAYNE: Wait, this is a -- is this an
 13:28:30 14 enablement question?
 13:28:33 15 MR. STEPHENS: No. It's actually about Walter;
 13:28:33 16 so, bear with me.
 13:28:40 17 MR. PAYNE: Can you repeat --
 13:28:41 18 Q. (By Mr. Stephens) So, let me -- let me just ask the
 13:28:41 19 question differently. Would a person of ordinary skill in the
 13:28:45 20 art in 1985, if they were presented with Claim 1 of the '995
 13:28:48 21 patent and the disclosure of Walter been able to build what's
 13:28:51 22 claimed?
 13:28:56 23 A. Okay. I'm going to repeat this to make sure I
 13:28:59 24 understand this. This person has Walter.
 13:29:02 25 Q. Yes.

13:30:43 1 Q. Looks like --
 13:30:45 2 A. -- I've mentioned that --
 13:30:48 3 Q. Okay. Go ahead.
 13:30:49 4 A. I don't know what the host -- I don't know enough
 13:30:50 5 about the host computer to say whether it was capable of doing
 13:30:53 6 that or not.
 13:30:55 7 Q. I think your misapprehending my question a little
 13:30:56 8 bit. I'm not asking whether all the elements in Claim 1 are
 13:31:00 9 present in Walter. I'm asking whether if you had the
 13:31:03 10 information in Walter, would you be able to build what's in
 13:31:05 11 Claim 1 if you were looking at Claim 1 so it tells you you
 13:31:09 12 need something to do compression and it tells you you need
 13:31:12 13 random access memory. With that and the knowledge of a person
 13:31:15 14 of ordinary skill in the art and Walter, would you be able to
 13:31:22 15 build what's in Claim 1?
 13:31:28 16 A. I -- I -- I genuinely do not understand the question.
 13:31:35 17 Q. Well, so, for example, when it talks about a means
 13:31:42 18 for compressing audio/video source information, Walter
 13:31:47 19 describes an algorithm for doing that, right?
 13:31:51 20 A. Walter mentions an algorithm for compression of
 13:31:55 21 video.
 13:31:56 22 Q. Okay. And random access storage means is something
 13:31:59 23 that people of ordinary skill in the art were very familiar
 13:32:02 24 with in the mid Eighties, right?
 13:32:06 25 A. Random access storage would have been known to one of

13:29:03 1 A. And this person has just the text of Claim 1 from the
 13:29:09 2 '995 patent?
 13:29:11 3 Q. That's right.
 13:29:20 4 A. Well, my first off-the-cuff remark is that Walter
 13:29:25 5 hasn't given us any compression means.
 13:29:29 6 Q. Okay. So, let me ask it slightly differently then.
 13:29:33 7 Let's look only at the functions for the means-plus-function
 13:29:37 8 claims.
 13:29:38 9 A. Let me also add, we don't have any random access
 13:29:41 10 storage means. So -- okay. So, you would like me to look
 13:29:43 11 just at what's going on --
 13:29:44 12 Q. Right.
 13:29:45 13 A. -- not the thing itself.
 13:29:46 14 Q. Right. I'm not asking you to perform the 112
 13:29:48 15 analysis. If you'd just look at the functions that are
 13:29:50 16 recited.
 13:29:50 17 A. I don't know what that means.
 13:29:52 18 Q. Fair enough. If you just look at the -- the
 13:29:53 19 functions that are recited and you take a person of ordinary
 13:29:56 20 skill in the art that has that list of functions in Claim 1
 13:30:00 21 and Walter, would they have enough information to build
 13:30:04 22 Claim 1?
 13:30:26 23 A. I guess I'm not exactly -- I mentioned that Walter
 13:30:28 24 doesn't give us any compression mechanism. Walter doesn't
 13:30:32 25 teach us that anything in Figure 1 is a compressor, and --

13:32:10 1 skill.
 13:32:11 2 Q. Okay. And Walter describes a way of outputting
 13:32:16 3 audio/video information over an optical fiber faster than
 13:32:20 4 real-time, right?
 13:32:27 5 A. Walter describes -- in fact, most of the stuff in the
 13:32:31 6 central data station is to get the data onto the fiber optic
 13:32:36 7 lines.
 13:32:36 8 Q. Okay. So, is there anything that's in Claim 1 that
 13:32:40 9 you would not be able to figure out how to do if you had
 13:32:45 10 Claim 1 and the disclosure of Walter?
 13:32:50 11 THE REPORTER: I'm sorry, "disclosure of" --
 13:32:53 12 MR. STEPHENS: Of Walter in 1985.
 13:32:54 13 A. Well, Claim 1 also requires an input means.
 13:33:00 14 Q. (By Mr. Stephens) Okay.
 13:33:00 15 A. And I look at Walter and we've discussed that our
 13:33:11 16 central data station does not have an input means.
 13:33:13 17 Q. But the receiving station does, right?
 13:33:16 18 A. The receiving station does receive.
 13:33:19 19 Q. So, if you need an input means, the -- the structures
 13:33:23 20 for creating one are described in Walter, right?
 13:33:32 21 A. Walter -- there are two other things here, and I
 13:33:34 22 think I'm still not exactly understanding what it is you're
 13:33:37 23 asking me. Claim 1 starts off with an audio/video transceiver
 13:33:42 24 apparatus. So, we have an apparatus, not two apparatus or --
 13:33:48 25 Q. Okay.

Page 178	Page 180
13:33:49 1 A. -- or two central -- two separate locations.	13:36:10 1 of hindsight and you were looking at the claim?
13:33:52 2 Q. So, you're looking at Claim 1; and it tells you, you	13:36:14 2 A. Well -- --
13:33:55 3 want them both in a transceiver, you're trying to build a	13:36:15 3 MR. PAYNE: Objection, form.
13:33:59 4 transceiver. Walter has both a transmitter and a receiver.	13:36:16 4 A. -- I feel like I'm still missing something; but let
13:34:02 5 Now, according to you, it doesn't disclose or suggest putting	13:36:20 5 me say, I look at Walter. First off, I want a single
13:34:05 6 them together into one box, but Claim 1 does, right? So, if	13:36:24 6 apparatus.
13:34:09 7 you had Claim 1 and the disclosure of Walter, you'd have a	13:36:24 7 Q. (By Mr. Stephens) Okay. And the claim tells you
13:34:12 8 transmitter and you'd have a receiver and you could combine	13:36:25 8 that, single apparatus?
13:34:16 9 them in a way to make a transceiver, right?	13:36:27 9 A. And I don't see that in Walter. The --
13:34:17 10 A. So, at the risk of sounding as if I'm trying to	13:36:31 10 Q. But -- but could you build it, given the disclosure
13:34:19 11 agitate you -- and I'm truly not; this is a genuine legal	13:36:33 11 of Walter and the suggestion from the claim?
13:34:22 12 question -- isn't this a classical case of hindsight? I have	13:36:35 12 A. Well, let me work through the four elements.
13:34:25 13 ingredients in a box -- --	13:36:37 13 Q. Okay.
13:34:28 14 Q. It absolutely is, yes.	13:36:38 14 A. So, okay, we have our apparatus requirement. Input
13:34:28 15 A. -- that I didn't intent to --	13:36:43 15 means: So, I look at Walter and Walter as a data receiving
13:34:31 16 Q. I'm saying, you have the claim.	13:36:47 16 station certainly has input means. The signal gets on it
13:34:31 17 A. -- put together and --	13:36:52 17 through the fiber optic. Compression means: Now, I think I'm
13:34:32 18 Q. So, I'm asking you, if you have that hindsight, if	13:36:58 18 still confused about what's going on here, but one of ordinary
13:34:35 19 you have the claim, do you have all the technology that you	13:37:04 19 skill certainly understands -- would understand, as we just
13:34:39 20 need to build it? So, yes, I agree with you. This is	13:37:07 20 discussed, that this thing could be implemented in a variety
13:34:42 21 hindsight I'm asking you to engage in.	13:37:10 21 of ways, which doesn't really pertain to what Walter provides
13:34:45 22 A. Well, again, we don't -- we don't have -- Walter has	13:37:16 22 us with other than a single -- an algorithm.
13:34:49 23 given us a compression algorithm. Walter -- Walter has said	13:37:20 23 Q. But that algorithm would be enough to create the
13:34:56 24 the data is compressed using this algorithm. Walter has not	13:37:23 24 compression means of the claim, right?
13:34:59 25 given us any mechanism by which --	13:37:29 25 A. I feel like that might be a legal question, and I
Page 179	Page 181
13:35:03 1 Q. Now, you told the Court --	13:37:31 1 don't know how to answer that.
13:35:04 2 A. -- that could be done.	13:37:32 2 Q. Okay. I'm just talking about the function.
13:35:05 3 Q. -- in the -- in the tutorial that a system designer	13:37:34 3 A. So --
13:35:08 4 wouldn't be so concerned with the details of that because the	13:37:34 4 Q. So, there is an algorithm that is present in Walter
13:35:11 5 system designer would understand that there were a variety of	13:37:37 5 for compressing audio/video source information; and one of
13:35:14 6 ways to implement a compression algorithm once you had it,	13:37:43 6 ordinary skill would be able to built it based on the
13:35:18 7 right?	13:37:46 7 disclosure in Walter. I think you've already said that,
13:35:19 8 A. Yes.	13:37:48 8 right?
13:35:21 9 Q. You mentioned, like, an ASIC and a -- maybe you	13:37:48 9 A. Okay. Yeah, let's not use the word "means" there.
13:35:23 10 mentioned a field programmable gate array. I forget, but you	13:37:51 10 Q. Okay.
13:35:28 11 mentioned a number of different approaches?	13:37:51 11 A. Okay. Random access storage means: Walter does
13:35:30 12 A. Yes, that's correct.	13:37:53 12 not -- not only does Walter not have random access but, as I
13:35:30 13 Q. Okay. So, a person of ordinary skill looking at	13:37:58 13 have mentioned in my declaration, I believe that Walter
13:35:34 14 Claim 1 and looking at Walter would understand those ways	13:38:01 14 actually gives us a specific alternative. The system is
13:35:36 15 would be available to them to implement the algorithm	13:38:05 15 designed around using these recirculating shift registers. We
13:35:38 16 described in Walter, right?	13:38:09 16 talked a little bit, had a disagreement as to whether one
13:35:43 17 A. I think in the -- in the -- this goes back to could	13:38:12 17 would want to create such a thing from a DRAM or a random
13:35:45 18 we do this on a computer. In the abstract, an algorithm,	13:38:16 18 access. So, while random access storage would be known to one
13:35:48 19 provided that it can be implemented, is -- one has options to	13:38:22 19 of skill, I think looking at Walter, random access storage is
13:35:54 20 do that, yes, that's certainly true.	13:38:27 20 not something that one of skill would understand would be
13:35:56 21 Q. And those options were understood to persons of	13:38:30 21 appropriate given the system, so, just simply looking at what
13:35:58 22 ordinary skill, right?	13:38:35 22 we have available in Walter.
13:36:00 23 A. One would hope, yes.	13:38:37 23 Q. Okay. But, again, a person of ordinary skill in the
13:36:00 24 Q. Okay. So, is there anything in Claim 1 that would	13:38:40 24 art would know about RAM, right, so when they read it in the
13:36:05 25 not be enabled by Walter, if -- again, if you had the benefit	13:38:43 25 claim, they'd know what it is?

Page 182	Page 184
13:38:44 1 A. They would certainly have an understanding of what	13:41:05 1 random access memory, is there anything else that you would
13:38:46 2 random access referred to.	13:41:09 2 need in order to be able to do it; or is the suggestion
13:38:47 3 Q. And they would know that you could store video,	13:41:12 3 enough?
13:38:49 4 compressed video in random access memory, right?	13:41:15 4 A. I'm not sure what you mean by --
13:38:52 5 A. I don't know if compressed video was stored in random	13:41:16 5 Q. Do what the claim requires. In other words --
13:38:56 6 access memory at that time. I -- I don't know.	13:41:24 6 A. I'm still not sure I understand what you're asking.
13:38:59 7 Q. Certainly it was done in the process of compressing	13:41:26 7 Q. -- random access storage coupled to compression for
13:39:01 8 it on a computer, correct?	13:41:29 8 storing a time compressed representation. So, would a person
13:39:02 9 A. Well, actually, I don't know that either. I mean,	13:41:32 9 of ordinary skill in 1985 who had that suggestion along with
13:39:05 10 for one, what do we call compressed video? Is it a portion of	13:41:37 10 the rest of the claim be able to build a random access storage
13:39:08 11 a bit stream? Is it the whole thing? If we are using the RAM	13:41:41 11 means that stored the compressed video as we've talked about?
13:39:12 12 as a buffer, do we call that storage or do we call it a	13:41:48 12 A. Okay. Can you repeat that again?
13:39:16 13 buffer? I think one of skill would not necessarily say that	13:41:50 13 Q. Yes. Would a person in 1985 looking at the claim
13:39:19 14 passing through a buffer would be storage.	13:41:54 14 language that talks about a random access storage coupled to
13:39:22 15 Q. Okay. So, I just -- I just need an answer. Would a	13:41:57 15 the compression for storing a time compressed representation,
13:39:24 16 person of ordinary still, then, looking at the claim and	13:42:01 16 would they be able to build such a random access storage
13:39:26 17 having Walter available be enabled to store video in random	13:42:06 17 device?
13:39:32 18 access memory by that combination?	13:42:10 18 A. Well, I think certainly they could go with a bunch of
13:39:35 19 A. Okay. So, I think that be enabled has a legal	13:42:14 19 chips and set them off. Now, again, you know, Walter doesn't
13:39:36 20 meaning --	13:42:17 20 give us any compression means. So, this is all very nebulous,
13:39:36 21 Q. Oh --	13:42:20 21 right? We've got some way that some system designer is going
13:39:37 22 A. -- and I'm a little bit uncomfortable with that --	13:42:23 22 to implement the compression means. Can that be interfaced to
13:39:38 23 Q. -- forget -- forget about the word "enabled."	13:42:27 23 random access storage means? I guess that depends on how they
13:39:39 24 Would they have enough knowledge at their	13:42:31 24 implement the compression means.
13:39:42 25 disposal in 1985 to do what the claim requires with respect to	13:42:31 25 Q. Okay. But if you were -- if your boss handed you
Page 183	Page 185
13:39:45 1 random access storage?	13:42:34 1 Claim 1 and said "Go implement it" and here's a piece of known
13:39:48 2 A. They would understand what random access storage was,	13:42:38 2 technology, Walter, in 1985, you'd be able to do that, right?
13:39:51 3 and certainly the claim is suggesting that the compressed	13:42:42 3 You, meaning a person of ordinary skill in the art.
13:39:55 4 video be put in the random access storage. So, from that	13:42:50 4 A. I think that -- I don't know, would be able to do
13:40:00 5 standpoint, they at least have the planting of an idea.	13:42:54 5 that, given Walter. It's not clear to me that Walter would be
13:40:04 6 Q. And is there any -- anything else they would need in	13:42:58 6 the only thing that one would need --
13:40:05 7 order to be able to actually build something that did that?	13:43:00 7 Q. Well, no. You'd have the other resources that a
13:40:09 8 A. Well, yeah. Let me -- we're still on --	13:43:02 8 person of ordinary skill would have at the time.
13:40:11 9 Q. Okay. Keep going.	13:43:09 9 A. And it's not clear to me that there would not
13:40:12 10 A. -- I think I have -- output means is right because I	13:43:11 10 necessarily have to be some creativity in getting everything
13:40:14 11 think that --	13:43:16 11 to work. I mean, let's face it. If building things was so
13:40:15 12 Q. No, you're right.	13:43:22 12 easy, we'd have a lot more engineers in the world and probably
13:40:16 13 A. -- we did random access.	13:43:25 13 a lot better design systems around us. What I'm trying to --
13:40:18 14 Okay. So, output means. So, again, looking at	13:43:32 14 what I'm trying to say here is, I don't think that if one had
13:40:24 15 Walter, we see that the central data station does provide a	13:43:36 15 all of these units in a box, legal box, one could just pull
13:40:32 16 mechanism by which the stored video in the memory modules,	13:43:40 16 them out and interconnect and in the course of an afternoon be
13:40:38 17 which are not random access, can be transmitted from the	13:43:43 17 done.
13:40:41 18 central data station to the data receiving station.	13:43:43 18 Q. No --
13:40:46 19 Q. Okay. And that can be done faster than real-time so	13:43:43 19 A. And then say, "Well, okay. So, I also have to do a
13:40:48 20 that you're compressed representation would have an associated	13:43:46 20 compression means and a random access storage means."
13:40:52 21 time period shorter than the time period associated with	13:43:49 21 I think that it would -- you know, it would
13:40:56 22 real-time representation, right?	13:43:51 22 require some work. This is a nontrivial operation. And it
13:40:57 23 A. That's what Walter -- Walter tells us that we have 31	13:43:55 23 may require some -- some unique design elements which, you
13:41:00 24 seconds to get a two-hour movie.	13:43:57 24 know, I don't think I could foresee, given the ten minutes
13:41:03 25 Q. Okay. So, given the suggestion in the patent about	13:44:02 25 we've been talking about this but --

Page 186	Page 188
<p>13:44:02 1 Q. So, there's nothing you could point to that you would</p> <p>13:44:05 2 say, "Ah, I see a problem here that, you know, really takes</p> <p>13:44:08 3 something from the disclosure in Lang to solve"?</p> <p>13:44:11 4 A. Well, I don't see anything immediately, but my</p> <p>13:44:16 5 experience, which I don't think is unique, is that sometimes</p> <p>13:44:19 6 we get -- we have to get into a problem a little bit to truly</p> <p>13:44:24 7 understand that -- what the issues are. And certainly from</p> <p>13:44:28 8 experience, the issue that arise are more often than not not</p> <p>13:44:32 9 the ones that were anticipated at the beginning of a design</p> <p>13:44:36 10 process.</p> <p>13:44:37 11 Q. And sometimes you don't find that so you try and</p> <p>13:44:38 12 build it, right?</p> <p>13:44:39 13 A. That's right. That's right.</p> <p>13:44:41 14 Q. Okay. But sitting here now, you can't identify</p> <p>13:44:43 15 anything, right?</p> <p>13:44:44 16 A. Certainly not in the short time period, you know.</p> <p>13:44:47 17 I'm sure that on the plane something will smack me upside the</p> <p>13:44:49 18 face.</p> <p>13:44:51 19 Q. Okay. Now, I'd like to move on a little bit since we</p> <p>13:44:53 20 don't have much time and there's a whole lot more in your</p> <p>13:44:57 21 declarations. Now, one thing I noticed in your declarations</p> <p>13:45:01 22 is that you don't anywhere say that Kramer fails to disclose</p> <p>13:45:05 23 transmitting audio data faster than real-time. Is that right?</p> <p>13:45:09 24 Is that your recollection?</p> <p>13:45:12 25 A. I have not made that statement, but I firmly believe</p>	<p>13:45:51 1 Q. (By Mr. Stephens) Why, Dr. Hemami, do you believe</p> <p>13:45:55 2 that Kramer does not disclose transmitting audio information</p> <p>13:45:58 3 faster than real-time?</p> <p>13:45:59 4 A. So, I read Allen Gersho's explanation, and I believe</p> <p>13:46:05 5 that to be completely correct.</p> <p>13:46:08 6 Q. So, you believe that it has a hundred subband</p> <p>13:46:09 7 decoders; is that right?</p> <p>13:46:11 8 A. I believe that Mr. Kramer did not necessarily</p> <p>13:46:16 9 understand subband coding very well, that he used four</p> <p>13:46:20 10 decoders in the figures and that he believed that he needed a</p> <p>13:46:26 11 large number of subband decoders for the implementation.</p> <p>13:46:29 12 Q. But he doesn't anywhere disclose a large number of</p> <p>13:46:33 13 subband decoders, right?</p> <p>13:46:34 14 A. He mentions a hundred.</p> <p>13:46:35 15 Q. No, he does not anywhere. If you can identify it,</p> <p>13:46:39 16 tell me.</p> <p>13:46:39 17 A. The number 100 is in the specification.</p> <p>13:46:41 18 Q. Yeah. It says that -- that -- and I'll read it to</p> <p>13:46:44 19 you. Bear with me one moment here. Dig through this stack of</p> <p>13:47:03 20 papers here.</p> <p>13:47:04 21 A. You're going to find your lost exhibit tags.</p> <p>13:47:27 22 Q. I know.</p> <p>13:47:28 23 It says: "This output will be at a speed much</p> <p>13:47:29 24 faster, at least 100 times, than that required for actual</p> <p>13:47:33 25 sound reproduction." Okay. And then later it says that "the</p>
Page 187	Page 189
<p>13:45:15 1 that to be true.</p> <p>13:45:17 2 Q. Okay. And why do you believe that?</p> <p>13:45:20 3 MR. PAYNE: Hold on a second. You're outside</p> <p>13:45:21 4 the scope of the declaration by your own admission.</p> <p>13:45:25 5 MR. STEPHENS: She opined about Kramer. If</p> <p>13:45:27 6 you're going to direct her not to answer questions about</p> <p>13:45:29 7 Kramer, you go right ahead. Are you going to do that?</p> <p>13:45:32 8 MR. PAYNE: That question, she's not going to</p> <p>13:45:32 9 answer. Your -- by your own admission, you're beyond the</p> <p>13:45:35 10 scope of the declaration.</p> <p>13:45:37 11 MR. STEPHENS: That is -- her -- the scope of</p> <p>13:45:38 12 her declaration includes Kramer which she admits she's</p> <p>13:45:39 13 studied. If you're going to -- you're directing her not to</p> <p>13:45:41 14 answer?</p> <p>13:45:41 15 MR. PAYNE: I tell you what, if you're going</p> <p>13:45:41 16 to --</p> <p>13:45:42 17 MR. STEPHENS: Les, this is simple. Are you</p> <p>13:45:42 18 going to --</p> <p>13:45:42 19 MR. PAYNE: Go ahead.</p> <p>13:45:43 20 MR. STEPHENS: Okay. Then I'm going to go</p> <p>13:45:45 21 ahead.</p> <p>13:45:45 22 MR. PAYNE: If you want to do that --</p> <p>13:45:46 23 MR. STEPHENS: All right. So, stop wasting my</p> <p>13:45:48 24 time. Make your objection. I'm going to keep using the</p> <p>13:45:50 25 precious time that I have.</p>	<p>13:47:39 1 decoder can read the data at the required slower reproduction</p> <p>13:47:44 2 rate by taking, for example, only one out of every 100 bits of</p> <p>13:47:48 3 information presented to it at a time."</p> <p>13:47:50 4 Those are the only two times that the number 100</p> <p>13:47:54 5 appears with respect to the transmission of data; and there</p> <p>13:47:59 6 are only four decoders, subband decoders shown.</p> <p>13:48:04 7 A. Yes.</p> <p>13:48:05 8 Q. So, when it says: "This output will be at a speed</p> <p>13:48:09 9 much faster than that required for actual sound reproduction,</p> <p>13:48:12 10 it's your testimony that that's just wrong; is that right?</p> <p>13:48:15 11 A. Yes.</p> <p>13:48:15 12 Q. Okay. Now, in Kepley, Kepley also discloses sending</p> <p>13:48:27 13 voicemail messages faster than real-time, right?</p> <p>13:48:32 14 A. Can I -- can I have a copy of Kepley, please?</p> <p>13:48:35 15 Q. Yeah. Here's Exhibit 250.</p> <p>13:48:35 16 (Exh.250 marked)</p> <p>13:48:37 17 MR. STEPHENS: Do you have that, Les?</p> <p>13:48:39 18 MR. PAYNE: Yes. Thanks. Objection to form.</p> <p>13:48:44 19 Q. (By Mr. Stephens) And you'll see in here in the</p> <p>13:49:21 20 summary invention in Column 2, line 63, it says that the</p> <p>13:49:25 21 wideband transmission facility of this computer data file</p> <p>13:49:29 22 transmission can be executed faster than a real-time voice</p> <p>13:49:34 23 message transmission. Do you see that?</p> <p>13:49:35 24 MR. PAYNE: Where -- where -- what column? Do</p> <p>13:49:35 25 you -- what line?</p>

Page 190	Page 192
<p>13:49:35 1 MR. STEPHENS: Column 2, line 63.</p> <p>13:49:38 2 A. Yes.</p> <p>13:49:38 3 Q. (By Mr. Stephens) It talking about transferring a</p> <p>13:49:40 4 data file containing voicemail messages, right?</p> <p>13:49:44 5 A. It's not clear to me that single data file has</p> <p>13:49:48 6 messages, but --</p> <p>13:49:48 7 Q. Well, it says the "message transfer capability</p> <p>13:49:51 8 includes the transmission of an in-depth header, containing</p> <p>13:49:55 9 for example the name of the message... and the telephone</p> <p>13:49:57 10 number of the message sender and the message recipient, along</p> <p>13:50:01 11 with the recorded message."</p> <p>13:50:02 12 A. Right. So, I think that's a single message.</p> <p>13:50:05 13 Q. Okay. I see. I -- I understand. Then it says, the</p> <p>13:50:08 14 "intermessage service system communication efficiently uses</p> <p>13:50:13 15 transmission capacity by performing the message transfer as a</p> <p>13:50:15 16 computer-to-computer data file transfer over high speed data</p> <p>13:50:20 17 lines which provides error correction capability." Then it</p> <p>13:50:23 18 says: "If a wideband transmission facility is available, this</p> <p>13:50:28 19 computer data file transmission can be executed faster than a</p> <p>13:50:31 20 realtime voice message transmission."</p> <p>13:50:35 21 A. Yes.</p> <p>13:50:35 22 Q. Right?</p> <p>13:50:36 23 Now, that explicitly describes sending a</p> <p>13:50:38 24 voicemail message in less time than it takes to listen to it,</p> <p>13:50:41 25 right?</p>	<p>13:52:11 1 rate interface, ISDN connection, right?</p> <p>13:52:15 2 MR. PAYNE: Objection, form.</p> <p>13:52:16 3 A. No, I don't think that's correct.</p> <p>13:52:18 4 Q. (By Mr. Stephens) It was 64 kilobits per second, you</p> <p>13:52:20 5 testified earlier?</p> <p>13:52:21 6 A. The D channel was 64 kilobit per second.</p> <p>13:52:24 7 Q. Okay.</p> <p>13:52:24 8 A. But the D channel is a signaling channel for use by</p> <p>13:52:28 9 the provider, and those D channels, both in basic rate</p> <p>13:52:33 10 interface and in primary RAM interface, regardless of the</p> <p>13:52:36 11 D channel bandwidth, did provide the capability for a data</p> <p>13:52:42 12 link. So, essentially the provider in this case, AT&T, could</p> <p>13:52:48 13 steal some of their signaling bandwidth for a data capability;</p> <p>13:52:52 14 but the default rate of that data capability was 9.6 kilobits</p> <p>13:53:00 15 per second.</p> <p>13:53:01 16 Q. In basic rate.</p> <p>13:53:03 17 A. In both.</p> <p>13:53:03 18 Q. Okay. And is it your testimony that the document you</p> <p>13:53:07 19 cited in connection with your declaration says that about</p> <p>13:53:09 20 both?</p> <p>13:53:09 21 A. Yes.</p> <p>13:53:11 22 Q. Okay. That specific portion that you cited also says</p> <p>13:53:14 23 that the default profile includes rate negotiation, right?</p> <p>13:53:19 24 A. Now, for that, I would like to see the document.</p> <p>13:53:22 25 Q. Okay. Bear with me a second.</p>
Page 191	Page 193
<p>13:50:41 1 A. I think it gives a hypothetical. If a wideband</p> <p>13:50:46 2 transmission facility is available, then this is possible.</p> <p>13:50:49 3 Q. Okay. So -- so, it does suggest doing it, right?</p> <p>13:50:54 4 A. I guess if that's a suggestion, then yes. It</p> <p>13:50:58 5 certainly poses a hypothetical that if A, then B.</p> <p>13:51:01 6 Q. Now, AT&T is the assignee of this patent, right?</p> <p>13:51:06 7 A. Yes.</p> <p>13:51:07 8 Q. And AT&T was in the business of providing wideband</p> <p>13:51:10 9 transmission facilities at the time, right?</p> <p>13:51:13 10 A. So, providing to who? What -- can you --</p> <p>13:51:19 11 Q. To business customers.</p> <p>13:51:20 12 A. And how are you defining wideband?</p> <p>13:51:25 13 Q. Lots of bits per second.</p> <p>13:51:31 14 A. So, wideband actually has some meaning which did</p> <p>13:51:38 15 refer to some things and didn't refer to others. So, that's</p> <p>13:51:40 16 why I asked what wideband is.</p> <p>13:51:43 17 Q. Okay. Well, let's assume for the moment that it</p> <p>13:51:45 18 means a primary rate interface ISDN connection.</p> <p>13:51:50 19 A. Okay.</p> <p>13:51:51 20 Q. Now, a primary rate interface ISDN connection had</p> <p>13:51:55 21 enough capacity to send the compressed voice message described</p> <p>13:52:01 22 in the portion we were just reading faster than real-time,</p> <p>13:52:05 23 right?</p> <p>13:52:06 24 A. Yes.</p> <p>13:52:07 25 Q. And that's also true for the D channel of the primary</p>	<p>13:54:32 1 Well, rather than search through my documents</p> <p>13:54:35 2 which I've had trouble having well organized because of the</p> <p>13:54:38 3 weekend, would you agree that if -- and I'm asking you to</p> <p>13:54:41 4 assume for the moment that it does -- the basic profile in the</p> <p>13:54:44 5 document you cited specifies the rate negotiation is a part of</p> <p>13:54:46 6 the profile, that you would not be limited to the 9600 bits</p> <p>13:54:52 7 per second in the D channel that you described in your</p> <p>13:54:55 8 declaration?</p> <p>13:54:55 9 A. So, I don't know what the rate negotiation -- what</p> <p>13:54:59 10 that refers to; and I would -- I would prefer to go read some</p> <p>13:55:04 11 of the standards documents in greater detail to understand</p> <p>13:55:07 12 exactly what that refers to.</p> <p>13:55:09 13 Q. Okay. But -- so, sitting here now, you don't know</p> <p>13:55:11 14 whether you, in fact, would be limited in that default profile</p> <p>13:55:14 15 to 9600 bits per second?</p> <p>13:55:16 16 A. The default profile is 9600 bits per second, and</p> <p>13:55:20 17 Kopley teaches us to use an LAPD profile and gives us -- gives</p> <p>13:55:25 18 me no reason to believe that there should be any deviation</p> <p>13:55:29 19 from that.</p> <p>13:55:30 20 Q. Well, he does give you reason to believe it because</p> <p>13:55:32 21 he says that the transmission is faster than real-time; and</p> <p>13:55:35 22 according to you, that means it has to be more than 9600 bits</p> <p>13:55:39 23 per second, right?</p> <p>13:55:39 24 A. He didn't say it was faster than real-time. He says,</p> <p>13:55:41 25 if a wideband transmission facility is available, it can be</p>

Page 194	Page 196
<p>13:55:46 1 executed faster than real-time. And, in fact, I -- I believe, 13:55:53 2 in reading the specification and trying to understand it, that 13:56:00 3 it was not an accident that 9.6 was mentioned in Column 13 13:56:06 4 because I think that we -- we all agree that Kepley refers to 13:56:14 5 compression of speech to 16 kilobits per second. So, 13:56:18 6 certainly this 9.6 is a little bit of a strange number to show 13:56:23 7 up here in Column 13. 13:56:25 8 Q. Unless the silence compression actually worked to 13:56:28 9 reduce the 16 to 9.6 rather than not working at all as you 13:56:32 10 claim, correct? 13:56:33 11 A. I do not believe that the silence compression would 13:56:36 12 reduce the data rate from 16 to 9.6. 13:56:39 13 Q. Now, AT&T was the world leader in voice compression, 13:56:45 14 you said, at the time, right? 13:56:47 15 A. I did. 13:56:48 16 Q. And the -- some of the documents that you cited as 13:56:51 17 authoritative evidence on silence compression were AT&T 13:56:54 18 studies, right? 13:56:55 19 A. Indeed they were. That's why I felt very comfortable 13:56:57 20 citing them. 13:56:59 21 Q. Okay. So, AT&T would have known whether silence 13:57:01 22 compression would work or not, right? 13:57:04 23 A. I do believe that there were people there who would 13:57:06 24 be knowledgeable in that, yes. 13:57:08 25 Q. Okay.</p>	<p>13:58:32 1 various message formats." 13:58:36 2 A. Yeah, but I think he has -- what I'm looking for is 13:58:40 3 the part in the specification where he describes the -- the 13:58:46 4 protocols which I think is -- is done quite nicely. I -- 13:58:52 5 MR. PAYNE: Garland, we're going to have to wrap 13:58:54 6 it up here. 13:58:55 7 MR. STEPHENS: All right. 13:58:56 8 A. Just give me -- 13:58:59 9 Q. (By Mr. Stephens) Well, let me just withdraw the 13:59:01 10 question because I don't want to waste the last two minutes on 13:59:04 11 searching through the specifications since we clearly don't 13:59:07 12 have enough time that we need to. 13:59:08 13 A. Isn't it amazing how the material always disappears 13:59:11 14 when you need it most? 13:59:12 15 Q. The system in Kepley specifically used UUCP to 13:59:15 16 transfer the voice messages, right? 13:59:18 17 A. Yeah, we see UUCP as one of the headers in Figure 6. 13:59:21 18 Q. Okay. Now, the fact that there is a protocol header 13:59:25 19 suggests that the message format could be used for different 13:59:28 20 protocols, right? 13:59:31 21 A. I guess I'm not sure I understand your question. 13:59:34 22 Q. Well, you wouldn't need to specify the protocol in 13:59:37 23 the message unless you sometimes used other protocols, right? 13:59:43 24 MR. PAYNE: Objection, form. 13:59:44 25 A. I think we are miscommunicating. I -- I don't</p>
Page 195	Page 197
<p>13:57:09 1 A. Whether Mr. Kepley was one of them or not -- does he 13:57:11 2 have other inventors -- I don't know. I certainly don't know 13:57:14 3 any of these names in association with any of the speech 13:57:17 4 coding work that I've seen out of there. 13:57:19 5 Q. So, AT&T, had they wanted to, in their -- in the 13:57:24 6 system described in the Kepley patent, could clearly have 13:57:27 7 provided enough bandwidth to transfer the message files faster 13:57:32 8 than real-time, correct? 13:57:36 9 MR. PAYNE: Objection, form. 13:57:37 10 A. Provided to who? 13:57:38 11 Q. (By Mr. Stephens) To the user of the system described 13:57:40 12 in the Kepley patent. 13:57:45 13 A. I don't know that it's clear that they could have 13:57:46 14 provided that or not. 13:57:47 15 Q. Why did -- why was there a protocol header included 13:57:50 16 in the message format as we see in Figure 6? 13:58:01 17 A. Why was there a protocol header included in 13:58:04 18 the message -- 13:58:05 19 Q. Is that a protocol header? Is that -- is that a 13:58:08 20 portion of the message that specifies the protocols involved? 13:58:11 21 A. So, Figure 6 -- let me not make up words. Let me 13:58:15 22 read what Kepley has said because I think the description is 13:58:20 23 very nice. 13:58:25 24 Q. If you look at the -- the brief description of the 13:58:28 25 drawings in Column 4, it says, "Figures 4 to 6 illustrate</p>	<p>13:59:51 1 believe that Kepley puts the protocol header -- I'm sorry, 13:59:55 2 the -- I don't believe Kepley includes information about the 13:59:59 3 protocol in the message, if I'm interpreting what you asked 14:00:03 4 me -- 14:00:03 5 Q. (By Mr. Stephens) Okay. 14:00:03 6 A. -- correctly. 14:00:04 7 Q. So, it's your testimony, then, that the -- the header 14:00:06 8 is just information required by the protocol, not something 14:00:10 9 specifying the protocol; is that right? 14:00:12 10 A. Yes, yes. Now I understand. Yes. It's my testimony 14:00:15 11 that the -- this is header information associated with the 14:00:19 12 protocols. We can think of it as -- as wrapping the message 14:00:22 13 in various envelopes where the -- each envelope is a different 14:00:27 14 color depending on who is going to carry it from one point to 14:00:30 15 the next point. 14:00:30 16 Q. And that's part of the OSI protocol stack, right? 14:00:34 17 A. Yes. 14:00:34 18 Q. Very much like the TCP proto -- IP protocol stack, 14:00:36 19 right? 14:00:38 20 A. Yes. 14:00:38 21 Q. And that protocol stack is designed so that you can't 14:00:41 22 change the protocol at a particular layer without affecting 14:00:45 23 the protocols above or below it in some cases, right? 14:00:49 24 A. I don't know that that's why OSI put these -- I'm not 14:00:55 25 sure I agree with that statement, that that's why these things</p>

Page 198		Page 200	
14:00:58 1	were designed.	14:03:31 1	THE VIDEOGRAPHER: Off the record at 2:03.
14:00:58 2	Q. What is your understanding?	2	(Deposition adjourned at 2:03 p.m.)
14:01:00 3	A. Well, protocols -- I think I have a nicer definition	3	
14:01:04 4	in -- in perhaps one of my declarations -- essentially allow	4	
14:01:11 5	reliable exchange of information.	5	
14:01:13 6	Q. Let me withdraw that question because --	6	
14:01:15 7	MR. PAYNE: Yeah, we're going to have to wrap it	7	
14:01:17 8	up. It's 2:00. She's got to get to the airport.	8	
14:01:20 9	MR. STEPHENS: Okay. A couple more questions,	9	
14:01:22 10	and then I'll stop.	10	
14:01:24 11	Q. (By Mr. Stephens) So, UUCP, you testified before, was	11	
14:01:28 12	Unix to Unix copy protocol right?	12	
14:01:30 13	A. Yes.	13	
14:01:30 14	Q. So, Kopley clearly discloses storing voice messages	14	
14:01:39 15	as files on a disk system and transferring them using a	15	
14:01:47 16	computer file transfer protocol, correct?	16	
14:01:52 17	A. UUCP does mean Unix to Unix copy protocol, and we see	17	
14:01:57 18	this protocol in the stack. So, in that they are using a	18	
14:02:02 19	protocol that was designed for computer communication, that --	19	
14:02:06 20	that is correct.	20	
14:02:08 21	Q. Okay.	21	
14:02:09 22	MR. PAYNE: All right. Let's --	22	
14:02:11 23	MR. STEPHENS: One last question.	23	
14:02:14 24	Q. (By Mr. Stephens) Now, in Figure 2, the database	24	
14:02:21 25	processor interface, do you see that?	25	
Page 199		Page 201	
14:02:24 1	A. Item 290.	1	CHANGES AND SIGNATURE
14:02:25 2	Q. Yeah.	2	PAGE LINE CHANGE REASON
14:02:26 3	A. Yeah.	3	_____
14:02:27 4	Q. That is designed to be able to support simultaneous	4	_____
14:02:31 5	voice messages going -- multiple simultaneous voice messages	5	_____
14:02:37 6	being transferred into the database processor; is that right?	6	_____
14:02:40 7	A. Off the top of my head without verifying and going	7	_____
14:02:43 8	back through the patent for exactly where 290 is described, I	8	_____
14:02:47 9	don't know what that was designed to do. So, I'm -- I don't	9	_____
14:02:50 10	want to agree to that statement.	10	_____
14:02:52 11	Q. Okay. But it would need to be able to send messages	11	_____
14:02:55 12	into the database processor fast enough to support	12	_____
14:03:00 13	simultaneous voicemails being left into the system, right?	13	_____
14:03:03 14	A. I -- I don't know that the system was designed that	14	_____
14:03:05 15	way.	15	_____
14:03:05 16	Q. Well, it has multiple voice ports, right?	16	_____
14:03:08 17	MR. PAYNE: All right. Let's -- let's wrap it	17	_____
14:03:10 18	up. She's got to go to the airport. We can -- we can talk	18	_____
14:03:13 19	about --	19	_____
14:03:13 20	Q. (By Mr. Stephens) Would you agree with that	20	_____
14:03:15 21	statement?	21	_____
14:03:16 22	A. It has multiple voice ports, but I -- I don't know	22	_____
14:03:18 23	how it handles multiple messages. I mean, we are also told	23	_____
14:03:25 24	that the feature processor stores one message per subscriber.	24	_____
14:03:31 25	MR. STEPHENS: Okay. We'll stop here.	25	_____

Sheila Hememi - 9/4/07

52 (Pages 202 to 203)

Page 202

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I declare under penalty of perjury that the foregoing is true and correct.

SHEILA HEMAMI

SUBSCRIBED AND SWORN TO BEFORE ME, the undersigned authority, by the witness, SHEILA HEMAMI, on this the ____ day of _____, _____.

NOTARY PUBLIC IN AND FOR
THE STATE OF _____

My Commission Expires: _____

Page 203

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF TEXAS
COUNTY OF HARRIS

REPORTER'S CERTIFICATE

I, Dana Richardson, a Certified Shorthand Reporter in and for the State of Texas, do certify that this deposition transcript is a true record of the testimony given by the witness named herein, after said witness was duly sworn by me. The witness was requested to review the deposition.

I further certify that I am neither attorney or counsel for, related to, nor employed by any parties to the action in which this testimony is taken and, further, that I am not a relative or employee of any counsel employed by the parties hereto or financially interested in the action.

I further certify that the amount of time used by each party at the deposition is as follows:

Mr. Garland T. Stephens - 04:42

SUBSCRIBED AND SWORN TO under my hand and seal of office on this the ____ day of _____,

Dana Richardson, CSR
Texas CSR 5386
Expiration: 12/31/07
Merrill Legal Solutions, Firm No. 210
315 Capitol, Suite 100
Houston, Texas 77002
Phone (713) 426-0400
Fax (713) 426-0600

1 STATE OF TEXAS
2 COUNTY OF HARRIS

3 REPORTER'S CERTIFICATE

4 I, Dana Richardson, a Certified Shorthand Reporter
5 in and for the State of Texas, do certify that this
6 deposition transcript is a true record of the testimony
7 given by the witness named herein, after said witness
8 was duly sworn by me. The witness was requested to
9 review the deposition.

10 I further certify that I am neither attorney or
11 counsel for, related to, nor employed by any parties to
12 the action in which this testimony is taken and,
13 further, that I am not a relative or employee of any
14 counsel employed by the parties hereto or financially
15 interested in the action.

16 I further certify that the amount of time used by
17 each party at the deposition is as follows:

18 Mr. Garland T. Stephens - 04:42

19 SUBSCRIBED AND SWORN TO under my hand and seal of
20 office on this the 0 day of September,
21 2007.

Dana Richardson

22 Dana Richardson, CSR
23 Texas CSR 5386
24 Expiration: 12/31/07
25 Merrill Legal Solutions, Firm No. 210
315 Capitol, Suite 100
Houston, Texas 77002
Phone (713) 426-0400
Fax (713) 426-0600

