

# Exhibit 8

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF CALIFORNIA (SAN FRANCISCO)

APPLE COMPUTER, INC.,	)	
	)	
Plaintiff,	)	
	)	
-against-	)	Case No.
	)	C-06-00019
BURST.COM, INC.,	)	(MHP)
	)	
Defendant.	)	

DEPOSITION of JOEL HALPERN, an Expert Witness,  
 taken by Defendant at the offices of Susman Godfrey,  
 L.L.P., 590 Madison Avenue, New York, New York, on  
 Monday, November 13, 2006, commencing at 10:11 a.m.,  
 before Charleane M. Heading, a Registered Professional  
 Reporter and Notary Public within and for the State of  
 New York.

1 Halpern  
 2 Internet technologies. It's not exclusively  
 3 Internet, but that is a focus.  
 4 Q How long has that been the case?  
 5 A More than, more than 18 years. If  
 6 you extend it really to telecom in general, more  
 7 than 24 years.  
 8 Q So you were working with Internet  
 9 technologies approximately 24 years ago?  
 10 A No. I was working with  
 11 telecommunications technologies 24 years ago, many  
 12 of which became relevant to Internet. I was  
 13 working with Internet technologies at least 18  
 14 years ago.  
 15 Q Approximately in 1998 you started  
 16 work in Internet?  
 17 A 1988.  
 18 Q I'm sorry. 1988. Thank you.  
 19 A Approximately.  
 20 Q You got a degree in math.  
 21 Let me be clear about this. In  
 22 1988, you started focusing your work around  
 23 Internet technologies, is that correct?  
 24 A Approximately 1988. It might have  
 25 been 1987.

1 Halpern  
 2 Newbridge Networks began building an  
 3 Internet router and it was my job to provide  
 4 architecture for that device and so I needed to  
 5 understand the Internet technologies as opposed to  
 6 our proprietary telecommunications technologies.  
 7 Q We kind of got off on a tangent. I  
 8 asked you a global question regarding your areas  
 9 of expertise. Can you just articulate what you  
 10 believe to be your areas of technical expertise?  
 11 A I'm a little uncomfortable trying to  
 12 answer that question the way you're asking it.  
 13 I am particularly expert in Internet  
 14 related technologies. I don't want to, I don't  
 15 want that to somehow be read as meaning I'm not  
 16 familiar with and sufficiently expert to report on  
 17 other aspects of solutions. That's, that's why  
 18 I'm having a little trouble with answering the  
 19 questions.  
 20 Q I'm not trying to trick you. I'm  
 21 just trying to understand your background.  
 22 So I think it's clear based on your  
 23 testimony so far that you believe that you're an  
 24 expert at least in Internet technologies, correct?  
 25 A That sounds correct, yes.

1 Halpern  
 2 Q Can you identify any other specific  
 3 areas that you believe you have technical  
 4 expertise in?  
 5 A The general use of  
 6 telecommunications systems which is development,  
 7 deployment and use of telecommunications systems  
 8 and solutions is probably another aspect.  
 9 Q What are telecommunications systems?  
 10 A Systems for transmitting and  
 11 receiving or processing information that is being  
 12 transmitted and received over long distances.  
 13 Q Would that include audio and video  
 14 information?  
 15 A Certainly.  
 16 Q So you believe you have technical  
 17 expertise in the field of, for example, data  
 18 transmission?  
 19 A That is part of what I have  
 20 expertise in, yes.  
 21 Q Including the transmission of audio  
 22 and/or video data, correct?  
 23 A Yes.  
 24 Q Are there any other areas that you  
 25 believe you have technical expertise in?

1 Halpern  
 2 A Probably, but sitting here at this  
 3 time, I can't list them for you.  
 4 Q Let me ask it this way. Are there  
 5 any other areas that you think you have technical  
 6 expertise in that have any relevance to this  
 7 lawsuit?  
 8 A The building of embedded systems,  
 9 for example, which are systems like the ones that  
 10 have been discussed is something that I have  
 11 expertise in that I continue to maintain knowledge  
 12 and skill in. The capabilities of processors,  
 13 algorithms as implemented in computer chips might  
 14 be relevant.  
 15 I don't know -- the part of it is  
 16 until we get further down, I can't be sure what  
 17 will turn out to be relevant and so I've worked in  
 18 this industry a very long time. I maintain my  
 19 skills in a lot of things by working with people  
 20 on a lot of projects and so I don't, I can't list  
 21 everything I have sufficient expertise in. I  
 22 might be asked to opine on in it this case.  
 23 Q What are embedded systems?  
 24 A It's a general term used for  
 25 products with a processor embedded in them for a

Page 26

1 Halpern  
 2 specific purpose.  
 3 So the processors that we used at  
 4 Megisto Systems for processing packets were  
 5 embedded processors and historically, those have  
 6 used different operating systems and different  
 7 programming styles.  
 8 The distinction has become fuzzy in  
 9 recent years. So Linux which is a general purpose  
 10 operating system is also used in embedded  
 11 solutions now. To some degree, control processors  
 12 and routers were considered embedded systems. We  
 13 now use general purpose systems for that.  
 14 I'm familiar with them, I know how  
 15 they're used there and how we build solutions  
 16 using those things. So it was, it's a space of  
 17 work that impinges on the notion of general  
 18 purpose computing and operating systems and all  
 19 the other programming tasks but it's focused on  
 20 devices with specific purposes.  
 21 Q Have you designed network systems?  
 22 A I'm not sure I understand the  
 23 question the way you asked it.  
 24 Q What's your confusion?  
 25 A Do you mean have I designed systems

Page 27

1 Halpern  
 2 for use in networks or have I designed networks or  
 3 systems of networks or --  
 4 Q All of the above.  
 5 MR. BROWN: Compound. Go  
 6 ahead.  
 7 MR. PAYNE: Good one.  
 8 Q Have you designed networks?  
 9 A I have designed networks for  
 10 customers and for clients over the years, yes.  
 11 Q Have you designed systems for  
 12 networks?  
 13 A Very much so, yes.  
 14 Q Have you been involved in design  
 15 work concerning packet switched networks?  
 16 A Yes.  
 17 Q Have you been involved in the design  
 18 work concerning circuit switched networks?  
 19 A I have not been designed,  
 20 involved -- well, I have been involved in the  
 21 design of virtual circuit networks as well, yes.  
 22 Q What are virtual circuit networks?  
 23 A It's a subset of circuit networks  
 24 focused on technologies that are more recent, not  
 25 the design of wiring but the design of the

Page 28

1 Halpern  
 2 allocation of circuit capacity to needs, to  
 3 allocate things that look like intend circuits and  
 4 manage that structuring using other technologies  
 5 such as frame relay and ATM.  
 6 Q Are virtual networks fixed rate or  
 7 variable rate or both?  
 8 A Virtual circuits can be fixed rate  
 9 circuits, for example, if their purpose is to  
 10 emulate a fixed rate classical T1 or T3 circuit or  
 11 they can be variable rate if their focus is to  
 12 provide connectivity without regard to the data  
 13 rate.  
 14 Q When did you start working on packet  
 15 switched systems? Would that have been 1987 or  
 16 1988?  
 17 A Earlier. I'd say 1983 was my  
 18 professional involvement with packet switched  
 19 networks. I had studied them earlier.  
 20 Q When did packet switched systems  
 21 come into being?  
 22 A The best known use of packet  
 23 switched networks and packet switching systems  
 24 goes back to 1969. There actually are earlier  
 25 packet switched networks and packet switching

Page 29

1 Halpern  
 2 devices that had been developed. I don't have the  
 3 references to the years for those.  
 4 Q Is it fair to say that by the 1980s,  
 5 packet switched systems were well known?  
 6 A Yes.  
 7 Q In your report which we'll get to in  
 8 a minute, you use the phrases "fixed rate  
 9 communication networks" and "variable rate  
 10 communication networks," correct?  
 11 A If you say so. It sounds right.  
 12 I'd have to look at the words but that sounds  
 13 correct.  
 14 Q I'll represent to you that's  
 15 correct. I'm reading on pages 3 and 4 if you want  
 16 to look at it.  
 17 Do you see "Fixed Rate Communication  
 18 Service," for example, on page 3?  
 19 A Yes.  
 20 Q And "Variable Rate Communication  
 21 Networks" appears on page 4, correct?  
 22 A Yes.  
 23 Q When you talk about fixed rate  
 24 communication networks, are you necessarily  
 25 talking about circuit switched systems or is that

Page 30

1 Halpern  
 2 a broader term?  
 3 A There are techniques for delivering  
 4 fixed rate communication over other things and I'm  
 5 familiar with those techniques but certainly in  
 6 1988, for example, fixed rate communication would  
 7 have almost always been circuit communication.  
 8 Q So in 1988, it's your belief that  
 9 fixed rate communication networks and circuit  
 10 switched networks were synonymous?  
 11 A No. That's not what I said, sir.  
 12 Q All right.  
 13 A There were variable rate circuit  
 14 switched technologies in 1988, but almost any use  
 15 of fixed rate would have been likely to have been  
 16 circuit switched.  
 17 Q You said there were variable rate  
 18 circuit switched technologies in existence --  
 19 A Yes.  
 20 Q -- in 1988?  
 21 MR. BROWN: Let him finish the  
 22 question.  
 23 THE WITNESS: Sorry.  
 24 MR. BROWN: Go ahead.  
 25 A Yes.

Page 31

1 Halpern  
 2 Q So fixed rate -- strike that.  
 3 When we're talking about circuit  
 4 switched systems in 1988, we're talking about both  
 5 fixed rate systems and variable rate systems?  
 6 A If you use the general term "circuit  
 7 switched," yes.  
 8 Q What does "circuit switched" mean?  
 9 A It refers to establishing a  
 10 communications path between two entities with  
 11 known properties, i.e., a circuit, before  
 12 communicating.  
 13 Q Describe to me how that type of  
 14 circuit would be fixed rate?  
 15 A If the properties of the circuit  
 16 were fixed rate, for example, if the circuit was a  
 17 T1 circuit from the telephone company where the  
 18 telephone company commits to giving a certain  
 19 fixed, always available bandwidth, you may not  
 20 have more, you may not -- you will always get that  
 21 much, that is a fixed rate. They are committing  
 22 to delivering it at a very regular time with very  
 23 tight bounds.  
 24 That is an example of a fixed rate  
 25 circuit switched communication.

Page 32

1 Halpern  
 2 Q What's an example of a variable rate  
 3 circuit switched communication?  
 4 A A service, for example, such as a  
 5 frame relay circuit with a difference between its  
 6 committed rate and its burst rate when in some  
 7 cases, the committed rate might even be zero and  
 8 the burst rate might be in the megabits per  
 9 second.  
 10 Q You talked about committed rates and  
 11 burst rates, correct?  
 12 A Yes.  
 13 Q What are committed rates?  
 14 A These were parameters used with  
 15 certain kinds of circuits.  
 16 As I said, a circuit has  
 17 characteristics and so a committed rate would be a  
 18 characteristic of the circuit that says you'll  
 19 always get at least this many bits through but  
 20 that might be zero in which case that doesn't tell  
 21 you anything about your communication. So that  
 22 frame relay circuits often had a very high burst  
 23 rate.  
 24 Q What's a burst rate in connection  
 25 with the frame relay circuit that you described as

Page 33

1 Halpern  
 2 variable bandwidth?  
 3 A It was the instantaneous rate that  
 4 you were allowed to communicate over a frame  
 5 relay, the short term time limited rate.  
 6 Some frame relay circuits had an  
 7 unlimited burst rate.  
 8 Q Were those variable rate circuit  
 9 switched systems used in connection with audio and  
 10 video back in 1988?  
 11 A There was certainly discussion of  
 12 doing so. I don't know if it was being done yet  
 13 but that was certainly talked about.  
 14 Q In 1988, were the fixed rate circuit  
 15 switched systems used to transmit audio and/or  
 16 video?  
 17 A I'm sure they were.  
 18 Q Can you give me an example?  
 19 A Well, telephone circuits would be  
 20 used to carry audio or video between locations.  
 21 Many uses for that.  
 22 Q Give me a couple of applications,  
 23 please.  
 24 A A T1 circuit could be used to  
 25 interconnect private phone exchanges and would

1 Halpern  
 2 carry audio, therefore voice.  
 3 There were, at the time I believe  
 4 they were still quite expensive but there were  
 5 videoconferencing solutions that used fixed rate  
 6 circuits to exchange video and audio across,  
 7 between remote locations.  
 8 I believe there were actually other  
 9 uses.  
 10 Q Are packet switched systems always  
 11 variable rate?  
 12 A As I said, there are ways of  
 13 delivering fixed rate communication using packet  
 14 rate systems but, and there have been fixed rate  
 15 packet rate systems occasionally.  
 16 Q You're saying "packet rate." Do you  
 17 mean "packet switched"?  
 18 A There have been fixed rate packet  
 19 switched communication systems. Most packet  
 20 switched networks are basically variable rate  
 21 networks.  
 22 Q For example, the Internet is an  
 23 example of a packet switched system that's  
 24 variable rate, right?  
 25 A Yes.

1 Halpern  
 2 solutions.  
 3 Q You used the phrase "time  
 4 compression" on page 3 of your report, correct?  
 5 A Yes.  
 6 Q You say that's essentially  
 7 increasing the frequency in order to decrease the  
 8 duration or transmission of the data, right?  
 9 A Yes.  
 10 Q Do you have expertise in the area of  
 11 time compression?  
 12 A As part of my work, for example, at  
 13 Network Systems, I worked with Network Systems'  
 14 time division multiplexing systems which did  
 15 provide time compression as part of those  
 16 solutions.  
 17 While I worked with those solutions  
 18 later, those were offered in approximately this  
 19 time frame. I don't know what year Newbridge  
 20 started off with it precisely but it's not far  
 21 off, and the TDM technology they used goes back  
 22 further than that, a lot further than that.  
 23 Q "TDM," you mean "time division  
 24 multiplexing"?  
 25 A Yes.

1 Halpern  
 2 Q Are most fixed rate systems circuit  
 3 switched systems?  
 4 A Yes.  
 5 Q But you said there are packet  
 6 switched fixed rate systems as well?  
 7 A What I said was there are ways of  
 8 differing fixed rate data delivery over packet  
 9 switched networks and there have been at times in  
 10 the past fixed rate packet switched networks.  
 11 Q But if we're talking about using  
 12 packets, per se, to transmit the data --  
 13 A One would expect the communication  
 14 to be variable rate.  
 15 Q Yes, well, okay. If the data is  
 16 being transmitted in the form of packets, is that  
 17 type of transmission always going to be variable  
 18 rate?  
 19 A I would hate to say always. People  
 20 build many interesting things. Typically it would  
 21 be variable rate.  
 22 Q Do you have any specific expertise  
 23 with respect to memory systems?  
 24 A I am -- not with respect to the  
 25 design of memory chips but to their usage in

1 Halpern  
 2 Q When I use the phrase "time  
 3 compression" today, I'm going to use that phrase  
 4 in the same manner you used the phrase in your  
 5 report on page 3 unless I say otherwise.  
 6 Do you understand me?  
 7 A Okay.  
 8 Q I'm not saying I agree with how  
 9 you're using "time compression" mind you, but I'm  
 10 going to use that phrase in the same way you've  
 11 used it on page 3. Okay?  
 12 A Okay.  
 13 Q Similarly, with respect to data  
 14 compression, I will use that phrase in the same  
 15 manner that you've used it on page 2 of your  
 16 report.  
 17 Do you understand me?  
 18 A Do you mean page 2 through the top  
 19 of page 3?  
 20 Q Correct.  
 21 A Yes.  
 22 Q So in your mind, there's a  
 23 difference between data compression and time  
 24 compression, correct?  
 25 A There is a difference between data

1 Halpern  
 2 compression and time compression as those terms  
 3 are used, yes.  
 4 Q You've talked about your work at  
 5 Network Systems and Newbridge in connection with  
 6 time division multiplexing in the context of time  
 7 compression, correct?  
 8 A Yes.  
 9 Q Is there any other work that you've  
 10 done in the past in connection with what you call  
 11 time compression?  
 12 A I don't believe so.  
 13 Q Have you ever written code?  
 14 A Yes.  
 15 Q Do you have software expertise?  
 16 A Yes.  
 17 Q What software languages are you able  
 18 to write?  
 19 A I concurrently, comfortably write in  
 20 C and Java. I can read and work with C Plus Plus,  
 21 Pascal, a variety of assembler languages. I guess  
 22 I can write in PEARL. I can read a number of  
 23 other languages that are used for scripting.  
 24 I'm a well-versed programmer who has  
 25 maintained his skill as a programmer. That is an

1 Halpern  
 2 obviously is the Apple/Burst case. Can you list  
 3 any other cases?  
 4 A I believe -- okay.  
 5 Q For example, if you've given a  
 6 report, I assume you can tell me.  
 7 A Yes, that's why I'm trying to think.  
 8 Historically, I was involved in the  
 9 Cisco/Alcatel case and the Cisco/StorageTek case.  
 10 Both of those cases are complete.  
 11 Q I'm sorry. You mentioned the  
 12 Cisco/StorageTek case, right?  
 13 A Yes.  
 14 Q And what was the other case you  
 15 mentioned?  
 16 A Cisco/Alcatel and there may be a  
 17 minor error in my list of previous testimony. I  
 18 recently learned that I had forgotten a deposition  
 19 in 2003 in that case.  
 20 Q In the Cisco/Alcatel case?  
 21 A Yes. I was surprised to learn it  
 22 but somebody showed me the dep transcript and I  
 23 said, well, okay. I had forgotten it.  
 24 So I have been -- I've done a number  
 25 of reports in the Toshiba/Juniper case. I have

1 Halpern  
 2 example of why I said I couldn't list earlier all  
 3 of my expertise.  
 4 Q How many times have you served as an  
 5 expert witness?  
 6 A Including current cases?  
 7 Q Yes.  
 8 A I believe it's eight times.  
 9 Q Were those all in connection with  
 10 patent infringement cases?  
 11 A Yes.  
 12 Q How many cases are you currently  
 13 working on?  
 14 A I believe it's five.  
 15 Q Can you list for me those five  
 16 cases?  
 17 A I don't think I can list some of  
 18 them for you.  
 19 Q Well, because of confidentiality  
 20 issues?  
 21 A Yes.  
 22 Q Okay. Fair enough. But they're all  
 23 patent cases, right?  
 24 A They're all patent cases.  
 25 Q One case you're involved in

1 Halpern  
 2 done a report in the Call Wave/Catch Curve case.  
 3 I believe that's all the cases in  
 4 which I have filed reports. I'm trying to be  
 5 complete. I'm not trying -- I'm just trying to  
 6 remember. And this case. That's the ones I have  
 7 reports in that have been filed.  
 8 Q Let me make sure I understand you.  
 9 With respect to reports --  
 10 A Reports or declarations.  
 11 Q Reports or declarations. You've  
 12 done such reports or declarations in connection  
 13 with this case, the Cisco/Alcatel case, the  
 14 Cisco/StorageTek case, the Toshiba/Juniper case  
 15 and the, I'm sorry, I didn't catch this one, Call  
 16 Wave?  
 17 A Call Wave v. Catch Curve. I may  
 18 have them in the wrong order.  
 19 Q Call Wave?  
 20 A Call Wave versus Catch Curve and I'm  
 21 not even sure which order the case is officially  
 22 listed in. There's a standard, I know. I just --  
 23 Q Were any of those reports done in  
 24 connection with claim construction issues?  
 25 A Yes.

Page 42

1 Halpern  
 2 Q Which ones?  
 3 A I believe there were claim  
 4 construction issues in the Alcatel/Cisco case,  
 5 there were claims construction issues in the  
 6 Cisco/StorageTek case, there were claims  
 7 construction issues in the Juniper/Toshiba case,  
 8 there were claim construction issues in this case  
 9 and there are claims construction issues in Call  
 10 Wave v. Catch Curve.  
 11 So, yes, all of those included claim  
 12 construction issues.  
 13 Q Do you have copies of those previous  
 14 reports on claim construction?  
 15 A No.  
 16 Q You didn't retain any copies?  
 17 A No.  
 18 Q What law firm did you work with in  
 19 connection with the Cisco Alcatel case?  
 20 A Weil Gotshal & Manges.  
 21 Q Give me the name of one attorney,  
 22 please?  
 23 A That case would have involved Doug  
 24 Lumish.  
 25 Q Same question as to all the other

Page 43

1 Halpern  
 2 three cases.  
 3 A Okay. Cisco/StorageTek, again, was  
 4 Weil Gotshal. The lawyer, I think Sacco Bajikian  
 5 was a lawyer for a Weil. Dave Ball was a lawyer  
 6 that I worked on in that case.  
 7 What's the next one on the list?  
 8 Q Toshiba and Juniper, please.  
 9 A Toshiba versus Juniper, the law firm  
 10 is Oblon Spivak McClellan Maier & Neustadt. The  
 11 lawyer I worked with most on that case is Thomas  
 12 Fisher.  
 13 Q The next one is the Call Wave case.  
 14 A Call Wave, I worked with Weil  
 15 Gotshal & Manges. Steve Carlson is the lawyer.  
 16 Q Have you worked with Weil Gotshal in  
 17 any other cases?  
 18 A And this case of course. That's --  
 19 Q So you've worked with Weil Gotshal  
 20 on four cases, correct?  
 21 A Yes.  
 22 Q I can't remember if you told me  
 23 whether all four of those cases in which you did  
 24 reports are pending or not?  
 25 A Some of them are completed. Some of

Page 44

1 Halpern  
 2 them are still pending.  
 3 Q Is the Alcatel case pending?  
 4 A I believe that was settled.  
 5 Q When?  
 6 A I don't know.  
 7 Q When is the last time you worked on  
 8 it?  
 9 A The 2003 deposition.  
 10 Q What about the Cisco StorageTek  
 11 case?  
 12 A I believe, I have been informed by  
 13 some folks that there is no appeal and therefore  
 14 it is done. It went to trial in May of 2005, May,  
 15 June, 2005, and I believe it is complete.  
 16 Q What about the Toshiba/Juniper case?  
 17 A I believe that is under appeal.  
 18 Q What about the Call Wave case?  
 19 A That's in its early stages.  
 20 Q Were you on the defense side in each  
 21 of those cases?  
 22 A No.  
 23 Q It's probably a poor question.  
 24 You're on the plaintiff side in this case, aren't  
 25 you? The style of the case is Apple V Burst.com.

Page 45

1 Halpern  
 2 Let's just walk through these cases.  
 3 Cisco/Alcatel, did you, were you  
 4 retained -- who was Weil Gotshal representing in  
 5 that case? Were they representing the patent  
 6 owner or alleged infringer?  
 7 A They were representing Cisco. There  
 8 were patents alleged by both sides in that case.  
 9 Q Okay.  
 10 A I worked specifically on behalf of  
 11 one of Cisco's patents.  
 12 Q So you construed claims that were  
 13 being asserted in that case, correct?  
 14 A Yes.  
 15 Q What about the StorageTek case?  
 16 A The patents -- I worked on behalf of  
 17 Cisco. The patents were StorageTek patents.  
 18 Q What about the Toshiba/Juniper case?  
 19 A Patents are held by Toshiba and I'm  
 20 working on behalf of Toshiba.  
 21 Q What about the Call Wave case?  
 22 A I believe the patents -- I'm working  
 23 on behalf of Call Wave and the patents are held by  
 24 Catch Curve.  
 25 Q Okay. You've testified either at

Page 46

1 Halpern  
2 trial or by deposition in the last four years in  
3 connection with three cases, correct? I'm looking  
4 at page 50 of your report, by the way.  
5 A There has been one deposition since  
6 that report was done. So since the report was  
7 filed, I have also been deposed in the Catch Curve  
8 v. Call Wave case.  
9 Q When was that?  
10 A Two weeks ago, I believe, two and a  
11 half weeks ago. I'm sorry. If I had known it  
12 mattered, I could have looked up the date.  
13 Q It's okay. It's okay. Did you give  
14 testimony in claim construction issues?  
15 A My report is on claims construction  
16 in that case.  
17 Q And you were deposed?  
18 A Yes.  
19 Q On your report?  
20 A Yes.  
21 Q What about the Cisco/Alcatel case?  
22 I think you said you were deposed in connection  
23 with that case in 2003, correct?  
24 A I was deposed actually in both 2001  
25 and 2003. The 2001 is not mentioned because it's

Page 47

1 Halpern  
2 more than four years ago.  
3 Q I'm with you.  
4 Did either of those depositions  
5 concern claim construction issues?  
6 A I believe so.  
7 Q Which one?  
8 A I don't remember. It's -- the case  
9 is too long ago and even the 2003 deposition, as I  
10 said I had forgotten it. The only reason I know  
11 it exists is I saw the cover page recently.  
12 Q You forgot a deposition that you  
13 gave three years ago?  
14 A Yes.  
15 Q It must have been a non-event.  
16 A I'll leave that to your judgment.  
17 MR. BROWN: I'm sure the  
18 lawyer wasn't as memorable as you,  
19 Les.  
20 Q Do you have copies of those  
21 transcripts from the Alcatel case?  
22 A I don't believe so.  
23 Q No trial testimony in that case,  
24 right?  
25 A Correct.

Page 48

1 Halpern  
2 Q What about StorageTek v. Cisco, did  
3 you give deposition testimony and/or trial  
4 testimony?  
5 A Both.  
6 Q How many depositions in that case?  
7 A I believe there was only one  
8 deposition in the case.  
9 Q Do you have transcripts from either  
10 your deposition or trial testimony in connection  
11 with that case?  
12 A No.  
13 Q Do you have any deposition or trial  
14 transcripts period?  
15 A No, not, not of my own.  
16 Q Okay. Let's talk about Toshiba  
17 versus Juniper.  
18 A Yes.  
19 Q Were you deposed in that case?  
20 A Yes.  
21 Q How many times?  
22 A One deposition over two days.  
23 Q Did you give trial testimony in  
24 connection with that case?  
25 A No, I have not.

Page 49

1 Halpern  
2 Q So there are three other patent  
3 cases that you've worked on or are currently  
4 working on that we haven't talked about, correct?  
5 A I believe so.  
6 Q Can you tell me any of those cases  
7 or not?  
8 A I don't believe I can, I am free to  
9 do so.  
10 Q That's because those cases are  
11 either pending or, or they're in the investigation  
12 mode, correct?  
13 A Yes.  
14 MR. PAYNE: Okay. Take a  
15 short five-minute break?  
16 THE WITNESS: That would be a  
17 good idea.  
18 THE VIDEOGRAPHER: The time is  
19 11:08. We are off the record.  
20 (Recess taken)  
21 THE VIDEOGRAPHER: The time is  
22 now 11:19. We are back on the record.  
23 BY MR. PAYNE:  
24 Q Mr. Halpern, turn to page 2 of your  
25 report, please.

1 Halpern  
 2 On pages 2 and 3, you talk about the  
 3 concept of compression, correct?  
 4 A Yes.  
 5 Q As we've discussed before, you  
 6 mentioned both data compression and time  
 7 compression, correct?  
 8 A Yes.  
 9 Q You say that data compression is a  
 10 representation of a series of numbers by a reduced  
 11 number of digits, correct?  
 12 A Yes.  
 13 Q That's your definition for data  
 14 compression, right?  
 15 A I believe so.  
 16 Q Do you agree that the Burst patents  
 17 marked as Exhibit 1 through 4 teach data  
 18 compression?  
 19 MR. BROWN: Objection. Vague.  
 20 A That phrasing, it sounds like you  
 21 mean something specific.  
 22 Q Let me ask it. Do the Burst patents  
 23 marked as Exhibits 1 through 4 disclose data  
 24 compression?  
 25 A Certainly the specification of the

1 Halpern  
 2 it, correct.  
 3 Q But it's your view that the claims  
 4 cover time compression, correct?  
 5 A The claims are very explicit in  
 6 referring to time compression.  
 7 Q In your view, the claims cover time  
 8 compression, correct?  
 9 MR. BROWN: Objection. Vague.  
 10 A The claims refer to and use the term  
 11 "time compression."  
 12 Q Actually they don't use the term  
 13 "time compression," do they, sir?  
 14 A They use the term "time compressed."  
 15 Q They don't use the term "time  
 16 compression," do they?  
 17 A They refer to the result of  
 18 compression as being a time compressed entity.  
 19 Q I'm asking you a very specific  
 20 question. Do the words "time compression" appear  
 21 in any of the claims of the Burst patents?  
 22 A In that order as you have stated,  
 23 they do not appear.  
 24 Q Thank you.  
 25 In fact, the Burst patents talk

1 Halpern  
 2 one you've got marked as Exhibit 1 which is the  
 3 '995 patent includes reference to data compression  
 4 and a description of it being used.  
 5 Q In fact, is it your testimony that  
 6 the only form of compression disclosed in the  
 7 Burst patents is data compression?  
 8 Let me see if I can shortcircuit  
 9 this as you're thumbing through your reports.  
 10 Okay?  
 11 You told me that the Burst patents  
 12 disclose data compression, correct?  
 13 A Correct.  
 14 Q The other type of compression your  
 15 report refers to is time compression, right?  
 16 A Correct.  
 17 Q Does the Burst patents describe time  
 18 compression?  
 19 A The specification of the Burst  
 20 patents does not describe time compression.  
 21 Q The specifications of the Burst  
 22 patents do not disclose time compression, is that  
 23 correct?  
 24 A They don't describe any means for  
 25 performing time compression. They don't describe

1 Halpern  
 2 about data compression being one of the objectives  
 3 of the invention, correct?  
 4 A I don't understand what you're  
 5 asking, sir.  
 6 Q You don't understand my question?  
 7 A You're obviously referring to  
 8 something. I don't know to what.  
 9 Q Well, you've read these patents, you  
 10 gave a report on them, right?  
 11 A Yes.  
 12 Q Is one of the stated objectives in  
 13 the patents to data compress?  
 14 (Pause)  
 15 Q Do you know, sir, or not?  
 16 A I'm trying to check the exact  
 17 phrasing they used and the purpose because given  
 18 the distinction between data compression and time  
 19 compression, and they're different, I wanted to be  
 20 careful in answering your question and looking at  
 21 the abstracts, I don't see that it says that.  
 22 Q Can you turn to column 2 of the '995  
 23 patent which is marked as Exhibit 1, please.  
 24 Specifically look at the sentence that begins at  
 25 line 46.

1 Halpern  
 2 Are you there, sir?  
 3 A Yes.  
 4 Q It says, "A still further object of  
 5 the invention is to provide an audio/video  
 6 recorder utilizing a data compression technique  
 7 for efficient storage, transmission, and  
 8 reception."  
 9 Do you see that language, sir?  
 10 A Yes.  
 11 Q One of the stated objectives in the  
 12 Burst patents is using data compression  
 13 techniques, correct?  
 14 A Utilizing data compression  
 15 techniques, yes.  
 16 Q But it's your view that the claims  
 17 don't cover data compression, correct?  
 18 A Correct.  
 19 Q Are you familiar with the legal  
 20 concept that claims have to be construed in light  
 21 of the specification?  
 22 A Yes.  
 23 Q Have you applied that concept in  
 24 connection with your claim construction opinions?  
 25 A I've applied, I believe, all of the

1 Halpern  
 2 A Yes.  
 3 Q At the bottom of page 2, you say  
 4 that, "There were many such techniques known at  
 5 the time of the invention." Correct?  
 6 A Yes.  
 7 Q You're talking about data  
 8 compression techniques there, correct?  
 9 A More specifically I'm referring to  
 10 lossy data compression techniques for audio and  
 11 video in that paragraph.  
 12 Q It's your view that in 1988, there  
 13 were many lossy audio and video data compression  
 14 techniques known, correct?  
 15 A Yes.  
 16 Q What about lossless audio and video  
 17 data compression techniques in that time frame?  
 18 A The known general lossless data  
 19 compression techniques were used in conjunction  
 20 with audio and video as well and there were many  
 21 known lossless data compression techniques.  
 22 Q Those video and audio data  
 23 compression techniques that were known in 1988  
 24 were actually being implemented in that time  
 25 frame, correct?

1 Halpern  
 2 relevant notions of claim construction including  
 3 the fact the claims, the claim language itself  
 4 must be construed and that it is construed in the  
 5 light of the specification, yes.  
 6 Q You say on page 2 of your report in  
 7 connection with data compression that, "Most  
 8 compression is a form of data compression."  
 9 Correct, sir? It's the second line.  
 10 A "Most compression is a form of data  
 11 compression," yes.  
 12 Q Was that true in 1988 as well?  
 13 A Yes.  
 14 Q What do you mean by "most  
 15 compression is a form of data compression"?  
 16 A Most uses of compression, both in  
 17 1988 and now, were data compression such as the  
 18 algorithms described here or other algorithms.  
 19 There's many, many algorithms.  
 20 Q And by "described here" you mean in  
 21 the Burst patents, correct?  
 22 A No, I meant in my report.  
 23 Q Okay. So is it your view, then,  
 24 that both today and in 1988 data compression was  
 25 used more than your time compression?

1 Halpern  
 2 A Well, I'm sure there were -- there's  
 3 ongoing work. I suspect that there were  
 4 techniques that were just being developed that  
 5 weren't yet implemented. There were certainly  
 6 techniques that were implemented.  
 7 Q For example, in 1988, was it known  
 8 to use intra-frame video compression?  
 9 A There were various known techniques  
 10 for intra-frame video compression known and  
 11 implemented in 1988.  
 12 Q Were there also in 1988 known  
 13 inter-frame, i-n-t-e-r, video compression  
 14 techniques?  
 15 A I believe so.  
 16 Q Do you know?  
 17 A I believe so.  
 18 Q They were known and implemented in  
 19 1988, in your opinion?  
 20 A I believe so.  
 21 Q The same question as to audio data  
 22 compression in 1988. Did such codecs exist and  
 23 were implemented in that time frame?  
 24 A "Such codecs"? Can you be more  
 25 specific?

1 Halpern  
 2 Q Audio codecs.  
 3 A There were certainly audio codecs  
 4 implemented in 1988.  
 5 Q For example, DPCM audio compression  
 6 existed in 1988, correct?  
 7 A You're describing a general family  
 8 of algorithms there which I believe existed in  
 9 1988 and there have been -- there were some  
 10 algorithms that existed then. There are other  
 11 algorithms in that family that were developed  
 12 later.  
 13 Q What about ADCPM in 1988?  
 14 A I don't remember when that would  
 15 have been developed and implemented.  
 16 Q When you say there were many such  
 17 techniques known at the time of the invention, can  
 18 you describe in general terms, what techniques  
 19 you're referring to?  
 20 Let me help you out here. You refer  
 21 for example to the "Scene Adaptive Coder" paper,  
 22 correct?  
 23 A Yes.  
 24 Q What type of compression algorithm  
 25 does that paper disclose?

1 Halpern  
 2 correct?  
 3 A Correct.  
 4 Q In fact, did you get your definition  
 5 of "data compression" out of the Burst patents?  
 6 A That's -- my definition was intended  
 7 to be consistent with the Burst patents is  
 8 probably the way to say it.  
 9 THE VIDEOGRAPHER: You have  
 10 about ten minutes left of the tape.  
 11 Q The sentence that bridges columns 4  
 12 and 5 says, "As an example, compression algorithms  
 13 like CCITT Group IV may be used."  
 14 Do you see that sir?  
 15 A Uh-huh.  
 16 Q What type of algorithm is CCITT  
 17 Group IV?  
 18 A Well, CCITT Group IV is a large  
 19 family of specifications. It is not an algorithm.  
 20 Q Do the large family of  
 21 specifications refer to any data compression  
 22 algorithms?  
 23 A They include data compression  
 24 algorithms, yes.  
 25 Q Can you generally describe those

1 Halpern  
 2 A That is a lossy transform of an  
 3 image to produce one that uses far fewer bits.  
 4 Q Would you call that an intra-frame  
 5 algorithm?  
 6 A I believe the specific techniques  
 7 used were indeed intra-frame.  
 8 Q What other techniques do you know  
 9 about in 1988?  
 10 A I don't remember the details of what  
 11 exact algorithms were available in 1988.  
 12 Q Let's look at Exhibit 1 which is the  
 13 '995 patent, please.  
 14 At the bottom of column 4, the  
 15 patent talks about using compressor/decompressor  
 16 26 to accomplish data compression. Correct?  
 17 A It refers to that, yes.  
 18 Q It then says, starting at line 65,  
 19 "Various algorithms may be employed in the  
 20 compression process which enable the  
 21 representation of a series of numbers by a reduced  
 22 number of digits." Correct?  
 23 A Correct.  
 24 Q What I just read is very consistent  
 25 with your definition for data compression,

1 Halpern  
 2 data compression algorithms that are referred to  
 3 in CCITT Group IV?  
 4 A They, they are lossless black and  
 5 white, that is two-toned, binary sequence data  
 6 compression typically used in techniques such as  
 7 the Lempel techniques and they're specified as  
 8 part of all of these specifications and fax.  
 9 CCITT Group IV is fax.  
 10 There are also included in the  
 11 family of color compression algorithms, there's  
 12 single image compression techniques and there may  
 13 even be some lossy ones. The primary ones I'm  
 14 familiar with are lossless.  
 15 Q So CCITT includes black and white  
 16 and color compression algorithms, correct?  
 17 A Well, Group IV as I said is a large  
 18 family of specifications and I know that Group IV  
 19 includes the transfer of color faxes.  
 20 So I haven't checked all of the  
 21 compression algorithms that they have identified  
 22 but I would assume that the standards, not the  
 23 specific chip identified here, but the standards  
 24 would reference, I know the standards reference  
 25 multiple compression algorithms.

1 Halpern

2 Q Is it fair to say that the standards  
3 generally reference what one might call  
4 intra-frame data compression?

5 A Yes.

6 Q By "intra-frame," do you understand  
7 that to mean compressing based on simply the  
8 information that's in the image, correct?

9 A Well, yes.

10 Q Is that a fair statement or how  
11 would you, how would you phrase it?

12 A Group IV fax is a still image  
13 transfer and a still image compression. Each page  
14 is compressed on its own independently of other  
15 pages.

16 Q Down at column 5, starting at line  
17 9, there is further discussion about a different  
18 data compression technique. Correct, sir?

19 A Yes.

20 Q It says, "To further reduce the  
21 amount of memory required to store the program,  
22 the compression algorithm can simply record data  
23 corresponding to only those pixels which change  
24 color from one frame to the next." Correct?

25 A That's what it says.

1 Halpern

2 90 percent. Correct?

3 A That's what it says, yes.

4 Q In your view, is that 90 percent  
5 figure accurate?

6 A I would be guessing because there's  
7 no specific algorithm described here and  
8 compression is both subject and algorithm  
9 sensitive.

10 Q Well, do you know in 1988 in general  
11 whether the video inter-frame compression  
12 algorithms could result in a 90 percent reduction  
13 in the file size?

14 A My, my understanding of techniques  
15 is based on the combination of inter- and  
16 intra-frame compression and certainly that  
17 combination resulted in a lot more than 90 percent  
18 compression.

19 I'm not familiar with the  
20 mathematics that says which portion of that  
21 benefit goes to which portion of the techniques in  
22 typical video.

23 Q So --

24 THE VIDEOGRAPHER: Counsel, if  
25 now is a good time --

1 Halpern

2 Q Is it fair to say that portion that  
3 I've just read of the spec is describing what  
4 might be called inter-frame compression?

5 A It is alluding to, indicating the  
6 existence of inter-frame compression.

7 Q What is inter-frame compression for  
8 video in your view?

9 A In general, inter-frame compression  
10 techniques rely, rely for their encoding on the  
11 relationship between frames to gain efficiency.

12 Q Under that regime, would one compare  
13 two or more frames?

14 A Typically.

15 Q And would one code the differences  
16 between those frames?

17 A For some general notion of  
18 difference. The kind of coding, there's  
19 techniques, there are algorithms, but one would  
20 find some way to code the difference because  
21 that's less information.

22 Q The discussion in column 5 of the  
23 '995 patent goes on to reference a saving in the  
24 context of memory requirements saying that the  
25 inter-frame data compression cuts the file by

1 Halpern

2 MR. PAYNE: Please, please.

3 THE VIDEOGRAPHER: The time is  
4 now 11:39. This marks the end of tape  
5 1. We are now off the record.

6 (Recess taken)

7 THE VIDEOGRAPHER: The time is  
8 now 11:41. We are back on the record.  
9 This marks the beginning of tape 2.

10 BY MR. PAYNE:

11 Q I asked you about the 90 percent  
12 figure in connection with video inter-frame  
13 compression. I think you said you're not sure one  
14 way or the other whether that's an accurate figure  
15 for 1988 because your experience is in connection  
16 with both intra-frame and inter-frame --

17 A Yes.

18 Q -- being used, correct?

19 A Yes.

20 Q You testified that with respect to  
21 that combination, inter- and intra-frame  
22 compression, in 1988 data compression of much more  
23 than 90 percent can be achieved, correct?

24 A Yes.

25 Q Can you give me a specific

Page 66

1 Halpern  
 2 percentage, please, for that 1988 inter plus  
 3 intra-frame compression?  
 4 A I haven't attempted to form an  
 5 expert opinion on just how good was video  
 6 compression at that time. The number cited in the  
 7 patent looks, for the combination effect, looks  
 8 quite reasonable.  
 9 Q Okay. Let's move on to -- strike  
 10 that.  
 11 In fact, in column 5, starting at  
 12 line 18, the patent speaks to combining  
 13 intra-frame techniques with inter-frame  
 14 techniques, correct?  
 15 A Yes.  
 16 Q Let's move on to column 5, lines 28  
 17 through 35, please.  
 18 Are you there, sir?  
 19 A Yes.  
 20 Q That paragraph talks about audio  
 21 compression, data compression techniques, correct?  
 22 A Yes.  
 23 Q It says towards the end of that  
 24 paragraph that, "the audio data can be compressed  
 25 with conventional algorithms, e.g., a Fibonacci

Page 67

1 Halpern  
 2 delta compression algorithm."  
 3 Did I read that correctly, sir?  
 4 A I believe so, yes.  
 5 Q You would agree that conventional  
 6 audio data compression algorithms existed back in  
 7 1988, correct?  
 8 A Yes.  
 9 Q Are you familiar with the Fibonacci  
 10 delta compression algorithm?  
 11 A I'm not familiar with the details of  
 12 that algorithm. I can -- I'm familiar with the  
 13 notions -- I can tell from the name and from other  
 14 work the general notion, but I don't know the  
 15 details of that algorithm.  
 16 Q What's your understanding of the  
 17 general notion of the Fibonacci delta compression  
 18 algorithm that existed in 1988?  
 19 A Well, it's a delta compression  
 20 algorithm and it's going to represent changes  
 21 instead of representing values and it's going to  
 22 collapse sizes of changes, approximate -- it's  
 23 going to be a lossy compression using probably the  
 24 Fibonacci sequence as the values, approximately.  
 25 I don't know, as I said, I don't

Page 68

1 Halpern  
 2 know the details of this algorithm.  
 3 Q Do you know whether the Fibonacci  
 4 delta compression algorithm in 1988 compared two  
 5 or more samples?  
 6 A I presume it did being a delta  
 7 compression algorithm.  
 8 Q Do you know whether it coded the  
 9 differences between those samples?  
 10 A In some fashion, I would expect it  
 11 to. As I said, I don't know the details of that  
 12 algorithm.  
 13 Q Let's move on to page 3 of your  
 14 report, please. In particular, let's talk about  
 15 time compression, sir.  
 16 A Yes.  
 17 Q You say that, "Time compression is a  
 18 concept associated with burst transmission and  
 19 time division multiplexing." Correct?  
 20 A Yes.  
 21 Q Is time compression limited to a  
 22 multiplexing context?  
 23 A No.  
 24 Q There are other types of time  
 25 compression that have nothing to do with

Page 69

1 Halpern  
 2 multiplexing?  
 3 A There are -- there are other uses of  
 4 time compression than in multiplexing situations.  
 5 Q One example of time compression is  
 6 time division multiplexing, correct?  
 7 A Time division multiplexing makes use  
 8 of time compression.  
 9 Q Is that example of time division  
 10 multiplexing one that you're familiar with?  
 11 A Yes.  
 12 Q In fact, you've worked with time  
 13 division multiplexing concepts in the past,  
 14 correct?  
 15 A Yes.  
 16 Q Can you explain to me how time  
 17 compression works in the context of time division  
 18 multiplexing?  
 19 A The general idea is to take a signal  
 20 typically, an analog signal, and compress it in  
 21 time with the concomitant increasing frequency and  
 22 ship it and in the time division multiplexing  
 23 case, this is so that some other signal can use  
 24 another portion of time so you can get more data,  
 25 more analog signal through the channel, through

Page 70

1 Halpern  
 2 the communication.  
 3 Q Is time division multiplexing used  
 4 for digital signals as well?  
 5 A There are digital time division  
 6 multiplexing techniques as well.  
 7 Q Which, which -- strike that.  
 8 Is time division multiplexing used  
 9 more often with analog signals than with digital  
 10 signals?  
 11 A The question would be when.  
 12 Q 1988.  
 13 A In 1988, I don't believe it was used  
 14 more often digital than analog.  
 15 Q In 1988, time division multiplexing  
 16 was used more often with analog than digital, is  
 17 that correct?  
 18 A I believe so.  
 19 Q How much more often? Give me your  
 20 best opinion.  
 21 A I don't have the data to give you a  
 22 number, sir.  
 23 Q But is it your opinion that in 1988,  
 24 time division multiplexing was used with digital  
 25 signals?

Page 71

1 Halpern  
 2 A Yes.  
 3 Q You're certain of that?  
 4 A I believe it was.  
 5 Q Well, do you have any examples for  
 6 me where time division multiplexing was used with  
 7 digital signals?  
 8 A I haven't looked for any examples,  
 9 sir.  
 10 Q So you have no examples for me  
 11 today?  
 12 A Correct.  
 13 Q You're an expert in, in transmission  
 14 or Network Systems, correct?  
 15 A I don't believe that's exactly what  
 16 I said.  
 17 Q Okay.  
 18 A But some -- I don't believe that's  
 19 exactly what I said earlier.  
 20 Q What systems used analog time  
 21 division multiplexing in 1988?  
 22 A Probably the best known would have  
 23 been the phone system.  
 24 Q So telephony is one example,  
 25 correct?

Page 72

1 Halpern  
 2 A Yes.  
 3 Q Do you have any other examples?  
 4 A I haven't researched all of them. I  
 5 believe there were other uses.  
 6 Q Sitting here today, can you give me  
 7 any other examples outside of the phone system?  
 8 A Not that I recall.  
 9 Q Your report on page 3 continues to  
 10 say, "The same information is delivered in a  
 11 shorter period of time." Correct?  
 12 A Yes.  
 13 Q You're talking about time  
 14 compression here, correct?  
 15 A Yes.  
 16 Q By "same information," you mean, for  
 17 example, in the digital context, the same bits.  
 18 Correct?  
 19 A I'm not, I don't think that's a  
 20 correct interpretation.  
 21 Q Why?  
 22 A Because sending the bits in shorter  
 23 time periods is not time compression which means  
 24 you don't increase the frequency. It's not the  
 25 same kind of thing.

Page 73

1 Halpern  
 2 I don't, I think -- I don't think it  
 3 maps right.  
 4 Q On page 12 of your report you say,  
 5 "The number of bits in the signal is not changed,  
 6 the bits are simply put closer together in time,"  
 7 is that correct?  
 8 A Okay. Okay. Yes.  
 9 Q So if you're talking about time  
 10 compression in connection with a digital signal,  
 11 is it your testimony that at least the number of  
 12 bits are not changed?  
 13 A Yes.  
 14 Q That's what you wrote in your  
 15 report, correct?  
 16 A Yes. That is what I wrote in my  
 17 report and I stand by it, yes.  
 18 Q And you say the bits are simply put  
 19 closer together in time, correct?  
 20 A Yes.  
 21 Q So when you say the number of bits  
 22 are not changed, I assume that you're excluding  
 23 data compression, correct?  
 24 A Correct.  
 25 Q Because data compression of digital

1 Halpern  
2 signals involves reducing the number of bits,  
3 correct?  
4 A Yes.  
5 Q So with respect to digital signals  
6 and time division multiplexing, are the same  
7 digital bits delivered in that context?  
8 A If the time division multiplexing  
9 makes use of time compression, then the same  
10 additional bits will be delivered.  
11 Q Is it your view as well that data  
12 compression cannot be performed on analog signals?  
13 A Reducing the number of bits which is  
14 the definition of data compression is not  
15 meaningful for an analog signal.  
16 Q Are the concepts of time compression  
17 and data compression mutually exclusive?  
18 A They're different concepts.  
19 Q Are they mutually exclusive?  
20 A They are very different concepts and  
21 so I'm not sure how to answer the question the way  
22 you're asking it. In what context?  
23 Q Well, do you ever use them together?  
24 A It would be unusual to use them  
25 together.

1 Halpern  
2 Q Do you know of any examples where  
3 they're used together?  
4 A I haven't gone and looked for that.  
5 Q Is the answer to my question "no"?  
6 A Sitting here when I have not formed  
7 an expert opinion on the question of whether they  
8 are used together, I cannot give you an example of  
9 them used together.  
10 Q We talked earlier about fixed rate  
11 communication networks that are referred to on  
12 page 3. Correct?  
13 A Yes.  
14 Q And you told me that it's possible  
15 that packet switched systems in 1988 can be fixed  
16 rate, is that correct?  
17 A I believe there were certain systems  
18 that were packet switched but that delivered fixed  
19 rate communications.  
20 Q But the most prevalent example of a  
21 fixed rate system back in 1988 in your view is the  
22 telephone system?  
23 A Yes.  
24 Q That's a system that you're familiar  
25 with, correct?

1 Halpern  
2 A Yes.  
3 Q On page 4, you talk about variable  
4 rate communication networks, correct?  
5 A Yes.  
6 Q One example would be the Internet,  
7 correct?  
8 A That is one example.  
9 Q Most packet switched systems are  
10 variable rate, correct?  
11 A Yes.  
12 Q What does "variable rate" mean?  
13 A It means that, that if you observe  
14 the rate at which data moves through the system  
15 from a center to a receiver, that rate is not  
16 constant over time.  
17 Q How much might it vary?  
18 A In Internet -- in what context?  
19 Q Let's talk about Internet  
20 technology.  
21 A In Internet technologies, that rate  
22 can vary by several orders of magnitude.  
23 Q So, for example, if I'm talking  
24 about transmitting over the Internet a 3-minute  
25 data compressed audio file, what variations might

1 Halpern  
2 you see in transmission speeds over the Internet?  
3 A The binary data that you ship, if  
4 you're shipping it over the Internet from two  
5 places, the speed that you will see will depend on  
6 the links to the Internet at each end, conditions  
7 on the Internet in the middle and a multiplicity  
8 of factors.  
9 You can see a very wide range in  
10 speeds.  
11 Q It can vary by seconds or even  
12 minutes, correct?  
13 A The data rate varies differently.  
14 Time is not a rate.  
15 Q Let's just talk about transmission  
16 time. Okay?  
17 A Okay.  
18 Q The amount of time it takes to  
19 transmit from point A to point B over the  
20 Internet. Okay?  
21 A Uh-huh.  
22 Q Could that transmission time vary in  
23 terms of seconds?  
24 A The transmission time to send what  
25 size information?

1 Halpern

2 Q Well, the hypothetical was a 3  
3 minute audio compressed file.

4 A How large is that compressed?

5 Q Let's, let's talk about an AAC file  
6 which might be compressed to 128 kilobits per  
7 second.

8 A So how large is that file? Do you  
9 wish me to assume that that file is 22 megabits or  
10 about 3 1/2 megabytes? Is that the size of the  
11 file?

12 Q That sounds reasonable to me. I  
13 haven't done the math, but it's 180 seconds in my  
14 hypothetical, right?

15 A I believe that's what you said.

16 Q Okay. So, so just tell me in  
17 general how much the transmission time might vary  
18 for that type of file?

19 A The transmission time for a 3 1/2  
20 megabyte file can vary dramatically on different  
21 occasions by many minutes.

22 Q By minutes? Okay. By many minutes,  
23 correct?

24 A Many minutes.

25 Q Did the Lang patents disclose in the

1 Halpern

2 over a variable rate communications network.

3 Q You don't think the patents describe  
4 using a variable rate network to transmit the  
5 compressed audio/video information?

6 A That is correct.

7 Q Putting aside what the patents  
8 disclose or don't disclose, back in 1988, could a  
9 variable rate communications network be used to in  
10 fact transmit data compressed audio or video  
11 files?

12 A In the abstract notion of what  
13 someone could do, yes.

14 Q That type of system could be  
15 implemented, correct, back in 1988?

16 A Yes.

17 Q Why is it that you say that the  
18 Burst patents do not describe transmitting the  
19 audio/video information over a variable rate  
20 network?

21 A Because it, as far as I know, it  
22 doesn't.

23 Q They talk about using fiber optic  
24 networks, correct?

25 A They talk about using a fiber optic

1 Halpern

2 spec both fixed rate systems and variable rate  
3 systems?

4 A Systems for what?

5 Q Well, let's talk about the  
6 transmission of audio/video information, okay?  
7 Are you with me?

8 A I'm with you.

9 Q The Lang patents disclose  
10 transmitting audio and video information, correct?

11 A Yes.

12 Q They disclose transmitting audio and  
13 video information that has been data compressed,  
14 correct?

15 A Yes.

16 Q Okay. That type of transmission can  
17 be accomplished over a fixed rate communications  
18 network, correct?

19 A Yes.

20 Q That transmission can also be  
21 accomplished over a variable rate communications  
22 network, correct?

23 A Just a moment, please.

24 I don't believe that transmission is  
25 disclosed or described in the patent as occurring

1 Halpern

2 telephony link. That's a fixed data rate link.

3 Q You think the discussion of fiber  
4 optics is limited to the telephone system?

5 A I believe so, and the way it is used  
6 in these patents.

7 Q Did fiber optic networks exist back  
8 in -- strike that.

9 Did fiber optic networks exist back  
10 in 1988 that weren't telephone systems?

11 A For local computer room use, yes.

12 Q Those would have been packet  
13 switched systems, correct?

14 A Yes.

15 Q So back in 1988, people were using  
16 packet switched fiber optic systems outside of the  
17 telephone context, correct?

18 A Yes.

19 Q Were they using those type of  
20 systems to transmit audio or video information?

21 A I believe so.

22 Q In what context specifically?

23 A The FDDI standards were being  
24 implemented and were being used by many people,  
25 many companies.

1 Halpern  
 2 Q Why don't you think the patents are  
 3 referring to that type of transmission over a  
 4 packet switched fiber optic system?  
 5 A Because that's not consistent with  
 6 the way it refers to them. It refers to them as a  
 7 fixed data rate system.  
 8 Q The words "fixed data rate" do not  
 9 appear in those patents, do they?  
 10 (Pause)  
 11 A It refers to fiber optic telephone  
 12 line. Those are fixed rate surfaces.  
 13 Q The words "fixed rate" don't appear  
 14 in those patents, do they?  
 15 A That is true.  
 16 Q You told me earlier that in 1983,  
 17 you worked on a packet switched system, correct?  
 18 A Yes.  
 19 Q That would have been a variable rate  
 20 system, correct?  
 21 A Correct.  
 22 Q So such systems existed as early as  
 23 1983, correct?  
 24 A Yes.  
 25 Q In fact, you testified they existed

1 Halpern  
 2 Is there anything in the patents  
 3 that suggest to you that Burst was excluding the  
 4 use of variable rate systems to transmit audio and  
 5 video?  
 6 A I'm sorry. I believe you're now  
 7 getting into a set of analyses that I haven't been  
 8 asked yet to perform. I haven't done the other  
 9 analyses that would be needed to answer that  
 10 question in a meaningful fashion.  
 11 Q Sitting here today, is there any  
 12 evidence in the Burst patents that excludes the  
 13 use of variable rate systems to transmit audio and  
 14 video information?  
 15 A Sir, you're asking me as an expert  
 16 to tell you an opinion on the scope of the  
 17 applicability of the patent. I haven't done an  
 18 analysis of that.  
 19 I would, therefore, be very  
 20 uncomfortable telling you that it does or does not  
 21 exclude something in its scope because now you're  
 22 getting into a different kind of question from a  
 23 claim construction question.  
 24 Q Let's talk about the specification.  
 25 Okay?

1 Halpern  
 2 back in the 1960s, is that correct?  
 3 A Yes.  
 4 Q So they were certainly in existence  
 5 when this first application was filed in 1988,  
 6 correct?  
 7 A Yes.  
 8 Q And I think it's your testimony that  
 9 such systems meaning variable rate systems in 1988  
 10 can be used to transmit audio and video, correct?  
 11 A Outside of the scope of these  
 12 patents, such variable rate packet switched  
 13 systems could be used to transfer audio and video.  
 14 Q What do you mean by outside the  
 15 scope of these patents?  
 16 A It's not described in the patents.  
 17 Q Is there anything in the patents  
 18 that says you cannot use a variable rate system to  
 19 transmit audio/video information?  
 20 A The patents describe specific  
 21 things. The question is not what do they  
 22 prohibit, but what do they describe.  
 23 Q Well, that's my question. Okay?  
 24 Just oblige me here. Okay? My question is a very  
 25 simple question. Okay?

1 Halpern  
 2 A Okay.  
 3 Q Do any of the specifications exclude  
 4 the use of variable rate systems to transmit audio  
 5 and video information?  
 6 A The only systems described for using  
 7 it are fixed rate systems.  
 8 Q Is there any evidence in the Burst  
 9 specifications that excludes the use of variable  
 10 rate systems to transmit audio/video information?  
 11 A The only thing described in the  
 12 specification is the use of fixed rate  
 13 communication.  
 14 MR. PAYNE: Objection.  
 15 Nonresponsive.  
 16 Q Mr. Halpern, you know, we're going  
 17 to be here all day if you don't answer my  
 18 questions so can you just oblige me, please? You  
 19 know exactly what I'm asking you so can you just  
 20 please answer my question? I don't want to go  
 21 through this process over and over.  
 22 MR. BROWN: That's uncalled  
 23 for.  
 24 MR. PAYNE: I'm sorry but  
 25 really, I've asked this question four

Page 86

1 Halpern  
 2 times now.  
 3 MR. BROWN: I think he's given  
 4 you his best answer to that question.  
 5 Maybe you can phrase it in a different  
 6 way.  
 7 Q Show me any evidence in the  
 8 specifications that excludes the use of variable  
 9 rate systems to transmit audio and video  
 10 information?  
 11 MR. BROWN: I think that's the  
 12 same question and he's answered that  
 13 question, Les.  
 14 Q Answer the question, please.  
 15 A I cannot show you any discussion of  
 16 variable rate communication in the patent  
 17 specifications.  
 18 Q Can you show me any evidence or  
 19 discussion in the specifications that necessarily  
 20 excludes the use of variable rate systems?  
 21 A What I've said is that I have not  
 22 performed an analysis to answer that question so  
 23 that to answer it would be inappropriate.  
 24 Q So sitting here today, you cannot  
 25 show me any evidence, correct?

Page 87

1 Halpern  
 2 A I --  
 3 MR. BROWN: Asked and  
 4 answered.  
 5 A I have not performed the analysis to  
 6 give you an expert answer to that question.  
 7 Q It's a "yes" or "no" question.  
 8 MR. BROWN: Les, he's answered  
 9 the question.  
 10 Q Do you know of any evidence?  
 11 MR. BROWN: Objection. Asked  
 12 and answered. Argumentative.  
 13 Les, you should move on. He's  
 14 given you an answer to that question  
 15 five times. He's told you what the  
 16 evidence is. He pointed it to you in  
 17 the patent. You've asked him whether  
 18 there's anything that specifically  
 19 excludes it --  
 20 MR. PAYNE: Don't give  
 21 speaking objections. Okay? You've  
 22 made your objection. I'll respect it.  
 23 MR. BROWN: Wait, no. Move  
 24 on. He's answered the question five  
 25 or six times.

Page 88

1 Halpern  
 2 MR. PAYNE: Please don't give  
 3 speaking objection.  
 4 MR. BROWN: Les, you've been  
 5 giving speaking objections to your own  
 6 questions, okay, so why don't you move  
 7 on.  
 8 MR. PAYNE: I'm not going to  
 9 move on until I get an answer to this  
 10 question.  
 11 MR. BROWN: You've had an  
 12 answer five times. It's clear from  
 13 the record. Move on.  
 14 Q You don't know of any evidence,  
 15 correct, sir?  
 16 MR. BROWN: Asked and  
 17 answered.  
 18 A I wouldn't say that. I didn't say  
 19 that.  
 20 Q Show me the evidence you know of  
 21 today that would support the proposition that  
 22 variable rate systems are necessarily excluded in  
 23 transmitting audio/video information?  
 24 MR. BROWN: Asked and answered  
 25 six times.

Page 89

1 Halpern  
 2 A The patent describes fixed rate  
 3 systems --  
 4 Q Show --  
 5 A -- only. That's all it describes.  
 6 Q Show me the evidence in the patent  
 7 specifications that excludes the use of variable  
 8 rate systems?  
 9 A I haven't performed the analysis to  
 10 do that.  
 11 MR. BROWN: Asked and answered  
 12 six times or maybe seven.  
 13 Q So you can't point to any evidence  
 14 today, correct?  
 15 MR. BROWN: Mischaracterizes  
 16 his testimony. Asked and answered.  
 17 He's pointed to the evidence  
 18 already. We've been through this many  
 19 times.  
 20 A I have not performed an expert  
 21 analysis, for example, as to the question of  
 22 whether the presence of specific descriptions and  
 23 the absence of other descriptions constitutes  
 24 exclusion or inclusion of other things.  
 25 What I can tell you is that it

1 Halpern  
 2 describes only fixed rate systems.  
 3 Q So then is it accurate that sitting  
 4 here today you don't have an opinion one way or  
 5 the other about whether the specifications  
 6 excluded the use of a variable rate system?  
 7 A I do not have an expert system, a  
 8 properly formed and supported expert opinion on  
 9 that question.  
 10 Q Thank you.  
 11 Does the Internet operate over the  
 12 telephone at work?  
 13 A Yes.  
 14 Q Was that true back in 1988?  
 15 A Yes.  
 16 Q So when the patents describe fiber  
 17 optic telephone systems, wouldn't that refer to  
 18 using an Internet based system back in 1988?  
 19 A No.  
 20 Q Why not?  
 21 A Multiple reasons.  
 22 Q Start with number one, please.  
 23 A Number one, you couldn't get a fiber  
 24 optic telephony link to the Internet in 1988.  
 25 That's the, the first reason.

1 Halpern  
 2 the process of, you know, receiving information,  
 3 compressing the information, storing the  
 4 information, transmitting the information.  
 5 Are you with me, sir?  
 6 A I'm with you.  
 7 Q Okay. Let's look at Figure 2 of the  
 8 '995 patent, please, sir.  
 9 The first limitation in the body of  
 10 claim 1 of the '995 is "input means for receiving  
 11 audio/visual source information. Correct?  
 12 A Yes. I believe. Let me flip. You  
 13 had me flip to Figure 2 and now I'll need to go  
 14 find the claim.  
 15 Q Yes.  
 16 A Yes.  
 17 Q And Figure 2, for example, discloses  
 18 several different means for receiving the  
 19 audio/video source information. Correct?  
 20 A Now, I have no go --  
 21 Q I'm not going to ask you about the  
 22 specific means, sir. Don't worry about that right  
 23 now but would you agree with me it discloses at  
 24 least one means to receive audio/video source  
 25 information?

1 Halpern  
 2 Q The telephone fiber optic system was  
 3 not connected to the Internet system in 1988?  
 4 A It was not connected to end users  
 5 and in fact the Internet in 1988 did not make use  
 6 of fiber optic telephony links.  
 7 Q But it does today?  
 8 A Yes.  
 9 Q When did it start making use of  
 10 fiber optic telephony links?  
 11 A I haven't gone and done the research  
 12 on that question. I don't know the year.  
 13 Q Let's move on to page 5 of your  
 14 report, please.  
 15 Page 5 starts your opinions with  
 16 respect to claim constructions, correct?  
 17 A Yes.  
 18 Q Before we get into your  
 19 constructions, I want you to walk me through how  
 20 your claim constructions would work, for example  
 21 in connection with claim 1 of the '995 patent.  
 22 What I mean by that specifically is  
 23 if you take your claim constructions and apply  
 24 them to the sequence that's claimed in claim 1 of  
 25 the '995, I'd like for you to just walk me through

1 Halpern  
 2 A '995, Figure 2, yes.  
 3 Q The second limitation in claim 1 of  
 4 the '995 is that compression means limitation.  
 5 Correct, sir?  
 6 A That is the beginning of the second  
 7 limitation.  
 8 Q And it talks about a compression  
 9 means for compressing the source information into  
 10 a time compressed representation having an  
 11 associated time period, et cetera. Correct?  
 12 A Yes.  
 13 Q You've construed that to, for  
 14 example, require the use of time compression,  
 15 correct?  
 16 A Yes.  
 17 Q Okay. And you've opined in your  
 18 report, I believe, that Figure 2 doesn't disclose  
 19 a means for achieving time compression. Correct?  
 20 A There is no structure that is  
 21 clearly linked to the function of compressing the  
 22 video and audio source information into time  
 23 compressed representation.  
 24 Q The answer is "yes," correct?  
 25 A Yes.

Page 98

1 Halpern  
 2 Q What in 1988 would you include in  
 3 Figure 2 to show the structures that are required  
 4 to perform time compression?  
 5 A I haven't done that analysis.  
 6 Q Can you sitting here today identify  
 7 any specific structures that could be used in  
 8 connection with Figure 2 to perform the function  
 9 of time compression?  
 10 A Not from the information I have  
 11 available. I haven't tried to do research in what  
 12 parts could do time compression. I haven't done  
 13 the analysis. You're asking me to do something I  
 14 haven't done.  
 15 Q I understand that, but you're being  
 16 tendered as an expert on claim construction and  
 17 you've given the opinion that Figure 2 doesn't  
 18 show the time compression structure, right?  
 19 A I've given the opinion that the  
 20 patent, the specification of the patent does not  
 21 show it, a structure for doing this.  
 22 Q We talked about time compression  
 23 being performed in the context of time division  
 24 multiplexing back in 1988, correct?  
 25 A Correct.

Page 99

1 Halpern  
 2 Q That's a concept you're familiar  
 3 with, correct?  
 4 A Yes.  
 5 Q Well, if, if time compression were  
 6 accomplished through time division multiplexing in  
 7 this invention, where would the time division  
 8 multiplexing structure be?  
 9 A I can't answer that question.  
 10 Q Why not?  
 11 A Because the invention is not  
 12 structured around time division multiplexing.  
 13 Q That's a good point, sir. The  
 14 invention doesn't have anything to do with time  
 15 division multiplexing in your view?  
 16 A That isn't what I said.  
 17 Q Okay. Well, the specifications  
 18 don't talk about time division multiplexing, do  
 19 they?  
 20 A Correct.  
 21 Q There's no mention of time division  
 22 multiplexing anywhere in the specifications or the  
 23 claims, correct?  
 24 A Correct.  
 25 Q But you say time division

Page 100

1 Halpern  
 2 multiplexing is something that can be used to  
 3 accomplish your time compression, correct?  
 4 A That's not what I said.  
 5 Q Well, I guess I've just  
 6 misunderstood you.  
 7 In 1988, time division multiplexing  
 8 can be used to accomplish time compression,  
 9 correct?  
 10 A That's not what I said.  
 11 Q Well, what did you say? Why am I  
 12 mistaken?  
 13 A I said the time division  
 14 multiplexing made use of time compression.  
 15 Q Time division multiplexing made use  
 16 of time compression?  
 17 A Yes.  
 18 Q How did it make use of time  
 19 compression?  
 20 A That's a very -- one of the ways of  
 21 doing time compression, time division multiplexing  
 22 was to time compress the signals and then  
 23 multiplex the resulting shortened signals and  
 24 we've discussed this earlier. That is making use  
 25 of time compression as part of time division

Page 101

1 Halpern  
 2 multiplexing.  
 3 Q In the context of the Burst patents,  
 4 could one make use of time compression as part of  
 5 time division multiplexing?  
 6 A I'm sorry. I didn't follow the  
 7 question.  
 8 Q Okay. Well, we've talked about  
 9 receiving audio/video source information which is  
 10 the first element of claim 1, right?  
 11 A Yes.  
 12 Q Okay. How would one use the concept  
 13 of time compression as part of time division  
 14 multiplexing to operate on that audio/video source  
 15 information?  
 16 A Again, I don't understand the  
 17 question. I heard all the words.  
 18 Q Okay.  
 19 A I don't, I don't understand what  
 20 you're asking me.  
 21 Q I'm talking about the audio/video  
 22 source information that's received by the  
 23 transceiver. Okay?  
 24 A Yes.  
 25 Q Could you use time compression

Page 102

1 Halpern  
 2 techniques to operate on that audio/video source  
 3 information back in 1988?  
 4 A The patent says it does.  
 5 Q The patent -- what part --  
 6 A The time compression.  
 7 Q What part of the patent, the claims?  
 8 A Yes.  
 9 Q Any other part?  
 10 A The claims say that it does time  
 11 compression.  
 12 Q Is there any other part?  
 13 A The claims say it.  
 14 Q Outside of the claims, is there any  
 15 other part that talks about time compression?  
 16 A I don't believe so. The file  
 17 history. The file history also talks about time  
 18 compression.  
 19 Q Well, how would the claims, the  
 20 claimed inventions that you say cover time  
 21 compression be implemented in the context of  
 22 Figure 2?  
 23 A Figure 2 --  
 24 Q In other words, are they just  
 25 impossible -- is it just impossible to use time

Page 103

1 Halpern  
 2 compression as you've defined it in the context of  
 3 Figure 2?  
 4 MR. BROWN: Asked and  
 5 answered.  
 6 Q I mean if it is, just tell me. I'll  
 7 accept that.  
 8 A I don't know. Figure 2 is not  
 9 sufficiently detailed for it to either be possible  
 10 or impossible.  
 11 Q Okay.  
 12 A And now I do need to take a break.  
 13 Q Oh, you do? Okay.  
 14 THE VIDEOGRAPHER: The time is  
 15 now 12:27. Off the record.  
 16 (Recess taken)  
 17 THE VIDEOGRAPHER: The time is  
 18 now 12:38. We are back on the record.  
 19 BY MR. PAYNE:  
 20 Q If the Lang invention were to make  
 21 use of time compression as part of time division  
 22 multiplexing, where would the multiplexing take  
 23 place in connection with Figure 2?  
 24 A If the Lang invention -- I'm  
 25 guessing because you're putting in something

Page 104

1 Halpern  
 2 that's not here and you're asking me to guess  
 3 where they would draw it.  
 4 Q Would the multiplexing take place  
 5 outside of the transceiver?  
 6 A Not necessarily. I, it would depend  
 7 on what they were implementing that was making use  
 8 of time division multiplexing. I don't know where  
 9 it would be. You're saying if this were here,  
 10 where would it be. Well, it depends on what they  
 11 were doing.  
 12 Q Well, under your constructions of  
 13 the claims which necessarily require time  
 14 compression, do you know of any way the claimed  
 15 inventions could have been implemented back in  
 16 1988?  
 17 A First off, that isn't the analysis I  
 18 have attempted to -- that's not the analysis I've  
 19 been asked to perform. It's not the analysis I  
 20 have performed. So anything would be an effort to  
 21 guess at this point. I may or may not be asked to  
 22 conduct such an analysis.  
 23 Q But it is your view that the claimed  
 24 inventions which would require time compression  
 25 aren't supported by the specification, for

Page 105

1 Halpern  
 2 example, because time compression is not disclosed  
 3 in the specification, correct?  
 4 MR. BROWN: Objection. Vague.  
 5 A Can you be more specific about what  
 6 you're asking me that I, that I have asserted?  
 7 Q I'm simply asking you whether the  
 8 specifications -- I'll strike that. I'll strike  
 9 the question.  
 10 Let's go on to page 5 of your  
 11 report. Let's talk about audio/video source  
 12 information. Okay?  
 13 Are you there, sir?  
 14 A Yes.  
 15 Q You say that term means the entirety  
 16 of the data intended to be transmitted and not  
 17 segments of the data, correct?  
 18 A Correct.  
 19 Q That's Apple's construction,  
 20 correct?  
 21 A Correct.  
 22 Q And the Burst construction is an  
 23 audio and/or video work that can be received from  
 24 a variety of sources and then has a temporal  
 25 dimension, correct?

1 Halpern  
 2 A Correct.  
 3 Q You disagree with that construction,  
 4 correct?  
 5 A Yes.  
 6 Q Well, would you agree that the audio  
 7 and/or video information in the Burst claims has a  
 8 temporal dimension?  
 9 A They are -- audio and video programs  
 10 would take time.  
 11 Q So by definition, they would have a  
 12 temporal dimension, correct?  
 13 A I'm not sure what you mean by the  
 14 phrase "having a temporal dimension" since that's  
 15 not a phrase that's been construed up to now so  
 16 I'm a little concerned as to what you're trying to  
 17 get at.  
 18 Q The claims cover audio/video source  
 19 information, correct?  
 20 A Yes.  
 21 Q That source information would have  
 22 a duration, for example, correct?  
 23 A Yes.  
 24 Q It would have a length, correct?  
 25 A Yes.

1 Halpern  
 2 duration and has a duration is something that is,  
 3 as I said before, it's in the claims.  
 4 Q Are you aware that the words  
 5 "temporal dimension" appear in the file wrapper of  
 6 the '705 patent for example?  
 7 A I don't remember.  
 8 Q So you're comfortable with the  
 9 concept that the claimed audio/video source  
 10 information has a duration, correct?  
 11 A Yes.  
 12 Q In the durations associated with  
 13 time, correct?  
 14 A Yes.  
 15 Q The Burst construction also talks  
 16 about the source information being at work,  
 17 correct?  
 18 A I don't remember if that word  
 19 appears or not.  
 20 Q Well, no. On page 5 at line 9 it  
 21 says --  
 22 A Oh, the Burst?  
 23 Q We're probably not at the same page.  
 24 Let me start over, okay?  
 25 A Okay.

1 Halpern  
 2 Q Therefore, it would have some sort  
 3 of time element, correct?  
 4 A It's, certainly in the claims is  
 5 associated with certain kinds of time elements.  
 6 Q Okay. Is it fair to use the term  
 7 "temporal dimension" to characterize the  
 8 audio/video source information?  
 9 A It seems odd phrasing. I won't say  
 10 it's fair or unfair. It just, it seems odd  
 11 phrasing to me.  
 12 Q Why is it odd?  
 13 A Well, it --  
 14 Q I'll strike that. Do you know what  
 15 "temporal dimension" means?  
 16 A I've run across that phrase in  
 17 several different contexts.  
 18 Q Do you know what it means in the  
 19 context of audio/video information?  
 20 A I don't quite know. I know what I  
 21 would think it means but in your, in your  
 22 construction but I'm not sure I'm understanding it  
 23 correctly. That's why I want to be careful.  
 24 Q What do you think it means?  
 25 A I think you intend it to mean has a

1 Halpern  
 2 Q I'm talking about the Burst  
 3 construction.  
 4 A Okay.  
 5 Q Part of that construction is an  
 6 audio and/or visual work?  
 7 A Yes.  
 8 Q Is it fair to characterize the  
 9 audio/visual source information as a work?  
 10 A If -- the problem becomes what  
 11 "work" means. If "work" means the program, then  
 12 if we agree that "work" means the program, then I  
 13 have no problem with characterizing it that way.  
 14 Q And in that context, does the  
 15 program or work suggest some sort of creative  
 16 meaning?  
 17 A I don't understand the question.  
 18 Q Well, you've read Dr. Hemami's  
 19 report, correct?  
 20 A Yes.  
 21 Q And she talks about the work having  
 22 some sort of, kind of creative meaning. Do you  
 23 remember that or not?  
 24 A I don't really remember it. I'm  
 25 sorry.

Page 122

1 Halpern  
 2 I have definitely thought about  
 3 whether my claims constructions make technical  
 4 sense.  
 5 Q In the context of a fully operable  
 6 transceiver as claimed?  
 7 A I believe that's a different  
 8 analysis.  
 9 Q And you haven't done that analysis  
 10 yet?  
 11 A Correct.  
 12 Q Fair enough.  
 13 Under your claim constructions, the  
 14 time compressed representation must be fully  
 15 stored in random access storage before the  
 16 transmission or output process begins. Correct?  
 17 A Correct.  
 18 Q Using your time compression  
 19 construction, where would the time compression  
 20 take place in the context of these claims?  
 21 A The time compression has to take  
 22 place in the step that says compressing to a time  
 23 compressed representation.  
 24 Q Okay. That's before the storage,  
 25 correct?

Page 123

1 Halpern  
 2 A Yes.  
 3 Q Okay. Is it your view that the time  
 4 compressed representation, using your time  
 5 compression, is stored in random access storage  
 6 using the same bits as the audio/video source  
 7 information?  
 8 A I'm sorry.  
 9 Q Okay. Let me start over then. That  
 10 was a poor question.  
 11 You agree that claims require the  
 12 storage of time representation?  
 13 A Yes.  
 14 Q When one performs time compression  
 15 on the audio/video source information -- well, let  
 16 me back up.  
 17 Are you saying that time compression  
 18 is performed before storage in your constructions?  
 19 A The claims do require that.  
 20 Q Okay. Where is that performed?  
 21 A '995. "Compression means, coupled  
 22 to said input means, for compressing said  
 23 audio/visual source information into a time  
 24 compressed representation thereof having an  
 25 associated burst time period that is shorter than

Page 124

1 Halpern  
 2 a time period associated with a real time  
 3 representation of said audio/video source  
 4 information."  
 5 That occurs after input and before  
 6 storage.  
 7 Q Now, what type of time compression  
 8 would create a time compressed representation  
 9 before storage?  
 10 (Pause)  
 11 A Some form of time compression that  
 12 produced a representation that could then be  
 13 stored.  
 14 Q I want a specific type of time  
 15 compression that can create a time compressed  
 16 representation before storage. Can you give me  
 17 one specific type?  
 18 A I'm sorry. I don't understand the  
 19 question. What do you mean by type?  
 20 Q Any, any apparatus or structure in  
 21 your time compression world that would create a  
 22 time compressed representation for storage?  
 23 A A structure that set up the  
 24 necessary components for transmitting in a shorter  
 25 time period with the same bits and stored, and

Page 125

1 Halpern  
 2 associated with it a time period would constitute  
 3 such a time compressed structure.  
 4 Q Describe for me what one such  
 5 structure.  
 6 A I haven't -- that wasn't, I mean --  
 7 first off, that isn't, my job wasn't to form an  
 8 expert opinion as to what kind of structure they  
 9 might have described that they didn't describe.  
 10 We've already discussed the fact  
 11 they didn't describe the time compression. They  
 12 don't give the mechanism for doing it. Assuming  
 13 they did it, then they could be stored.  
 14 Q You can't give any type of structure  
 15 for me today that would accomplish your time  
 16 compression before storage, is that correct?  
 17 MR. BROWN: Objection. That  
 18 mischaracterizes his testimony. He  
 19 just did that.  
 20 A I gave you an example of something  
 21 where the compression structure sets up the  
 22 necessary processing so that the later  
 23 transmission would be at a higher rate and stores  
 24 that time period with, marks the time period with  
 25 the representation so that the right time

Page 126

1 Halpern  
 2 compressed transmission would occur later.  
 3 Q What's --  
 4 A That's a time compressed  
 5 representation.  
 6 Q What structure is it though?  
 7 Describe it for me.  
 8 A In some other invention, it would  
 9 probably be a processor with suitable programming.  
 10 I don't know what it would be. Here they didn't  
 11 include the structure.  
 12 Q In your time compression world, it's  
 13 the same bits that are stored, correct?  
 14 A Yes.  
 15 Q If you look at the bits that  
 16 represent the audio/video source information and  
 17 you compare those bits to the time compressed  
 18 representation, the bits are going to be the same,  
 19 correct?  
 20 A Yes.  
 21 Q So what's the difference between the  
 22 audio/video source information and the time  
 23 compressed representation?  
 24 A Whatever is needed to cause it to be  
 25 time compressed.

Page 127

1 Halpern  
 2 Q What is it, sir? I can't figure it  
 3 out. Can you tell me, please?  
 4 A I haven't formed -- I haven't  
 5 attempted to answer that question.  
 6 Q Well, the claims talk about two  
 7 different things: Number one, an audio/video  
 8 source information and, number 2, a time  
 9 compressed preparation. Correct?  
 10 A Yes.  
 11 Q And you've told me that those two  
 12 things are, quote, "the same things," correct?  
 13 A They have to contain the same bits.  
 14 The time compressed representation, for example,  
 15 has to also include an associated burst time  
 16 duration.  
 17 Q And where would that be included?  
 18 Would there be other bits?  
 19 A Yes.  
 20 Q Where would those bits be?  
 21 MR. BROWN: Objection.  
 22 Incomplete hypothetical. Vague.  
 23 A I don't know.  
 24 Q You don't know?  
 25 A Because it -- they would be in some

Page 128

1 Halpern  
 2 structure other than what's disclosed because  
 3 there is no structure disclosed for this. They'd  
 4 end up in the storage -- sorry.  
 5 The other answer -- maybe I  
 6 misunderstood your question. I thought you meant  
 7 where would they be during the compression.  
 8 In the storage, they end up in the  
 9 storage of the time compressed representation  
 10 which we have the structure for in the report and  
 11 that, those, that information would have to be in  
 12 that information.  
 13 So DRAM, SRAM, CMOS memory or  
 14 optical disk memory is where that would end up  
 15 along with the other stored time compressed  
 16 representation.  
 17 Q So does the patent spec disclose a  
 18 random access storage that actually stores the  
 19 time compressed representation as claimed?  
 20 A I believe it stores structure link  
 21 for storing and that if the time compression  
 22 mechanism existed, if you could get a time  
 23 compressed representation, then we could get to  
 24 storing that.  
 25 Q In your time compression world, are

Page 129

1 Halpern  
 2 you saying additional bits are added to the time  
 3 compressed representation?  
 4 A You asked me to guess one method.  
 5 One way to do it would be that.  
 6 Q Well, what would those bits  
 7 designate?  
 8 MR. BROWN: Asked and  
 9 answered.  
 10 Q Well, let me just walk through this  
 11 because I'm confused. I'm sorry.  
 12 You've got bits that represent the  
 13 source information, correct?  
 14 A Uh-huh.  
 15 Q And you're saying those same bits  
 16 are also contained in the time compressed  
 17 representation, correct?  
 18 A Uh-huh.  
 19 Q Okay. And then you're saying the  
 20 compression step adds additional bits, is that  
 21 correct?  
 22 MR. BROWN: Asked and  
 23 answered.  
 24 A You have to have associated duration  
 25 and you might, one hypothetical -- you asked me to

1 Halpern  
 2 guess how one might do this.  
 3 Having to guess here when I have not  
 4 formed an expert opinion on how one should, would  
 5 or could do it, but you asked me to guess, the  
 6 easiest one I can come up with off the top of my  
 7 head, because the question was not one I formed an  
 8 expert opinion on, is I put some extra things in  
 9 to control the transmission so it would go faster.  
 10 THE VIDEOGRAPHER: Ten minutes  
 11 of tape left.  
 12 Q When would you put those, quote,  
 13 "extra things" in?  
 14 MR. BROWN: Asked and  
 15 answered.  
 16 A During the compression step.  
 17 Q And what are the extra things?  
 18 MR. BROWN: Asked and  
 19 answered.  
 20 A I just told you, sir.  
 21 Q Additional bits that would relate to  
 22 transmission parameters?  
 23 MR. BROWN: Mischaracterizes  
 24 his testimony.  
 25 A I'm sorry. I told you it has to --

1 Halpern  
 2 we've already said that the claims required there  
 3 be an associated time period so that would be part  
 4 of or the easiest one to imagine right now, to  
 5 guess, might be to store that and any extra thing  
 6 so as to cause it to be transmitted faster but  
 7 you're asking me to guess about how it might be  
 8 constructed.  
 9 Q I'm just trying to figure out what  
 10 extra things would be added to make it, quote,  
 11 transmit faster. Can you give me any examples?  
 12 A Depends on the architecture of the  
 13 rest of the system and details that aren't spelled  
 14 out.  
 15 Q Can you give me any examples today?  
 16 MR. BROWN: Objection. Vague.  
 17 Q Or have you just not thought about  
 18 that?  
 19 MR. BROWN: Same objection.  
 20 A And in a much older invention, the  
 21 information in the Roberts patent that causes the  
 22 radio transmitter to transmit the data much faster  
 23 associated with it and causes time compression.  
 24 Q Is that before storage or after the  
 25 storage has taken place, sir?

1 Halpern  
 2 A The information is created before  
 3 storage and associated with it. It's a part of  
 4 the representation.  
 5 Q You create additional information in  
 6 your time compression world and add that  
 7 information to the audio/video source information  
 8 to make a time compressed representation, correct?  
 9 A That is one guess that I can come up  
 10 with on, off the cuff because you insisted on my  
 11 guessing.  
 12 Q So instead of reducing the number of  
 13 bits as taught in the specification, in time  
 14 compression, you're adding bits, correct?  
 15 MR. BROWN: Objection. Vague.  
 16 A Adding bits to what?  
 17 Q To the video, audio/video source  
 18 information.  
 19 A You're adding bits to the storage,  
 20 not necessarily the transmission.  
 21 You're asking me to guess how the  
 22 thing works. There's lots of variations,  
 23 mechanisms, things one can do.  
 24 Q I'm focusing on the patent right now  
 25 and the patent teaches data compression which is

1 Halpern  
 2 reducing the number of bits of audio/visual source  
 3 information, correct?  
 4 A The claims refer only to time  
 5 compression.  
 6 Q I'm focusing on the spec. Don't  
 7 worry about the claims right now, okay?  
 8 The spec teaches data compression  
 9 which is reducing the number of bits in the source  
 10 information, correct?  
 11 MR. BROWN: Objection. Vague.  
 12 A The invention is the claims, not the  
 13 specification. I have to construe the claims. I  
 14 don't have to construe the specification. I have  
 15 to construe the claims in the light of the  
 16 specification, but the claims are what matters.  
 17 Q Let's talk about the light of the  
 18 specification for a minute, okay?  
 19 A Yes.  
 20 Q And that light includes data  
 21 compression, right?  
 22 A They are, they mention data  
 23 compression several times, yes.  
 24 Q Data compression reduces the number  
 25 of bits of the audio/video source information,

1 Halpern  
 2 correct?  
 3 MR. BROWN: Asked and  
 4 answered.  
 5 A Yes.  
 6 Q But your time compression in your  
 7 claims actually adds to the number of bits,  
 8 correct?  
 9 MR. BROWN: Objection. Vague.  
 10 A That, you asked me to guess at a  
 11 mechanism.  
 12 Q Okay.  
 13 A The easy mechanism I could guess is  
 14 to add the same kinds of headers that data  
 15 compression mechanisms had but for a time  
 16 compression. Data compression mechanisms enter  
 17 headers of varying sizes as well.  
 18 Q You're talking now about adding  
 19 headers, correct?  
 20 A As part of the stored  
 21 representation.  
 22 Q Okay. So -- right. Are the bits  
 23 that actually represent the source information  
 24 ever manipulated in your time compression world  
 25 before they're stored?

1 Halpern  
 2 Q You've talked about adding headers  
 3 to the audio/video source information as one form  
 4 of compressing a time compression, correct?  
 5 A I was guessing that one might be  
 6 able to do it with a representation that added a  
 7 header.  
 8 Q Is there any other way to represent  
 9 the time compressed data in your time compression  
 10 world --  
 11 MR. BROWN: Objection. Vague.  
 12 Q -- before the storage?  
 13 MR. BROWN: Objection. Vague.  
 14 A I don't know. I haven't attempted  
 15 to evaluate all the possible ways one might build  
 16 these parts.  
 17 Q So if one were to look at the time  
 18 compressed representation in storage and your  
 19 world and compare it to the audio/video source  
 20 information, how would one tell the difference?  
 21 A That would depend on the exact  
 22 representation.  
 23 Q The same bits are there, correct?  
 24 A If it's digital, then the same bits  
 25 have to be there.

1 Halpern  
 2 MR. BROWN: Objection. Vague.  
 3 A Depends.  
 4 Q "Yes" or "no"?  
 5 A I'm sorry. I can't give you a "yes"  
 6 or "no" answer.  
 7 Q But you've told me the same bits are  
 8 stored, correct?  
 9 A That doesn't tell me without a  
 10 compression means specified, without specifically  
 11 a time compression means specified, whether those  
 12 bits are manipulated at any point in the process.  
 13 Q The claims talk about the  
 14 compression means for, quote, "compressing,"  
 15 correct?  
 16 A Into a time compressed  
 17 representation.  
 18 Q They say "compressing."  
 19 A Yes.  
 20 Q I'm trying to figure out what the  
 21 compressing is in your time compression world?  
 22 A Whatever is needed to result in it  
 23 being time compressed when it is transmitted in a  
 24 time less than real time which is what at the end  
 25 of the claim it says matters.

1 Halpern  
 2 Q Yes. Well, if I look at the bits of  
 3 the source information and compare those bits with  
 4 the bits of the time compressed representation in  
 5 your time compression world, how can I tell the  
 6 difference?  
 7 A That would depend on the specific  
 8 implementation.  
 9 Q Is there ever any difference, sir?  
 10 MR. BROWN: Asked and answered  
 11 repeatedly.  
 12 A I don't know. It depends on the  
 13 implementation.  
 14 THE VIDEOGRAPHER: Five  
 15 minutes left.  
 16 Q You talk about -- five more minutes  
 17 and we'll go to lunch, okay?  
 18 You talk about on page 7 and page 6  
 19 the program that's referred to in the spec, right?  
 20 A Yes. I see it.  
 21 Q The program would, for example,  
 22 cover a movie, correct?  
 23 A Yes.  
 24 Q That's an example given the spec,  
 25 right? Correct?

1 Halpern  
 2 you say compressor/decompressor 26 does not show  
 3 data compression?  
 4 A I'm sorry. Could you please direct  
 5 me to the section of my report you're referring  
 6 to?  
 7 Q On page 32, for example, starting at  
 8 line 5, you say, "The patent discloses that  
 9 compression and decompression are performed by  
 10 'compressor/decompressor 26,'" correct?  
 11 A Uh-huh.  
 12 Q And then you say, "Block 26 would  
 13 not be recognized as a structure linked to the  
 14 'time compression' function." Correct?  
 15 A Because it is not sufficient  
 16 structure. Not because it's not associated with  
 17 the time compression but because it's not  
 18 sufficient structure.  
 19 Q You mean there's not enough detail  
 20 about the structure?  
 21 A There's no description of the means  
 22 it uses to accomplish the function. It's not a  
 23 structure. It's some, originally something.  
 24 Q Mr. Halpern, the '995 patent talks  
 25 about compressor/decompressor 26 being an A.M.D.

1 Halpern  
 2 data compression chip, doesn't it?  
 3 A It mentions that that is one of the  
 4 ways.  
 5 Q That's one example, right?  
 6 A Uh-huh.  
 7 Q It's described as a data compression  
 8 chip, correct?  
 9 A Correct.  
 10 Q Okay. But are you now saying it can  
 11 also be a time compression structure?  
 12 A That isn't what I said. And that  
 13 isn't what my report says, sir.  
 14 Q What do you mean by increasing the  
 15 signal's frequency? Look at page 8 of your  
 16 report.  
 17 Does that mean putting the bits  
 18 together closer in time?  
 19 A If it's digital, yes.  
 20 Q That's what it means?  
 21 A If it's digital. For analog, you  
 22 actually increase the frequency of the signal.  
 23 Q And when are the bits put closer  
 24 together in time?  
 25 A Conceptually, they are put closer

1 Halpern  
 2 together in time when the system arranges the  
 3 stored information so that they, when transmitted,  
 4 will be closer in time.  
 5 Q When they're stored after the  
 6 so-called compression step, during that storage,  
 7 they're not put closer together in time, are they?  
 8 A If there is an associated time,  
 9 Burst time period, as is required by the claims  
 10 and the claim structure, then they are indeed put  
 11 closer together in time.  
 12 Q If we look at the audio/video source  
 13 information and we say it includes 10 megabits of  
 14 data and it undergoes time compression and is  
 15 stored, how many, how large is that file going to  
 16 be in storage?  
 17 A With its associated burst time  
 18 period and other control information? About 10  
 19 megabits.  
 20 Q So at that point, the bits have not  
 21 been put closer together in time, have they?  
 22 A Assuming that they have a different  
 23 associated time period than they did originally,  
 24 they have actually been put closer together in  
 25 time at that point.

1 Halpern  
 2 Q How do I know that they've been put  
 3 closer together in time by looking at those bits?  
 4 A They, in order for this to apply,  
 5 they have to have an associated time period.  
 6 Q How do I know that they have an  
 7 associated time period?  
 8 A That depends on the exact  
 9 implementation, sir.  
 10 Q Give me one example.  
 11 A There might be a stored header with  
 12 that time period in it.  
 13 Q So you could put a header with, with  
 14 the other bits representing the audio/video source  
 15 information and that header would have some sort  
 16 of associated burst time period?  
 17 A That's one way of doing it.  
 18 Q Okay. How would that header be  
 19 used?  
 20 A It would be used by other parts of  
 21 the system to ensure that the bits are transmitted  
 22 in the way that the compressor has determined that  
 23 they should be.  
 24 Q But the actual bits that represent  
 25 the source information after undergoing time

Page 154

1 Halpern  
 2 compression and being put in storage are actually  
 3 identical at that point to the original bits,  
 4 correct?  
 5 A Yes.  
 6 Q Okay. But they have this additional  
 7 header information associated with them, right?  
 8 A They have an associated time period.  
 9 One way might be a header. That's not the only  
 10 way.  
 11 Q Well, give me the other ways that  
 12 you can think of today?  
 13 A An association may be based on other  
 14 properties of the system but it must be associated  
 15 with this.  
 16 Q Now, that type of time compression  
 17 is not going to result in any savings as far as  
 18 storage, correct?  
 19 A It's time compression, not space  
 20 compression, yes.  
 21 Q So you need the same amount of  
 22 memory if not more for your time compressed  
 23 representation using time compression, correct?  
 24 A Correct.  
 25 Q Okay. The spec, of course, teaches

Page 155

1 Halpern  
 2 reducing memory requirements, right?  
 3 A As another thing one may do.  
 4 Q Right. Right. So in that regard,  
 5 your construction for time compression does not  
 6 take into account this other stated objective of  
 7 saving memory space, correct?  
 8 A That objective wasn't claimed. The  
 9 claims went for certain specific things. Many  
 10 specifications have other properties that are not  
 11 claimed.  
 12 Q Okay. But you agree that when  
 13 you've interpreted a time compression, you're not  
 14 going to have any space savings as far as memory  
 15 or storage, right?  
 16 A I'm not claiming or asserting any  
 17 time, any space savings.  
 18 Q When is it, Mr. Halpern, that in the  
 19 time compression world, the bits will actually be  
 20 put closer together?  
 21 A As I said conceptually in this  
 22 invention, they're put closer together when the  
 23 time compressor establishes the associated burst  
 24 time period.  
 25 Q Is that what they look like when

Page 156

1 Halpern  
 2 they're transmitted away?  
 3 MR. BROWN: Objection. Vague.  
 4 MR. PAYNE: Okay. I'll strike  
 5 it.  
 6 Q Let's talk about the step of going  
 7 from storage to transmission. Okay?  
 8 A Okay.  
 9 Q Something happens to the bits, your  
 10 time compressed bits from storage to transmission,  
 11 correct?  
 12 A They're being transmitted away.  
 13 Q Are they being put closer together  
 14 in time?  
 15 A They are not put closer together in  
 16 time any more than that time associated with the  
 17 stored time compressed representation.  
 18 It is certainly closer together in  
 19 time than in the original real time.  
 20 Q And you've talked about the bits  
 21 being stored in real time and clocked out faster  
 22 than real time, correct?  
 23 A Yes.  
 24 Q When are they clocked out faster  
 25 than real time? Isn't that at the transmission

Page 157

1 Halpern  
 2 stage?  
 3 A Yes.  
 4 Q Is it the clocking out faster than  
 5 real time that results in compression and time?  
 6 A I believe that's the same question I  
 7 just answered, sir.  
 8 Q What's the answer?  
 9 A That from the systems design point  
 10 of view, the bits are compressed in time when the  
 11 compressor attaches a shorter associated time  
 12 period.  
 13 Q Is it possible to change the  
 14 associated time period in your time compression  
 15 world without recompressing?  
 16 A I don't understand the question.  
 17 Q How would you -- let me start from  
 18 another angle.  
 19 How would one accomplish  
 20 decompression on your time compressed  
 21 representation?  
 22 A Can you be more specific because  
 23 that covers a lot of things?  
 24 Q Okay. The claims talk about the  
 25 time compressed representation being stored in

Page 162

1 Halpern  
 2 A Haskell -- the information is being  
 3 stored in a memory which is suitable for being  
 4 read at a much higher speed as well. As such, it  
 5 is being stored in a time compressed fashion.  
 6 Q Simply by virtue of the fact it can  
 7 be read out faster than the speed in which it's  
 8 written into memory?  
 9 A And the system is designed to do so  
 10 and will do so.  
 11 Q Mr. Lang in his patents doesn't talk  
 12 about a system like that, correct?  
 13 A There was some mention of it in the  
 14 file history actually.  
 15 Q But in the actual specifications?  
 16 A No.  
 17 Q You go on to discuss the Arnon  
 18 patent on page 10. Correct, sir?  
 19 A On page 10, I do discuss the Arnon  
 20 patent.  
 21 Q In time division multiplexing or  
 22 time compression multiplexing, is it the case that  
 23 discrete portions of the file are read out of  
 24 storage and then transmitted?  
 25 MR. BROWN: Objection. Vague.

Page 163

1 Halpern  
 2 A I'm sorry. You've connected pieces  
 3 that I, I --  
 4 Q Well, in time division multiplexing,  
 5 let's just say it's an audio file, okay, that's  
 6 been stored. Okay? Are you with me?  
 7 A Probably not, actually.  
 8 Q Okay. Well, let's say it's a  
 9 digital audio signal. Okay?  
 10 A Say what is a digital audio signal?  
 11 Q What's being stored. Let's talk  
 12 about the random access storage. Okay?  
 13 A I thought you wanted to talk about  
 14 time division multiplexing.  
 15 Q Let's talk about -- well, does time  
 16 division multiplexing have anything to do with the  
 17 claimed random access storage?  
 18 A No. Time compression is relevant.  
 19 I never, I didn't say time division multiplexing  
 20 is what's claimed by the claims.  
 21 Q Is it your understanding that time  
 22 division multiplexing is not claimed in the Burst  
 23 patents?  
 24 MR. BROWN: Objection. Vague.  
 25 A My claims construction is not that

Page 164

1 Halpern  
 2 any of the claims mean time division multiplexing.  
 3 That's, to the extent I understand your question,  
 4 that's the only meaningful answer.  
 5 Q Can you tell me what would be  
 6 included in this header that's added to the source  
 7 information after the source information is  
 8 compressed in time?  
 9 A I can't tell you what would be in it  
 10 if they implemented it.  
 11 Q Well, if you were trying to  
 12 implement a header that would accomplish your time  
 13 compression, what information would you put in the  
 14 header?  
 15 A It might include the length of the  
 16 whole content, it might include the original real  
 17 time duration of the content and it might well,  
 18 and particularly in the case of this, of the  
 19 claims of the patent which require an associated  
 20 burst time period would likely include the time,  
 21 the intended, expected, compressed burst time  
 22 period. That's -- otherwise you have it  
 23 compressed.  
 24 Q So the information that's actually  
 25 in the header would have data in it about the

Page 165

1 Halpern  
 2 associated burst time period?  
 3 A If a header were used as the  
 4 implementation technique, then I would expect it  
 5 to have that. It's not. As I said, I'm  
 6 speculating about how it might be built.  
 7 Q Well, that's -- the only example I  
 8 think you've given me today is adding this header  
 9 for time compression. If there's some other  
 10 example that you can give me today, I want you to  
 11 talk about it. I'm giving you an opportunity.  
 12 A It's the most obvious one.  
 13 Q Okay. You say on page, on page 13  
 14 that, "The specification provides little guidance  
 15 as to the meaning of 'time compression.'" Correct?  
 16 Correct?  
 17 A Yes.  
 18 Q You then say, "The specification's  
 19 only express discussion of compression is a  
 20 discussion of 'data compression.'" Correct?  
 21 A Correct.  
 22 Q Have you relied exclusively on  
 23 information outside of the specification in  
 24 support of your construction regarding time  
 25 compression?

Page 166

1 Halpern  
 2 A I primarily relied on the claims  
 3 themselves and the fact of the words in the  
 4 claims.  
 5 Q Did you rely on any information in  
 6 the specification?  
 7 MR. BROWN: Asked and  
 8 answered.  
 9 A I primarily relied on information in  
 10 the claims and if there's information in the file  
 11 history that's relevant.  
 12 Q But you didn't rely on any of the  
 13 information in the specification, correct?  
 14 A The specification --  
 15 MR. BROWN: Objection. Vague.  
 16 A The specification does not describe  
 17 or talk about time compressed representation.  
 18 Q Do any of the references that you've  
 19 cited on time division multiplexing or time  
 20 compression multiplexing talk about this header?  
 21 A They use actually other techniques  
 22 that one can use for time compression. One could  
 23 have an implicit association between the storage  
 24 and the speed at which it will -- that the system  
 25 is designed to clock it out so that one doesn't

Page 167

1 Halpern  
 2 need an explicit header and that's in several of  
 3 the examples including the ones shown in the  
 4 figure on page 11.  
 5 Q So if you don't have an explicit  
 6 header, is the information that's stored and in  
 7 your world been compressed in time identical to  
 8 the source information?  
 9 A Yes, at least in some  
 10 implementations.  
 11 Q On page 13 of your report, you refer  
 12 to the file history in support of your time  
 13 compressed representation construction, correct?  
 14 A Yes.  
 15 Q You talk about the Izeki reference  
 16 for example, correct?  
 17 A I talk about the Izeki reference,  
 18 yes.  
 19 Q Do you pronounce that "Izeki" or  
 20 "A-si-ki?"  
 21 A I believe it is "Izeki."  
 22 MR. PAYNE: Mark this as the  
 23 next exhibit, please.  
 24 (Document bearing Bates Nos.  
 25 APBU00000546 through 553 was marked as

Page 168

1 Halpern  
 2 Deposition Exhibit No. 72 for  
 3 identification, as of this date.)  
 4 Q You have Exhibit 72 in front of you,  
 5 correct, sir?  
 6 A Yes.  
 7 Q I'll represent to you this is an  
 8 office action response from the '705 file wrapper.  
 9 Do you understand that?  
 10 A Yes.  
 11 Q This is actually a response that  
 12 your report references, correct?  
 13 A I have that I'll have to look.  
 14 Q I'll represent to you that's the  
 15 case. Sir? I'll represent to you that's the  
 16 case, okay?  
 17 A I would like to check, please.  
 18 Q Okay.  
 19 (Pause)  
 20 MR. BROWN: Are you talking  
 21 about the top of page 14, Les?  
 22 MR. PAYNE: I don't know.  
 23 It's in here. It's on page 14, for  
 24 example.  
 25 Q Dr. Halpern -- I mean Mr. Halpern.

Page 169

1 Halpern  
 2 A Yes.  
 3 MR. BROWN: I think the page  
 4 numbers don't match up anyway. Maybe  
 5 I'm missing it.  
 6 MR. PAYNE: I'm sorry. What?  
 7 MR. BROWN: I see 534 and it  
 8 looks like this starts at 546.  
 9 MR. PAYNE: 551.  
 10 MR. BROWN: Oh, lower down.  
 11 Oh, I'm sorry. Okay. I was looking  
 12 at the first number. Go ahead.  
 13 Q Mr. Halpern, let's focus on Exhibit  
 14 72. Okay?  
 15 A That's what I'm doing.  
 16 Q Let's turn to page 6, please, sir.  
 17 A Yes.  
 18 Q At the top of page 6, the applicant  
 19 refers to claim 27 and underlines some of the  
 20 claim language, correct?  
 21 A Yes.  
 22 Q For example, "Full motion video  
 23 programs" is underlined, correct?  
 24 A Uh-huh.  
 25 Q The "Time compressed representation"

1 Halpern  
 2 Q So when Burst refers to the subject  
 3 invention relating to a delivery technique that  
 4 uses compression, are you not clear whether  
 5 they're referring to data compression or time  
 6 compression?  
 7 A I believe the claims refer to time  
 8 compression. Therefore, compression at this point  
 9 must refer to time compression.  
 10 Q So in the previous sentence, they're  
 11 talking about data compression but it's your  
 12 testimony that in this sentence, they've shifted  
 13 gears to time compression, is that correct?  
 14 A Since the earlier systems talked  
 15 about time compression, they, they threw in the  
 16 reference to the specification where it talks  
 17 about data compression and now they're back to  
 18 time compression.  
 19 Q Would you agree with me that if  
 20 Burst really wanted to distinguish Izeki on the  
 21 grounds that the data claims don't cover data  
 22 compression, they would have said so here?  
 23 MR. BROWN: Objection. Vague.  
 24 Incomplete hypothetical.  
 25 A I don't know what they would have

1 Halpern  
 2 specification that this video information  
 3 represents still picture information in video  
 4 form."  
 5 Do you see that sir?  
 6 A I see that line, yes.  
 7 Q Do you agree that Izeki teaches  
 8 video information being represented in still  
 9 picture form?  
 10 A No.  
 11 Q Do you believe Izeki teaches  
 12 something other than still video representation?  
 13 (Pause)  
 14 A Could you repeat the question,  
 15 please?  
 16 Q Do you believe that Izeki is not  
 17 limited to still picture information?  
 18 A Izeki is not limited to still  
 19 picture information.  
 20 Q Now, on the pages -- strike that.  
 21 In the sentence that bridges pages  
 22 15 and 16, Burst says, "Furthermore, since Izeki  
 23 deals with still picture information, compression  
 24 of the information would still not represent time  
 25 compression thereof as defined in the

1 Halpern  
 2 done.  
 3 MR. PAYNE: Let's mark the  
 4 next exhibit, please.  
 5 (Document bearing Bates Nos.  
 6 APBU00000638 through 653 was marked as  
 7 Deposition Exhibit No. 74 for  
 8 identification, as of this date.)  
 9 Q You have Exhibit 74, correct, sir?  
 10 A Yes.  
 11 Q This is a May 26, 1988 amendment,  
 12 correct?  
 13 A May 26, 1998.  
 14 Q 1988, excuse me, amendment, correct?  
 15 This is a -- strike that.  
 16 Exhibit 74 is a May 26, 1998  
 17 amendment, correct?  
 18 A That appears to be the case.  
 19 Q I'll represent to you this was filed  
 20 in connection with the '705 file wrapper.  
 21 A Okay.  
 22 Q Let's turn to page 15, sir.  
 23 It says, "Although Izeki makes  
 24 reference to processing video information, it is  
 25 apparent based on fair reading of the

1 Halpern  
 2 specification of the application." Correct?  
 3 A That's what it says.  
 4 Q In that parenthetical which mentions  
 5 the specification is necessarily referring to data  
 6 compression, correct?  
 7 A The only compression described in  
 8 the specification is data compression.  
 9 Q And so when Burst says "time  
 10 compression thereof" and says "as defined in the  
 11 specification," aren't they referring to data  
 12 compression being the "time compression"?  
 13 A I'm not sure what they're referring  
 14 to since Izeki is not still picture information so  
 15 the whole sentence is confused.  
 16 Q It's not confusing that they're  
 17 tying time compression to what's shown in the  
 18 specification, correct?  
 19 A They apparently believe there is  
 20 something in the specification that covers time,  
 21 that describes time compression.  
 22 Q It must be the data compression,  
 23 correct?  
 24 A I don't know what they thought.  
 25 Q That's the only type of compression

Page 186

1 Halpern  
 2 that's in the specification, correct, Mr. Halpern?  
 3 A The only compression described in  
 4 the specification is data compression.  
 5 Q Doesn't this show you that Burst was  
 6 arguing to the Patent Office that the, quote,  
 7 "time compression" is the data compression that's  
 8 disclosed in the specification?  
 9 A Since they just claimed earlier the  
 10 data compression as being timed compression, I  
 11 have to assume they thought of something else. I  
 12 don't know what they thought.  
 13 Q Let's not worry about what they said  
 14 before. I want to focus on this particular  
 15 document which we've marked as Exhibit 74. Okay?  
 16 A I don't believe it's sufficiently  
 17 clear to know what they meant.  
 18 Q What else could they have meant in  
 19 this sentence that links time compression to the  
 20 specification?  
 21 A I don't know.  
 22 Q You can't think of anything else,  
 23 correct?  
 24 A I don't know what they meant.  
 25 Q If they meant that time compression

Page 187

1 Halpern  
 2 was the data compression in the specification,  
 3 that would cut against your claim constructions,  
 4 wouldn't it sir?  
 5 A They would be inconsistent and we  
 6 would have to figure out what the claims really  
 7 meant with that inconsistency. But they were not  
 8 that specific.  
 9 Q Just like they weren't that specific  
 10 in Exhibit 72?  
 11 MR. BROWN: Objection. Vague.  
 12 A I don't know what you're asking.  
 13 Q Well, was -- you think Exhibit 72  
 14 which contains the statement about Izeki teaching  
 15 data compression is more specific than the  
 16 statement we've been focused on in Exhibit 74?  
 17 A Yes.  
 18 Q Is it the case that you believe the  
 19 statement we've been focusing on in Exhibit 74 is  
 20 just inconsistent with the statement about Izeki  
 21 in Exhibit 72?  
 22 A They may have meant some other  
 23 function in the specification, for example,  
 24 transmitting over a 200 megabyte per second  
 25 optical link with an appropriate associated time

Page 188

1 Halpern  
 2 period.  
 3 Q Let's look at claim 60 of Exhibit 1  
 4 which is the '995 patent.  
 5 Are you there, sir?  
 6 A Yes.  
 7 Q Let's look at column 18, line 11.  
 8 A Okay.  
 9 Q Which refers to the  
 10 compressor/decompressor means, correct?  
 11 A Yes.  
 12 Q It says the compressor/decompressor  
 13 means is for compressing the audio/video source  
 14 information into a time compressed representation  
 15 that has this associated time period that is  
 16 shorter than the time period associated with the  
 17 real time representation, correct?  
 18 A Well, I think all the words you just  
 19 said are there. That's a long paragraph and I  
 20 don't think you read all the words.  
 21 Q No, I didn't.  
 22 A So I don't know if you left out  
 23 something significant but you read many of the  
 24 words that are there.  
 25 Q Well, this claim, 60, in the '995

Page 189

1 Halpern  
 2 patent is talking about a compressor/decompressor  
 3 that compresses audio/video source information,  
 4 correct?  
 5 A Yes.  
 6 Q And it compresses it into a timed  
 7 compressed representation as set forth on lines 15  
 8 and 16, correct?  
 9 A Yes.  
 10 Q In that time compressed  
 11 representation, it has an associated time period  
 12 that is shorter than a time period associated with  
 13 the real time representation, correct?  
 14 A Yes.  
 15 Q The compressor/decompressor that's  
 16 shown in the specification and, in particular, in  
 17 Figure 2 is a device that data compresses,  
 18 correct?  
 19 A The only component that is  
 20 identified in this specification for embodying the  
 21 compressor/decompressor 26 is a data compressor.  
 22 Q So since the specification discloses  
 23 a compressor/decompressor, that is, a data  
 24 compressor, doesn't that disclosure tell you that  
 25 what's being claimed here in claim 60 is a

Page 190

1 Halpern  
 2 compressor/decompressor that performs data  
 3 compression?  
 4 A No, that doesn't tell me that.  
 5 Q Why not?  
 6 A Because they -- for multiple  
 7 reasons, the use of the term "time compressed" as  
 8 opposed to "data compressed" or just "compressed"  
 9 has a meaning. They're not walking away from it.  
 10 The segments we've pointed out,  
 11 "time compressed" has meaning.  
 12 Q Okay. Well the only -- you've  
 13 testified that the only compressor/decompressor  
 14 that's specifically disclosed is the data  
 15 compression chip, right?  
 16 A The only structure that is disclosed  
 17 for it is that, yes.  
 18 Q And you're construing this claim  
 19 language that we're talking about as excluding  
 20 that data compressor/decompressor that's disclosed  
 21 in the spec, correct?  
 22 A My construction is that it's talking  
 23 about something else. It's talking about time  
 24 compression. It's not talking about data  
 25 compression.

Page 191

1 Halpern  
 2 Q So, so the compressor/decompressor  
 3 that's claimed in claim 60, for example, is just  
 4 something completely different than the data  
 5 compressor/decompressor disclosed in the spec,  
 6 right?  
 7 A No, that's not what I said.  
 8 It may be component 26, but it is  
 9 not the data compression of the identified chip.  
 10 Q So it's some other type of  
 11 compressor/decompressor that's not disclosed in  
 12 the spec, right?  
 13 A Yes.  
 14 Q Let's move to page 16 of your  
 15 report.  
 16 You talk about the claim phrase  
 17 "having an associated burst time period," correct?  
 18 A Yes.  
 19 Q You say that means "the time  
 20 compressed representation has a burst transmission  
 21 time of definite duration that is known at the  
 22 time of compression." Correct?  
 23 A Yes.  
 24 Q If the patent claims are broad  
 25 enough to cover variable rate transmission

Page 192

1 Halpern  
 2 systems, would you agree that your definition is  
 3 incorrect?  
 4 A I'm sorry. I can't connect the dots  
 5 here.  
 6 Q Okay. Well, a definite duration  
 7 means some precise transmission duration, correct?  
 8 A Yes.  
 9 Q Okay. And is it your testimony that  
 10 the only way to get that type of precise definite  
 11 duration is with a fixed rate transmission system?  
 12 A If the transmission rate is  
 13 variable, then you don't know what the  
 14 transmission time is.  
 15 Q Okay. I'm focused on your claim  
 16 language or your claim construction regarding  
 17 definite direction. Okay, sir?  
 18 A Yes.  
 19 Q Isn't it premised on the concept  
 20 that the claims only cover a fixed rate  
 21 transmission system?  
 22 A No. I believe it's the other way  
 23 around, sir.  
 24 Q It's the other way around.  
 25 MR. BROWN: Is that a

Page 193

1 Halpern  
 2 question?  
 3 Q What do you mean by "it's the other  
 4 way around"?  
 5 A Having an associated burst time  
 6 period, without any knowledge of what the  
 7 technology is, requires there be an associated  
 8 well-defined burst time period. That's necessary.  
 9 A likely consequence of that might  
 10 be some conclusions about the behavior of the  
 11 transmission system that was assumed. I'm not  
 12 drawing meaning of this phrase from any assumption  
 13 about the transmission system.  
 14 Q So can you have a transmission time  
 15 of definite duration in a variable rate  
 16 transmission system?  
 17 A There are transmission systems  
 18 overlaying variable rate communications networks  
 19 which have known fixed durations. There are lots  
 20 of ways of producing unknown duration.  
 21 Q What do you mean by "definite  
 22 duration"? Are you talking about a precise time  
 23 period for transmission to the millisecond, for  
 24 example?  
 25 A It has to be a precise time period.

Page 194

1 Halpern  
 2 The exact resolution was not something I opined on  
 3 here.  
 4 Q Well, I'm trying to figure out what  
 5 it means. I mean the jury is supposed to be  
 6 instructed about what these claims mean. I don't  
 7 know what "definite duration" means. Can you tell  
 8 me what it means?  
 9 A To the resolution of the systems  
 10 timing, the timing has to be known.  
 11 Q "To the resolution of the systems  
 12 timing, the timing has to be known." Did I get  
 13 that correct?  
 14 A That's what I just said.  
 15 Q What does that mean?  
 16 A If the system only has a clock with  
 17 1 second resolution, then it's clearly sufficient  
 18 to have 1 second resolution. If the system is  
 19 operating at a resolution of 30 milliseconds, then  
 20 that's the accuracy you need. You have to know  
 21 the associated burst time. It has to be known.  
 22 It has to be a specific time, not some arbitrary  
 23 undefined time.  
 24 Q Do you have to know the exact  
 25 bandwidth of the transmission system to achieve a

Page 195

1 Halpern  
 2 transmission time of definite duration?  
 3 A As I said, there are other ways one  
 4 can achieve that.  
 5 Q So you don't have to know the exact  
 6 bandwidth to achieve a transmission time of  
 7 definite duration?  
 8 A It depends on other techniques.  
 9 Q You don't have to know it, is that  
 10 correct?  
 11 A Correct.  
 12 Q Okay. So I just want to be sure  
 13 that I understand your construction regarding a  
 14 transmission time of definite duration.  
 15 You're saying that construction is  
 16 not limited to a fixed rate transmission system,  
 17 correct?  
 18 A Correct.  
 19 Q It can cover a variable rate  
 20 transmission system, correct?  
 21 A It could cover a system in which the  
 22 transmission would take a known time, whether the  
 23 underlying transmission was variable rate or fixed  
 24 rate.  
 25 Q And by "known time," you mean that

Page 196

1 Halpern  
 2 at the time of compression, know the exact  
 3 duration of the transmission, correct?  
 4 A Yes.  
 5 Q You've talked about a systems time  
 6 period, is that correct?  
 7 A I don't think I used that word.  
 8 Q You talked about a resolution,  
 9 right?  
 10 A Yes.  
 11 Q What is that, a resolution? What  
 12 does that mean exactly?  
 13 A The units in which the system does  
 14 time computation.  
 15 Q Would you agree with me that you did  
 16 not cite any evidence from the specification to  
 17 support your claim construction regarding having  
 18 an associated burst time period?  
 19 A That is true.  
 20 Q Turn to page 17, please, of your  
 21 report.  
 22 You give a definition for the word  
 23 "associated," correct?  
 24 A Yes.  
 25 Q You understand that word doesn't

Page 197

1 Halpern  
 2 appear in claim 1 of the '705 patent, correct?  
 3 A Correct.  
 4 Q So the fact that -- and the word  
 5 "associated" in connection with burst time period  
 6 is a basis for your construction, correct?  
 7 A It is part of the basis for the  
 8 construction.  
 9 Q Right. Does the fact that the word  
 10 "associated" doesn't appear in claim 1 of the '705  
 11 in connection with the burst transmission time  
 12 period change your construction of claim 1 of the  
 13 '705?  
 14 MR. BROWN: Objection. Vague.  
 15 Q Let me put it this way.  
 16 Do you believe that claim 1 of the  
 17 '705 requires a transmission time of definite  
 18 duration that is known at the time of compression?  
 19 I didn't find it in your report if  
 20 that's what you're looking at.  
 21 MR. BROWN: Yes. I don't  
 22 think -- I think that's true.  
 23 A I think that -- I don't think I drew  
 24 a conclusion on that question in my report.  
 25 Q So --

Page 206

1 Halpern  
 2 local technologies and the range of remote  
 3 technologies has shifted over time.  
 4 In 1988, local was probably a bit  
 5 closer so remote might well be outside the  
 6 computer room.  
 7 I'd have to really -- to nail it  
 8 down further than that, I'd have to go look at  
 9 some other stuff and the general concept is that  
 10 local is well-defined and remote is what is not  
 11 local and it's defined by the technologies  
 12 available.  
 13 Q Would you agree with me the use of  
 14 the word "remote" here is vague in the sense that  
 15 it's not tied to a specific distance?  
 16 A Correct.  
 17 Q And to be clear, 1988, would  
 18 "remote" ever mean 'within the same room'?  
 19 A I don't believe it would mean "in  
 20 the same room" correctly. I mean that's probably  
 21 the best answer. People use words but in odd ways  
 22 sometimes but normally, it would not mean in 1988  
 23 in the same room.  
 24 Q The word "remote" was used in  
 25 different ways in 1988 in this context, correct?

Page 207

1 Halpern  
 2 A I'm not sure I understand what you  
 3 ask.  
 4 Q Well, did "remote" in 1988 have one  
 5 accepted definition or were there several  
 6 different definitions?  
 7 A It was a well understood term with a  
 8 range of distances and generally did not include  
 9 in the same room.  
 10 Q What does "local" mean?  
 11 A Generally meant local to, near, near  
 12 to the device, oftentimes in the same computer  
 13 room. Sometimes even closer than that. Local  
 14 area networks would cover like a computer room in  
 15 1988.  
 16 Q So local in 1988, if we're focusing  
 17 on, for example, a computer, could mean something  
 18 actually internal to the computer, correct?  
 19 A It certainly could.  
 20 Q And is it also your testimony that  
 21 it could mean something external to the computer?  
 22 A Yes. A local storage device, an  
 23 interface to a local storage device might have a  
 24 cable of 10 meters, 20 meters connecting them but  
 25 it was still a local storage device.

Page 208

1 Halpern  
 2 Q Where do you draw the line in the  
 3 sand as far as distance?  
 4 MR. BROWN: Asked and  
 5 answered.  
 6 A As I said, typically the computer  
 7 room would be a good measure. It didn't, but disk  
 8 drives were not frequently inside the computer  
 9 enclosure. They were connected by cables.  
 10 Sometimes across a computer room but that was  
 11 still local.  
 12 Q So a 10-foot cable from a computer  
 13 to some sort of device would be local?  
 14 A Yes.  
 15 Q Because it's in the same room?  
 16 A No, because it was local  
 17 communication, it was communication designed to be  
 18 used locally.  
 19 Q As opposed to remotely?  
 20 A Yes.  
 21 Q So in your mind, local and remote  
 22 are opposites?  
 23 A Roughly, yes.  
 24 Q Okay. You've quoted a passage from  
 25 the '705 file wrapper that is talking about Izeki,

Page 209

1 Halpern  
 2 in particular, you quote language, "nothing more  
 3 than an interface to a storage device," correct?  
 4 Look at line 14 at page 19, Mr. Halpern.  
 5 A Yes.  
 6 Q Do you see that?  
 7 A Yes.  
 8 Q The word "local" doesn't appear in  
 9 your quote, does it?  
 10 A Correct.  
 11 Q That's something you've added on  
 12 your own, correct?  
 13 A Yes.  
 14 Q Is there any support in the  
 15 specification for adding the word "local"? I'm  
 16 talking about the specification of the Burst  
 17 patents. Or are you just relying on this  
 18 statement in the file wrapper?  
 19 MR. BROWN: Objection. Vague.  
 20 Q Do you understand that question,  
 21 Mr. Halpern?  
 22 A Not really.  
 23 Q Well, I'm just trying to find out  
 24 what evidence you're relying on to support your  
 25 definition that says rather than transferring

Page 230

1 Halpern  
 2 port, though, correct?  
 3 A On what?  
 4 Q On a computer, for example -- strike  
 5 that.  
 6 Were there computers in 1988 that  
 7 had ethernet ports?  
 8 A Yes.  
 9 Q What differentiates a port that  
 10 receives uncompressed data versus one that  
 11 receives compressed data?  
 12 A Ports are differentiated by a lot of  
 13 electrical and protocol properties and what the  
 14 systems that work with them that are prepared to  
 15 receive and send over them.  
 16 Q Would a port that can receive  
 17 uncompressed data necessarily be able to receive  
 18 compressed data?  
 19 A It depends on the what the  
 20 processing logic was connecting to the port.  
 21 Q If it was a digital signal, wouldn't  
 22 a port like is shown on 17 that could receive an  
 23 uncompressed digital signal also be able to  
 24 receive a compressed digital signal?  
 25 A Not necessarily.

Page 231

1 Halpern  
 2 Q And it couldn't, it wouldn't  
 3 necessarily receive a signal that's been data  
 4 compressed?  
 5 A It depends very much on the logic  
 6 structure, whether it can receive data compressed  
 7 signal, whether it can receive a time compressed  
 8 signal or only a uncompressed audio/video signal  
 9 and I'd like to take a brief break.  
 10 Q Sure. Sure.  
 11 THE VIDEOGRAPHER: The time is  
 12 now 4:55. We are off the record.  
 13 (Recess taken)  
 14 MR. PAYNE: The time is now  
 15 5:04. We are back on the record.  
 16 BY MR. PAYNE:  
 17 Q Let's move to page 28 of your  
 18 report, please. This page concerns your  
 19 compression means construction, correct?  
 20 A Yes.  
 21 Q You use the phrase "compression  
 22 means for compressing said audio/video source  
 23 information into a time compressed representation  
 24 thereof."  
 25 I didn't see a definition for

Page 232

1 Halpern  
 2 compressing or the word compressor in your record.  
 3 What does "compressing" mean in the  
 4 context of this claim language?  
 5 A In the context of this claim  
 6 language, when it refers to time compression, it  
 7 refers to making smaller in time.  
 8 Q Compress --  
 9 A The construction is for the phrase  
 10 as a whole.  
 11 Q But the word "compressing" in this  
 12 phrase means making smaller in time?  
 13 A I'm rendering a construction of the  
 14 whole phrase. I'm not sure how to answer the  
 15 question.  
 16 Q Well, for example, are you, you  
 17 know -- Burst construed the word "compressing."  
 18 You're aware of that, right?  
 19 A I believe I've seen that.  
 20 Q Something to that effect reducing  
 21 the number of bits, correct?  
 22 A I believe that that is how you  
 23 construed it, yes.  
 24 Q But you disagreed with that  
 25 construction?

Page 233

1 Halpern  
 2 A That refers specifically to data  
 3 compression. This is time compression.  
 4 Q In your view for time compression,  
 5 the act of compressing is, for example, adding a  
 6 header, correct?  
 7 A Associating, arranging for it to be  
 8 associated with a shorter time period causing it  
 9 to have a shorter time period.  
 10 Q What actual process is used to,  
 11 quote, you know, "compress"?  
 12 A In what?  
 13 Q In your time compression world.  
 14 MR. BROWN: Asked and answered  
 15 repeatedly this morning and this  
 16 afternoon.  
 17 A In what -- I've given you several  
 18 examples. There are examples in my report. We've  
 19 discussed another hypothetical example. There are  
 20 different ways of doing time compression.  
 21 Q Is it your testimony that all of the  
 22 time compression examples that you've given in  
 23 your report from the prior art references fall  
 24 within the scope of the claims?  
 25 MR. BROWN: Objection.

Page 238

1 Halpern  
 2 A Yes.  
 3 Q Would one of ordinary skill in 1988  
 4 look at the compressor/decompressor 26 and  
 5 understand it could be a CPU executing a  
 6 compression software algorithm?  
 7 Let me, let me -- I didn't phrase  
 8 that properly so I'll strike it.  
 9 In 1988, would one of ordinary skill  
 10 look at the compressor/decompressor 26 and  
 11 understand that it could be a CPU executing a data  
 12 compression software algorithm?  
 13 A Not in the patent, not in the  
 14 specification of these patents with the claims.  
 15 Q Let's not worry about the claims  
 16 right now. You know, the spec talks about data  
 17 compression, right? We've gone over that?  
 18 A Yes.  
 19 Q I think you told me that  
 20 compressor/decompressor 26 could perform certain  
 21 data compression, right?  
 22 A Yes.  
 23 Q Okay. So my question is in 1988,  
 24 would one of ordinary skill look at the  
 25 compressor/decompressor 26 and understand that it

Page 239

1 Halpern  
 2 could be a CPU executing a data compression  
 3 software algorithm?  
 4 A I don't believe so.  
 5 Q Why is that?  
 6 A As I say in my report, there's  
 7 multiple reasons. The patent specifically refers  
 8 to the compression as occurring in 26 which is  
 9 specifically identified as a separate structure  
 10 from the CPUs that are discussed, CPU28 and CPU31.  
 11 Neither the CPU -- the CPUs that are  
 12 identified aren't identified as doing compression.  
 13 Similarly, given the performance requirements, a  
 14 general purpose processor in 1988 simply couldn't  
 15 do the job.  
 16 Q What performance requirements are  
 17 you talking about?  
 18 A Having an effective solution that  
 19 can cope with the media.  
 20 Q Can you be more specific?  
 21 A Well, the easiest analysis is to  
 22 merely keep up with real time from the source and  
 23 you can't even come close to keeping up with real  
 24 time with any compression algorithm, any data  
 25 compression algorithm at all in 1988 running on a

Page 240

1 Halpern  
 2 general purpose processor, a CPU such as they  
 3 refer to for other CPU functions in this patent.  
 4 Q You don't believe in 19 -- well,  
 5 let's back up.  
 6 Where does the patent say that  
 7 there's a requirement for real time compression?  
 8 A There are implications in the  
 9 structure that suggested it would be likely to be  
 10 built with the real time because there's only two  
 11 pages, two video frames of RAM in the processing.  
 12 Also, if you're talking about 2 hour  
 13 programs, it's not, it's not an effective device  
 14 if it takes hours and hours and days to perform  
 15 its job.  
 16 Very strange to have a device that  
 17 takes days to compress something so that I can  
 18 ship it faster than real time. I'm sorry. It  
 19 doesn't make sense.  
 20 Q Is there some language in the  
 21 specification in particular that would support a  
 22 conclusion that real time compression is required  
 23 by the invention?  
 24 A No.  
 25 MR. BROWN: Asked and

Page 241

1 Halpern  
 2 answered.  
 3 A That's not what I said. I didn't  
 4 say it was required.  
 5 Q Okay.  
 6 A But it would be, it's what one --  
 7 it's the order of that performance one would  
 8 expect from the system and there are implications,  
 9 not explicit language, but implications that lead  
 10 one to that conclusion.  
 11 Q I want to be very clear about this.  
 12 Is there a requirement with respect to the claimed  
 13 inventions?  
 14 A There is an explicit requirement on  
 15 the decompressor.  
 16 Q Of what?  
 17 A That it be able to function in real  
 18 time.  
 19 Q I'm talking about the compression  
 20 that was found.  
 21 A I believe it was implicit that it  
 22 either be real time or at least near real time for  
 23 the nature of the invention.  
 24 Q In 1988, is it your testimony that  
 25 real time or near real time data compression could

1 Halpern  
 2 not take place in a CPU?  
 3 A Real time data compression of this  
 4 volume of data, of this kind of data, could not be  
 5 done in this kind of general purpose micro  
 6 processor.  
 7 Q Let's talk about audio data, okay?  
 8 A I was focused on video data.  
 9 Q Well, let's talk about audio data.  
 10 A Audio data might be possible.  
 11 Q Could audio data be compressed, data  
 12 compressed in real time in 1988?  
 13 A What data rate audio rate?  
 14 Q Pick any data rate that was used  
 15 back then.  
 16 A I don't know of it being done but  
 17 the invention clearly describes a compressor  
 18 capable of dealing with video and video just plain  
 19 can't be done.  
 20 Q Is it accurate, Mr. Halpern, that  
 21 you don't know one way or another whether a CPU in  
 22 1988 could data compress audio information real  
 23 time?  
 24 A A general purpose microprocessor  
 25 such as envisioned for other uses of CPU here -- I

1 Halpern  
 2 compression of audio and video.  
 3 Q But it's an implicit understanding  
 4 as opposed to an explicit understanding based on  
 5 explicit evidence. Correct?  
 6 A It's not based on explicit words,  
 7 yes.  
 8 Q You say on page 29 that the A.M.D.  
 9 7971 chip would not have worked to data compress  
 10 full motion video, correct?  
 11 A Correct.  
 12 Q Have you actually tested that chip  
 13 to figure out whether it would data compress full  
 14 motion video?  
 15 A I've looked at the specs for that --  
 16 I've looked at the description of that chip, not  
 17 the full specifications, and it was a black and  
 18 white fax compression chip.  
 19 Q But you're not a data compression  
 20 expert, are you, sir?  
 21 A I believe we discussed that earlier.  
 22 I am expert in building solutions that make use of  
 23 data compression and data compression  
 24 technologies.  
 25 Q Would it surprise to you learn that

1 Halpern  
 2 want to be careful about the meanings of the  
 3 terms -- I can't be sure one way or the other but  
 4 for video, it could not be done.  
 5 Q So it's your testimony that for  
 6 video data back in 1988, the available CPUs could  
 7 not data compress that video in real time,  
 8 correct?  
 9 A Correct.  
 10 Q I asked you whether there's any  
 11 specific language in the specifications of the  
 12 Burst patents that require that video be  
 13 compressed in real time and I want to be clear on  
 14 whether you think there's any such language.  
 15 A I do not recall specific language  
 16 that explicitly requires that.  
 17 Q Okay. But you think it's some sort  
 18 of -- strike that.  
 19 You think the real time video data  
 20 compression concept is, is, quote, "implicit" in  
 21 the invention described in the specification, is  
 22 that correct?  
 23 A In trying to understand this, the  
 24 natural understanding would be that one was  
 25 looking at something doing real time data

1 Halpern  
 2 the A.M.D. chip could, in fact, perform data  
 3 compression on full motion video?  
 4 A Yes.  
 5 Q Would you necessarily rule out that  
 6 possibility sitting here today?  
 7 A To the best of my knowledge, it  
 8 could not do so.  
 9 Q That knowledge is based on your  
 10 review of the A.M.D. spec sheet?  
 11 A Yes.  
 12 Q Did you think about whether that  
 13 A.M.D. data chip could compress, data compress  
 14 audio information?  
 15 A I didn't analyze that question.  
 16 Q Well, you say, "It could not have  
 17 compressed audio signals" in your report on page  
 18 29, correct?  
 19 Mr. Halpern, lines 19 and 20. "It  
 20 could not" --  
 21 A You're right. I had forgotten. I  
 22 did think about it and I didn't think it could.  
 23 Q So do you want to revise your  
 24 testimony?  
 25 A Yes.

1 Halpern  
 2 Q Why don't you take a few minutes and  
 3 look through there and let me know when you're  
 4 done.  
 5 (Pause)  
 6 A There is an indication of real time  
 7 decompression in column 10 in the first paragraph.  
 8 Q Implication meaning no express  
 9 language that requires real time decompression?  
 10 A I don't know any way to do what it  
 11 says without doing real time decompression.  
 12 Q What specifically in the first  
 13 paragraph of column 10?  
 14 A You have the stored compressed, time  
 15 compressed program and then you are viewing it  
 16 directly from memory, directly from the memory  
 17 storing the time compressed program.  
 18 To do that, the decompression has to  
 19 be in real time.  
 20 Q What decompression, the data?  
 21 A The decompression that is done by  
 22 the device to get from the time compressed  
 23 representation of the claims to the real time  
 24 display to the user.  
 25 Q Well, I guess that can be done in

1 Halpern  
 2 A In real time.  
 3 Q What about data compressed video  
 4 files in 1988, could those types of files be  
 5 decompressed in real time?  
 6 A I believe there was hardware that  
 7 can do that.  
 8 Q You need special circuitry?  
 9 A Special circuitry.  
 10 Q Could a CPU executing a  
 11 decompression software algorithm also decompress  
 12 in real time in 1988?  
 13 A I don't believe in 1988 micro  
 14 processors such as are described as CPUs in the  
 15 specifications could do decompression of video  
 16 content, real time video content in real time.  
 17 Q Are you, are you limiting your  
 18 answer to the CPUs or general purpose processors  
 19 as disclosed in the spec or are all technology  
 20 that was in existence in 1988? I just want to  
 21 make sure.  
 22 A I'm trying to be careful of the  
 23 phrase "CPU." A super computer could probably  
 24 decompress video images in real time in software,  
 25 but that's not what's described at all in this

1 Halpern  
 2 your time compression world, right?  
 3 A And if in your construction that  
 4 stored image was data compressed, that would  
 5 require in your world that the data decompression  
 6 in your world be done in real time.  
 7 Q Okay. The reason you say that is  
 8 because you talk about, because column 10 talks  
 9 about viewing directly from memory, correct?  
 10 A Yes.  
 11 Q In 1988, was technology in existence  
 12 to decompress audio files that had been data  
 13 compressed in real time -- let me strike it.  
 14 With respect to audio files that had  
 15 been data compressed, in 1988, did the technology  
 16 exist to decompress those files in real time?  
 17 A Yes.  
 18 Q Was that hardware technology or --  
 19 what technology existed?  
 20 A I believe there were custom chips  
 21 and that one could for audio files use a CPU if  
 22 one had a sufficiently powerful computer.  
 23 Q To decompress?  
 24 A To decompress.  
 25 Q In real time?

1 Halpern  
 2 invention. We're talking about embedded CPUs and  
 3 they give examples of what they mean.  
 4 Q Okay.  
 5 A You could accomplish a lot in 1988.  
 6 Q I'm talking about a CPU that might  
 7 be used in connection with a computer, personal  
 8 computer, for example, in 1988.  
 9 A That's what I was referring to.  
 10 Q So that type of CPU, a  
 11 microprocessor executing a decompression software  
 12 algorithm, decompress in real time with respect to  
 13 video?  
 14 A I don't believe so.  
 15 Q But you're not sure one way or the  
 16 other?  
 17 A With video such as is described in  
 18 this, it couldn't do it.  
 19 Q Are there any claims that you think  
 20 required real time decompression?  
 21 A I believe there are.  
 22 Q Do you know what those claims are?  
 23 A I'd have to go look.  
 24 Q Let's talk about claim 20 of the  
 25 '995 patent. Okay?

Page 258

1 Halpern  
 2 storage but you need plus function.  
 3 Q I want to be clear about this.  
 4 A Not -- to be precise, it's random  
 5 access storage for storing the time in the  
 6 preparation.  
 7 Q So what's the functional claim  
 8 language? Isn't it storing the time compressed  
 9 representation?  
 10 A It's the whole -- as far as I can  
 11 tell reading this, the entire phrase describes the  
 12 function to be performed and not the structure to  
 13 do it. The random access storage is not some  
 14 specific structure. That's a general class of  
 15 structure. It's not sufficiently specific.  
 16 Q Right. Random access storage is a  
 17 close of structure, correct?  
 18 A It's a kind of a thing.  
 19 THE VIDEOGRAPHER: Ten minutes  
 20 of tape left.  
 21 MR. PAYNE: Thank you.  
 22 Q It's a structure, it's a class of  
 23 structure that stores information, correct?  
 24 A But it's a class with many, many  
 25 different kinds of things in it and in different

Page 259

1 Halpern  
 2 contexts, different sub groups are meant by that.  
 3 Q Okay. I just want to be clear on  
 4 how you construed this claim because I'm hearing  
 5 something that I didn't understand before.  
 6 You know, I've always thought of the  
 7 claim function in this limitation as being storing  
 8 the time compress representation but that's not  
 9 your opinion, correct?  
 10 A As I said, the phrase random access  
 11 storage is generic and function. The claims  
 12 function. Yes.  
 13 Q So even though the words "random  
 14 access storage" appear before the word "means,"  
 15 it's your view that random access storage is part  
 16 of the claim function?  
 17 A That's what I said and that's what I  
 18 meant.  
 19 Q That's your statement?  
 20 What about for the storage means  
 21 that appears on page 36, is the word "storage"  
 22 part of the claim function?  
 23 A As I said here on line, things got  
 24 offset, 15 1/2, storage is generic and functional  
 25 language. It's functional. It doesn't tell you

Page 260

1 Halpern  
 2 what the structure is.  
 3 Q Is the word "storage" in the context  
 4 of the "storage means" language part of the claim  
 5 function?  
 6 A Yes.  
 7 Q Do you understand that in a means  
 8 plus function claims you have a means portion and  
 9 a functional portion, is that correct?  
 10 MR. BROWN: Objection. Vague.  
 11 Maybe I'll say objection, extremely  
 12 vague.  
 13 MR. PAYNE: Okay.  
 14 A One would like to see an explicit  
 15 means and an explicit function. That doesn't mean  
 16 that's what the patent drafter wrote.  
 17 In this case, what they wrote was  
 18 just components of the function without giving the  
 19 structure but saying it was a means to do  
 20 something.  
 21 Q So what if the words "random access  
 22 storage" were used as, you know, essentially a  
 23 structure or noun to describe a specific nature.  
 24 Would that change your view about whether those  
 25 three words were functional in nature?

Page 261

1 Halpern  
 2 A I guess that would depend on how one  
 3 arrived at the conclusion you hypothesized about  
 4 the way the words were being used.  
 5 Q Okay. Let's look at Exhibit 75  
 6 which is a March 12, 1990 office action response.  
 7 Correct?  
 8 A Yes.  
 9 Q Let's look at pages 18 through 20,  
 10 sir.  
 11 For example, at the bottom of page  
 12 18, do you see the words four lines up, excuse me,  
 13 five lines up, "random access storage," correct?  
 14 Correct, sir?  
 15 A Yes. I --  
 16 Q Okay. And three lines up, you see  
 17 those same three words, "random access storage,"  
 18 correct?  
 19 A Yes.  
 20 Q Page 19, first paragraph at the end  
 21 of the paragraph, "random access storage" is  
 22 mentioned another two times, correct?  
 23 A Yes.  
 24 Q The following paragraph, it's  
 25 mentioned again in the middle of the paragraph,

Page 262

1 Halpern  
 2 right?  
 3 A Yes.  
 4 Q Now, I've counted over ten times in  
 5 this document alone where the words "random access  
 6 storage" are used without the word "means."  
 7 A Yes.  
 8 Q Does that have any impact on your  
 9 view about whether random access storage connotes  
 10 structure as opposed to what you're calling  
 11 functional language?  
 12 A When I read the claims and the  
 13 specification and this material, it doesn't seem  
 14 to be calling out a clear and associated structure  
 15 except that it does in the specification identify  
 16 certain specific structures which is what I then  
 17 identified. DRAM, SRAM, CMOS memory and optical  
 18 disk memory.  
 19 Those are structures that are  
 20 called out to fill the role of random access  
 21 storage.  
 22 Q Let's talk about the use of the  
 23 words "random access storage" on page 18. It  
 24 says, "Store the time compressed representation of  
 25 the audio and video source information in a random

Page 263

1 Halpern  
 2 access storage."  
 3 Do you see that language, sir?  
 4 A Yes.  
 5 Q It's talking about storing data in a  
 6 random access storage, correct?  
 7 A Those are the words it uses.  
 8 Q "Random access storage" in that  
 9 context must mean structure, correct?  
 10 MR. BROWN: Objection. Vague.  
 11 A I'm not sure I know what you're,  
 12 what you're getting at. It must mean something.  
 13 Q It must be something that can store  
 14 the time compressed representation, correct?  
 15 Is that correct, Mr. Halpern?  
 16 A But that doesn't make it structure  
 17 for a means plus structure.  
 18 Q I want to know if my statement is  
 19 correct, sir.  
 20 A I would tend to conclude that the  
 21 inventors in writing this paragraph meant  
 22 something by "random access storage," yes,  
 23 something in which they would store this.  
 24 Q A type of storage, namely random  
 25 access storage, would be used to store the time

Page 264

1 Halpern  
 2 compressed representation according to the  
 3 statement I read, correct?  
 4 A Yes.  
 5 Q They're not using in this office  
 6 action response the words "random access storage"  
 7 in a functional manner, are they?  
 8 MR. BROWN: Objection. Vague.  
 9 A There's a very fine line there  
 10 because it's a very vague term.  
 11 Without the references to DRAM,  
 12 SRAM, CMOS memory and optical disk memory that  
 13 make it very clear what you can use for storing  
 14 the time compressed representation, one could  
 15 argue that albeit clearly on thinking of some  
 16 place to store it, it may well be that all they're  
 17 telling me is the function that it must be able to  
 18 perform, namely providing random access storage.  
 19 Q When they say "in a random access  
 20 storage," you think they may just be telling  
 21 you --  
 22 A In --  
 23 MR. BROWN: Let him finish.  
 24 Q -- that there's a function?  
 25 MR. BROWN: Is that the end?

Page 265

1 Halpern  
 2 MR. PAYNE: Yes.  
 3 MR. BROWN: Asked and answered  
 4 I believe. Go ahead.  
 5 A They may be because it's very  
 6 unclear what is meant throughout the specification  
 7 and the specific structures called out in the  
 8 specification.  
 9 Q Well, you've talked about random  
 10 access storage being magnetic disks, optical disk,  
 11 RAM, ROM, right?  
 12 A For different claims where there are  
 13 random access storage for storing.  
 14 Q Is there any other structure that  
 15 you can think of that has the random access  
 16 storage capability that would be used in  
 17 connection with storing the time compressed  
 18 representation?  
 19 MR. BROWN: Objection. Vague.  
 20 A I'm sorry. I don't understand what  
 21 you're asking.  
 22 Q Well, you've mentioned a few  
 23 examples of random access storage. Correct?  
 24 A Uh-huh.  
 25 Q Can you give me other examples that

1 Halpern  
 2 would have existed in 1988 that would have  
 3 performed what you call a function of random  
 4 access storage?  
 5 A Well, for storing what?  
 6 Q Mr. Halpern, we're talking about  
 7 storing the time compressed representation.  
 8 A Well --  
 9 Q What's your confusion?  
 10 A Well, it is, there are items listed  
 11 in the '705 patent that are not listed in the '995  
 12 patent. I list the ones that the patents  
 13 identified and I don't believe either patent  
 14 identifies all imaginable random access storage.  
 15 Q Do you know of other random access  
 16 storage that existed in 1988 that wasn't disclosed  
 17 in the Burst patents?  
 18 A In which patent?  
 19 Q In any of them that can store audio  
 20 and video information?  
 21 A I haven't done the -- I don't  
 22 remember. It's -- I'm --  
 23 Q I just want to know, you've  
 24 referenced a bunch of different random access  
 25 storage structures that you believe were disclosed

1 Halpern  
 2 in the Burst patents, correct?  
 3 A Yes.  
 4 Q I just want to know if you know of  
 5 any other random access storage structures that  
 6 existed in 1988.  
 7 MR. BROWN: Asked and answered  
 8 quite clearly 30 seconds ago.  
 9 A There are structures called out in  
 10 the '705 that are not called out in the '995, for  
 11 example. There are, I believe, other structures.  
 12 I'm not going to try to remember all of the ways  
 13 of storing random access data in 1988.  
 14 Q Can you just list for me one type of  
 15 random access storage structure that existed in  
 16 1988 that isn't disclosed in the Burst patents?  
 17 Can you give me just one?  
 18 A I know there were others. I'm  
 19 sorry. I cannot remember the terminology for them  
 20 at this time.  
 21 MR. PAYNE: Let's change the  
 22 tape, please.  
 23 THE VIDEOGRAPHER: The time is  
 24 now 5:55. That marks the end of tape  
 25 number 4.

1 Halpern  
 2 (Recess taken)  
 3 THE VIDEOGRAPHER: Back on the  
 4 record. The time is now 5:59. This  
 5 marks the beginning of tape 5.  
 6 BY MR. PAYNE:  
 7 Q Mr. Halpern, in the event that the  
 8 court decides that random access storage means is  
 9 not subject to 112.6, would you agree with Burst's  
 10 definition for that term?  
 11 A Would you tell me what that  
 12 definition is?  
 13 Q Sure.  
 14 MR. BROWN: Exhibit U.  
 15 Q Yes, it's back in U. I'll just read  
 16 it to you but if you need to go back to U, that's  
 17 fine.  
 18 The Burst definition for "random  
 19 access storage means" is as follows. "Storage  
 20 that provides for random access to any given  
 21 segment of stored audio/video source information."  
 22 A That does not -- even if it was not  
 23 112.6, that doesn't sound quite right since we're  
 24 talking about the random access storage for timed  
 25 compressed representation so I'm not, there's some

1 Halpern  
 2 subtleties in there that seem a little off.  
 3 I don't know. I haven't attempted  
 4 to analyze -- I haven't attempted to form an  
 5 expert on it on what it would mean if it was not  
 6 112.6 so I want to be a little careful but  
 7 roughly, that sounds just a little off because it  
 8 has to be something that construed the time  
 9 compression preparation.  
 10 Q So would you agree with a definition  
 11 of storage that provides random access to any  
 12 given segment of the stored time compressed  
 13 representation?  
 14 A That sounds close. I don't want to  
 15 say I will agree because I haven't done the  
 16 analysis but it sounds closer.  
 17 Q Let's talk about your view on page  
 18 34 that there are no structures clearly linked to  
 19 the function of storing a time compressed  
 20 representation.  
 21 Do you see that, sir?  
 22 A Yes.  
 23 Q That's because you say the specs in  
 24 the Burst patents don't contain any reference to  
 25 time compression or storing time compressed

1 Halpern  
 2 that. I'm comfortable with those positions  
 3 because that's what I've described in my report  
 4 and subject to the typos that you've managed to  
 5 find is what I believe they mean in the light of  
 6 all of the evidence available.  
 7 Q In your other cases, have you ever  
 8 construed claim language to cover things that  
 9 aren't supported in the specification or is this  
 10 just a unique situation for you?  
 11 MR. BROWN: Objection. Vague.  
 12 A I, I don't remember all my claims  
 13 constructions.  
 14 Q If the word "time" in connection  
 15 with "time compressed representation" were not in  
 16 the claims, would that change your view on how to  
 17 construe these claims?  
 18 A That would depend on what happened  
 19 to the file history as well as, I think, since the  
 20 word appears in several places, not just the  
 21 claims but there's discussion of it in and the use  
 22 of that term in the file history as well.  
 23 Q Well, if the claims just said a  
 24 "compressed representation" instead of a "timed  
 25 compressed representation," does that change your

1 Halpern  
 2 Q But you didn't worry about the word  
 3 "microwave" being missing from the '932 claims  
 4 when you construed that it needs to be limited to  
 5 a microwave transceiver, correct?  
 6 A That was based on the file  
 7 histories.  
 8 Q Not the claim language, right?  
 9 A In addition to the claim language.  
 10 I did not read out any words -- I did not remove  
 11 any words from the claims.  
 12 Q Let's go to page 43 of your report,  
 13 please. Let's look at claim 2 of the '995 patent,  
 14 please, which talks about editing.  
 15 Claim 2 talks about having an  
 16 editing means for editing the time compressed  
 17 representation that's stored in the random access  
 18 storage means, correct?  
 19 A Yes.  
 20 Q In your time compression world, how  
 21 would this editing take place?  
 22 A One would presumably give the user  
 23 information about the content of the time  
 24 compressed representation and allow them to  
 25 manipulate it.

1 Halpern  
 2 constructions or do you know?  
 3 A As I say, it would depend on what  
 4 happened, what else, what happened in the file  
 5 history that references those same words.  
 6 Q Nothing else changes.  
 7 MR. BROWN: Objection. Vague.  
 8 A I'd be very confused, I guess,  
 9 because the file history would refer to something  
 10 as part of the patent that wouldn't be in the  
 11 claims. I don't know what I would conclude from  
 12 such a thing.  
 13 Q The file history would --  
 14 A Time compression, time compressed  
 15 representations appear in the file history or are  
 16 discussed in the file history.  
 17 Q But in my hypothetical, it's not in  
 18 the claims, right?  
 19 A And I asked you what happened to the  
 20 file history.  
 21 Q So it's important that the word  
 22 "time" is in the claims, though, correct?  
 23 A I have been taught that I'm supposed  
 24 to pay attention to the words that are in the  
 25 claim and not ignore them.

1 Halpern  
 2 Q Claim 2 talks about editing the time  
 3 compressed representation. Correct?  
 4 A Yes.  
 5 Q But in the time compression world  
 6 that you're living in, wouldn't one be editing the  
 7 source information that's stored in the random  
 8 access storage?  
 9 A Given that, the information stored  
 10 in the random access storage has an associated  
 11 burst time period, it would be the time compressed  
 12 representation and so that is what we would be  
 13 editing.  
 14 Q We've talked about the bits  
 15 associated with the source information being  
 16 stored in storage in your time compression world  
 17 in the same manner that they appear in the source  
 18 information. Correct?  
 19 A Yes.  
 20 Q Okay. So in the editing context,  
 21 are you talking about editing the header  
 22 information or the bits associated with the source  
 23 information?  
 24 A You're editing the audio/video  
 25 information in its time compressed representation.

Page 282

1 Halpern  
 2 Q What specifically are you editing in  
 3 your time compression world? Is it the header  
 4 information or is it the bits associated with the  
 5 source information or is it both? What is it?  
 6 MR. BROWN: Objection. Vague.  
 7 Incomplete hypothetical.  
 8 A You're editing according to this the  
 9 time compressed representation of the audio/video  
 10 program.  
 11 Q Okay. But what part of it are you  
 12 going to edit? Is it the header information?  
 13 MR. BROWN: Objection. Vague.  
 14 Incomplete hypothetical. Asked and  
 15 answered.  
 16 A I can give you the same answer  
 17 again. You're editing the time compressed --  
 18 Q I just want to understand if one  
 19 edits the header information in the examples  
 20 you've given today, does that qualify as editing  
 21 the time compressed representation that's stored  
 22 in random access storage?  
 23 MR. BROWN: Objection. Vague.  
 24 A It would depend, I guess, on the  
 25 exact information. It's certainly --

Page 283

1 Halpern  
 2 Q You gave me an implementation where  
 3 you add a header to the source information bits,  
 4 right?  
 5 A I would not expect that editing,  
 6 that one would edit those bits to edit the time  
 7 compressed representation.  
 8 Q Well, I'm sorry. You are going to  
 9 have to run that by me again. You would not  
 10 expect --  
 11 A I would not expect that when editing  
 12 the time compressed representation of the  
 13 audio/video program -- yes. I would not expect  
 14 when editing the time compressed representation of  
 15 said audio/video source information to be editing  
 16 header bits that describe the degree of time  
 17 compression, for example.  
 18 I would expect to be editing bits  
 19 from the audio/video source program.  
 20 Q So merely editing the header  
 21 information is not sufficient to qualify as  
 22 editing in the context of claim 2?  
 23 A Given the way claim 2 is worded, I  
 24 believe so but, you know, we're compounding  
 25 hypotheticals here.

Page 284

1 Halpern  
 2 Q In the example you've given me  
 3 today, you talked about the time compressed  
 4 representation that's stored being composed of  
 5 essentially two things, one, the original source  
 6 bits and, two, some header information, correct?  
 7 A That was one of the examples I gave  
 8 you.  
 9 Q I think that was the only example  
 10 but that is at least an example you've given me,  
 11 correct?  
 12 MR. BROWN: That misstates his  
 13 testimony, correct.  
 14 Q Is that correct?  
 15 A That is an example we have  
 16 discussed.  
 17 Q I'm trying to find out in the  
 18 context of claim 2 where it calls for editing the  
 19 time compressed representation if it's sufficient  
 20 to edit the header information alone.  
 21 MR. BROWN: Asked and  
 22 answered.  
 23 A I don't believe so.  
 24 Q You believe the actual source bits  
 25 have to be edited?

Page 285

1 Halpern  
 2 MR. BROWN: Objection. Vague.  
 3 A I believe that the bits in the time  
 4 compressed representation which represent the  
 5 audio/video source information must be edited to  
 6 be editing the representation of the audio/video  
 7 source information.  
 8 Q You say that the editing means must  
 9 necessarily include the ROM, correct?  
 10 A That's what, yes, I say that.  
 11 Q Okay. Does the ROM actually do any  
 12 of the editing per se?  
 13 A The ROM is called out as part of the  
 14 digital control unit. It is responsible for  
 15 controlling the editing.  
 16 Q I'm sorry. What was that?  
 17 A The ROM is part of the digital  
 18 control unit and is identified as such in the  
 19 patent specification.  
 20 Q But there's no editing taking place  
 21 in the ROM, correct?  
 22 A I'm not sure what you're asking.  
 23 Q Well, what's edit -- doesn't editing  
 24 mean modifying?  
 25 A Yes.

Page 286

1 Halpern  
 2 Q Okay. Is there any modifying of the  
 3 time compressed representation in the ROM?  
 4 A No.  
 5 Q Okay. Well, let's -- I want to go  
 6 back to, you know, the editing questions that we  
 7 were talking about before.  
 8 You've told me that merely editing  
 9 or modifying the header is not sufficient that  
 10 qualifies the claim having editing, correct?  
 11 A Correct.  
 12 Q If the header information were  
 13 deleted, would the remaining information still  
 14 qualify as a time compressed representation?  
 15 MR. BROWN: Objection. Vague.  
 16 Incomplete hypothetical.  
 17 A That would depend on how it got  
 18 deleted, why it got deleted.  
 19 I don't know what it would mean. It  
 20 might be random bits at that point. I don't know.  
 21 Q What do you mean it might be random  
 22 bits? It would be the original source bits,  
 23 right?  
 24 A The header information affects the  
 25 way the device in the hypothetical that we

Page 287

1 Halpern  
 2 created -- and this is just a hypothetical, it's  
 3 not the only way it can be done -- but in the  
 4 hypothetical, if the header was deleted, it isn't  
 5 clear what the system would make of the contents  
 6 without the header since the system would be built  
 7 around the headers.  
 8 So I don't know what it would do.  
 9 It might try to read the first part of the source  
 10 as the header.  
 11 Q The claim construction you've  
 12 offered also talks about "user interface control  
 13 panel, light pen or mouse," correct?  
 14 A Yes.  
 15 Q Did those devices actually modify  
 16 the time compressed representation?  
 17 A Those are part of the means for  
 18 editing, the means for modifying the time  
 19 compressed representation.  
 20 Q But the actual modification takes  
 21 place in the CPU running the stored software,  
 22 correct?  
 23 A I believe that the process of  
 24 modifying the stored time compressed  
 25 representation is certainly mediated by the CPU.

Page 288

1 Halpern  
 2 The CPU doesn't change it without  
 3 the user instruction nor does it change it without  
 4 the software stored in the ROM.  
 5 Q Well, it doesn't change it unless  
 6 you have an input means to receive the information  
 7 either, does it?  
 8 A That doesn't follow.  
 9 Q Well, if you don't get the  
 10 information somehow, then the information, there's  
 11 no information to edit, right?  
 12 A That's, that leaves a lot of things  
 13 to be specified.  
 14 Q Now, are you aware that the Apple  
 15 construction for editing means attempts to exclude  
 16 creating a play list?  
 17 A Yes.  
 18 Q I noticed that -- actually. I  
 19 misspoke.  
 20 The construction that Apple's  
 21 offered for editing says that editing does not  
 22 include the function of creating a play list. Are  
 23 you aware of that?  
 24 A Yes.  
 25 Q I noticed that your construction in

Page 289

1 Halpern  
 2 your report doesn't include an opinion about  
 3 excluding or including a play list. Correct?  
 4 A I believe I wasn't asked to opine on  
 5 the specific meaning of editing by itself as posed  
 6 to the means plus function issues.  
 7 Q Well, you've, you've cited on page  
 8 44 a definition for "edit," correct?  
 9 A Yes.  
 10 Q It says, "to modify the form or  
 11 format of an output or input," correct?  
 12 A Yes.  
 13 Q So do you have an opinion one way or  
 14 the other whether Apple is correct that editing  
 15 does not include the function of creating a play  
 16 list?  
 17 MR. BROWN: Objection. Vague.  
 18 MR. PAYNE: Why is that vague,  
 19 Nick?  
 20 MR. BROWN: Because you're  
 21 asking it divorced from the context of  
 22 the claims and unclear about whether  
 23 you're asking it in the context of the  
 24 claims or not. That's  
 25 quintessentially vague.