

Hemami

[1] he gets to the next piece, it's arrived, correct?

[2] **A:** Yes.

[3] **Q:** All right. Now, suppose he presses

[4] "rewind" on the video and he wants, after he's

[5] watched section number 3, he wants to go back and

[6] watch section number 2.

[7] **A:** So now you're assuming that this is

[8] being stored.

[9] **Q:** Well, let's suppose that only one of

[10] those pieces is stored at a time and that when

[11] he's finished watching, it's replaced by the next

[12] piece.

[13] **A:** When he's finished watching it, the

[14] next piece already has to be there so —

[15] **Q:** Right. This might not be — we're

[16] running low on time. This might not be worth it.

[17] I'm going to make one more effort to do this.

[18] Suppose you've got two buffers on

[19] the client side. Does that make sense?

[20] **A:** Okay.

[21] **Q:** You fill one buffer in one second

[22] and it takes ten seconds to play it back, okay?

[23] **A:** Okay.

[24] **Q:** Before that ten seconds has elapsed,

Hemami

[1] you have to fill the next buffer so you can

[2] continue to watch continuously, right?

[3] **A:** Okay.

[4] **Q:** In that situation where you've only

[5] got two buffers and you've got only 20 seconds

[6] worth of video storage on the client side, if

[7] somebody presses "pause" or "rewind," you're going

[8] to have to — and wants to go back to a section

[9] that's more than 20 seconds away from where they

[10] are, you're going to have to send data all over

[11] again, right?

[12] **A:** In the interpretation of what is

[13] written here that you have given me —

[14] **Q:** Yes.

[15] **A:** — the statement that you just ended

[16] with — what did you just end with — you would

[17] have to —

[18] **Q:** Send the same data again?

[19] **A:** Yes. I, I believe you have made an

[20] accurate statement.

[21] **Q:** Okay. With that in mind, please

[22] reread this paragraph beginning with "however" and

[23] tell me if you think that's what it's talking

[24] about.

Hemami

[1] **A:** I have no idea what this is talking

[2] about in that the Burst patents describe this stop

[3] watch starting and stopping, as I described, sort

[4] of the burst time period you can, the amount of

[5] time required to transmit the information is

[6] substantially less than the viewing time.

[7] That discussion, in everything that

[8] I have read, pertains to transmitting not in what

[9] we say, in real time, this delineation that is

[10] given over Haskell, for example, on the previous

[11] page.

[12] Reading this to me makes — I

[13] understand the "however" sentence. "System

[14] designers did not recognize that time compressed

[15] representations could be sent in a burst time

[16] period that is shorter than the time period needed

[17] for real-time viewing by a receiver."

[18] **Q:** Well, let's stop there. If you

[19] understand that sentence, can you tell me what you

[20] understand that sentence to mean?

[21] **A:** I understand that sentence to mean

[22] that at the time of these patents, people were

[23] focused on real-time delivery of digital

[24] audio/video source information, what we would

Hemami

[1] today call "streaming."

[2] There was not a recognition of what

[3] we could call perhaps the fast download or the

[4] fast dump where the entire — rather than simply

[5] broadcasting in real time, that one could actually

[6] do a fast download.

[7] I think I discuss this in my report

[8] so, which is probably written in a more eloquent

[9] fashion with better verbs.

[10] **Q:** You may be referring to the section

[11] where you describe the Burst concept at the end of

[12] the section 2, which is pages 25 through 26.

[13] **A:** I think, yes, 24 through 26.

[14] **Q:** Oh, I'm sorry. 24.

[15] **A:** Yes. And the Burst patents did not

[16] do this "streaming," to use a word from today, to

[17] describe what's going on. So I agree with that

[18] "However" sentence.

[19] The next sentence, "Sending time

[20] compressed representations to a receiver can add a

[21] new variable consumption rate to the equation

[22] which indicates the maximum number of clients the

[23] system can service."

[24] Now, the first time I read this

Hemami

[1]
[2] sentence, I said I have absolutely no idea what
[3] this is talking about and my reaction to the
[4] following sentence was similar in that pause or
[5] rewind, unless information may need to be sent,
[6] this, this already suggests two-way communication
[7] which is not described in what's going on.

[8] **Q:** Given the hour and my heretofore
[9] failed attempt to explain to you what that meant,
[10] I think it's time to move on. If you don't
[11] understand it sitting here today, I don't want to
[12] spend more time on it.

[13] I do want to talk about the section
[14] in your report that you just referred to about the
[15] Burst concept. And it appears to me that the
[16] first part of that two or three-page section
[17] titled "The Burst Concept," where you actually
[18] describe what you understand the Burst concept to
[19] be is on page 26 where you say, "The idea of a
[20] 'burst transmission' — one in which an entire
[21] program or portion thereof was quickly delivered
[22] to a user" —

[23] **A:** Sorry. You said — oh, I'm sorry.
[24] I was on the wrong page. Yes, okay.

[25] **Q:** Okay. You say there, "The idea of a

Hemami

[1]
[2] earlier on in the reports saying this is a claim
[3] construction issue, I'm just going to talk about
[4] this thing generically.

[5] **Q:** Sure.

[6] **A:** So this is not meant to suggest, for
[7] example, that TDMA could be used to deliver a
[8] program in real time with a portion thereof. This
[9] is simply meant to generically refer to whatever
[10] program may be run.

[11] **Q:** Understood. Let's focus on, just on
[12] that for a few minutes and then I want to come
[13] back to this because I want to get clearer your
[14] position on what has to be transmitted.

[15] I believe, and I think it's actually
[16] on the next page, that the construction you're
[17] advancing requires that what is, that the
[18] audio/video source information be an audio and/or
[19] video work, correct?

[20] **A:** Yes.

[21] **Q:** What does "work" mean?

[22] **A:** So first, I'll refer to what I
[23] wrote on the next page and then I can provide more
[24] detail if you would like. Sorry. Where did I
[25] quote?

Hemami

[1] 'burst transmission.'" Do you see that sentence?

[2] **A:** Yes.

[3] **Q:** And you say that idea wherein an
[4] entire program or a portion thereof was quickly
[5] delivered to a user "was a significant departure
[6] from continuous delivery."

[7] Do you see that?

[8] **A:** Yes.

[9] **Q:** And then in the final sentence, you
[10] say, "The Burst patents were the first to realize
[11] that the increasing, the increasing availability
[12] of large memories could be used to enable a new
[13] paradigm in transmission of audio/video source
[14] information, i.e., 'burst' transmission."

[15] Do you see that?

[16] **A:** Yes.

[17] **Q:** Is that what your understanding of
[18] what the Burst concept is?

[19] **A:** This is my understanding of the
[20] Burst concept.

[21] I would like to clarify that when I
[22] say "entire program or portion thereof," here I am
[23] referring to the fact that the parties disagree on
[24] what constitutes a program and I made a disclaimer

Hemami

[1] (Pause)

[2] **A:** I'm sorry. So we have at the top of
[3] page —

[4] **Q:** Twenty-eight.

[5] **A:** Twenty-eight where, and I guess the
[6] paragraph starts at the bottom of page 27. "The
[7] information has creative meaning; for example, the
[8] video is described as movies or television
[9] programs, rather than" some type of, for example,
[10] "video from a security system camera. Webster's
[11] dictionary definition of 'work' is 'something
[12] produced by the exercise of creative talent or
[13] expenditure of creative effort.'"

[14] **Q:** Does — I'm sorry. Were you
[15] finished?

[16] **A:** No, go ahead. I was finished.

[17] **Q:** Does the word "work" appear in the
[18] Burst patents?

[19] **A:** If it appears, it certainly doesn't
[20] appear in the context of the Webster's definition
[21] which I have. I don't know if the word actually
[22] appears or not.

[23] **Q:** Right. The word the patents use to
[24] describe what is transmitted is "program," right?
[25]

[1] *Hemami*

[2] **A:** "Program" with a long descriptive

[3] term, a long descriptive associated with it —

[4] **Q:** Well, let's —

[5] **A:** — which I think I refer to.

[6] Actually, also on page 28 in the first full

[7] paragraph.

[8] **Q:** Okay.

[9] **A:** So the, "Apple construes" paragraph

[10] about five lines down.

[11] **Q:** So you refer there to lines 20

[12] through 24 in column 1 of the '839 patent. Is

[13] that right?

[14] **A:** Yes. Let us hope that is the actual

[15] correct numbers.

[16] **Q:** Let's go look at that.

[17] **A:** And that is a direct quote as well.

[18] **Q:** So they say there, "The term

[19] 'program' encompasses movies and other types of

[20] audio" — "of video and/or audio materials whether

[21] broadcast from a TV station or another source."

[22] **A:** Yes.

[23] **Q:** Do you understand the term "program"

[24] to include, for example, a 5 minute clip of a 30

[25] minute TV show?

[1] *Hemami*

[2] **A:** Given this parenthetical, I do.

[3] **Q:** So in your mind, does the

[4] audio/video source information in the claims of

[5] the Burst patents include, for example, a 5 minute

[6] clip of a 30 minute TV show?

[7] **A:** I think it does. I think that

[8] it's — as opposed to the entire 30 minute

[9] television show that one could select sections.

[10] **Q:** Okay. If you look at the '839

[11] patent, claim 1 which is column 13 —

[12] **A:** Yes.

[13] **Q:** — we've, as we've discussed before,

[14] what is transmitted at the end is the stored time

[15] compressed representation, right?

[16] **A:** Yes.

[17] **Q:** So I think we agreed that the time

[18] compressed representation, at some point, had to

[19] be stored in its entirety, correct?

[20] **A:** Well, if we start our pointer — if

[21] we have limited memory, right — remember, our

[22] pointers just have to catch up at the end so it's

[23] possible that once we've sent this stuff, we can

[24] reuse this section of the memory in which case,

[25] obviously we're rewriting in memory the entire

[1] *Hemami*

[2] program.

[3] While it has been stored at some

[4] point in its existence, at no point does it exist

[5] in the memory in its entirety.

[6] **Q:** Okay.

[7] **A:** And that would be a possibility.

[8] **Q:** All right. So that's what I'm

[9] trying to clarify.

[10] Do you believe — suppose that the

[11] audio/video source information is your 5 minute

[12] clip of a 30 minute program, okay?

[13] **A:** Uh-huh.

[14] **Q:** In claim 1, it says, "Storing said

[15] time compressed representation of the received

[16] audio/video source information," right?

[17] Can that element be satisfied if no

[18] more than 1 minute of the 5 minute clip is ever

[19] stored at a single time?

[20] **A:** Can the elements be satisfied?

[21] **Q:** In other words, are you storing said

[22] time compressed representation if at no point you

[23] ever store more than 1/5th of it?

[24] **A:** Well, we would like to edit the

[25] thing as in claim 2 and clearly we can't edit

[1] *Hemami*

[2] something that isn't there. So from the operation

[3] of the device, I think it certainly sounds like we

[4] do want the whole thing to be in memory.

[5] **Q:** Would you also agree that you are

[6] not storing the time compressed representation if

[7] you're only storing 1/5th of it at a time?

[8] **A:** If you're only storing — well, you

[9] are storing —

[10] **Q:** At that point, you're storing a

[11] portion of the time compressed representation, not

[12] said time compressed representation, correct?

[13] **A:** If — yes, I think we can say that.

[14] **Q:** So to satisfy that storing element,

[15] you have to store the representation of the entire

[16] audio/video source information?

[17] **A:** Whatever the entire information is.

[18] **Q:** Right. And it's certainly your view

[19] that the audio/video source information doesn't

[20] have to be an entire 30 minute television program

[21] or an entire two hour movie, right?

[22] **A:** Yes.

[23] **Q:** It could be a 5 minute clip?

[24] **A:** Yes.

[25] **Q:** But whatever it is, that entire

Hemami

[1] thing needs to be stored in the storing step. Is
 [2] that right?
 [3] **A:** I think — that thing sits in memory
 [4] 13, which is what the storing step pertains to.
 [5] **Q:** So the answer is “yes”?
 [6] **A:** Yes.
 [7] **Q:** Okay. Let’s go back to page 26.
 [8] **A:** Yes.
 [9] **Q:** I think we can now replace your
 [10] phrase here, “an entire program or a portion
 [11] thereof” with the concept of the audio/video
 [12] source information, right?
 [13] **A:** Whatever that may be, yes.
 [14] **Q:** Whatever that may be.
 [15] **A:** Yes.
 [16] **Q:** So is it true that your
 [17] understanding of the Burst concept is that it is a
 [18] transmission in which the audio/video source
 [19] information is — well, I think the last sentence
 [20] is actually a better sentence.
 [21] You wrote here, “The Burst patents
 [22] were the first to realize that the increasing
 [23] availability of large memories could be used to
 [24] enable a new paradigm in transmission of
 [25]

Hemami

[1] audio/video source information, i.e., ‘burst’
 [2] transmission,” right?
 [3] **A:** Yes.
 [4] **Q:** And that’s because when you had
 [5] memories big enough to store the complete
 [6] audio/video source information on the receiving
 [7] end, you could send the entire thing that you’re
 [8] transmitting faster than real time, right?
 [9] **A:** I think I would say “on the
 [10] transmitting end,” but it depends on your use of
 [11] “receiving,” whether you’re talking about received
 [12] from an input or — yes. In order to transmit the
 [13] thing very speedily, the thing has to exist.
 [14] **Q:** And in order for that to be
 [15] worthwhile on the user’s end, you have to be able
 [16] to watch it, right?
 [17] **A:** Yes.
 [18] **Q:** So if you transmitted it ten times
 [19] faster than real time and you can only store
 [20] 1/10th of it, you couldn’t watch it, could you?
 [21] **A:** If the memory at the receiving end
 [22] was not sufficiently large to accept what was
 [23] coming in, clearly that person would only get
 [24] whatever’s in the memory, like when one’s TiVo
 [25]

Hemami

[1] hard disk is full.
 [2] **Q:** Right. So in order to transmit the
 [3] entire audio/video source information faster than
 [4] real time and then view it in real time, you have
 [5] to store it in its entirety on the user’s end?
 [6] **A:** Because it’s going to come in faster
 [7] than you eat it, yes.
 [8] **Q:** So the answer is “yes,” you need
 [9] sufficient storage to store the complete audio or
 [10] at least the complete time compressed
 [11] representation on the user’s end, correct?
 [12] **A:** The complete time representation.
 [13] The receiving unit must be able to store what it
 [14] is transmitted.
 [15] **Q:** And it’s your view that the Burst
 [16] concept or that the — let me just use the actual
 [17] language.
 [18] It’s your view that the Burst
 [19] patents were the first to realize that the
 [20] increasing availability of large memories enabled
 [21] one to do faster than real-time transmission of a
 [22] complete piece of audio/video source information?
 [23] **A:** Yes.
 [24] **Q:** Now, you understand that there is
 [25]

Hemami

[1] cited prior art which is discussed in the file
 [2] history, Haskell being an example, which discloses
 [3] sending pieces of a larger program, where each
 [4] piece is sent faster than real time but the entire
 [5] program is only sent in real time, correct?
 [6] **A:** Yes, the Haskell transmission is
 [7] characterized in the file histories as real time.
 [8] We were just opened to that page.
 [9] **Q:** And you understand that Haskell
 [10] sends pieces of the program faster than real time,
 [11] right?
 [12] Let’s go back to Haskell. If you
 [13] don’t remember that, we ought to clarify it. I
 [14] believe it’s Exhibit 81.
 [15] Do you have it?
 [16] **A:** I do.
 [17] **Q:** Let’s make sure that we get this
 [18] right.
 [19] Do you see in the “Summary of the
 [20] Invention” section where it describes a scan line
 [21] with a predetermined time duration?
 [22] **A:** Can you give me a line number?
 [23] **Q:** Sure. Line 13.
 [24] **A:** Yes.
 [25]

[1] *Hemami*

[2] **Q:** And then it says, "The signal

[3] processor compresses in time the duration of each

[4] scan line of the video signal."

[5] **A:** Yes.

[6] **Q:** And then it says that that happens

[7] by a predetermined compression factor.

[8] **A:** Yes.

[9] **Q:** And then later on down at the bottom

[10] of the summary, it says that there's a receiver

[11] where the compressed signal is expanded and the

[12] "expanded video signal is extracted from

[13] therefrom." Do you see that?

[14] **A:** Yes.

[15] **Q:** So what Haskell is describing is

[16] compressing the amount of time it takes to send an

[17] individual scan line, right?

[18] **A:** Yes.

[19] **Q:** Which is only a very small portion

[20] of a video program, right?

[21] **A:** It is.

[22] **Q:** So a portion of a single frame of a

[23] video program, right?

[24] **A:** Yes.

[25] **Q:** So Haskell describes sending

[1] *Hemami*

[2] individual scan lines faster than they need to be

[3] displayed, right?

[4] **A:** The time it takes to transmit a scan

[5] line is less than the time for the CRT to sweep

[6] the scan line.

[7] **Q:** But because the only thing that's

[8] being sent faster than real time is one scan line

[9] as opposed to a complete program, the transmission

[10] is still happening in real time, right?

[11] **A:** I'm sorry. Can you repeat the

[12] question?

[13] **Q:** Sure. One thing — the thing that's

[14] being sent faster than real time in Haskell is a

[15] scan line, right?

[16] **A:** Yes.

[17] **Q:** That's being sent faster than the

[18] amount of time it takes to display the scan line?

[19] **A:** Yes.

[20] **Q:** So Haskell doesn't send the entire

[21] program in a time compressed form; it sends

[22] portions of the program in a time compressed form,

[23] right?

[24] **A:** I'm not even sure I would call the

[25] scan line a portion but yes, an infinitesimally

[1] *Hemami*

[2] small analog signal.

[3] **Q:** Right. If we go back to the '839

[4] patent, claim 1?

[5] **A:** Yes.

[6] **Q:** Under your interpretation of time

[7] compressed representation, that's a

[8] data-compressed version of the audio/video source

[9] information, correct?

[10] **A:** Yes.

[11] **Q:** And you explained that compressing

[12] an audio/video signal was known to a person of

[13] ordinary skill in 1988, right?

[14] **A:** Yes.

[15] **Q:** And certainly storing a compressed

[16] file was known to a person of ordinary skill in

[17] the art in 1988, right?

[18] **A:** Yes.

[19] **Q:** And we haven't discussed this but I

[20] believe you'd agree that receiving audio/video

[21] source information was known to a person of

[22] ordinary skill in the art in 1988, right?

[23] **A:** Yes.

[24] **Q:** So in your view, is it true that the

[25] novelty that the part of the Burst patent, claim 1

[1] *Hemami*

[2] of the Burst patent that was new as of 1988 is in

[3] the transmitting step?

[4] **MR. PAYNE:** Objection.

[5] Nick, are you getting into

[6] areas outside of claim construction at

[7] this point?

[8] **MR. BROWN:** I'm trying to

[9] understand what she said the Burst

[10] concept was. I think we're almost

[11] done and I think she's already said

[12] this.

[13] **MR. PAYNE:** I just want to

[14] make clear that, you know, she's being

[15] tendered today as a claim construction

[16] expert and I don't think it's

[17] appropriate to get into patentability

[18] or validity issues so I'm not sure

[19] what you're asking her.

[20] **MR. BROWN:** Sure. What I want

[21] to ask her about is the Burst concept

[22] that she described in her claim

[23] construction expert report.

[24] **MR. PAYNE:** That's fair game.

[25] **Q:** And maybe I'll put it this way.

Hemami

[1] Do you agree that the Burst concept
[2] is not about the specifics of receiving the
[3] audio/video information, compressing it or storing
[4] it?
[5]

[6] **MR. PAYNE:** Objection. Form.

[7] **A:** The, this is sort of a wholistic
[8] thing.

[9] Without the data compression, we
[10] have, we have discussed that the file sizes are
[11] exorbitantly large. That point is also made in
[12] the specifications. And I also mention in my
[13] report that these uncompressed files were
[14] extremely large and certainly in the case of
[15] video.

[16] **Q:** Do you agree that the Burst concept
[17] is the concept of faster than real-time
[18] transmission of audio/video data?

[19] **MR. PAYNE:** Objection. Form.

[20] **A:** I believe that the Burst concept
[21] involves faster than real-time transmission of
[22] audio/video data but also requires some other —
[23] it must be — there must be things that occur to
[24] enable this, right? I would like to jump off the
[25] Empire State Building and land safely. Clearly, I

Hemami

[1] need a parachute.

[2] **Q:** Right. Well, let's talk about the
[3] concept of whether the data compression is
[4] required for the faster than real-time
[5] transmission.
[6]

[7] Why don't you look at the '839
[8] patent at — I don't have it highlighted.

[9] Do you have the '995 patent?

[10] **A:** I do. I have both.

[11] **Q:** Why don't you look at that at column
[12] 7. And do you have column 7?

[13] **A:** I do.

[14] **Q:** And at line 55, it describes the
[15] fiber optic line. Do you see that?

[16] **A:** I do.

[17] **Q:** And it describes the speed of the
[18] fiber optic line as 200 megabytes per second,
[19] correct?

[20] **A:** Yes, about 200 megabytes per second.

[21] **Q:** So that is about 1/5th of a gigabyte
[22] per second, correct?

[23] **MR. PAYNE:** I'm going to
[24] object to form there because I think
[25] that's a typo.

Hemami

[1] **MR. BROWN:** You think what's a
[2] typo? "About" or the "200"?

[3] **MR. PAYNE:** I think the
[4] "bytes" might be a typo but I'm not
[5] sure.
[6]

[7] **MR. BROWN:** Okay.

[8] **Q:** Well, in any event, the text there
[9] says, "about 200 megabytes per second," correct?

[10] **A:** Yes.

[11] **Q:** And that is 1/5th of a gigabyte per
[12] second, right?

[13] **A:** Or 1.6 gigabits per second.

[14] **Q:** Let's go to column 5 of the '995
[15] patent.

[16] It says in column 5 at line 21 — or
[17] 20 and 21 that if no data compression is used, "it
[18] would take approximately 51.03 gigabytes to store
[19] a 2 hour movie." Do you see that?

[20] **A:** Yes.

[21] **Q:** If you're transmitting at .2
[22] gigabytes per second, it's going to take you much
[23] less than two hours to transmit that 51 gigabytes,
[24] correct?

[25] **A:** And that's a giant "if" because in

Hemami

[1] the context of this invention, we wouldn't be able
[2] to store the 51 gigabytes. This 51 gigabytes is
[3] described as precisely in the context of
[4] presenting the compression, to motivate and
[5] explain why data compression is part of the
[6] invention.
[7]

[8] **Q:** So in your view, data compression is
[9] needed to store the 51 gigabytes, is that right?

[10] **A:** In order to store it in a reasonable
[11] unit, yes.

[12] **Q:** And that's, in fact, what the
[13] implication of the sentence here, which says that
[14] by using the compression, it's estimated that
[15] memory will require only 250 megabytes, right?

[16] **A:** Yes.

[17] **Q:** So here they expressly link the use
[18] of data compression to reducing the memory
[19] requirement?

[20] **A:** That is right.

[21] **Q:** But it's also true that the
[22] transmission rate that's described for the fiber
[23] optic line that's described in the '995 patent
[24] would transmit the uncompressed movie faster than
[25] real time, right?

Hemami

[1] **A:** But as we just discussed, we must
 [2] store the movie prior to, I'm sorry, prior to
 [3] transmission. And if we can't store it — as you
 [4] pointed out, if we don't have enough memory to
 [5] store or, say, on the receiving end to receive the
 [6] whole thing, we're just not going to be able to
 [7] transmit the audio/video source information
 [8] because we don't, we can't keep it all.
 [9] **Q:** But you do agree that the disclosed
 [10] fiber optic line can transmit the uncompressed
 [11] movie faster than real time, right?
 [12] **A:** If we divide the numbers. If we ask
 [13] how long it takes to transmit a 51 gigabyte file
 [14] over a link of approximately, or sorry, about 200
 [15] megabytes per second and if we were told that that
 [16] 51 gigabyte file represented something that had a
 [17] two hour duration, certainly we would conclude
 [18] that that number is smaller than two hours, but in
 [19] the context of these patents, that number is not
 [20] meaningful.
 [21] **Q:** Let's go to page 12 of your expert
 [22] report.
 [23] **A:** Yes.
 [24] **Q:** In the second paragraph under "Audio

Hemami

[1] and Video Sources," in the third line you state
 [2] that, "An audio signal in its entirety has an
 [3] associated 'length.'" Do you see that?
 [4] **A:** Yes.
 [5] **Q:** And you say that length represents
 [6] "the time required to play the signal from a
 [7] recording or to listen to it," right?
 [8] **A:** Yes. The implicit assumption being
 [9] there that we're not going to chipmunk it, yes.
 [10] **Q:** You said "we're not going to
 [11] chipmunk it"?
 [12] **A:** Yes.
 [13] **Q:** By "chipmunk it," do you mean, for
 [14] example, playing a 33 RPM LP record at 45?
 [15] **A:** Yes.
 [16] **Q:** But you — let's take the example of
 [17] a three minute song. A three minute song has —
 [18] **A:** An audio, an audio song?
 [19] **Q:** Correct, a three minute audio song,
 [20] right? That audio song has an associated time
 [21] period of three minutes, right?
 [22] **A:** Yes.
 [23] **Q:** Okay. Now, let's suppose that we
 [24] compress that song into an MP3 file, okay? And

Hemami

[1] the MP3 or there are many MP3 compression
 [2] algorithms but they all involve data compression,
 [3] correct?
 [4] **A:** Yes.
 [5] **Q:** So now we have a compressed MP3.
 [6] That MP3 also has an associated time period, which
 [7] is the length of the song, right?
 [8] **A:** With respect to what we're calling
 [9] associated time periods with the patents, yes.
 [10] That MP3 file represents audio
 [11] content, which when played back at a normal, at
 [12] its normal rate, whatever that may be, is three
 [13] minutes.
 [14] **Q:** Right. So by compressing the file
 [15] into an MP3, you aren't changing the time period
 [16] associated with it, right?
 [17] **A:** Yes.
 [18] **Q:** The time period associated with the
 [19] song is still the playback length of the song,
 [20] right?
 [21] **A:** Because it is still the
 [22] representation of the content in the abstract
 [23] form, the song.
 [24] **Q:** Right. So the time period that is

Hemami

[1] associated with a compressed MP3 song is the
 [2] length of the song, right?
 [3] **A:** If we are talking about how long the
 [4] song is, the answer to that question does not
 [5] change whether we MP3 it, whether we DPCM it,
 [6] whether we do something horrible to it such that
 [7] we can't play it back, then it was a three minute
 [8] song.
 [9] **Q:** But if you have a, a representation
 [10] of the song, doesn't that imply that something
 [11] horrible wasn't done to it and you're going to be
 [12] able to recreate the song?
 [13] **A:** You would hope.
 [14] **Q:** I understand we may not have
 [15] discussed this expressly but it, do you agree that
 [16] a person of ordinary skill in the art when seeing
 [17] the word "representation" in the context of the
 [18] Burst patents, the representation of an
 [19] audio/video source information, would understand
 [20] that that representation could be converted back
 [21] into something that had meaning?
 [22] **A:** Yes.
 [23] **Q:** And if not a perfect representation
 [24] of the original audio/video source information, at

Hemami

[1] least it would be a highly-correlated
 [2] representation. Is that right?
 [3] **A:** Good enough. Yes. A good enough
 [4] representation.
 [5] **Q:** Okay. And because of that, it's
 [6] fair to say that when you compress the song,
 [7] you're not changing the length of time associated
 [8] with the song, right?
 [9] **MR. PAYNE:** Objection. Form.
 [10] **A:** You're not changing the length of
 [11] time in which you would play back the song. With
 [12] respect to the length of time associated with the
 [13] song, the patent uses, the patents use burst time
 [14] periods, they use time periods associated with the
 [15] real-time representation and I think that there's
 [16] another association which was discussed yesterday.
 [17] So it's a little loose.
 [18] **Q:** Let's shift gears for a second and
 [19] can you get out the Gitlin reference, Exhibit 84,
 [20] and go to page 609?
 [21] **A:** 609, okay.
 [22] **Q:** Do you have page 609?
 [23] **A:** I do.
 [24] **Q:** And do you recall that you testified

Hemami

[1] earlier about the top portion of the figure on
 [2] page 609?
 [3] **A:** Yes.
 [4] **Q:** And I believe you said that the
 [5] horizontal axis in those depictions represents
 [6] time, right?
 [7] **A:** Yes.
 [8] **Q:** And you said that the signal — if
 [9] you look at the left half of that picture, there
 [10] are two images in the top portion, right? And one
 [11] of them is a representation of the other that has
 [12] a shorter time scale, correct?
 [13] **A:** Yes.
 [14] **Q:** So the one on the right, the, the
 [15] time that's associated with that representation
 [16] looks to be about half the time that's associated
 [17] with the other representation, right?
 [18] **A:** Well, I don't want to use
 [19] "associated" with the representation in your
 [20] question to correspond to the same "associated"
 [21] when we were talking about the three minute with
 [22] respect to the audio.
 [23] **Q:** Fair enough. Let's use different
 [24] language.

Hemami

[1] There's a time period that is
 [2] depicted in that figure for both of those signals,
 [3] correct?
 [4] **A:** Yes.
 [5] **Q:** And it's hard to be exact but it
 [6] seems that the figure on the right has a time
 [7] period that is about half of the time period of
 [8] the signal on the left. Is that right?
 [9] **A:** I would say that the expanse of the
 [10] X-axis on the right, yes, appears to be about half
 [11] of the expanse of the X-axis on the left.
 [12] **Q:** And you said before that the one on
 [13] the right is a time compressed version of the one
 [14] on the left, right?
 [15] **A:** In the context of time-compression
 [16] multiplexing, which is described here on page 609,
 [17] which is a technique for full duplex
 [18] communication, which means that both parties are
 [19] communicating simultaneously, this block diagram
 [20] pertains to time-compression multiplexing.
 [21] What we see at the top is simply a
 [22] graphic representing clocking bits out at a faster
 [23] rate than they were originally put in.
 [24] **Q:** Or in other words, clocking the same

Hemami

[1] bits out in a shorter time period?
 [2] **A:** I think that's more eloquent.
 [3] **MR. PAYNE:** Nick, I haven't
 [4] been keeping track of the exact time.
 [5] I don't know where we are. Are you —
 [6] **MR. BROWN:** I don't know
 [7] either. Why don't we do this? Let me
 [8] ask a couple more questions. Then
 [9] we'll take a break and add up the
 [10] time. How's that?
 [11] **MR. PAYNE:** Sounds good.
 [12] **MR. BROWN:** Can you read back
 [13] the last question and answer?
 [14] (Record read as follows:
 [15] "Question: And you said
 [16] before that the one on the right is a
 [17] time compressed version of the one on
 [18] the left, right?
 [19] "Answer: In the context of
 [20] time-compression multiplexing, which
 [21] is described here on page 609, which
 [22] is a technique for full duplex
 [23] communication, which means that both
 [24] parties are communicating

Hemami

[1] simultaneously, this block diagram
 [2] pertains to the time-compression
 [3] multiplexing.
 [4] "What we see at the top is
 [5] simply a graphic representing clocking
 [6] bits out at a faster rate than they
 [7] were originally put in.
 [8] "Question: Or in other words,
 [9] clocking the same bits out in a
 [10] shorter time period?
 [11] "Answer: I think that's more
 [12] eloquent."
 [13] **Q:** Is it true that the graphic at the
 [14] top of the figure shows reading the same bits out
 [15] in a shorter time period?
 [16] **A:** Yes.
 [17] **Q:** Which is another way of saying that
 [18] they were read out at a higher rate?
 [19] **A:** Yes.
 [20] **Q:** And the text describes that process
 [21] of reading the same bits out in a shorter time
 [22] period as time compression, correct?
 [23] **A:** That I'm not sure. Let me read the
 [24] text.
 [25]

Hemami

[1] **Q:** Okay.
 [2] (Pause)
 [3] **A:** So the text does not use those
 [4] words. The text describes that this technique
 [5] alternates fast transmission bursts in each
 [6] direction saving up data submitted to each
 [7] transmitter at a lower rate in buffers so that
 [8] each of the end terminals has the illusion of a
 [9] continuously available channel.
 [10] **Q:** Okay. So the data that was read out
 [11] at a faster rate is referred to in the text as the
 [12] fast transmission burst, right?
 [13] **A:** I think the transmission of the data
 [14] that was read out is the fast transmission burst.
 [15] **Q:** Okay. And the length of time that
 [16] that fast transmission burst occupies is smaller
 [17] than the length of time of the, occupied by the
 [18] incoming signal?
 [19] **A:** If we clocked the data rate as in
 [20] the rate at which data was being produced and we
 [21] do not see the production mechanism here but this
 [22] is described with respect to voice band
 [23] communication, so it's reasonable to assume that
 [24] this is either a modem signal or digitized voice,
 [25]

Hemami

[1] okay, those little square waves.
 [2] If we clock the rate at which the
 [3] bits are produced in the digitized voice band
 [4] signal, the rate at which those bits are clocked
 [5] onto the channel is a higher rate than the rate at
 [6] which they were produced.
 [7] **Q:** And that's accomplished using a
 [8] buffer and reading the bits out of the buffer
 [9] faster than they're read in, right?
 [10] **A:** In this diagram, that is what is
 [11] done, yes. We have a buffer and then the buffer
 [12] leads into the box which says burst transmission.
 [13] **MR. BROWN:** Okay. Let's go
 [14] off the record to check the time.
 [15] **THE VIDEOGRAPHER:** The time is
 [16] now 5:15. Off the record.
 [17] (Recess taken)
 [18] **THE VIDEOGRAPHER:** The time is
 [19] now 5:19. On the record.
 [20] **BY MR. BROWN:**
 [21] **Q:** Let's look at the Izeki reference,
 [22] Exhibit 88.
 [23] **A:** I had it handy and then — here it
 [24] is. I have it.
 [25]

Hemami

[1] **Q:** Okay. Specifically I'd like to have
 [2] you look at Figure 1. Do you see that?
 [3] **A:** Yes.
 [4] **Q:** And you remember there's a comment
 [5] in your expert report about the premastering unit?
 [6] **A:** Yes.
 [7] **Q:** And you see that that's at the
 [8] bottom right of that figure?
 [9] **A:** I do.
 [10] **Q:** Do you also see in the figure
 [11] display and keyboard, which are marked as 49 and
 [12] 50?
 [13] **A:** Yes.
 [14] **Q:** And do you see how they have a
 [15] dotted line around them?
 [16] **A:** I do.
 [17] **Q:** Does that indicate to you that those
 [18] are separate from the interface they're connected
 [19] to?
 [20] **A:** It does not.
 [21] **Q:** Why not?
 [22] **A:** Because I actually looked at that
 [23] patent with respect to exactly that question and I
 [24] concluded that the dashed line did not indicate
 [25]

Hemami

[1] that it was separate although that was my initial
[2] hypothesis. That's why I read the patent in that
[3] light.
[4]

[5] **Q:** Okay. Are you familiar with the
[6] term "console"?

[7] **A:** Yes.

[8] **Q:** And what does a console mean to you?

[9] **A:** Well, we have the term of furniture
[10] which, which we'll discount.

[11] A console is a large unit — that
[12] makes a lot of sense. It's a mechanism by which
[13] equipment can be housed together.

[14] **Q:** I've heard the term "console" used
[15] in the context of mainframe computing to refer to
[16] the device which is used to connect to the
[17] mainframe.

[18] Have you heard the term used in that
[19] way?

[20] **A:** No, I haven't used it — I have not
[21] heard it used in that way.

[22] **Q:** You've heard "terminal" used in that
[23] way, correct?

[24] **A:** I have.

[25] **Q:** So in your mind "console" and

Hemami

[1] console being something which houses things
[2] together, would you agree that console 48 houses
[3] the display and the keyboard?
[4]

[5] **A:** Yes.

[6] **Q:** And that indicates that that group,
[7] that console 48 is separate from the remainder of
[8] the device, correct, at least physically separate
[9] from the remainder of the device?

[10] **A:** I don't think I would understand it
[11] that way, again, because I read this in the
[12] context of attempting to understand what the
[13] dashed lines meant and I concluded that the dashed
[14] lines were not separate physical entities.

[15] Perhaps you can suggest — show me
[16] where the console —

[17] **Q:** Sure. So the console is at page 2.
[18] I'm sorry, column 2, line 65 is the first place
[19] where I noticed console.

[20] **A:** Okay.

[21] **Q:** Do you see that?

[22] **A:** Okay. I do.

[23] **Q:** And so it says there that the
[24] console includes a display unit and a keyboard,
[25] right?

Hemami

[1] "terminal" are not the same thing?

[2] **A:** They are not.

[3] **Q:** What's the difference?

[4] **A:** Well, a console is — what was my
[5] previous definition? It was a —

[6] **Q:** Something about a large unit.

[7] **A:** Yes, and there was housing. Is it
[8] possible to —

[9] **Q:** We can go back, sure.

[10] (Record read)

[11] **A:** So I would say a console is a unit
[12] which allows one to house equipment in a common
[13] housing.
[14]

[15] **Q:** Okay. So if you look at Figure 1 —
[16] do you have Figure 1?

[17] **A:** Yes.

[18] **Q:** Forty-eight I will represent to you
[19] is described as a console. Do you see 48?

[20] **A:** Okay.

[21] **Q:** And 48 is pointing to the dashed
[22] line that surrounds the display and the keyboard.
[23] Do you see that?

[24] **A:** Okay.

[25] **Q:** So given what you just said about a

Hemami

[1] **A:** It does.

[2] **Q:** Which is exactly what's pictured in
[3] the figure?
[4]

[5] **A:** Yes.

[6] **Q:** And then it talks about, in the
[7] sentence that spans column 2 and 3, it says, "The
[8] inputted instructions and character data are
[9] transferred from the console 48 to the system
[10] bus," right?

[11] **A:** Yes.

[12] **Q:** Which suggests that that data is
[13] moved out of the console and into the system,
[14] right?

[15] **MR. PAYNE:** Objection to form.

[16] **A:** Well, the data is — I don't know if
[17] we would say it is moved. It certainly travels
[18] via the interface to the system bus.

[19] **Q:** But certainly that portion of the
[20] specification of Izeqi suggests that, that console
[21] 48 is separate physically from the remainder of
[22] the system, correct?

[23] **A:** I don't think I read it that way.

[24] Again, I just — that is not consistent with my
[25] understanding of console especially in the context

[1] **Hemami**

[2] of editing apparatus.

[3] **Q:** Well, let's look at the other

[4] example of the dotted line. Do you see the other

[5] example of the dotted line in Figure 1?

[6] **A:** Yes.

[7] **Q:** And that's identified as 55?

[8] **A:** Yes.

[9] **Q:** And 55 is identified as a

[10] reproduction device. Do you see that?

[11] **A:** Yes.

[12] **Q:** And that device has a number of

[13] components, correct?

[14] **A:** It does.

[15] **Q:** Don't you — or do you agree that

[16] the fact that that is referred to as a

[17] reproduction device which has its own CPU and RAM

[18] shows that it is a separate device?

[19] **A:** Separate from what?

[20] **Q:** From the remainder of the system.

[21] **A:** I —

[22] **MR. PAYNE:** Objection to the

[23] form.

[24] **A:** I still don't understand, "separate

[25] from the rest of the system."

[1] **Hemami**

[2] **Q:** Well, sure. Let's —

[3] **A:** It is something that is in the left

[4] column of units that is hanging off of the system

[5] bus.

[6] **Q:** Right. What I mean is — let's look

[7] at another example of the word "device."

[8] Look at column 3. We were just

[9] looking at this earlier. It says, "An image

[10] pickup device not shown, such as a television

[11] camera." Do you see that?

[12] **A:** Yes.

[13] **Q:** Okay. The camera is not shown in

[14] Figure 1, right?

[15] **A:** Yes.

[16] **Q:** And that is an image pickup device

[17] which is physically separate from the system shown

[18] in Figure 1, right?

[19] **A:** I would say it is physically

[20] separate.

[21] **Q:** So at least in that instance, Izeki

[22] is using the term "device" to refer to a device, a

[23] thing that is physically separate from the core of

[24] the system, right?

[25] **A:** In that sentence, yes.

[1] **Hemami**

[2] **Q:** Okay. And you agree that the dotted

[3] line that is referenced by number 55 is referred

[4] to as a reproduction device, correct?

[5] **A:** It is referred to as a reproduction

[6] device.

[7] **Q:** And that's the same word, "device,"

[8] that was later used to refer to something that was

[9] physically separate from the components of the

[10] system, right?

[11] **A:** Yes.

[12] **Q:** And you agree the reproduction

[13] device has inside it its own CPU and its own RAM

[14] and its own data bus, right?

[15] **A:** It does have those units.

[16] **Q:** And the remainder of the system in

[17] Figure 1 has a CPU and RAM and a system bus,

[18] right?

[19] **A:** It does.

[20] **Q:** So taking those things together —

[21] well, let me — we'll throw in one more.

[22] Do you see that there's a box

[23] labeled 102 that says "video repro"?

[24] **A:** Yes.

[25] **Q:** I'll represent to you that that is,

[1] **Hemami**

[2] means "video reproduction." In the patent that's

[3] described that way.

[4] And do you see there's an audio

[5] repro number 106?

[6] **A:** Yes.

[7] **Q:** That's audio reproduction.

[8] **A:** Yes.

[9] **Q:** Taking all that together, including

[10] the fact that it's shrouded by a dotted line,

[11] would you agree that that shows that the

[12] reproduction device 55 is physically separate from

[13] the remainder of the system?

[14] **A:** No, I wouldn't.

[15] **Q:** Why not?

[16] **A:** This could be a board that one would

[17] plug in. And in the context of this patent,

[18] again, I, I read this specifically to try and

[19] understand that and I reached the conclusion that

[20] it was not necessarily an external device, that

[21] this could just as easily be something internal

[22] that sits within the entire editing apparatus

[23] unit.

[24] **Q:** How do you explain the presence of

[25] the dotted line around the reproduction device and

Hemami

[1] the console but not the other components in Figure
[2] 1?
[3]

[4] **A:** I think that the dotted line is
[5] there to very clearly delineate what item 55 is
[6] and what item 48 is in the description.

[7] Everything else here is a
[8] singleton, right? Sometimes we see in patents
[9] that something is generally referred to as a
[10] single number and it has multiple components and
[11] it's not boxed off. These are boxed off as —
[12] what's the word I want — composite components.

[13] **MR. PAYNE:** It's been over ten
[14] minutes.

[15] **MR. BROWN:** Then why don't we
[16] stop.

[17] **MR. PAYNE:** Let me — I've got
[18] a couple of follow-up questions.

[19] **MR. BROWN:** Oh, go ahead.

EXAMINATION BY

MR. PAYNE:

[22] **Q:** Dr. Hemami, as you know, my name is
[23] Les Payne and I represent Burst.

[24] Can you turn to your report marked
[25] as Exhibit 78, please, specifically page 26,

Hemami

[1] please?

[2] **A:** Okay.

[3] **Q:** Section 3 of your report deals with
[4] "The Level of Ordinary Skill in the Art," correct?

[5] **A:** Yes.

[6] **Q:** You say, "In general, a person of
[7] ordinary skill in the art would work in the area
[8] of digital communication of audio/video source
[9] information," correct?

[10] **A:** Yes.

[11] **MR. BROWN:** Les, you're
[12] leading. I don't mind if you're
[13] getting to the point, but it's not —

[14] **MR. PAYNE:** I'm just laying a
[15] foundation.

[16] **MR. BROWN:** But let's not do
[17] that too much more.

[18] **MR. PAYNE:** I hear you.

[19] **MR. BROWN:** Thanks.

[20] **Q:** And earlier today, you recall that
[21] Apple's counsel asked you about that area,
[22] correct?

[23] **A:** Yes.

[24] **Q:** Can you turn to pages 42 and 43 of
[25]

Hemami

[1] your report, please?
[2]

[3] **A:** Okay.

[4] **Q:** Do you recall earlier today that
[5] Apple's counsel asked you about the descriptions
[6] 1, 2, 3 and 4 on pages 42 and 43?

[7] **A:** Yes.

[8] **Q:** And what do those descriptions
[9] pertain to?

[10] **A:** So as stated in the prior paragraph,
[11] these are descriptions of various operations for
[12] which the term "time compression" was used to
[13] describe.

[14] The phrasing there is not very good
[15] but these were, these were operations that were
[16] called "time compression" in the 1988 time frame.

[17] **Q:** What is the first sentence after the
[18] fourth category on page 43?

[19] **A:** So after presenting these four
[20] descriptions, I state that none of these
[21] definitions is applicable to the Burst patents.

[22] **Q:** So do you have an opinion as to
[23] whether those four definitions pertain, as to the
[24] Burst patents, to the area of digital
[25] communication of audio/video source information?

Hemami

[1] **A:** As that information is communicated
[2] in the Burst patents, neither — none of those
[3] descriptions is relevant.

[4] **THE VIDEOGRAPHER:** Excuse me,
[5] Counsel. You have ten minutes of tape
[6] left.

[7] **A:** And none of those descriptions is
[8] applicable.

[9] **MR. PAYNE:** Pass the witness.

[10] Do you want to follow up?

[11] **MR. BROWN:** I was thinking
[12] about that, Les.

[13] **MR. PAYNE:** Sorry.

[14] (Pause)

[15] **MR. BROWN:** I do not. We're
[16] done.

[17] **THE VIDEOGRAPHER:** The time is
[18] now 5:34. This marks the ending of
[19] tape number five. Off the record.

[20] (Whereupon, at 5:34 p.m., the
[21] deposition was concluded.)
[22]

[23]

[24]

[25]

[1]
 [2]
 [3]
 [4] I DO SOLEMNLY DECLARE UNDER
 [5] PENALTY OF PERJURY THAT THE FOREGOING
 [6] IS MY DEPOSITION UNDER OATH; THAT
 [7] THESE ARE THE QUESTIONS ASKED OF ME
 [8] AND MY ANSWERS THERETO; THAT I HAVE
 [9] READ SAME AND HAVE MADE THE NECESSARY
 [10] CORRECTIONS, ADDITIONS, OR CHANGES TO
 [11] MY ANSWERS THAT I DEEM NECESSARY.
 [12] IN WITNESS THEREOF, I HEREBY
 [13] SUBSCRIBE MY NAME THIS _____ DAY OF
 [14] _____, 2006.
 [15]
 [16]
 [17]
 [18] SHEILA HEMAMI, Ph. D.
 [19]
 [20]
 [21]
 [22]
 [23]
 [24]
 [25]

[1]
 [2] INDEX
 [3] Witness: Page
 [4] Sheila S. Hemami, Ph.D. 4
 [5]
 [6] EXHIBITS
 [7] Exhibit Description Page
 [8] For Ident.
 [9] 79 Documents bearing Bates Nos. 44
 [10] APBU00159385 through 393
 [11] 80 Documents bearing Bates Nos. 58
 [12] APBU00414957 through 959
 [13] 81 Document bearing Bates Nos. 165
 [14] APBU00000763 through 769
 [15] 82 Document entitled "Time Compression 165
 [16] Multiplexing for Loop Transmission of
 [17] Speech Signals"
 [18] 83 Document entitled "The UK 172
 [19] D-MAC/PACKET Standard for DBS" by
 [20] Paul Gardiner
 [21] 84 Document bearing Bates Nos. 182
 [22] APBU00414882 through 945
 [23] 85 Document bearing Bates Nos. 199
 [24] APBU00000807 through 813
 [25] 86 Document bearing Bates Nos. 199
 [26] APBU00000726 through 730
 [27] 87 Document bearing Bates Nos. 200
 [28] APBU00001613 through 1628
 [29] 88 Document bearing Bates Nos. 227
 [30] APBU00001613 through 1628
 [31]
 [32]
 [33]

[1]
 [2] EXHIBITS
 [3]
 [4] Exhibit Description Page
 [5] For Ident.
 [6] 101 U.S. Patent No. 4,963,995 with 3
 [7] file history bearing Bates Nos.
 [8] APBU0000001 through 125
 [9] 102 U.S. Patent No. 5,057,932 with 3
 [10] file history bearing Bates Nos.
 [11] APBU00000126 through 269
 [12] 103 U.S. Patent No. 5,164,839 with 3
 [13] file history bearing Bates Nos.
 [14] APBU00000270 through 390
 [15] 104 U.S. Patent No. 5,995,705 with 3
 [16] file history bearing Bates Nos.
 [17] APBU00000391 through 688
 [18]
 [19] REQUESTS
 [20] Description Page
 [21] Dr. Hemami's expert report in the Forgent 23
 [22] case
 [23]
 [24] Mr. Halpern's expert reports in prior cases 23
 [25]

[1]
 [2] CERTIFICATE
 [3] STATE OF NEW YORK)
 [4]) ss.
 [5] COUNTY OF NEW YORK)
 [6] I, Charleane M. Heading, a
 [7] Registered Professional Reporter and
 [8] Notary Public of the State of New
 [9] York, do hereby certify that the
 [10] foregoing Deposition, of the witness,
 [11] SHEILA S. HEMAMI, Ph. D., taken at
 [12] the time and place aforesaid, is a
 [13] true and correct transcription of my
 [14] shorthand notes.
 [15] I further certify that I am
 [16] neither counsel for nor related to any
 [17] party to said action, nor in any wise
 [18] interested in the result or outcome
 [19] thereof.
 [20] IN WITNESS WHEREOF, I have
 [21] hereunto set my hand this 20th day of
 [22] November, 2006.
 [23]
 [24] Charleane M. Heading, RPR
 [25]

Lawyer's Notes
