

# EXHIBIT 3

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF CALIFORNIA  
OAKLAND DIVISION

ORACLE CORPORATION, a )  
Delaware corporation, )  
ORACLE USA, INC., a )  
Colorado corporation, and )  
ORACLE INTERNATIONAL )  
CORPORATION, a California )  
corporation, )  
 )  
Plaintiffs, )  
 )  
vs. ) No. 07-CV-1658 (PJH)  
 )  
SAP AG, a German )  
corporation, SAP AMERICA, )  
INC., a Delaware )  
corporation, TOMORROWNOW, )  
INC., a Texas corporation, )  
and DOES 1-50, inclusive, )  
 )  
Defendants. )  
\_\_\_\_\_ )

VIDEOTAPED DEPOSITION OF  
STEPHEN CLARKE

\_\_\_\_\_

VOLUME 2; PAGES 324 - 651

WEDNESDAY, JUNE 9, 2010

HIGHLY CONFIDENTIAL - ATTORNEYS' EYES ONLY

REPORTED BY: HOLLY THUMAN, CSR No. 6834, RMR, CRR

(1-427119)

TEXT REMOVED - NOT RELEVANT TO MOTION

09:47:45            16                      MR. PICKETT:    Q.    So you're making a  
09:47:46            17                      change in TomorrowNow's conduct from the real world  
09:47:49            18                      to the "but for" world.    Correct?  
09:47:53            19                      MR. McDONELL:    Vague and ambiguous,  
09:47:53            20                      incomplete.  
09:47:55            21                      THE WITNESS:    I'm doing what's necessary  
09:47:56            22                      to value the actual use that we're trying to  
09:48:00            23                      quantify.  
09:48:02            24                      MR. PICKETT:    Q.    Under that --  
09:48:02            25                      A.    And that --

09:48:03            1                      Q.    Sorry.

09:48:04            2                      A.    That is different from what happened in  
09:48:09            3                      the actual world.    But of course, in the actual  
09:48:13            4                      world, there was no license.    So it's inappropriate  
09:48:17            5                      to say, well, there can't be any differences  
09:48:19            6                      between the actual world and the hypothetical  
09:48:22            7                      world.

09:48:23            8                                           Of course, there's a big difference.  
09:48:24            9                      There's a license in place in the hypothetical  
09:48:26            10                     world.

TEXT REMOVED - NOT RELEVANT TO MOTION

TEXT REMOVED - NOT RELEVANT TO MOTION

10:04:21            10                            MR. PICKETT:    Q.    Does the fact that the  
10:04:23            11                            parties may not agree to a license in the real  
10:04:25            12                            world impact any of the actual estimates of  
10:04:34            13                            reasonable royalties?  
10:04:35            14                            MR. McDONELL:    Vague and ambiguous, calls  
10:04:37            15                            for a legal conclusion.  
10:04:39            16                            THE WITNESS:    I think it influenced me in  
10:04:42            17                            my development of the royalties.    Because of the  
10:04:47            18                            situation with Oracle, I felt that I needed to go  
10:04:55            19                            to the maximum royalty rate that I could still say  
10:04:58            20                            was reasonable.    So in both cases, for the SAP  
10:05:03            21                            royalty and the TomorrowNow royalty, I've gone to  
10:05:07            22                            the maximum.  
10:05:08            23                            And it's important to remember throughout  
10:05:13            24                            this conversation, yesterday and today, we focused  
10:05:16            25                            on a January 2005 negotiation date.    But let's not

10:05:23 1 forget that I have two calculations. There's a  
10:05:27 2 period before 2005, which I think would have a  
10:05:32 3 significantly lower royalty rate in the real world,  
10:05:36 4 but I've not assumed that in this "but for" world.  
10:05:40 5 I've applied the same royalty rate throughout.

10:05:43 6 But I think that is a very meaningful  
10:05:46 7 metric in a way where -- when we get to January  
10:05:51 8 '05, on the second negotiation, we are only talking  
10:05:58 9 about the difference. It's another delta, if you  
10:06:00 10 like, where we had a royalty rate before, now we  
10:06:04 11 have to come up with a royalty rate that is  
10:06:08 12 applicable after this date.

10:06:10 13 And that's when I brought in the SAP  
10:06:13 14 component of the royalty.

10:06:15 15 What TomorrowNow was doing was essentially  
10:06:17 16 the same before and after, so I left that royalty  
10:06:20 17 rate the same. But we have ignored that first --  
10:06:24 18 to date, we've ignored that first negotiation.

10:06:26 19 MR. PICKETT: Q. When you just testified  
10:06:28 20 you felt you needed to go to the maximum royalty  
10:06:30 21 rate, did you mean the selling point maximum  
10:06:37 22 royalty rate?

10:06:38 23 MR. McDONELL: Vague and ambiguous.

10:06:39 24 THE WITNESS: Well, the selling point  
10:06:40 25 maximum is the result of a selling price plus a

10:06:44 1 royalty. And so they're related, but they're not  
10:06:48 2 the same.

10:06:49 3 What -- if you remember, on my royalty  
10:06:51 4 rate, I've said that the royalty rate will be 50  
10:06:55 5 percent of TomorrowNow's revenue. That is an  
10:06:59 6 absolutely astronomical rate to apply. 50 percent  
10:07:05 7 of revenues, I've really never come across that  
10:07:08 8 before. It would -- if you then apply that royalty  
10:07:11 9 to the pricing, it would push TomorrowNow's pricing  
10:07:14 10 to 75 percent of Oracle's rate.

10:07:19 11 I've assumed no elasticity of demand in  
10:07:23 12 that period. And if there's no elasticity, we can  
10:07:27 13 apply it to the same number of customers. I think  
10:07:30 14 that's the right thing to do. But there must come  
10:07:32 15 a point at which that royalty rate becomes so high,  
10:07:36 16 you can't any longer keep a straight face and say,  
10:07:39 17 this wouldn't have affected sales, because of  
10:07:42 18 course, it would.

10:07:44 19 So you've got this continuum on the  
10:07:46 20 TomorrowNow side of the equation, and you've got  
10:07:48 21 the addition of SAP in January of '05.

10:07:57 22 MR. PICKETT: This is a good point for a  
10:07:58 23 break. Why don't we do that.

10:08:00 24 THE WITNESS: Sure.

10:08:02 25 THE VIDEO OPERATOR: Going off the record,

10:08:02            1            the time now is 10:08.

10:11:30            2                            (Recess from 10:08 a.m. to 10:26 a.m.)

10:26:57            3                            THE VIDEO OPERATOR: Tape is rolling. The  
10:26:59            4            time now is 10:27, and we are back on the videotape  
10:27:03            5            record. Please proceed.

10:27:07            6                            MR. PICKETT: Q. Mr. Clarke, I want to go  
10:27:09            7            back to the -- I think what we coined the selling  
10:27:12            8            price maximum for TomorrowNow of 75 percent of  
10:27:16            9            Oracle.

10:27:16            10                          A. Yes.

10:27:19            11                          Q. What's the basis for your conclusion that  
10:27:23            12            the maximum is 75 percent?

10:27:29            13                          A. To a very large extent, that's my  
10:27:31            14            judgment. I think that's the most it would be  
10:27:34            15            without there being some falloff in sales. And so,  
10:27:42            16            you know, I recognize that there was a time when  
10:27:47            17            customers took TomorrowNow's service and retained  
10:27:53            18            their Oracle service. So they were effectively  
10:27:57            19            paying twice.

10:27:59            20                          But I didn't -- I -- in terms of the  
10:28:04            21            reasonable component of reasonable royalty, I think  
10:28:08            22            the number of customers that were prepared to pay  
10:28:11            23            twice was very low, so I don't think you can assume  
10:28:14            24            that there would be no effect on sales once you got  
10:28:17            25            past 75 percent of the pricing.

10:28:20 1 So that's my assumption. That if we're  
10:28:28 2 going to apply that to these customers, then that's  
10:28:30 3 the most to you could have charged and still had  
10:28:32 4 those customers.

10:28:33 5 Q. What data is your judgment based upon?

10:28:38 6 A. Based upon years of experience, looking at  
10:28:43 7 pricing models and customer behavior, teaching  
10:28:47 8 economics, micro and macro, about what drives  
10:28:53 9 behavior, looking at demand curves, the  
10:28:57 10 relationship between price and the quantity  
10:28:59 11 demanded.

10:29:04 12 Many years and many products, many  
10:29:07 13 companies. So that's my -- there's a judgment.  
10:29:11 14 There's no hard data, there's no table you can go  
10:29:15 15 and look that up in. Somebody has to make that  
10:29:18 16 judgment.

10:29:18 17 Q. Are there any specific facts you can cite  
10:29:20 18 in support of that judgment?

10:29:22 19 A. Well, I think that the totality of my  
10:29:24 20 report, which talks about the factors that I think  
10:29:28 21 are relevant to the hypothetical negotiation, gives  
10:29:32 22 good background as to what this market is all  
10:29:35 23 about, what drives customers to do what they do.

10:29:41 24 So there's a lot of supporting information  
10:29:44 25 in here for looking at customers, looking at the

10:29:49 1 market, looking at pricing. As I say, there's no  
10:29:53 2 specific table that says, well, if the price was 76  
10:29:56 3 percent, it will be zero or 99 percent. That's my  
10:30:01 4 assumption for the purposes of doing the reasonable  
10:30:04 5 royalty rate calculation.

10:30:06 6 Q. Anything else?

10:30:08 7 A. No. I don't believe so.

10:30:11 8 Q. When and where did you teach micro  
10:30:14 9 economics?

10:30:15 10 A. At Arizona State University, from about  
10:30:20 11 2001 to I think 2005, maybe. Maybe -4.

10:30:28 12 Q. I hadn't covered that before.

10:30:32 13 Did you teach any other courses in  
10:30:34 14 economics?

10:30:34 15 A. No. Econ 502.

10:30:37 16 Q. Now, the 75 percent maximum selling price  
10:30:40 17 is the reason you settled on a 50 percent royalty  
10:30:44 18 right. Right?

10:30:46 19 MR. McDONELL: Vague and ambiguous. Asked  
10:30:47 20 and answered.

10:30:48 21 THE WITNESS: I think that's the right way  
10:30:49 22 around to look at it. Yes, I -- I was trying to  
10:30:55 23 compute the maximum royalty rate that I thought  
10:30:59 24 could still be reasonable and would be applicable  
10:31:03 25 to the customers that we -- actually are at issue

10:31:07            1            in the case.

10:31:08            2                            So I think that was the way around that I  
10:31:09            3            did that.

10:31:10            4                            MR. PICKETT:    Q.    It's not based on the  
10:31:12            5            benchmark of some other 50 percent royalty rate, is  
10:31:15            6            it?

10:31:16            7                            MR. McDONELL:    Vague and ambiguous.    Asked  
10:31:17            8            and answered.

10:31:19            9                            THE WITNESS:    No.    I've never seen a  
10:31:20            10            royalty rate at 50 percent of revenue in any other  
10:31:23            11            case.

10:31:23            12                            MR. PICKETT:    Q.    Was there any other  
10:31:25            13            quantitative metric other than the maximum selling  
10:31:28            14            price that points to a 50 percent royalty rate as  
10:31:33            15            reasonable?

10:31:34            16                            MR. McDONELL:    Vague and ambiguous, overly  
10:31:35            17            broad, asked and answered.

10:31:41            18                            THE WITNESS:    No.    I think that what I  
10:31:43            19            have done is compute the maximum royalty that could  
10:31:49            20            possibly be construed as being rational and  
10:31:55            21            reasonable.

TEXT REMOVED - NOT RELEVANT TO MOTION

TEXT REMOVED - NOT RELEVANT TO MOTION

10:36:48

25

Q. And you're -- you're aware that SAP

10:36:51            1            offered TomorrowNow service as a loss leader?

10:36:54            2                            MR. McDONELL: Misstates the testimony.

10:36:56            3            Assumes facts not in evidence.

10:36:59            4                            THE WITNESS: I think the reality is that

10:37:03            5            SAP allowed customers to get support from

10:37:07            6            TomorrowNow and not charge them anything. It's

10:37:11            7            got -- that's got loss leader qualities to it. I

10:37:14            8            don't know that they ever defined it that way. So

10:37:18            9            we should be careful about what we're saying SAP

10:37:21           10           thought and did.

10:37:23           11                            But certainly, if you look at the zero

10:37:26           12           cost deals, the zero dollar deals, they were acting

10:37:31           13           as a loss leader at that point.

TEXT REMOVED - NOT RELEVANT TO MOTION

TEXT REMOVED - NOT RELEVANT TO MOTION

11:03:51            10                      Q.    Turning back to your report and moving on  
11:03:53            11                      to page 205, in this section, you're describing how  
11:03:59            12                      you calculated the SAP royalty. Is that correct?  
11:04:03            13                      MR. McDONELL:    The document speaks for  
11:04:04            14                      itself.  
11:04:04            15                      THE WITNESS:    Yes.  
11:04:06            16                      MR. PICKETT:    Q.    And if I turn to the top  
11:04:07            17                      of 205, I want to point you to two sentences here.  
11:04:14            18                      The first sentence says: The approach in this case  
11:04:16            19                      yields a royalty of zero because SAP made no  
11:04:18            20                      additional margin on any sales made as a result of  
11:04:21            21                      the alleged actions. Therefore, the royalty rate  
11:04:25            22                      would be zero under the analytical approach.  
11:04:28            23                      And then you say: The reasonable royalty  
11:04:29            24                      for SAP would be half of the profits on any sales  
11:04:32            25                      it made that it would not have made absent the

11:04:34            1            alleged allegations.

11:04:38            2                            Is the last sentence there, is that  
11:04:41            3            different than the first two sentences? I'm trying  
11:04:46            4            to understand the distinction. Or is it just that  
11:04:48            5            half of the profits means half of zero, because  
11:04:50            6            they made no profits?

11:04:51            7                            A. Let me explain the analytical approach.

11:04:54            8                            Q. Sure.

11:04:55            9                            A. Going back to Mr. Parr, he has this  
11:05:00            10            analytical approach in his book that says, if you  
11:05:04            11            have a patent -- remember, we're back in the patent  
11:05:06            12            world now, so I'm going to use a product as opposed  
11:05:09            13            to a piece of software.

11:05:10            14                            But if you have a patent that you  
11:05:14            15            incorporate into your product lineup, and your  
11:05:20            16            normal margin, let's say, is 50 percent of your  
11:05:23            17            selling price, but by including the patent, you can  
11:05:27            18            push that margin up to, say, 80 percent, that you  
11:05:31            19            should disgorge that extra 30 percent of margin.  
11:05:35            20            So you don't -- you make your normal margin, but  
11:05:39            21            you don't make any extra margin. That belongs to  
11:05:41            22            the patent holder.

11:05:43            23                            And that seems to me to be an appropriate  
11:05:45            24            way to come up with what should be disgorged. It's  
11:05:50            25            called the analytical approach.

11:05:52 1 So because that is couched in terms of  
11:05:54 2 margin, and there will be no additional margin on  
11:05:59 3 the SAP sales, you really can't apply the  
11:06:02 4 analytical approach in that manner.

11:06:06 5 What I've done is, I've sort of applied it  
11:06:11 6 in a sense that I'm trying to use an analytical  
11:06:15 7 approach in saying, well, let's take the total  
11:06:18 8 profit, and let's divide that up 50/50 between the  
11:06:22 9 parties. So it's an analytical approach, but  
11:06:28 10 applied to a slightly different metric. And I  
11:06:31 11 think it would come out to be higher than the  
11:06:36 12 analytical approach, which I've shown you is zero,  
11:06:39 13 because there's no extra margin.

11:06:41 14 I think that's an appropriate approach.

11:06:43 15 Q. What's the basis for the 50/50 split?

11:06:46 16 A. That's my judgment that it is an  
11:06:50 17 appropriate and very high royalty that will be paid  
11:06:56 18 on sales that SAP almost certainly would have made  
11:07:00 19 anyway. And the royalty needs to reflect that the  
11:07:06 20 reality is that customers don't migrate their ERP  
11:07:11 21 systems to save a few thousand dollars on support,  
11:07:14 22 as the data show. And SAP wouldn't be wanting to  
11:07:19 23 pay royalties on sales it would have made anyway.  
11:07:23 24 Those wouldn't be part of the equation.

11:07:26 25 So it's a -- it's got some elements of

11:07:28 1 judgment, but I think it's a fair royalty, and as  
11:07:33 2 high as you could make it and still say it would be  
11:07:35 3 fair to both sides.

11:07:36 4 Q. And that's based on your experience that  
11:07:39 5 you've described?

11:07:41 6 A. Well, I described a lot more than my  
11:07:43 7 experience. I described the contents of my report  
11:07:45 8 and a lot of what's in these binders back here lead  
11:07:50 9 me to that conclusion.

11:07:52 10 Q. Do you have any quantitative analysis that  
11:07:57 11 demonstrates 50 percent is the right royalty for  
11:08:00 12 SAP?

11:08:01 13 MR. McDONELL: Vague and ambiguous,  
11:08:02 14 incomplete.

11:08:05 15 THE WITNESS: There isn't a table that you  
11:08:06 16 can go to that would look -- you could look that up  
11:08:08 17 in.

11:08:09 18 MR. PICKETT: Q. Do you have any specific  
11:08:10 19 facts on which you rely to conclude that 50 percent  
11:08:14 20 is the appropriate royalty?

11:08:16 21 MR. McDONELL: Overly broad, vague and  
11:08:17 22 ambiguous, object to the form.

11:08:21 23 THE WITNESS: I -- the specific facts are  
11:08:25 24 embodied in these binders that are behind me, of  
11:08:29 25 which there are probably 35, and my report. So

11:08:35            1            there are an enormous quantity of facts that I've  
11:08:38            2            considered in the course of this case, and taking  
11:08:39            3            all of those facts, as well as the Georgia-Pacific  
11:08:42            4            analysis and bringing all of that together, at the  
11:08:47            5            end of the day, what's reasonable is a bit of a  
11:08:50            6            judgment call. And I've done my best to synthesize  
11:08:56            7            everything I know and come up with something I  
11:08:58            8            think is reasonable at the end of the day.

11:09:01            9                      MR. PICKETT:    Q.    Can you point me to any  
11:09:03            10            specific facts that support a 50 percent royalty  
11:09:05            11            rather than a a 40 or 60 percent royalty?

11:09:07            12                      MR. McDONELL:    Asked and answered, same  
11:09:08            13            objections.

11:09:11            14                      THE WITNESS:    I don't think there's a  
11:09:13            15            particular fact that I could point to that would  
11:09:15            16            say, it should be 40 percent or it should be 60  
11:09:19            17            percent. I think my opinion is based upon the  
11:09:25            18            highest rate it could be and still be reasonable to  
11:09:27            19            the parties at the negotiating table.

TEXT REMOVED - NOT RELEVANT TO MOTION

TEXT REMOVED - NOT RELEVANT TO MOTION

12:48:41            16                      MR. PICKETT: Q. All right. Let's turn  
12:48:42            17                      to the -- a new topic.  
12:48:47            18                      The royalty on the database software. And  
12:48:55            19                      if you like, I can refer you to page 205 of your  
12:48:58            20                      report.  
12:48:59            21                      A. I was heading right there. It's where we  
12:49:05            22                      started this morning.  
12:49:12            23                      Q. And it's your opinion that the royalty for  
12:49:21            24                      the use of Oracle's database would be based on  
12:49:23            25                      market price?

12:49:26 1 A. I believe so.

12:49:28 2 Q. The market price that you refer to is the  
12:49:30 3 price paid to obtain an end-user full-use Oracle  
12:49:33 4 Database license from Oracle. Correct?

12:49:37 5 MR. McDONELL: Vague and ambiguous.

12:49:45 6 I would encourage you to take your time to  
12:49:48 7 read your report, if you need to.

12:49:50 8 THE WITNESS: Could you just repeat that  
12:49:51 9 question? I wasn't clear about it.

12:49:52 10 MR. PICKETT: Q. The market price that  
12:49:54 11 you use is the price that was paid to obtain an  
12:50:03 12 end-user full-use Oracle Database license from  
12:50:08 13 Oracle.

12:50:12 14 MR. McDONELL: Same objection.

12:50:13 15 THE WITNESS: I actually used two  
12:50:14 16 different prices in doing my analysis, but they  
12:50:16 17 were -- they were for licenses that were end-user  
12:50:20 18 licenses.

12:50:21 19 MR. PICKETT: Q. Let me mark as  
12:50:22 20 Exhibit -- or let me show you what's been marked as  
12:50:25 21 Exhibit 3210, an Oracle License and Services  
12:50:28 22 Agreement, Bates numbers ORCL00670717 through -726.

12:50:40 23 (Deposition Exhibit 3210 was marked for  
12:50:43 24 identification.)

12:50:44 25 THE WITNESS: Thank you.

12:50:52            1                      MR. PICKETT:    Q.    Is this the type of  
12:50:53            2                      full-use database license that you have referenced  
12:50:57            3                      when you set a market price?  
12:51:03            4                      MR. McDONELL:    Overly broad.    Vague and  
12:51:04            5                      ambiguous.    Lack of foundation.  
12:51:22            6                      THE WITNESS:    Yes.    I think this is -- if  
12:51:25            7                      it's not the actual agreement that I looked at,  
12:51:29            8                      it's very similar to it.

TEXT REMOVED - NOT RELEVANT TO MOTION

TEXT REMOVED - NOT RELEVANT TO MOTION

12:58:08            11                      Q.    Is it your opinion that the Oracle License  
12:58:11            12                      and Services Agreement would have allowed SAP and  
12:58:14            13                      TomorrowNow to use the Oracle Databases in the  
12:58:19            14                      infringing manner alleged in the lawsuit?

12:58:22            15                      MR. McDONELL:    Vague and ambiguous, calls  
12:58:22            16                      for a legal conclusion, overly broad.    Object to  
12:58:25            17                      the form of the question.

12:58:28            18                      THE WITNESS:    The allegations, as I recall  
12:58:31            19                      them, don't include an allegation that the database  
12:58:37            20                      itself was used inappropriately.    I understand that  
12:58:41            21                      your position is that the alleged actions indicate  
12:58:47            22                      TomorrowNow did some things with Oracle's other  
12:58:50            23                      software that it felt were inappropriate, but  
12:58:53            24                      the -- the use of the database itself was  
12:58:58            25                      essentially internal to TomorrowNow.

TEXT REMOVED - NOT RELEVANT TO MOTION

18:44:17            10                            MR. PICKETT:    Q.    Did you apply any  
18:44:22            11                            methodology to lost profits that was utilized in  
18:44:30            12                            some authoritative text?  
18:44:33            13                            MR. McDONELL:    Vague and ambiguous, object  
18:44:33            14                            to the form.  
18:44:40            15                            THE WITNESS:    I calculated lost profits by  
18:44:43            16                            looking at the losses Oracle made for the customers  
18:44:49            17                            that they lost as a result of the alleged actions  
18:44:56            18                            and applied mathematical techniques.  
18:44:59            19                            Now there are numerous treatises and  
18:45:03            20                            analyses, books, that teach how to do that, the  
18:45:10            21                            math portion of that.    I'm not aware of there being  
18:45:15            22                            any definitive treatise on how you would calculate  
18:45:20            23                            the difference between the "but for" and the actual  
18:45:23            24                            other than doing the math.    And I think as a  
18:45:30            25                            general proposition, the development of the art of

18:45:40            1            explaining how you do a lost profits calculation is  
18:45:45            2            such that it has to be very specific, and therefore  
18:45:52            3            the descriptions you might find in what would be --  
18:45:56            4            would tend to be very general, because they can't  
18:45:59            5            apply themselves to the specific facts of a  
18:46:01            6            specific case.

18:46:03            7                            MR. PICKETT:    Q.    You created exclusion  
18:46:04            8            pools.    Correct?

18:46:05            9                            A.    I did.

18:46:06            10                          Q.    Customer-specific exclusion pools.    Right?

18:46:09            11                          A.    Yes.

18:46:10            12                          Q.    And are you familiar with any published --  
18:46:17            13            any publication that recommends the use of  
18:46:19            14            exclusion pools in connection with a lost profits  
18:46:23            15            analysis?

18:46:24            16                          MR. McDONELL:    Incomplete, vague and  
18:46:25            17            ambiguous.

18:46:26            18                          THE WITNESS:    I think the -- I wouldn't  
18:46:29            19            expect to find a treatise that used the term  
18:46:33            20            "exclusion pools."    I think there are numerous  
18:46:37            21            cases and numerous textbooks, even some that you've  
18:46:41            22            referenced in the last couple of days, that talk  
18:46:45            23            very clearly about the need to identify the  
18:46:50            24            causation that results in a loss arising because of  
18:46:56            25            the alleged actions.    There are any number of

18:47:00            1            those, some of which you've referenced.

18:47:04            2                            So that process, establishing the economic  
18:47:10            3            causation for your analysis, is well established.  
18:47:18            4            Whether there's one other that said, well, let's  
18:47:20            5            take a look at that causation on a  
18:47:24            6            customer-by-customer basis, and then for ease of  
18:47:30            7            discussion, let's pool those, let's group them in  
18:47:33            8            some coherent manner that we can describe that will  
18:47:40            9            save us doing this 358 times, I don't think I've  
18:47:45            10           seen something on that.

18:47:47            11                           But the methodology flows from the  
18:47:53            12           requirement to do a proper analysis of causation.

18:47:58            13                           MR. PICKETT:    Q.    Have you seen any  
18:47:59            14           methodology that groups causation into categories  
18:48:05            15           and then concludes that I find causation based on  
18:48:08            16           whether Group A is listed or Group B plus 1 of C?  
18:48:15            17           Anything along those lines, your use of exclusion  
18:48:19            18           pools?

18:48:20            19                           MR. McDONELL:    Asked and answered,  
18:48:20            20           incomplete hypothetical.    Object to the form.

18:48:23            21                           THE WITNESS:    No.    For all the reasons  
18:48:25            22           that I just described to you.    I think the precise  
18:48:28            23           manner of doing an appropriate causation analysis,  
18:48:33            24           the methodology that you would adopt, has to be  
18:48:36            25           tailored to the facts of the case.    And the pools

18:48:41 1 in this case, they are convenient ways of thinking  
18:48:48 2 about a common thread that runs through a number of  
18:48:53 3 customers. And they could all have been handled  
18:49:00 4 one customer at a time, but that would have been a  
18:49:02 5 much clumsier, much more time-consuming, a much  
18:49:07 6 more paper-intensive approach than we have here.

18:49:11 7 MR. PICKETT: Q. Why did you decide to  
18:49:12 8 use an exclusion pool formula rather than an  
18:49:15 9 individual analysis?

18:49:16 10 MR. McDONELL: Asked and answered.

18:49:19 11 THE WITNESS: I think I just told you  
18:49:20 12 that. Didn't I? I didn't?

18:49:26 13 MR. PICKETT: Q. Go ahead, please.

18:49:27 14 A. Let me tell you again.

18:49:29 15 MR. McDONELL: It also misstates the --  
18:49:31 16 also assumes facts not in evidence.

18:49:37 17 THE WITNESS: First of all, the causation  
18:49:39 18 analysis itself is done on a customer-by-customer  
18:49:43 19 basis. The -- I am going to use an example. Take  
18:49:53 20 the parent mandate pool. I have certain customers  
18:49:59 21 that I have analyzed that show that the reason they  
18:50:06 22 terminated at Oracle and perhaps went to SAP, but  
18:50:13 23 certainly went to TomorrowNow, was the result of an  
18:50:18 24 acquisition.

18:50:19 25 So the new parent says, we are an SAP

18:50:23 1 shop, you need to comply with our accounting  
18:50:27 2 mechanisms and our HR mechanisms, so you have to  
18:50:31 3 become an SAP shop too.

18:50:36 4 Clearly, in that case, that customer  
18:50:39 5 didn't leave Oracle as a result of the alleged  
18:50:43 6 actions. They left as a result of this mandate  
18:50:46 7 from the parent.

18:50:48 8 I did that analysis on a  
18:50:49 9 customer-by-customer basis for the purpose of  
18:50:54 10 presenting that so that we could talk about it,  
18:51:01 11 hopefully -- this was certainly my intent -- in  
18:51:04 12 a -- in an efficient, coherent way, not one  
18:51:10 13 customer at a time. But if you -- if we can make  
18:51:14 14 the argument to you that it is appropriate to  
18:51:19 15 exclude those customers for causation reasons, for  
18:51:22 16 your inability to say it was the alleged actions  
18:51:25 17 that caused the loss to Oracle, then -- I don't  
18:51:32 18 know how many there are in that group, let's say  
18:51:34 19 there are 10 in there --

18:51:37 20 MR. PICKETT: Q. I think there's one, but  
18:51:39 21 go ahead.

18:51:40 22 A. It doesn't matter. I'm just using it as  
18:51:42 23 an example.

18:51:42 24 If there were 10 in there and we  
18:51:44 25 established the principle that that was an

18:51:46            1            acceptable and appropriate thing for me to do, we  
18:51:50            2            could talk about the pool, you could agree to the  
18:51:52            3            principle, and we wouldn't have to spend any more  
18:51:55            4            time looking at them.

TEXT REMOVED - NOT RELEVANT TO MOTION

19:11:40            1            named when I found that common thread.

19:11:43            2                      MR. McDONELL: We're done, Counsel.

19:11:44            3                      MR. PICKETT: All right. Let's break  
19:11:45            4            then.

19:11:46            5                      THE VIDEO OPERATOR: Very good. Going off  
19:11:47            6            the record, the time now is 7:11. This also marks  
19:11:50            7            the end of Videotape No. 5, Volume 2 of Stephen  
19:11:54            8            Clarke.


19:11:54            9                      (Time noted, 7:11 p.m.)

19:11:54            10                      --o0o--

19:11:54            11                      I declare under penalty of perjury that  
19:11:54            12            the foregoing is true and correct. Subscribed at

19:11:54            13            \_\_\_\_\_, California, this \_\_\_\_ day of

19:11:54            14            \_\_\_\_\_ 2010.



19:11:54            15

19:11:54            16

19:11:54            17

STEPHEN K. CLARKE

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF REPORTER

I, HOLLY THUMAN, a Certified Shorthand Reporter, hereby certify that the witness in the foregoing deposition was by me duly sworn to tell the truth, the whole truth, and nothing but the truth in the within-entitled cause;

That said deposition was taken down in shorthand by me, a disinterested person, at the time and place therein state, and that the testimony of said witness was thereafter reduced to typewriting, by computer, under my direction and supervision;

That before completion of the deposition review of the transcript [] was [ ] was not requested. If requested, any changes made by the deponent (and provided to the reporter) during the period allowed are appended hereto.

I further certify that I am not of counsel or attorney for either or any of the parties to the said deposition, nor in any way interested in the event of this cause, and that I am not related to any of the parties thereto.

DATED: June 17th, 2010  
Holly Thuman  
HOLLY THUMAN, CSR