

Exhibit 6

Confidential - Attorneys' Eyes Only
The Apple iPod iTunes Anti-Trust Litigation

Roger Noll, Ph.D.

Page 1

1 UNITED STATES DISTRICT COURT
2 NORTHERN DISTRICT OF CALIFORNIA
3 OAKLAND DIVISION
4
5 THE APPLE IPOD iTUNES Lead Case No. C 05-00037
ANTI-TRUST LITIGATION
6
7 _____
8 This Document Relates To:
9 ALL ACTIONS
10 _____
11
12
13
14 CONFIDENTIAL - ATTORNEYS' EYES ONLY
15 VIDEOTAPED DEPOSITION OF ROGER G. NOLL, PH.D.
16 Wednesday, December 18, 2013
17 Palo Alto, California
18
19
20
21
22
23 Reported by:
Darcy J. Brokaw
24 RPR, CRR, CSR No. 12584
25 Job No. 10008944

Page 3

1 APPEARANCES
2
3
4 For the Plaintiffs and the deponent, Dr. Noll:
5 ROBBINS GELLER RUDMAN & DOWD, LLP
BY: ALEXANDRA S. BERNAY, ESQ.
6 BY: JENNIFER N. CARINGAL, ESQ.
655 West Broadway, Suite 1900
7 San Diego, California 92101
(619)231-1058
8 xanb@grdlaw.com
9
10 For the Defendant, Apple Inc.:
11 JONES DAY
BY: DAVID KIERNAN, ESQ.
12 BY: AMIR AMIRI, ESQ.
BY: ROBERT MITTELSTAEDT, ESQ.
13 555 California Street, 26th Floor
San Francisco, California 94104
14 (415)626-3939
dkiernan@jonesday.com
15
16
17
18 Also present:
19 Peter Hibdon, Videographer
20
21
22
23
24
25

Page 2

1 UNITED STATES DISTRICT COURT
2 NORTHERN DISTRICT OF CALIFORNIA
3 OAKLAND DIVISION
4
5 THE APPLE IPOD iTUNES Lead Case No. C 05-00037
ANTI-TRUST LITIGATION
6
7 _____
8 This Document Relates To:
9 ALL ACTIONS
10 _____
11
12
13 CONFIDENTIAL - ATTORNEYS' EYES ONLY
14
15 Videotaped Deposition of ROGER G. NOLL, PH.D.,
16 taken on behalf of the Defendant, at 1755 Embarcadero
17 Road, Palo Alto, California, beginning at 9:06 a.m. and
18 ending at 11:54 p.m., on Wednesday, December 18, 2013,
19 before Darcy J. Brokaw, CSR No. 12584.
20
21
22
23
24
25

Page 4

1 INDEX TO EXAMINATION
2 ROGER G. NOLL, PH.D.
3
4
5 EXAMINATION PAGE
6 BY MR. KIERNAN 7
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

12 MS. BERNAY: Objection. Argumentative.

13 BY MR. KIERNAN:

14 Q. It would be column A divided by 1 plus
15 column B times column B; isn't that correct?

16 MS. BERNAY: Objection. Vague.

17 THE WITNESS: It would be explain --
18 explain it to me again.

19 BY MR. KIERNAN:

20 Q. It would be --

21 A. The actual overcharge would be -- so
22 the -- what the percentage damages is is the
23 percentage of the calculation you get from all the
24 independent variables, which is an estimate of the
25 transaction price you actually have. And the

1 regression are correlated within a particular group
2 and you don't do anything to correct for that, what
3 would be the impact on the reported standard errors?

4 MS. BERNAY: Objection. Vague and
5 ambiguous.

6 THE WITNESS: I didn't completely follow
7 the question. Ask it again.

8 BY MR. KIERNAN:

9 Q. If the residual errors in the regression
10 are correlated within a particular group and you
11 don't do anything to correct for that, what would be
12 the impact on the reported standard errors?

13 MS. BERNAY: Same objection.

14 THE WITNESS: It could be either way. It
15 could make them higher or it could make them lower,
16 depending on the nature of the correlation.

17 BY MR. KIERNAN:

18 Q. And why would it impact the reported
19 standard errors?

20 A. Well, it's all built up in the -- in the
21 nature of the assumptions one makes in doing a
22 regression analysis, which is an independence of the
23 standard errors. And if the standard errors -- if
24 the -- if the random shock that is --

25 (Reporter inquires.)

1 transaction price is -- has that overcharge of that
2 amount. All right.

3 So I'm not sure I understand --

4 Q. I'm focusing on the formula that's in C.

5 A. Yes.

6 Q. And the formula in C is taking the
7 percentage of the weighted average price. And my
8 question is --

9 A. That is the existing price. It's not the
10 but-for price.

11 Q. Right. And what I'm asking is: Isn't the
12 correct formula to determine the price overcharge

13 A divided by 1 plus column B times column B --

14 MS. BERNAY: Objection --

15 BY MR. KIERNAN:

16 Q. -- because column B reflects the change in
17 percentage between --

18 A. Yes, you're right --

19 Q. -- the but-for price and --

20 (Reporter admonishes.)

21 THE WITNESS: Yes, the 2.3.8 is an
22 approximation of what the -- what the exactly
23 precise calculation would be, yes.

24 BY MR. KIERNAN:

25 Q. Okay. If the residual errors in the

1 THE WITNESS: If the random shock that is
2 in the regression equation does not satisfy the
3 independence assumption, then the effect on the
4 standard errors of the coefficients could be either
5 to elevate them or to reduce them, depending on the
6 nature of the violation of the independence
7 assumption.

8 BY MR. KIERNAN:

9 Q. Okay. And are there standard statistical
10 tests to test whether the residual errors are
11 correlated within a particular group?

12 MS. BERNAY: Objection. Vague.

13 THE WITNESS: There are many such tests
14 and many such corrections. But the effect is -- the
15 existence of even statistically significant
16 correlations is small unless those correlations are
17 high. All right.

18 So the corrections for autocorrelation of
19 residuals are not something that actually matters in
20 the vast majority of cases because the -- it's
21 almost never the case there's no correlation in
22 residual errors, but it's almost never the case that
23 making a correction for the auto- -- the correlation
24 that does exist matters in terms of the regression.

25 It's also the case here that we're not

Page 25

1 talking about a source of bias in the coefficients.
 2 We're talking about a source of bias in the
 3 estimated statistical significance, the --
 4 BY MR. KIERNAN:
 5 **Q. The standard errors?**
 6 A. Yeah, the values of the -- the expected
 7 value of the regression coefficients is not
 8 affected.
 9 **Q. The coefficients aren't affected, but the**
 10 **calculations of the standard errors are affected?**
 11 A. Right, the calculations of the standard
 12 errors are affected, but the -- but the estimated
 13 effect of the independent variable is the same, the
 14 expected estimated effect.
 15 **Q. And if the residual errors are correlated**
 16 **within a particular group, the standard errors could**
 17 **either be overstated or understated?**
 18 A. Yes.
 19 **Q. Without a correction?**
 20 A. They could be. Although, again, the --
 21 it's not -- it's not a dichotomous issue. They --
 22 A, they may be affected, and B, the magnitude of the
 23 effect depends on the exact conditions.
 24 **Q. And to know the magnitude of effect, you'd**
 25 **have to test it, you'd have to run one of the**

Page 27

1 that -- that's a good way to see if there's positive
 2 error correlation, but it's not a good way to see if
 3 there's negative error correlation.
 4 And the second point is that the nature of
 5 the error correlation may be that it's dependent on
 6 particular combinations of variables; and that one,
 7 the standard tests wouldn't even tell you that it
 8 exists.
 9 **Q. In this case, did you do anything to check**
 10 **whether the residual errors in your regression set**
 11 **forth in Exhibits 3A and 3B to Noll 10 are**
 12 **correlated with any particular group?**
 13 MS. BERNAY: Objection. Vague and
 14 ambiguous.
 15 THE WITNESS: What do you mean by "group"?
 16 BY MR. KIERNAN:
 17 **Q. Within any group.**
 18 A. What do you mean, "a group"? I don't
 19 understand what you mean by a group.
 20 **Q. We've been using group for the last ten**
 21 **minutes.**
 22 MS. BERNAY: Objection. Argumentative.
 23 BY MR. KIERNAN:
 24 **Q. Same group that you've -- the same group**
 25 **that you've been referring to.**

Page 26

1 **standard statistical tests?**
 2 MS. BERNAY: Objection. Calls for
 3 speculation.
 4 THE WITNESS: Well, actually, that's not
 5 what most -- what typically --
 6 BY MR. KIERNAN:
 7 **Q. Can you just eyeball it?**
 8 A. -- happens.
 9 (Reporter inquires.)
 10 BY MR. KIERNAN:
 11 **Q Can you just eyeball it?**
 12 MS. BERNAY: Objection. Vague.
 13 THE WITNESS: Can I finish my first answer
 14 before I answer the next question?
 15 BY MR. KIERNAN:
 16 **Q. Yes.**
 17 A. Okay. It is the case that if you plot the
 18 errors, you will know from experience if you
 19 actually have a problem that is causing the
 20 regression equation to be unreliable. But so
 21 "eyeball" is sort of a bizarre word.
 22 What you actually do is you look at the
 23 actual scatter plot of points around the regression
 24 line and see if there is a clustering of
 25 observations above and below it. The problem with

Page 28

1 A. I didn't refer to a group. I don't know
 2 what you're talking about. I know I fully
 3 intended --
 4 **Q. You used the term "cluster" --**
 5 (Reporter admonishes.)
 6 BY MR. KIERNAN:
 7 **Q You used the word cluster, within a**
 8 **cluster.**
 9 A. I don't agree that there are any clusters
 10 here.
 11 MS. BERNAY: Objection.
 12 BY MR. KIERNAN:
 13 **Q. That's not my question, Dr. Noll. I asked**
 14 **you, did you do anything to check whether the**
 15 **residual errors in your regressions set forth in**
 16 **Exhibit 3A and 3B are correlated within any cluster**
 17 **or group?**
 18 MS. BERNAY: Objection. Asked and
 19 answered.
 20 THE WITNESS: I don't know what you mean
 21 by a group. And you used the word "or," and I don't
 22 believe there are any clusters. So how can I test
 23 for something when I don't -- I think it either
 24 doesn't exist or I don't understand what you're
 25 asking?

Page 29

1 What is it you're asking? Can't you just
 2 give me an example of what you mean by a group, and
 3 then we won't have to discuss it?
 4 BY MR. KIERNAN:
 5 **Q. So you don't understand the question?**
 6 A. I don't understand what you mean by a
 7 group, no. I don't know what you have in mind.
 8 **Q. And you don't know what I mean by cluster?**
 9 MS. BERNAY: Objection --
 10 THE WITNESS: I know what you mean by a
 11 cluster, and there aren't any in this particular
 12 regression.
 13 BY MR. KIERNAN:
 14 **Q. How do you know?**
 15 A. Because I know what cluster analysis is,
 16 and it doesn't apply to this regression because this
 17 isn't a sample.
 18 **Q. What did you do to determine if there were**
 19 **clusters? What statistical tests did you apply?**
 20 MS. BERNAY: Objection.
 21 THE WITNESS: I looked at the definition
 22 of a cluster, and it doesn't apply to anything in
 23 this regression. I know -- I know what cluster
 24 analysis is, and it doesn't apply to this
 25 regression, notwithstanding what many of your

Page 31

1 There is --
 2 BY MR. KIERNAN:
 3 **Q. I'm just trying to understand what you did**
 4 **other than reading some books to determine if there**
 5 **are clusters in the case.**
 6 MS. BERNAY: Objection. Argumentative.
 7 THE WITNESS: There is no such thing as a
 8 test for whether you ought to use cluster analysis
 9 in a regression that doesn't satisfy the conditions
 10 for clustering.
 11 BY MR. KIERNAN:
 12 **Q. Okay. That's what you teach your**
 13 **students?**
 14 MS. BERNAY: Objection. Argumentative.
 15 THE WITNESS: Of course it is.
 16 BY MR. KIERNAN:
 17 **Q. On page 34 of Noll 10 -- let me know when**
 18 **you get there.**
 19 A. I'm there.
 20 **Q. The first paragraph, the last third, you**
 21 **state that "Professors Murphy and Topel do not test**
 22 **whether the mean residual errors from this procedure**
 23 **are statistically significantly different from zero,**
 24 **which would have to be the case if the errors within**
 25 **a cluster are correlated."**

Page 30

1 experts have said. They're just not right.
 2 BY MR. KIERNAN:
 3 **Q. Anything else other than looking at a**
 4 **definition?**
 5 MS. BERNAY: Objection. Argumentative.
 6 THE WITNESS: I know -- the report, about
 7 a third of this report is about what cluster
 8 analysis is and what kinds of problems you apply to
 9 it and why this isn't a cluster sample problem. All
 10 right.
 11 So, yes, there it is. I've cited articles
 12 in the professional literature of which I not only
 13 have read, but I actually know what they do. I have
 14 taught this stuff. So I know what I'm talking
 15 about. And there's references here. It's not that
 16 I just read a definition and decided that something
 17 didn't apply.
 18 But I know, just from knowing what cluster
 19 analysis is, that it doesn't apply here.
 20 BY MR. KIERNAN:
 21 **Q. You just know it when you see it?**
 22 MS. BERNAY: Objection. Argumentative,
 23 misstates his prior testimony.
 24 Come on, David.
 25 THE WITNESS: That's complete nonsense.

Page 32

1 A. Yes.
 2 **Q. Did you perform that analysis?**
 3 A. No, because I don't believe there are
 4 clusters. The premise of that paragraph is if you
 5 assume a cluster analysis is appropriate, here's
 6 something you do. And they didn't do it. But I
 7 don't think you should even do that because it's not
 8 a cluster sample problem.
 9 **Q. If it turns out that within a group,**
 10 **within a cluster -- we can use the one defined by**
 11 **Professors Murphy and Topel -- the mean residual**
 12 **errors are statistically significantly different**
 13 **from zero, what would that tell you?**
 14 A. Nothing.
 15 **Q. Why not?**
 16 A. Because as I said before, you only get
 17 that far if you have a cluster sampling problem, and
 18 we don't have a cluster sampling problem. So
 19 there's no point in testing for cluster, the
 20 presence of clustering effects if you don't have a
 21 cluster to begin with.
 22 This is a paragraph written on if there --
 23 if it were a sample -- if the way I had done the
 24 analysis was to sample some transactions according
 25 to a subset of the models of iPods that were out

Page 33

1 there, so instead of having 100-odd iPod models, I
 2 only had 20, and within those 20, I had just drawn a
 3 sample of transactions instead of looking at the
 4 entire universe, then, in principle, there might be
 5 a clustering problem. But when you don't have a
 6 sample of either the models or the transactions,
 7 it's not a cluster problem.
 8 So testing for cluster effects is a
 9 non sequitur. It's inappropriate, because you don't
 10 have cluster samples.
 11 **Q. Okay. And other than that basis that**
 12 **there's not a clustering problem because it's not a**
 13 **sample from a population, any other reason, any**
 14 **other basis for your opinion that there's not a**
 15 **clustering issue?**
 16 A. Only the fact it doesn't satisfy the
 17 conditions for doing cluster analysis?
 18 **Q. The one that you just described.**
 19 A. Yes. That's why it isn't a cluster
 20 problem, is because it's not a cluster sample. And
 21 cluster sampling is a procedure you use when you are
 22 sampling on both groups and people within a group.
 23 If you have a population instead of a
 24 sample, there's no cluster issue, by definition.
 25 **Q. And so if the mean residual errors within**

Page 35

1 other two are versions of omitted variable problems.
 2 So the issue is, is there a sampling issue
 3 here? The answer is no.
 4 Are there omitted variables? I'm not
 5 aware of any that would add statistical significance
 6 to the regression equation without being so highly
 7 multicollinear that they would destroy the
 8 coefficient estimates.
 9 So there can't -- there isn't any -- none
 10 of the three reasons why you might have a problem
 11 exist. So I don't care what the test is, because
 12 it's testing for something that, in principle, can't
 13 exist as a problem in the regression.
 14 BY MR. KIERNAN:
 15 **Q. So if you run a test on a particular group**
 16 **of transactions and the test shows that the mean**
 17 **residual errors are statistically significantly**
 18 **different from zero, your opinion is it has no**
 19 **impact on the calculation of the standard errors?**
 20 MS. BERNAY: Objection. Vague and
 21 ambiguous. Misstates prior testimony as well.
 22 BY MR. KIERNAN:
 23 **Q. Let me put it differently. It does not**
 24 **overstate or understate the standard errors that**
 25 **you're calculating?**

Page 34

1 **certain particular groups in the transaction data at**
 2 **issue in this case are correlated, that is, they are**
 3 **statistically significantly different from zero,**
 4 **your opinion is it has no impact on the calculation**
 5 **of the standard errors in the case?**
 6 A. That's not what I said.
 7 MS. BERNAY: Objection. Misstates his
 8 prior testimony.
 9 BY MR. KIERNAN:
 10 **Q. What was wrong with -- what do you**
 11 **disagree with in the question I just asked?**
 12 MS. BERNAY: Objection. Vague.
 13 THE WITNESS: First of all, if you look
 14 within a -- if you define the group as a particular
 15 model of an iPod, and you look at the errors in
 16 predicting that, and you find they're correlated, it
 17 may be -- it's perfectly explained if you took into
 18 account all the values of all the other independent
 19 variables.
 20 So that test in and of itself doesn't
 21 prove anything. All right. The only way it proves
 22 something -- again, let's go back to the reasons
 23 cluster sampling can be a problem. And as stated in
 24 the report, there's three reasons why it can be a
 25 problem. One is a sample bias problem, and the

Page 36

1 MS. BERNAY: Same objection.
 2 THE WITNESS: It may or may not. You
 3 haven't -- there's not enough information in your
 4 question to make a prediction about the effect on
 5 the calculation of the standard errors.
 6 BY MR. KIERNAN:
 7 **Q. What additional information do you need?**
 8 A. You have to understand what is the source
 9 of what you're measuring. All right. You have
 10 to --
 11 **Q. The source of the observations?**
 12 A. No.
 13 THE REPORTER: What's the question?
 14 You guys are cutting each other off.
 15 THE WITNESS: Yeah, he does do this,
 16 doesn't he?
 17 The very first step is precisely what
 18 residual errors are you correlating, what actually
 19 is it. All right. And I don't know the answer to
 20 that.
 21 All you're telling me is that within a
 22 model of iPods, the mean residual error isn't
 23 zero. That's all you're telling me. You're not
 24 telling me anything else about why it might be
 25 different from zero.

Page 37

1 In fact, purely as a statistical matter, I
 2 would expect it not to be the case that they would
 3 all be zero, all right, just purely from random
 4 sample or random -- the random shocks in the
 5 regression.
 6 So we have to know why. Before we can get
 7 to the question "is that going to affect the
 8 calculation of the standard errors of the regression
 9 coefficients," we have to understand why the
 10 residual errors don't sum to zero.
 11 BY MR. KIERNAN:
 12 Q. And did you explore any of the why the
 13 mean residual errors in your regression are
 14 statistically significantly different from zero for
 15 certain groups of transactions of iPods?
 16 MS. BERNAY: Objection. Vague and
 17 ambiguous. Again, mischaracterizes the prior
 18 testimony.
 19 THE WITNESS: First of all, you're
 20 assuming in the way the question is answered that I
 21 know which ones are statistically significantly
 22 different from zero, and I don't.
 23 Secondly, all I did is examine the reasons
 24 given by Professors Murphy and Topel as to why these
 25 things were different from zero, and they're wrong.

Page 39

1 bootstrapping as a technique. And describe what
 2 "bootstrapping" is so I make sure I understand it.
 3 A. Sample -- you have a small number of
 4 observations and -- this was actually invented by my
 5 college roommate.
 6 You have a small number of observations,
 7 and the idea is if you just ran a single regression
 8 on the small sample that you have, the end wouldn't
 9 be large enough to be able to detect an effect, a
 10 causal effect of one variable on another.
 11 So what you do is you draw a sample
 12 from -- a sample with replacement; that is to say,
 13 you pick an observation, pull it out, count that as
 14 an observation, and you put it back into the puddle
 15 of all the observations and you draw another one.
 16 And you do that several times, run a
 17 regression. And then you do it all again and run
 18 another regression, and then you do it all again and
 19 run another regression. And then you use the
 20 distribution of the coefficients from those
 21 regressions as a way to estimate what the true
 22 coefficient is.
 23 Q. Is that something you did in this case?
 24 A. No. We don't have a small sample. We
 25 have a population.

Page 38

1 So I didn't go beyond that.
 2 And they're small to begin with.
 3 Regardless of whether they're statistically
 4 significant, they're small anyway.
 5 So there's certainly no proof that the
 6 answer in the regression equation about what damages
 7 are is in any way affected by anything in there that
 8 they discuss with regard to cluster analysis.
 9 BY MR. KIERNAN:
 10 Q. Dr. Noll, when you say "they're small to
 11 begin with. Regardless of whether they're
 12 statistically significant, they're small anyway,"
 13 what are you referring to as they are small?
 14 A. Well, there's a -- in the backup stuff to
 15 the reports, the residual errors, the mean residual
 16 errors by model are not big numbers. That's what I
 17 recall. I don't remember the precise thing because
 18 it was months ago.
 19 But we did in fact examine what the basis
 20 was for their statements about the mean residual
 21 error, and there was no -- there was really nothing
 22 very important there.
 23 Q. In your report, you discuss one technique
 24 for -- well, strike that.
 25 You set forth a description of

Page 40

1 Q. What was the point of discussing that in
 2 your report?
 3 A. The point of discussing it was the
 4 mischaracterization of what independence means, that
 5 the -- Professors Murphy and Topel mischaracterize
 6 independence as being the same observation. And in
 7 bootstrapping, you use the same observation over and
 8 over and over and over again, and it doesn't violate
 9 the independence assumption.
 10 Q. What does the independence assumption
 11 refer to, in your words? You disagree with
 12 Professor Murphy and Professor Topel. Define for me
 13 what you're referring to as the independence
 14 assumption.
 15 A. It's that the random component of the
 16 regression equation -- the distribution of that
 17 random component is unaffected by the observed
 18 values of any other component.
 19 And the reason the independence assumption
 20 is satisfied in bootstrapping is that you're
 21 randomly drawing samples. So before the fact, what
 22 the next observation is going to be is independent
 23 of what the previous observation was.
 24 Q. When you were referring to "random
 25 component," were you referring to the residual?

Page 41

1 A. The residual is an estimate. It's the
 2 unexplained variance. The independence assumption
 3 refers to the underlying distribution of the error
 4 term. And then the residual error in the regression
 5 equation is for the entire equation. By definition,
 6 a regression analysis has to have mean zero.
 7 So the issue then about residual errors
 8 being correlated is you draw some subset of the
 9 observations and say is that sub- -- does that
 10 subset have correlations. And then if it does, that
 11 means the correlations of the residual errors in
 12 that group, if on average they're greater than zero,
 13 that means all the others have to be on average less
 14 than zero.
 15 And then the issue is why does one
 16 subsample have positive residual errors and another
 17 have negative. And there's some potential
 18 explanations for that, one of which is the actual
 19 way you created the groups, because you may not have
 20 taken fully into account the effect, the actual
 21 effect that's already explained in the regression of
 22 some of the independent variables.
 23 **Q. And if I understand your answer, one way**
 24 **to test your independence assumption is to look at**
 25 **the distribution of the error terms in the**

Page 43

1 dealing with the data, have constructed subsamples
 2 in a way to get groups that have residual errors
 3 that are statistically significantly different from
 4 zero. That doesn't tell me anything about the
 5 underlying quality of the regression, the standard
 6 errors or anything else. It just means that I've
 7 cherry-picked.
 8 So that's why the answer to questions like
 9 you've been asking me always have to be "it
 10 depends." It depends on how the subsample was
 11 collected whether any test of whether the residual
 12 errors are positive or negative even makes sense to
 13 begin with.
 14 **Q. When dealing with -- strike that.**
 15 **Are there other cases in which you have**
 16 **worked with an entire population of transactions in**
 17 **estimating a regression?**
 18 A. Yes.
 19 **Q. And in those cases, have you done anything**
 20 **to test the independence assumption that we've been**
 21 **discussing?**
 22 MS. BERNAY: Objection. Calls for
 23 speculation, vague and ambiguous.
 24 THE WITNESS: I have -- the only -- first
 25 of all, the only circumstances in which that even is

Page 42

1 regression?
 2 A. It can be, but it isn't necessarily. You
 3 have to -- you have to have an underlying both
 4 economic theoretic and econometric theoretic reason
 5 to believe that the groupings you have make sense.
 6 In other words, I can always construct a
 7 way to separate a sample into two groups so that one
 8 has positive residual errors on average and the
 9 other has negative, but that doesn't mean that
 10 there's a problem with the regression analysis,
 11 because I've constructed it to produce that, that
 12 result. And that's why I say you'd have to know
 13 what the reason for it is.
 14 Just to take a very simple example, I
 15 could just take the ten observations where the model
 16 underestimates the true value by the maximum amount,
 17 all right, the worst possible observations in terms
 18 of underpredicting the dependent variable. Then I
 19 could call that a group, and I say, ah-hah, those
 20 are statistically significant positive residual
 21 errors.
 22 But that doesn't mean there's anything
 23 wrong with the model. It doesn't mean there's
 24 anything funny going on with violation of
 25 independence. It just means that me, as the person

Page 44

1 an interesting issue would be where you had very low
 2 explanatory power in the regression. All right.
 3 Then it's possible that you could have economically
 4 and econometrically meaningful subgroups that had
 5 positive or negative residual errors.
 6 And so if you have extremely high
 7 R-squares, if your regression is doing a good job
 8 explaining the data, then it would not be a
 9 meaningful exercise to do that. And in most cases,
 10 I never do, because the R-square, like this one, is
 11 very high.
 12 BY MR. KIERNAN:
 13 **Q. So if the R-square is very high and you're**
 14 **dealing with a --**
 15 A. Population.
 16 **Q. -- population subset, your opinion is**
 17 **there's no reason to test the independence**
 18 **assumption?**
 19 MS. BERNAY: Objection. Mischaracterizes
 20 the prior testimony.
 21 THE WITNESS: Right. I have normally not
 22 attempted to test, but there are -- the only
 23 circumstances in which I would do that is if there
 24 was -- there was some really big outlier prediction
 25 errors and they were all the same thing. And you

Page 45

1 could get still get a high squared with a very small
 2 subset getting big prediction errors.
 3 (Reporter inquires.)
 4 THE WITNESS: You can have a high
 5 R-squared in a regression and still have a group of
 6 predictions that were -- where the prediction error
 7 is large. And then you would -- you would still
 8 want to address whether that group -- you had some
 9 omitted variable for that group or something.
 10 But again, that's not really likely to
 11 happen if you already have group identifiers. See,
 12 again, the -- by definition, if you have group
 13 identifiers, the residual error within that group is
 14 going to be zero. The mean residual error is going
 15 to be zero, because that's what regression analysis
 16 does.
 17 So that's why, for example, the most
 18 conventional solution to cluster problems is to use
 19 group identifiers, indicator variables, to get the
 20 mean of those residual errors for each group to
 21 zero.
 22 BY MR. KIERNAN:
 23 Q. In this case, did you perform any
 24 statistical test to determine or to test your
 25 independence assumption?

Page 47

1 BY MR. KIERNAN:
 2 Q. If you could turn to page 27.
 3 Let me know when you get there, Dr. Noll.
 4 MS. BERNAY: 27?
 5 MR. KIERNAN: Yeah, of Noll 10.
 6 BY MR. KIERNAN:
 7 Q. In the second paragraph, you state that
 8 "Whereas new iPod owners in late 2006 became more
 9 locked in to iPods over time..."
 10 Do you see that sentence?
 11 A. Yes.
 12 Q. When you're referring to "new iPod
 13 owners in late 2006," who are you referring to?
 14 A. People who had just bought or were about
 15 to buy an iPod.
 16 Q. Okay. And are you referring to consumers
 17 that purchase an iPod -- only those consumers who
 18 purchase an iPod with 7.0 implemented?
 19 A. The issue of lock-in effect also depends
 20 on how locked in you are, of course. But the --
 21 if -- and it also depends on whether you have bought
 22 digital downloads from the iTunes Store or not.
 23 So the degree of lock-in would be affected
 24 by 7.0, but it's not the only factor affecting
 25 lock-in.

Page 46

1 MS. BERNAY: Objection. Asked and
 2 answered.
 3 THE WITNESS: I have -- I have not
 4 performed a test of the independence assumption as
 5 you've put it in that way, no. It would be
 6 unnecessary, because there are no groups with
 7 outlying residual errors in the R-squared spot. And
 8 by definition, the mean residual errors by group are
 9 going to be zero.
 10 BY MR. KIERNAN:
 11 Q. And if statistical tests show that mean
 12 residual errors within groups are correlated, that
 13 does not affect your analysis or any of your
 14 opinions in any way?
 15 MS. BERNAY: Objection. Calls for
 16 speculation.
 17 THE WITNESS: It might or it might not,
 18 depending on what the reason for finding that
 19 correlation was, that statistically significant
 20 correlation was. It would purely depend on the way
 21 the test was performed and the way the groups were
 22 created and the way the residual errors were
 23 calculated. All right. That's what it would depend
 24 on.
 25 ///

Page 48

1 MR. KIERNAN: Okay. Move to strike as
 2 nonresponsive.
 3 BY MR. KIERNAN:
 4 Q. With respect to your first sentence, you
 5 state: "Whereas new iPod owners in late 2006 became
 6 more locked in to iPods over time..."
 7 My question is: The "new iPod owners in
 8 late 2006," does that refer to purchasers of iPods
 9 that only included 7.0?
 10 MS. BERNAY: Objection. Asked and
 11 answered.
 12 THE WITNESS: My nonresponsive answer was
 13 in fact responsive. It depends on other things.
 14 All right. People who bought 7.0, obviously 7.0 --
 15 iPods with 7.0 in them contributed to a lock-in
 16 effect more than people whose iPods did not have
 17 7.0.
 18 But on the other hand, if people,
 19 regardless of whether they bought 7.0, bought music
 20 from the iTunes Store in a DRM-protected fashion,
 21 they would be experiencing lock-in as well.
 22 BY MR. KIERNAN:
 23 Q. Okay.
 24 A. So that, contrary to your assertion, it
 25 was completely responsive. You just didn't

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REPORTER CERTIFICATION

I, Darcy J. Brokaw, a Certified Shorthand Reporter, do hereby certify:
That prior to being examined, the witness in the foregoing proceedings was by me duly sworn to testify to the truth, the whole truth, and nothing but the truth;
That said proceedings were taken before me at the time and place therein set forth and were taken down by me in shorthand and thereafter transcribed into typewriting under my direction and supervision;
I further certify that I am neither counsel for, nor related to, any party to said proceedings, nor in any way interested in the outcome thereof.
In witness whereof, I have hereunto subscribed my name.

Dated: December 19, 2013



Darcy J. Brokaw
CSR No. 12584, RPR, CRR