

EXHIBIT B

DEPOSITION OF ROBERT DEZMELYK - 4/9/2010

IN THE UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

ELAN MICROELECTRONICS)
CORPORATION,)
)
Plaintiff,)
and Counterclaim Defendant,)
)
-vs-) CASE NO. C-09-01531 RS
)
APPLE, INC.,)
)
Defendant,)
and Counterclaim Plaintiff,)
_____)

VIDEOTAPED DEPOSITION OF ROBERT DEZMELYK

DATE: April 9, 2010

TIME: 9:07 a.m.

LOCATION: WEIL, GOTSHAL & MANGES, LLP
201 Redwood Shores Parkway
Redwood Shores, California

REPORTED BY: Anne M. Torreano, CSR, RPR, CCRR
Certified Shorthand Reporter
License Number C-10520

02:48:07 1 A. Well, yeah, let me find where they cite to it
02:48:12 2 first and see what the context of how they're talking
02:48:19 3 about it is.

02:48:29 4 Okay. I would direct you to -- probably the
02:48:33 5 best place to explain it would be column 5. Let's
02:48:40 6 see. It goes to, like, maybe line 27 after the
02:48:50 7 business about the other patent with the simultaneous
02:48:52 8 sensing, and it says the rows and columns are connected
02:48:55 9 to an analog multiplexor 45 through a plurality of X
02:49:01 10 direction conductors and a plurality of Y column
02:49:02 11 direction conductors 55, one conductor for each row and
02:49:05 12 each column.

02:49:06 13 "Under the control of a microcontroller 60,
02:49:09 14 the analog multiplexor selects which traces of the
02:49:13 15 matrix will be sampled, and the output of those traces
02:49:16 16 is then provided to a capacitance measuring circuit."

02:49:17 17 And then they go on to describe some other
02:49:20 18 ways in which people, you know, measure capacitance or
02:49:23 19 cite to, I guess, a patent which describes that.

02:49:26 20 So the analog multiplexor's role here is to
02:49:31 21 select which of the conductors you're measuring the
02:49:34 22 capacitance along that trace in this particular
02:49:37 23 implementation.

02:49:37 24 Q. All right. Any other functions that that
02:49:41 25 multiplexor 45 performs besides that one?

02:49:48 1 A. I have to take a quick scan.

02:50:10 2 I don't see any at the moment. I mean, that's
02:50:16 3 its principal role certainly.

02:50:18 4 Q. Can you think of any other function that it
02:50:20 5 performs besides that principal function?

02:50:23 6 A. That is set forth here?

02:50:25 7 Q. In the patent, yeah.

02:50:26 8 A. I mean, I don't see one.

02:50:28 9 Q. There's another part of figure 2. It's
02:50:36 10 labeled 70. It's called "Circuit to measure changes in
02:50:38 11 capacitance of sensor conductors."

02:50:40 12 Do you see that?

02:50:40 13 A. Right.

02:50:41 14 Q. What is the function of that circuit, circuit
02:50:46 15 70 in figure 2?

02:50:47 16 A. Well, 70 is basically, as it's set forth --
02:50:53 17 again, I direct you to column 5 and about 45. It
02:50:59 18 converts capacitance values from a circuit 70 -- well,
02:51:04 19 the output of 70 is the input -- 70's basically giving
02:51:09 20 you, you know, kind of capacitance to voltage. In this
02:51:14 21 case it looks from A to D it's capacitance to voltage.

02:51:15 22 And as we talked about before, there's
02:51:17 23 circuits -- there's a variety of circuits which will
02:51:20 24 give you a measured signal based on the amount of
02:51:25 25 capacitance that's presented on a conductor connected

02:51:28 1 to that.

02:51:29 2 This particular one, I was using the RC
02:51:32 3 oscillator example before. Since this is, you know,
02:51:36 4 being connected to an A to D converter, more likely
02:51:36 5 it's some circuit which gives you an analog voltage
02:51:39 6 level output that's proportional to the capacitance
02:51:43 7 present on its input conductor.

02:51:45 8 Q. And are there any other functions that
02:51:52 9 measuring circuit performs besides that one?

02:51:55 10 A. Well, it's -- I mean, in the broad sense all
02:52:00 11 of these components are part of the total functionality
02:52:03 12 of the device. In other words, their presence and
02:52:08 13 their operation is how you determine if you have
02:52:11 14 contact at all. Ultimately they give the data that
02:52:15 15 lets you determine location of the fingers, you know,
02:52:18 16 how many you have, whether they're touching.

02:52:20 17 So in the broadest description of their
02:52:22 18 function, they're necessary for the operation of the
02:52:25 19 device. In particular definition of what does it do,
02:52:30 20 that's -- it serves the purpose. As it says, it
02:52:34 21 measures the changes in capacitance in the sensor
02:52:36 22 converters.

02:52:36 23 Q. And what about the analog-to-digital converter
02:52:40 24 box 80? What's the function of that?

02:52:42 25 A. Well, again, in the narrow sense it does what

02:52:45 1 it says it does. It takes an analog signal and
02:52:49 2 converts it to a digital value so you can then process
02:52:51 3 that in firmware in the microcontroller.

02:52:55 4 Q. What values are those that it's converting
02:52:56 5 from analog to digital?

02:52:58 6 A. It's converting, in this example here, the
02:53:01 7 value of capacitance of the selected conductor -- the
02:53:07 8 value generated -- the analog value generated by 70,
02:53:10 9 this capacitance measuring circuit, for the particular
02:53:14 10 selected conductor or trace that you've selected with
02:53:17 11 analog multiplexor at that point in time, and it's
02:53:20 12 converting that value into a digital representation.

02:53:23 13 Q. And then --

02:53:24 14 A. In the broad sense, again, it's part of the
02:53:26 15 whole functionality of the sensing chain. Without it
02:53:28 16 you're not going to have a functional device.

02:53:31 17 Q. Take a look, please, at column 11. Around
02:53:51 18 line 16 there's a paragraph that begins by saying in
02:53:56 19 effect that the preceding part of the patent was
02:54:02 20 describing ways of detecting a plurality of fingers, et
02:54:06 21 cetera.

02:54:06 22 Do you see what I'm referring to there?

02:54:07 23 A. I see the paragraph, yes.

02:54:08 24 Q. All right. And then it then says that there's
02:54:11 25 a second portion of the invention.

03:39:01 1 response to the removal and reappearance of said second
03:39:05 2 maxima within a predetermined period of time.

03:39:07 3 Do you see what I'm talking about there?

03:39:10 4 A. Yes, I do.

03:39:10 5 Q. Now, is that a function, that is, providing a

03:39:13 6 click function in response to the removal and

03:39:15 7 reappearance of said second maxima within a

03:39:18 8 predetermined period of time, is that a function that's

03:39:22 9 going to be performed and implemented by a computer?

03:39:24 10 A. Normally. I mean, either by the

03:39:26 11 microcontroller or the host computer.

03:39:29 12 Q. Is there -- to perform that sort of processing

03:39:33 13 there's going to be some sort of algorithm that's going

03:39:36 14 to be processed; is that right?

03:39:37 15 A. There are steps you would take, right. You

03:39:42 16 would write software to do that.

03:39:44 17 Q. Is there a description of that software

03:39:46 18 algorithm in the '352 patent for how to do that?

03:39:49 19 A. Well, there's a whole section about dealing

03:39:53 20 with and processing and understanding how many fingers

03:39:56 21 are touching and being removed and how you do scans and

03:40:00 22 know how many fingers are on the surface.

03:40:04 23 I think if we -- basically the entire section

03:40:10 24 of -- you know, going down, starting at 11 and

03:40:14 25 continuing through 13 talks about examples of how you

03:40:17 1 would determine, you know, multiple fingers and then
03:40:22 2 what -- you know, how you would scan repeatedly and
03:40:26 3 look at whether you had one fingers, two fingers, et
03:40:29 4 cetera.

03:40:29 5 So that is sufficient to explain the process
03:40:30 6 of doing that, particularly in light of what people
03:40:33 7 already know how to do.

03:40:34 8 Q. And is that description a description of an
03:40:38 9 algorithm that's going to tell you how to provide that
03:40:43 10 click function in response to the removal and
03:40:45 11 reappearance of the second maxima within a
03:40:47 12 predetermined period of time?

03:40:49 13 A. Well, I think the description there is more
03:40:52 14 than sufficient for a practitioner at the time to know
03:40:54 15 what to do. It may not be expressed in like a flow
03:40:57 16 chart, but it's set forth, you know, in description in
03:41:00 17 a way that would be sufficient so someone knew what to
03:41:04 18 do.

03:41:04 19 Q. There's a functional description in there,
03:41:07 20 correct, in those columns, 12, 13?

03:41:08 21 A. I don't know how you use the word
03:41:11 22 "functional." There's a description of what to do in
03:41:12 23 essence.

03:41:13 24 Q. Yeah, what functions to perform, what to do,
03:41:16 25 as you just said.

03:41:17 1 What I'm asking is, is there some sort of
03:41:20 2 description of software algorithm that would say this
03:41:22 3 is the way to do that and this is how you would process
03:41:27 4 that in order to accomplish that function?

03:41:30 5 A. Well, I think the description here does give
03:41:38 6 the information to the person who's the practitioner
03:41:42 7 that they need to have.

03:41:43 8 Q. To do what?

03:41:44 9 A. To do -- to make that determination. In other
03:41:46 10 words, to say if -- the process of -- say we're taking
03:41:54 11 the click events in the simple case of a button up,
03:41:57 12 button down. Practitioners at the time definitely
03:42:00 13 know, you know, how to make a packet that's button up
03:42:03 14 or button down. That's a long-known understood concept
03:42:07 15 in mouse design.

03:42:08 16 So the person who's reading this already knows
03:42:10 17 about that background and knows about, you know, I
03:42:12 18 generate a down packet, I generate an up packet. I
03:42:15 19 mean, they know about that part of it.

03:42:18 20 And so when look at, to me, reading the
03:42:19 21 sections that I pointed out, and I can try to get you
03:42:20 22 the more detailed lines by, you know, picking them out
03:42:23 23 for you, it tells you what you need to do to do that.

03:42:40 24 Q. When you say "it tells you what you need to do
03:42:43 25 to do that," are you saying that with this description

03:42:47 1 of the operations in terms of putting fingers down and
03:42:50 2 lifting them up, that someone could go ahead and write
03:42:54 3 some sort of an algorithm that would do that?

03:42:57 4 A. Right. And, I mean, there's also a set of
03:43:00 5 things in, you know, figures 8-2, 9-1, et cetera, that
03:43:08 6 relate to that process.

03:43:12 7 Q. But the algorithms that are described in
03:43:14 8 figures 8 and 9 and 5 and 6 and all, those aren't
03:43:20 9 setting forth in an algorithm how you would perform
03:43:25 10 that function of providing a click function in response
03:43:28 11 to the removal and reappearance of a second maxima
03:43:31 12 within a predetermined period of time; correct?

03:43:36 13 A. Well, I don't agree with your
03:43:40 14 characterization.

03:43:40 15 Q. So point out to me in figure 8 or figure 9
03:43:43 16 or --

03:43:45 17 A. Let's turn to --

03:43:47 18 Q. -- or figure 5 or 6 where that's described.

03:43:50 19 A. Let's look just for figure 8-1 in a minute.
03:44:00 20 And look at the bottom of figure 8-1 where there's been
03:44:03 21 some processing. There's an X compute and Y compute.
03:44:07 22 There's been some determination of the number of
03:44:10 23 fingers that are present, and then it turns the page
03:44:13 24 onto the remainder of figure 8-2, which is on sheet 15
03:44:17 25 of the patent.

03:44:19 1 And then it -- just as an exemplary example
03:44:23 2 here, I won't to try to say exhaustively, but if you
03:44:26 3 look at decision point 905, if the test is that the
03:44:31 4 button was previously up and we have finger 2, then
03:44:35 5 we're going to take the step of reporting button equals
03:44:39 6 down, and we're going to set button previous equal to
03:44:42 7 down.

03:44:42 8 And then at a later scan we're going to come
03:44:44 9 back through here again, and perhaps we're going to
03:44:47 10 find that we were in -- the case listed as 910 in that
03:44:51 11 decision block, if we fall into that decision block,
03:44:53 12 button previous would be down, in other words, if that,
03:44:56 13 and, you know, we have one of these cases, and then
03:44:59 14 we're going to, of course, report button up.

03:45:02 15 The process of reporting a button down to the
03:45:05 16 host system followed by a button up report would
03:45:07 17 constitute a click to the host processor. In other
03:45:09 18 words, the event of a button down and a button up.

03:45:13 19 A practitioner at the time, once you tell them
03:45:15 20 report button equals down, they understand what that
03:45:17 21 means. In other words, that says make the serial
03:45:21 22 output bytes in the packet that match up with a button
03:45:25 23 down event on a mouse, which is a kind of standardized
03:45:28 24 known operation.

03:45:30 25 So I think they've set forth here a

03:45:33 1 description of how to do it.

03:45:34 2 Q. So where in figure 8-1 and figure 8-2 say that
03:45:39 3 it is the second maxima that is being removed and
03:45:41 4 reappearing within a predetermined period of time?

03:45:44 5 A. Well, first I'll note that I don't think that
03:45:49 6 it has to say that, but notice that if you look at
03:46:03 7 decision block 905, it's making two tests. The first
03:46:06 8 tests is the button previous is up, meaning that we're
03:46:09 9 not reporting a button down. In the second test, and
03:46:12 10 finger equals 2, and if we look back to see what the X
03:46:19 11 finger is, if I dig into the document here, I believe X
03:46:26 12 finger will be a count of fingers.

03:46:28 13 Q. Right. So what tells you in this algorithm,
03:46:30 14 this flow chart figures 8-1 and 8-2, that it is the
03:46:34 15 second finger that is the second maxima as opposed to
03:46:39 16 the first maxima?

03:46:44 17 A. I would generally interpret that if I had a
03:46:51 18 count of fingers and it went zero, one and two, that
03:46:54 19 two would be the second one.

03:46:55 20 Q. So where it says finger equals two in figure
03:47:00 21 8-1 and figure 8-2, your testimony is that that is a
03:47:04 22 reference to the second finger as opposed to the total
03:47:07 23 number of fingers being detected?

03:47:11 24 A. No, I think it's the count of fingers, but
03:47:18 25 since we're scanning repeatedly, the -- notice you have

03:47:26 1 the previous finger count -- okay. And the state of
03:47:37 2 whether I'm generating a button depends later on
03:47:40 3 whether I have finger equals zero or finger equals one.

03:47:46 4 But again, see, I think that it's an erroneous
03:47:52 5 way of looking at this claim to say I have to see a
03:47:59 6 algorithm that in the absence of any knowledge about
03:48:02 7 process teaches, you know, a beginner how to do it.

03:48:06 8 Q. I'm just asking a question. I'm just trying
03:48:08 9 to understand whether there is something set out in
03:48:11 10 figure 8-1 or figure 8-2 or anywhere else in the patent
03:48:16 11 that tells you specifically that it is the second
03:48:19 12 maximum that appears and is removed and reappears,
03:48:23 13 whether that is described in any of these algorithms,
03:48:28 14 how you would determine that it's the removal and
03:48:30 15 reappearance of the second maxima.

03:48:32 16 A. Okay. Well, let me try to explain that. If
03:48:35 17 we look at column 12, let me just see if I can go back
03:48:40 18 to this. Let me just review it for a moment here.

03:48:53 19 Okay. Look at the bottom of column 13. I
03:49:47 20 direct you to that. And again, this has to be taken in
03:49:51 21 a totality. So it's not like you find one exact spot.
03:49:55 22 You have to read the entire document to understand it
03:49:57 23 as a practitioner, and that gives you the understanding
03:49:59 24 of it.

03:50:00 25 But if we look at this paragraph starting at

03:50:04 1 approximately line 59, referring next to figures 8 and
03:50:08 2 9, the generalized case associated with figures 7-F1
03:50:12 3 and 2 but also applicable to the remaining functions
03:50:15 4 may be better appreciated.

03:50:17 5 In the exemplary algorithms shown in figures 8
03:50:30 6 and 9 -- and 8, of course, is what? 8-1 is what we've
03:50:31 7 been looking at. "A determination is made whether
03:50:32 8 zero, one or two fingers are in contact with the
03:50:34 9 touchpad. Depending on how many fingers are
03:50:37 10 identified, various operations are permitted.

03:50:40 11 "It will be appreciated that figure 8 is an
03:50:42 12 analogous to figure 5" and so on. For convenience,
03:50:44 13 steps unchanged are left in, and then it describes how
03:50:47 14 that process goes. And when you look at that and
03:50:54 15 looking at the number of fingers, that explains to you,
03:51:00 16 to me at least as a practitioner, what you would do,
03:51:03 17 the type of steps would you do to do this determination
03:51:09 18 of providing a click function in response to the
03:51:13 19 removal and reappearance.

03:51:15 20 Q. All right. And where in the portions that you
03:51:18 21 just referred us to or anywhere else in the patent does
03:51:21 22 it tell you how you can determine that a click function
03:51:26 23 is being provided in response to the removal and
03:51:28 24 reappearance of the second maxima as opposed to the
03:51:32 25 first maxima?

04:32:28 1 of the context it's being used in.

04:32:29 2 Q. There's a reference to a touchpad program
04:32:33 3 containing virtual actuation zone profiles that
04:32:39 4 describe how the virtual actuation zones are
04:32:41 5 distributed around the touchpad relative to the data
04:32:45 6 sensor coordinates.

04:32:46 7 You see what I'm referring to?

04:32:47 8 A. Yes.

04:32:48 9 Q. So the idea there is that I can have a program
04:32:50 10 that stores not just one but potentially multiple
04:32:56 11 virtual actuation zone profiles; correct?

04:32:57 12 A. Right. The idea -- I mean, 38 is shown back
04:32:59 13 in figure 2. It's a -- like a microcontroller. And it
04:33:08 14 notes that it may store this idea of a touchpad program
04:33:11 15 which is related to the user interface, the user
04:33:14 16 interface is shown sort of the whole device, and it
04:33:19 17 seems that yes -- I don't see that there's a necessary
04:33:28 18 construct in that paragraph -- I mean, it says
04:33:32 19 profiles, but it seems that there may be one set of
04:33:35 20 them.

04:33:36 21 I mean, I don't know if it's important, but
04:33:38 22 just sort of parsing that paragraph by itself it says
04:33:41 23 that the touch paid may store a touchpad program. So
04:33:49 24 that would be a single program, for controlling
04:33:51 25 different aspects of the user interface. For example,

04:33:53 1 the touchpad program may continue virtual actuation
04:33:57 2 zone profiles that describe how the virtual actuation
04:34:00 3 zones are distributed.

04:34:02 4 I take "profile" there to mean that you may
04:34:04 5 have multiple actuation zone, in essence, data
04:34:08 6 structures to describe the zones. Not necessarily that
04:34:10 7 you have different sets of them, right, but that you
04:34:13 8 have, say, five zones and therefore, you would have
04:34:17 9 five profiles, one per zone, as the data structures
04:34:20 10 that represent that.

04:34:20 11 Q. Five profiles that -- all set in one
04:34:29 12 particular region, or can those profiles come and go in
04:34:32 13 different regions at different times?

04:34:34 14 A. Well, again, I'm just saying in terms of the
04:34:38 15 way I -- are you asking me to sort of interpret that
04:34:40 16 paragraph? The way I read that paragraph, that there
04:34:45 17 may be a set of more than one zone. In other words,
04:34:48 18 that these virtual actuation zones have a location that
04:34:54 19 is distributed around the touchpad relative to the
04:34:57 20 native sensor coordinates, and it also says what type
04:35:00 21 of value to output.

04:35:01 22 So my, you know, quick kind of interpretation
04:35:03 23 of that is that there's a data structure, maybe we'll
04:35:05 24 call it a profile, that's associated with one of these
04:35:08 25 actuation zones, and it has some set of characteristics

04:42:33 1 sensor?

04:42:33 2 A. Well, it certainly couldn't be detected

04:42:39 3 without that and the -- there's some process that goes

04:42:44 4 between, you know, a sensor which outputs some signal,

04:42:49 5 if we're perhaps being excited, and turning into a

04:42:52 6 coordinate.

04:42:53 7 And there's an in-between process there, but

04:42:56 8 you don't have coordinates until you have coordinates.

04:43:01 9 Q. And so there's some processing that goes on by

04:43:05 10 a chip or a computer or software or something that then

04:43:08 11 takes those raw values of amps or volts or current or

04:43:12 12 whatever and then says for each of the sensors, aha,

04:43:16 13 this is where that sensor is located?

04:43:19 14 A. No, this is not where the sensor's located,

04:43:21 15 but this is where the object's located that you're

04:43:24 16 trying to sense the position of.

04:43:25 17 Q. Which object? A finger or a stylus or

04:43:27 18 something like that?

04:43:28 19 A. Right. In other words, there's -- there are

04:43:31 20 sensors that can tell you where they're located. That

04:43:33 21 is, you can obtain the location of the sensor. But the

04:43:36 22 type of sensing devices that are, you know, we're

04:43:40 23 discussing here today are devices that are intended to

04:43:43 24 identify the location of an object usually in close

04:43:45 25 proximity to.

04:43:47 1 Q. Such as a finger or a stylus --

04:43:49 2 A. Right, right.

04:43:51 3 Q. -- or what-have-you?

04:43:51 4 A. You want to know where the finger is on the

04:43:52 5 touchpad, touch screen, whatever. You don't want to

04:43:54 6 know where is the touchpad relative to the room

04:43:57 7 boundaries or relative, you know, to its place on the

04:44:00 8 planet.

04:44:00 9 Q. I understand.

04:44:01 10 A. That's another kind of sensing.

04:44:03 11 Q. Got it.

04:44:04 12 All right. Paragraph 42 you say, "I may also

04:44:06 13 testify that, 'Sensors configured to map the touchpad

04:44:07 14 surface into native sensor coordinates' means sensors

04:44:11 15 configured to produce signals indicating native sensor

04:44:14 16 coordinates."

04:44:15 17 Do you see that?

04:44:16 18 A. Yes, I do.

04:44:16 19 Q. And what are you relying on as support for

04:44:19 20 that proposition in the specification?

04:44:21 21 A. Well, the same citation and probably other

04:44:26 22 places, and I think this -- the real point I'm trying

04:44:31 23 to make here is that the coordinates in question are

04:44:35 24 the coordinates of the object, not what might be seen

04:44:40 25 as the coordinates of the sensor itself.

04:44:43 1 Q. All right. So I'm looking at the language in
04:45:29 2 paragraph 42 of your report, the claim language
04:45:33 3 "sensors configured to map the touchpad surface into
04:45:36 4 native sensor coordinates," and it appears that that
04:45:39 5 language is found in the first element under the
04:45:41 6 preamble of claim 1; is that right?

04:45:43 7 A. Let's go find it.

04:45:46 8 Q. That's on column 20. Or am I looking at the
04:45:54 9 wrong section of the claim?

04:45:57 10 A. Right, that phrase, "sensors configured to map
04:46:01 11 the touchpad surface into native sensor coordinates,"
04:46:05 12 appears in the first -- in claim 1, for instance, it
04:46:07 13 says, "a touchpad having a surface and one or more
04:46:11 14 sensors configured to map the touchpad surface into
04:46:14 15 native sensor coordinates."

04:46:15 16 Q. And you have offered the opinion that what
04:46:17 17 that means is that the sensors that are described in
04:46:20 18 that element there of claim 1 are configured to produce
04:46:24 19 signals that indicate native sensor coordinates; right?

04:46:29 20 A. Right. That's what I'm saying, that the
04:46:35 21 sensors are producing signals that indicate or can be
04:46:39 22 used to determine the coordinates of the object.

04:46:50 23 Q. And --

04:46:51 24 A. In other words -- I'm sorry. Sorry for a long
04:46:55 25 break there. But if we look at column 5 in the patent,

04:47:00 1 roughly 37 or so, line 37, says, "the sensor of the
04:47:04 2 touchpad 36 are configured" -- it literally reads
04:47:11 3 "produce signals," but I believe he means to say
04:47:12 4 "configured to produce signals associated with the
04:47:14 5 absolute position of an object on or near the touchpad.

04:47:17 6 "In most cases, the sensors of the touchpad 36
04:47:20 7 map the touchpad plane into native or physical sensor
04:47:24 8 coordinates 40. The native sensor coordinates 40 may
04:47:28 9 be based on Cartesian coordinates or Polar coordinates
04:47:32 10 as shown."

04:47:34 11 Then it goes on to explain that "when
04:47:35 12 Cartesian, the native sensor coordinates 40 typically
04:47:36 13 include" -- I'm sorry, my mistake in reading --
04:47:40 14 "typically correspond to X and Y coordinates and then a
04:47:43 15 corresponding Polar, as shown, the native sensor
04:47:47 16 coordinates typically correspond to radial and angular
04:47:49 17 coordinates r θ ."

04:47:49 18 And then it says that you can have a bunch of
04:47:52 19 different types of, you know, resistive optical, et
04:47:56 20 cetera.

04:47:56 21 Q. So under your interpretation, how is it that
04:47:59 22 one of these signals that is produced by a sensor,
04:48:05 23 quote/unquote, indicates a native sensor coordinate?

04:48:08 24 How does a sensor do that?

04:48:10 25 A. Well, the outputs of the sensor -- the sensor

04:48:16 1 is designed so that the signals it generates,
04:48:22 2 potentially when it's excited by some excitation, but
04:48:25 3 the signals it generates are correlated to position.

04:48:28 4 So, for instance, to give kind of an example
04:48:31 5 of this in a literal sense, if you were to make a
04:48:34 6 capacitive, well, sensing grid of the type we've been
04:48:39 7 talking about, it's typical that you put the grid lines
04:48:46 8 down in a known spot so that when you get signals from
04:48:49 9 them you can calculate the position of the object
04:48:51 10 that's causing the capacitance.

04:48:53 11 If you put the capacitive pass down randomly,
04:48:56 12 you know, in some hypothetical, then you wouldn't be
04:48:59 13 able to calculate where the object was. You'd see a
04:49:02 14 bunch of varying capacitance, but you wouldn't know,
04:49:07 15 you know, where it came from. Right?

04:49:08 16 I mean, so you're configuring the sensors such
04:49:13 17 that the signals it generates are indicative or
04:49:17 18 actually relate to position.

04:49:18 19 Q. Right. The signals that are being generated
04:49:20 20 by the sensors don't themselves carry with them
04:49:24 21 positional information, do they?

04:49:26 22 The frequency or the amps or whatever, there's
04:49:28 23 no positional information in there, is there?

04:49:30 24 A. It may. They may. Depends on the sensor
04:49:34 25 type.

04:56:17 1 A. Right.

04:56:18 2 Q. All right. And I think what you said in your
04:56:20 3 report at paragraph 36 is that a cursor control
04:56:23 4 operation means providing cursor positioning data to
04:56:27 5 effect movement of the cursor; is that right?

04:56:29 6 A. Well, I said that it's a cursor tracking
04:56:36 7 operation that controls the movement of the cursor on
04:56:38 8 the screen.

04:56:39 9 Q. Where did you say that? I didn't see that
04:56:50 10 word "tracking," so maybe you can point that out to me.

04:56:54 11 A. Well, we're talking about my paragraph 36, and
04:56:58 12 I note that, you know, at 6:9-13, "The '218 patent
04:57:04 13 expressly states that a cursor control operation is a
04:57:06 14 cursor tracking operation. That is, an operation that
04:57:09 15 controls the movement of the cursor on the screen."

04:57:11 16 Q. Right. And what I'm trying to understand,
04:57:12 17 first of all, is we have some claim language, "cursor
04:57:16 18 control operation," and I first of all wanted to get
04:57:19 19 your opinion then on what you believe that term means,
04:57:26 20 how it should be construed by one of ordinary skill in
04:57:29 21 the art.

04:57:30 22 I thought you had construed it to mean
04:57:33 23 providing cursor positioning data to affect movement of
04:57:37 24 the cursor, but I may have that wrong.

04:57:42 25 A. Well, I mean, I guess you're asking sort of

04:59:01 1 Q. All right. So now, with that construction in
04:59:05 2 mind that you've provided there of what a cursor
04:59:08 3 control operation is, can you point out to me where in
04:59:13 4 the specification there are described three cursor
04:59:20 5 control operations, a first one, a second one and a
04:59:23 6 third one that are based on the duration of contact and
04:59:26 7 gap intervals?

04:59:28 8 A. Okay. And I'll direct your attention back
05:00:00 9 again to 6 to 9 to 13 where it explains column 6, lines
05:00:05 10 9 to 13 where it says, "As shown in part A of figure
05:00:12 11 5" --

05:00:13 12 THE REPORTER: Slow down.

05:00:13 13 THE WITNESS: I'll just read the -- "if the
05:00:14 14 first contact interval lasts longer than the maximum
05:00:17 15 tap interval," and then there's an example here in
05:00:21 16 parentheses, "i.e., if T subscript T1 is greater than T
05:00:23 17 subscript max, the operation of the touch-sensitive
05:00:26 18 cursor controlling input device during the first
05:00:29 19 contact interval is identified as a cursor control
05:00:31 20 operation, i.e., a cursor tracking operation."

05:00:36 21 And then it goes on to, "Thus, positional data
05:00:38 22 relating to user's contact with a touch-sensitive input
05:00:42 23 device is supplied to the computer system in order to
05:00:44 24 effectuate cursor movement on the computer screen."

05:00:46 25 Now, going back to understand the context of

05:00:50 1 this to column 5, there's a section which deals with --
05:00:57 2 I'll just read the whole paragraph beginning at column
05:01:00 3 5, line 5.

05:01:03 4 "Consequently, touchpad 200 generates x, y and
05:01:06 5 z data pertaining to the user's contact with the
05:01:09 6 touchpad, e.g., pertaining to the position of the
05:01:10 7 operator's finger on the touchpad, over some region in
05:01:13 8 the x, y and z directions.

05:01:16 9 "Velocities, accelerations, timing
05:01:17 10 differentials and signal strengths may be determined
05:01:19 11 from this data string. As mentioned below, when these
05:01:23 12 parameters are considered along with prior events, it
05:01:26 13 is possible to discern between cursor manipulation,
05:01:29 14 click, multi-click, drag, click-and-drag, and
05:01:33 15 multi-click and drag operations."

05:01:35 16 And if we look about what some of these
05:01:38 17 operations are, cursor manipulation would be just
05:01:41 18 simply positioning the cursor. Click would be a button
05:01:45 19 press and release, multi-click would be some set of
05:01:49 20 those in close proximity, drag is the operation wherein
05:01:52 21 the button is down and then there's motion.

05:01:56 22 Click-and-drag as described here would be a
05:01:59 23 click immediately followed by a drag. So it would be
05:02:01 24 down, up, back down, and then motion. And then the
05:02:04 25 next one there would be a multi-click-and-drag

05:02:06 1 operation, which would be something on the order of
05:02:08 2 down, up, down, up, down, drag.

05:02:12 3 So to the extent that there's three cursor
05:02:15 4 control operations you asked me to identify, certainly
05:02:19 5 a cursor positioning would be one, dragging would be
05:02:24 6 two, click-and-drag would be three, and multi-click and
05:02:29 7 dragging would be four.

05:02:31 8 Q. There is in figure 2 of this patent, I
05:02:40 9 believe, an element called 215.

05:02:47 10 A. Right.

05:02:47 11 Q. And I believe that that's called a
05:02:49 12 balance-measuring circuit in the patent.

05:03:01 13 A. Counsel, do you perchance know what the
05:03:03 14 relative section is just to speed us up here?

05:03:07 15 Q. Column 4 is what I was focused on, but I
05:03:11 16 didn't want to limit you there.

05:03:13 17 A. I'll start there. Thank you.

05:03:30 18 Okay. I mean, in the interest of time, I'm
05:04:10 19 generally familiar with that paragraph.

05:04:12 20 Go ahead, please.

05:04:13 21 Q. All right. My question is, what is the
05:04:17 22 function of the balance-measuring circuit 215?

05:04:20 23 A. In general, this is a kind of top-level
05:04:28 24 description of this particular sensing means. In
05:04:36 25 general, there's a technique to determine, I guess,

05:23:37 1 total number of tapes used was four. The master
05:23:40 2 videotapes of today's deposition will remain in the
05:23:42 3 custody of McMahon & Associates McMahon & Associates,
05:23:44 4 LLC.

05:23:45 5 We're now off the record. The time is
05:23:47 6 5:25 p.m.

7 (The deposition of ROBERT DEZMELYK
8 was adjourned at 5:25 p.m. this date.)

9 --- oOo ---

10

11 I certify under penalty of perjury that the foregoing
12 is true and correct.

13

14 Date _____

15 ROBERT DEZMELYK

16

17

18

19

20

21

22

23

24

25