

Exhibit F

BEFORE THE
UNITED STATES INTERNATIONAL TRADE COMMISSION

In the Matter of:) Investigation No.
CERTAIN ELECTRONIC DEVICES) 337-TA-714
WITH MULTI-TOUCH ENABLED)
TOUCHPADS AND TOUCHSCREENS)

Hearing Room B

United States
International Trade Commission
500 E Street, Southwest
Washington, D.C.

Wednesday, August 18, 2010

MARKMAN HEARING, VOLUME I

The parties met, pursuant to the notice of the
Judge, at 12:00 noon.

BEFORE: THE HONORABLE PAUL J. LUCKERN

1 APPEARANCES:

2 For Complainant Elan Microelectronics Corp:

3 SEAN P. DeBRUINE, ESQ.

4 YITAI HU, ESQ.

5 Alston & Bird LLP

6 275 Middlefield Road, Suite 150

7 Menlo Park, CA 94025-4004

8 (650) 838-2000

9

10 ADAM D. SWAIN, ESQ.

11 ALEX LASHER, ESQ.

12 Alston & Bird LLP

13 The Atlantic Building

14 950 F Street, N.W.

15 Washington, D.C. 20004-1404

16 (202) 756-3300

17

18 For Respondent Apple Inc.:

19 MATTHEW D. POWERS, ESQ.

20 JARED BOBROW, ESQ.

21 SONAL N. MEHTA, ESQ.

22 Weil, Gotshal & Manges LLP

23 201 Redwood Shores Parkway

24 Redwood Shores, CA 94065

25 (660) 802-3000

1 APPEARANCES (Cont'd):

2

3 For Respondent Apple Inc.:

4 MARK G. DAVIS, ESQ.

5 Weil Gotshal & Manges LLP

6 1300 Eye Street, N.W., Suite 900

7 Washington, D.C. 20005

8 (202) 682-7000

9

10 For ITC Staff:

11 KEVIN BAER, ESQ.

12 ANNE GOALWIN, ESQ.

13 U.S. International Trade Commission

14 500 E Street, S.W.

15 Washington, D.C. 20436

16

17 Attorney-Advisor:

18 ROBERT HALL, ESQ.

19 Attorney-Advisor

20 Office of Administrative Law Judges

21 U.S. International Trade Commission

22 500 E Street, S.W.

23 Washington, D.C. 20436

24

25 *** Index appears at end of transcript ***

P R O C E E D I N G S

(12:00 p.m.)

JUDGE LUCKERN: This is a Markman hearing in the matter of Certain Electronic Devices with Multi-Touch Enabled Touchpads and Touchscreens, Investigation 337-TA-714.

It is pursuant to Order Number 8, which was issued on June 22nd, 2010.

I would like to have the record reflect who is in the hearing room. So we will start out with Complainant, who filed the complaint. That's why we're here today. So let's hear from Complainant. They are the Complainant, who represents Complainant, and move down the line with Respondents and the Staff. Go ahead.

MR. DeBRUINE: Thank you, Your Honor. Good afternoon. Sean DeBruine from Alston & Bird on behalf of Elan Microelectronics. With me here today is my colleague, Adam Swain, from our office here in Washington, D.C., and also Alex Lasher. I should say Mr. Brinkman was unfortunately called away on a personal matter, and he sends his regards, but he is unable to be with us today.

1 To my left is Yitai Hu, who is my
2 partner at Alston & Bird in Silicon Valley.
3 And, finally, with us at counsel table is Wayne
4 Jong, who is representing Elan
5 Microelectronics. He is with us from Taiwan.

6 JUDGE LUCKERN: All right. Thank you.
7 Please be seated. Let's hear from Respondents.
8 You can stay seated if you want to or you can
9 stand. It makes no difference to me.

10 MR. DeBRUINE: Your Honor, I forgot
11 perhaps our most important guest, Robert
12 Dezmelyk, Elan's expert, is here.

13 JUDGE LUCKERN: All right. Thank you.
14 Let's hear from Respondents.

15 MR. POWERS: From Weil Gotshal, Matt
16 Powers, Mark Davis, Jared Bobrow and Sonal
17 Mehta. And from Apple, Noreen Krall.

18 JUDGE LUCKERN: Let's hear from the
19 Staff.

20 MR. BAER: Good afternoon, Your Honor,
21 Kevin Baer for the Staff.

22 JUDGE LUCKERN: All right. We're on
23 the public record. But as you know, I would
24 like to keep as much as we can on the public
25 record, but if we have to go on the

1 I will read into the record JX-7.

2 JUDGE LUCKERN: I have it here. Let
3 me get it, please. Again, I just -- all right.
4 Well, I don't have JX-7. Go ahead. Well, I do
5 have JX-7. Get me JX-7. I've got JX-7 in
6 front of me.

7 MR. POWERS: JX-7 is Mr. Dezmelyk's
8 deposition in the District Court case. And if
9 you go to the page at the bottom which is
10 JX-7.053, on that page, page 208 of the
11 transcript, lines 17 to 23, there is a precise
12 question about the actual function, and the
13 question and answer is as follows:

14 "Question: And as part of these
15 flowchart descriptions, is there any algorithm
16 here that says I'm going to take this set of
17 numbers and I'm going to perform this
18 calculation in this way to come up with these
19 statistics between these two points?

20 "Answer: No, because the
21 practitioners already know how to do that."

22 That's a perfect example of what
23 Aristocrats and other cases have said you can't
24 do, which is add an algorithm from the supposed
25 knowledge of one skilled in the art to what's

1 missing.

2 So the next citation, Your Honor, is
3 in the means for calculating the centroids.
4 That's from JX-7 at page 205, Your Honor. That
5 appears at JX-7.052, line 22, continuing over
6 to 206. For some reason I don't have that in
7 front of me. Let me get it.

8 So, Your Honor, from the transcript at
9 page 205, line 22, continuing over onto line
10 page 206, line 12, the question is:

11 "Question: Now, is there any
12 algorithm described performing that function of
13 calculating first and second centroids
14 corresponding to said first and second
15 fingers?"

16 And the answer that starts on page
17 206, Your Honor, is:

18 "Answer: Well, there is an algorithm
19 that explains how to calculate a certain
20 example of calculating a centroid across a
21 pair. But practitioners at the time would have
22 known how to compute a centroid at a single
23 peak as opposed to the whole set.

24 "Question: And what I am asking is
25 whether there is an algorithm in the '352

1 patent that says here is how you calculate the
2 centroid for each of the two fingers as opposed
3 to one centroid across the pair?

4 "Answer: No. Because the design
5 people would have known exactly how to do that.
6 You don't need to disclose that at the time."

7 In each case when there is a question
8 about whether there is an algorithm for
9 performing the exact function of a claim, the
10 answer comes back, no, that's something that
11 those skilled in the art would have known how
12 to do.

13 And that's the problem we have, Your
14 Honor.

15 MR. DeBRUINE: That, Your Honor, goes
16 to claims we haven't even discussed yet. We
17 haven't gotten to the particular claims he was
18 saying there was an admission on.

19 I disagree that that is an admission
20 that there is insufficient structure disclosed
21 in the patent in any way, shape, or form. Mr.
22 Dezmelyk will further explain why that is, when
23 the entirety of the patent is read as would be
24 understood by one of ordinary skill in the art,
25 as opposed to someone who, you know, has no

1 A. Well, it discloses determining a
2 distance between two points on the touchpad by
3 subtracting the location.

4 In the case of a moving finger, you
5 want to know the difference between where you
6 were at the first moment and where you are at
7 the second moment. So it shows there
8 subtraction of the location.

9 Q. For example, would this be in figure
10 5? 510?

11 A. Yes, the subtraction and position is
12 shown in figure 5. The detection of distance
13 based on valley depth, I believe, is in 6-2, if
14 I am correct.

15 Q. And let me pull up figure 5, please.
16 510, so we have JX-1, figure 5.

17 Can you point specifically where the
18 calculation of the distance between two points
19 on a touchpad is?

20 A. Sure. The distance calculation is
21 shown at the bottom left in block 510, where
22 the motion X is shown as the distance between
23 the -- the subtraction of the current location
24 in X minus the previous location in X is the
25 change in X or Xmotion.

1 That's showing that we can determine
2 -- and I think this is probably a pretty clear
3 idea -- that the difference between two
4 locations is the distance between them. That
5 is, if you were at one location and you moved
6 to another location, a subtraction gives you,
7 of course, the distance you moved.

8 Q. Now, does the patent have a box like
9 this that says compute distance between
10 centroids?

11 A. No.

12 Q. In one of the flow charts?

13 A. No.

14 Q. Is that necessary to disclose an
15 algorithm, in your opinion?

16 A. Well, it is not necessary to provide
17 an explanation of how you get distance by
18 subtracting. I think that's a pretty known --
19 it is not even a term of the art or something
20 known in the art, it is just a known concept
21 that if you have one location and another
22 location, that you can subtract to get the
23 distance between them.

24 Q. So is it your opinion that the
25 disclosure of the patent when it talks about

1 competing that distance and discloses how
2 distances are computed on the touchpad that it
3 discloses structure for computing the distance
4 between two maxima?

5 A. Yes, because it discloses how to
6 identify the locations of the two maxima, and
7 so when you have done that, when you found the
8 value, the N corresponding to the first peak
9 and the N corresponding to the second peak, you
10 inherently know that there is a distance
11 between them because you found them and they
12 are two separate values of N. The subtraction
13 between those two give you the distance between
14 them.

15 Q. And did you create slides to
16 illustrate that?

17 A. Yes, I did.

18 Q. Can we have CDX-149, please.

19 Is this a slide that you prepared?

20 A. This is figure 3, right? This is the
21 slide that shows a profile. I am using figure
22 3 from the patent which shows an example
23 profile with two maxima and a minima in
24 between.

25 And even before we do much of a

1 calculation on it, we know that the two maxima
2 have a distance. We have detected a distance
3 between them, as soon as we find them.

4 Q. And how do you mean "we have detected
5 a distance"?

6 A. Well, let's step on the next slide. I
7 think we have showing where they are. Each --
8 in the algorithm, it is 6-2, the algorithm
9 steps along by element to find which N is the N
10 at the peak or at the maxima. The first one in
11 this case, of course, is N equals 13.

12 And then when we get to the second
13 maxima, this is at N equals 24. And at the
14 point in time where you have made the
15 determination that you have the two peaks, you
16 have found these two values.

17 JUDGE LUCKERN: These two values are
18 what?

19 THE WITNESS: N equal to 13 in this
20 example. This is the 13th element. And then N
21 equal to 24, the 24th element at 95 on the
22 profile.

23 And to a practitioner, as soon as I
24 have those two numbers, I have detected the
25 distance, because I know the location of the

1 two items.

2 MR. DeBRUINE: Slight change in plans.
3 We're going to do the two very brief
4 means-plus-function claims on direct and allow
5 cross, if that is acceptable to the Staff, and
6 we can be sure to wrap up.

7 One other question, though, on --

8 JUDGE LUCKERN: Let me ask the witness
9 this: Of course, Apple in its memorandum in
10 support of the motion for summary determination
11 and claim construction in connection with the
12 claim phrase "means for detecting a distance
13 between said first and second maxima" at page
14 56, I mean, I can show it to you. Presumably
15 you know what they said. They said the '352
16 patent fails to set forth even a rudimentary
17 algorithm for doing this.

18 To the contrary, the specification
19 discloses a most, et cetera, et cetera, et
20 cetera.

21 Did you get into this in your two
22 declarations, CX-24 and CX-25? Have you
23 elaborated on that in those two declarations?

24 THE WITNESS: I know, Your Honor, in
25 CX-25 where I am rebutting their position.

1 JUDGE LUCKERN: Where is it in CX-25?

2 THE WITNESS: Let me look at that.

3 JUDGE LUCKERN: What paragraph?

4 MR. DeBRUINE: We can look on page 24,
5 I believe would be where it begins, Your Honor.

6 THE WITNESS: Thank you. Your Honor,
7 CX-25 beginning at paragraph 43 and continuing
8 through paragraph 47.

9 JUDGE LUCKERN: In other words, it
10 would be 43 and 44, 45, 46 and 47?

11 THE WITNESS: 43, 44, 45, 46, and 47.

12 JUDGE LUCKERN: All right. Anything
13 in CX-24?

14 THE WITNESS: I don't recall
15 immediately. I can look for you. Hang on.

16 JUDGE LUCKERN: Well, we're under a
17 tremendous time bind. You can look quickly.

18 MR. BAER: You may want to look at
19 CX-24, page 23.

20 JUDGE LUCKERN: Can you look at that
21 and tell me what the record, what paragraph
22 that is?

23 THE WITNESS: It is, Your Honor,
24 CX-24, paragraph 33. That paragraph. The
25 single paragraph.

1 JUDGE LUCKERN: That's it? All right.

2 Go ahead, Mr. DeBruine, with what you
3 were saying. I interrupted you. Hold on a
4 minute.

5 You said, you said the question,
6 "Slight change in plans. We're going to do the
7 two" -- well, what about cross-examination?

8 MR. POWERS: The question really is up
9 to you, Your Honor. Mr. DeBruine asked if he
10 could do two terms at once. I said I had no
11 objection. If you would rather do it term by
12 term, we will do it term by term.

13 JUDGE LUCKERN: However you people
14 have been living in this matter and close to
15 it. I want to make sure you and Mr. Baer get
16 an opportunity to do cross-examination. So I
17 have an open mind. Whatever you people are
18 agreeable to, it is fine with me.

19 Mr. DeBruine said we're going to do
20 the two very brief means-plus-function claims
21 on direct and allow cross, if that is
22 acceptable to the Staff.

23 Then I guess you are going to cross on
24 this term tomorrow morning. Is that what you
25 want to do?

1 MR. POWERS: Actually, no. If we can
2 finish these both terms tonight, then I would
3 agree to the proposal.

4 If Mr. DeBruine's direct is five
5 minutes or so, I think we will have no problem.
6 If he is going to do 10 or 15 minutes direct on
7 this term, then we won't finish cross, and I
8 would rather do it term by term.

9 MR. DeBRUINE: We should be done with
10 direct in five minutes on the next and last
11 means-plus-function term, Your Honor.

12 MR. POWERS: In that case, I have no
13 objection proceeding that way. It is really up
14 to you.

15 JUDGE LUCKERN: Are you going to have
16 an opportunity to do any cross on this term?

17 MR. POWERS: Exactly.

18 JUDGE LUCKERN: The term we just
19 finished.

20 MR. POWERS: Yes. If Mr. DeBruine is
21 only five minutes on the next term, I will have
22 time to do cross on both terms and finish it
23 today.

24 And I don't know how much time Mr.
25 Baer has. So if he has more time, then I think

1 we should do it term by term, because we should
2 finish a term.

3 JUDGE LUCKERN: How about the
4 cross-examination of what we just finished this
5 witness testifying to? Are you going to do any
6 cross on that?

7 MR. POWERS: Oh, yes.

8 JUDGE LUCKERN: When do you plan to do
9 that in this scenario you are doing now?

10 MR. POWERS: If Mr. DeBruine finishes
11 in five minutes with the next term, I would
12 cross on both terms, and I can finish, leaving
13 Mr. Baer at least five to seven minutes for
14 both. If that's not enough, we should do it
15 term by term.

16 JUDGE LUCKERN: I want to say this.
17 You people have been living with this matter
18 for months. You are well familiar with it. I
19 don't want to deprive anybody of any
20 cross-examination or redirect they want.

21 So I am not going to bring it up
22 again. But you got to bring it up to me
23 because I certainly, if you want cross, you are
24 going to get it.

25 If nobody says anything, Mr. Baer, you

1 say nothing tomorrow, and where we go, I am not
2 going to say, Hey, what about this?

3 Do you understand what I am saying,
4 Mr. Powers?

5 MR. POWERS: I do.

6 JUDGE LUCKERN: You have got enough
7 smart lawyers over there. They are not going
8 to let you forget anything.

9 I want to make sure -- so right now
10 you are agreeable to what Mr. DeBruine wants to
11 do; is that correct, Mr. Powers?

12 MR. POWERS: Yes, it is.

13 JUDGE LUCKERN: You are agreeable, Mr.
14 Baer? And you heard me. You are entitled to
15 cross. I want you to do whatever cross you
16 want to do, but you have to bring it up the me.
17 Do you understand what I am saying?

18 MR. BAER: Yes, Your Honor.

19 JUDGE LUCKERN: Are you agreeable with
20 what Mr. DeBruine wants to do right now?

21 MR. BAER: Yes.

22 JUDGE LUCKERN: Let's move on. It is
23 20 minutes to 8. But go ahead.

24 BY MR. DeBRUINE:

25 Q. Let's move to the next slide, please.

1 Sir, are you familiar with claim 30 of
2 the '352 patent which reads, "the sensor of
3 claim 18 further comprising means for
4 calculating first and second centroids
5 corresponding to said first and second
6 fingers"?

7 A. Yes, I am.

8 Q. Can you explain where the patent
9 discloses how one calculates first and second
10 centroids corresponding to the first and second
11 fingers?

12 A. Well, the patent discloses calculating
13 centroid across all of the number of fingers
14 that are present.

15 Q. And does it do that in figure 6-2 and
16 9-2?

17 A. Right.

18 Q. Can we go to CDX-154, please.

19 Can you briefly describe what is shown
20 here?

21 A. Right. Quickly, in 6-2, 9-2 is the
22 same, very similar in terms of this. Block
23 295, the calculation is made of, after we have
24 summed up in 6-1, the elements of the centroid
25 calculation, we divided the weighted sum by the

1 sum and we get the exposition.

2 Q. So the centroid calculation will be
3 affected by how many of the, in this case, the
4 X measurements are used in the calculation; is
5 that correct?

6 A. Right. It would be clearer on, if we
7 look at 6-1, but, yes.

8 Q. All right. Let's pull up JX-1 and get
9 figure 6-1, please.

10 Okay. And can you explain where, how
11 the centroid calculation is shown in 6-1?

12 A. The key element in centroid
13 calculations is the steps at 215 and 220 where
14 the X sum is created for each element in X, and
15 then the weighted sum is created by adding up
16 and adding together the line number or position
17 in the profile times value of the capacitance
18 measured at that point.

19 Q. And in this, I think you said in this
20 algorithm it assumes a centroid calculated
21 across all of the N locations; is that correct?

22 A. That's correct.

23 Q. All of the X locations.

24 Does the patent discuss calculating
25 separate centroids for two different fingers?

1 A. It certainly says you can do it.

2 Q. And if we pull up CDX-155. Here we're
3 showing the '352 patent at column 10, line 31
4 to 51.

5 Is there a discussion here of
6 calculating the centroids for two separate
7 fingers?

8 A. Yes, there is. It reads: "In a
9 second implementation, a centroid value may be
10 calculated for each maxima, yielding multiple
11 centroid values when multiple fingers interact
12 with the pad."

13 Q. Okay. And so, in your view, does the
14 patent disclose the structure for calculating a
15 first and second centroid?

16 A. Yes, it does.

17 Q. And go ahead.

18 A. The reason is that if we go back to
19 look at very specifically, if we look at 6-1,
20 the formula for a centroid is that you move
21 through a profile and you add up the products
22 of each, for each of the elements going
23 element-by-element here, and all you have to
24 do, to do two of them, is start at the
25 beginning and stop, when you get to the

1 minimum. Because that, of course, that valley
2 point is the difference between the first and
3 the second.

4 And it is not like maybe you can do it
5 that way or sort of do it that way. That would
6 be the definition of a centroid for each of the
7 two maxima.

8 So you simply compute the centroid on
9 the first half until you get to the minimum
10 point, and you know the minimum point right
11 here (indicating). Because the valley point --

12 JUDGE LUCKERN: Right here?

13 THE WITNESS: Right here at 262.

14 JUDGE LUCKERN: Thank you.

15 THE WITNESS: My apologies. You know
16 at that point when you make this determination
17 that you have now found the valley, the N, the
18 value of N that causes the valley is the point
19 at which you are now going uphill on to the
20 hill of the second maxima.

21 So you simply compute the centroid for
22 the first half of the data and then the
23 centroid for the second half.

24 BY MR. DeBRUINE:

25 Q. Did you create a slide to illustrate

1 that concept?

2 A. Yes, I did.

3 Q. Can we have CDX-51, please.

4 Can you explain what you show here?

5 A. I am just showing from the example
6 profile in the patent where 90 shows the
7 location in the minimum. You simply, if you
8 want to compute two separate centroids, you
9 simply compute the centroid for the first
10 profile using the exact same algorithm summing
11 up and so forth that is set in that same exact
12 step of multiplying the value of N, the trace,
13 times the capacitance, adding them up, and then
14 you start again and do the exact same thing for
15 the second one.

16 It is such a minimum point change to a
17 practitioner you know by definition when
18 somebody tells you to compute a centroid for a
19 particular object that you simply start at the
20 beginning of it and go to the end of it.

21 In this case, that first maxima is all
22 over the capacitance values until you get to
23 the minima, which is separating the two, the
24 two peaks.

25 MR. DeBRUINE: No further questions --

1 JUDGE LUCKERN: All right.

2 MR. DeBRUINE: -- on this claim
3 element.

4 JUDGE LUCKERN: Go ahead, Mr. Powers.

5 MR. POWERS: Thank you, Your Honor. I
6 will begin with claim 24.

7 So, Matt, if we could bring that up.

8 And, Your Honor, this is the term of
9 means for detecting a distance between first,
10 said first and second maxima.

11 JUDGE LUCKERN: Okay.

12 CROSS-EXAMINATION

13 BY MR. POWERS:

14 Q. Mr. Dezmelyk, you were asked on your
15 direct testimony a very carefully asked series
16 of questions about whether the patent teaches
17 how to detect a difference between two points
18 on a touchpad.

19 Do you recall that line of questions
20 and your answers?

21 A. Yes.

22 Q. That's not what the claim 24 says, is
23 it? It says specifically detecting the
24 distance between first and second maxima.
25 Right?

1 A. That's correct.

2 Q. And you gave us two examples in your
3 direct testimony. One was in figure 5 which
4 detects or computes the difference between
5 movement of the cursor, right, or movement of
6 the fingers?

7 A. What I gave there was an example of
8 subtraction to show distance.

9 Q. And specifically distance between
10 movement of fingers, right?

11 A. Between the locations of fingers, yes.

12 Q. Not the distance between a first and
13 second maxima, right?

14 A. Right.

15 Q. The second example and last example
16 you gave is from 6-2, which is measuring the
17 distance between a peak and a valley, true?

18 A. No. I think that -- and I'm sorry, I
19 don't have a tape to look at, but what I was
20 attempting to explain is that the patent
21 teaches that the difference in height between
22 peak and valley is a measure of distance for
23 close separation and that they explain that in
24 the text.

25 And then they show that you can test

1 based on that. You can detect the distance
2 and, therefore, know if you are too close by
3 making a comparison of that measure or
4 detection of distance.

5 Q. Nothing in 6-2 that you were relying
6 upon discusses in any way computing the
7 distance between a first and second maxima,
8 does it, as claim 24 requires?

9 A. I disagree.

10 Q. It doesn't --

11 A. If we look at 6-2, I would be happy to
12 direct you to the spot where your --

13 Q. Your last testimony was not about the
14 distance between one maxima and another but
15 between the height of the maxima and the
16 valley, right?

17 A. No. You are misinterpreting my
18 testimony.

19 Q. Let's go to 6-2 and blow it up.

20 Show us what in figure 6-2 discusses
21 measuring the distance between the two maxima.
22 That's the question.

23 A. 305.

24 Q. And where does it do so?

25 A. Well, the patent explains in text, and

1 we can find the citation, that this measure,
2 that is, the distance, the height variation --

3 JUDGE LUCKERN: You are referring to
4 305?

5 THE WITNESS: Perhaps it would be
6 helpful to find the citation for you and make
7 my answer clearer.

8 BY MR. POWERS:

9 Q. When you say the "height variation,"
10 you are referring to the distance, the
11 difference in height between the peak and the
12 valley, right?

13 A. No. Let me take a moment to find the
14 citation, if I can, sir.

15 Q. Where it says Xpeak1 --

16 JUDGE LUCKERN: He is looking for
17 something that he wants to find, Mr. Powers.
18 And then you can continue, Mr. Powers.

19 BY MR. POWERS:

20 Q. You might want to look at column 10,
21 line 52.

22 A. Right. That's one part of it. But
23 there is another citation I am looking for.

24 Q. All I am looking for is the part you
25 are relying upon to say that figure 6-2 in some

1 way expressly discusses measuring the distance
2 between two maxima. That's all I am looking
3 for.

4 MR. DeBRUINE: Might I suggest the
5 witness look at the bottom of column 6?

6 JUDGE LUCKERN: Speak up.

7 MR. DeBRUINE: Suggesting that the
8 witness look at the bottom of column 6 of the
9 patent.

10 MR. POWERS: I will object to the
11 leading during cross. The question is, what is
12 the witness relying on?

13 THE WITNESS: The bottom of column 6,
14 which I was having a hard time finding.

15 JUDGE LUCKERN: Wait a minute now. We
16 have got an objection. Leading during cross.

17 I am going to overrule that objection.
18 He is just trying to help. Look at column 6.

19 So whatever you want to do, you got
20 lines, Mr. DeBruine, to refer to in column 6?

21 MR. POWERS: Let's put Mr. DeBruine
22 under oath, and I will cross-examine him.

23 THE WITNESS: Okay. I still don't
24 have the exact citation I want, but I am going
25 to testify in the hope that we can locate or

1 perhaps I can locate or point to this.

2 The patent discloses that --

3 BY MR. POWERS:

4 Q. I am not asking -- no, no, let's be
5 clear what the question is.

6 I am asking for a very specific
7 citation where the patent discloses expressly
8 computing the distance between two maxima. I
9 don't want generalizations. I want you to show
10 me where it is.

11 A. Well --

12 Q. Can you do that? Yes or no.

13 A. Yes.

14 Q. Where?

15 A. And my answer is that this step is a
16 measure or a test --

17 JUDGE LUCKERN: 305 step.

18 THE WITNESS: 305, because the patent
19 teaches, and I can find the citation, given a
20 few more moments, that as the fingers get close
21 together, the depth of the valley varies with
22 the separation.

23 That is, the difference between the
24 peak and the valley is proportional between the
25 difference between the peaks, the fingers.

1 BY MR. POWERS:

2 Q. In fact, it is your opinion, isn't it,
3 that there is no algorithm disclosed in the
4 '352 patent for calculating the distance
5 between two maxima, and you have to rely upon
6 the knowledge of one skilled in the art to
7 provide that information? Isn't that your
8 testimony?

9 A. Well, it is also true -- that is also
10 my testimony.

11 Q. So you agree it is not actually
12 disclosed; you have to rely upon one skilled in
13 the art would know?

14 A. That they would know how to subtract
15 between the two locations where the peaks are,
16 yes.

17 Q. And that that's not disclosed in the
18 patent?

19 A. It doesn't need to be.

20 Q. But it is not disclosed, is it?

21 A. It is -- the patent does not tell the
22 practitioner you need to subtract the locations
23 to get the distance between the location of the
24 first peak and second peak. You need to
25 subtract the two numbers.

1 Q. All right. So let's go then to claim
2 30. Matt, could you put that up, please.

3 Claim 30 requires means for
4 calculating first and second centroids
5 corresponding to said first and second fingers.

6 And in your testimony you cited box
7 215 and 220 of 6-1. Do you recall that?

8 A. Yes.

9 Q. That's a means for computing the
10 centroid for the entire touchpad, isn't it, not
11 two fingers?

12 A. I explained that -- well --

13 Q. Answer the question, please.

14 A. Yes.

15 Q. All right. And you then cited to
16 column 10, lines 31 to 51, for the proposition
17 that the patent says you can calculate the
18 centroids for two fingers. Do you recall that?

19 A. That's correct.

20 Q. But at that column 10, line 31 to 51,
21 it does not say how to do so, does it?

22 A. It does not because it does not need
23 to.

24 Q. In fact, nowhere in the '352 patent is
25 there a disclosure of how to compute the

1 centroids, first and second centroids
2 corresponding to the first and second fingers.
3 You are relying upon the knowledge of skill in
4 the art to supply that information, aren't you?

5 A. Because, yes, because a person of
6 skill in the art already knows what that means
7 when they say that.

8 MR. POWERS: No further questions,
9 Your Honor.

10 JUDGE LUCKERN: All right. Mr. Baer?

11 EXAMINATION BY COUNSEL FOR ITC STAFF

12 BY MR. BAER:

13 Q. Could I get you to look at the patent,
14 figure 5. It may magically appear. Comes up
15 on the screen.

16 A. Okay, thank you.

17 Q. And box 520.

18 A. Yes.

19 Q. That's measuring the motion of one
20 finger being pulled across the screen; is that
21 correct?

22 A. That's correct. And I think there may
23 be some confusion.

24 The reason I cited to that is it
25 explains -- it is a disclosure of how

CERTIFICATE OF REPORTER

TITLE: Certain Electronic Devices w/Multi-Touch enabled
Touchpads & Touchscreens

INVESTIGATION NO: 337-TA-714

HEARING DATE: August 18, 2010

LOCATION: Washington, DC

NATURE OF HEARING: Markman Hearing

I hereby certify that the foregoing/attached transcript is a true, correct and complete record of the above-referenced proceedings of the U.S. International Trade Commission.

Date: 8/18/10

SIGNED:KAREN BRYNTESON_____

Signature of the Contractor of the
Authorized Contractor's Representative
1220 L Street, N.W, Suite 600
Washington, D.C. 20005

I hereby certify that I am not the Court Reporter and that I have proofread the above-referenced transcript of the proceedings of the U.S. International Trade Commission, against the aforementioned Court Reporter's notes and recordings, for accuracy in transcription in the spelling, hyphenation, punctuation and speaker identification and did not make any changes of a substantive nature. The foregoing/attached transcript is a true, correct and complete transcription of the proceedings.

SIGNED:JOHN D. LASHER _____
Signature of Proofreader

I hereby certify that I reported the above-referenced proceedings of the U.S. International Trade Commission and caused to be prepared from my tapes and notes of the proceedings a true, correct and complete verbatim recording of the proceedings.

SIGNED:KAREN BRYNTESON _____
Signature of the Court Reporter