

# Exhibit 12

**EXHIBIT 9**  
**FILED UNDER SEAL**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF CALIFORNIA  
SAN JOSE DIVISION

APPLE INC., a California  
corporation,

Plaintiff,

vs. CASE NO. 11-cv-01846-LHK

SAMSUNG ELECTRONICS CO.,  
LTD., a Korean business  
entity; SAMSUNG ELECTRONICS  
AMERICA, INC., a New York  
corporation; SAMSUNG  
TELECOMMUNICATIONS AMERICA,  
LLC, a Delaware limited  
liability company,  
Defendants.

\_\_\_\_\_ /

C O N F I D E N T I A L  
A T T O R N E Y S E Y E S O N L Y

VIDEOTAPED DEPOSITION OF BRIAN Q. HUPPI  
REDWOOD SHORES, CALIFORNIA  
TUESDAY, OCTOBER 18, 2011

BY: ANDREA M. IGNACIO HOWARD, CSR, RPR, CCRR, CLR.  
CSR LICENSE NO. 9830  
JOB NO. 42679

1 layers or just one layer?  
 2 MR. BARTLETT: Objection; vague.  
 3 THE WITNESS: I believe we had them on both  
 4 layers.  
 5 MR. MACK: Okay.  
 6 Q And when you look at Figures 11A and 11B, do  
 7 you -- do you see the dummy features in those figures?  
 8 A Let's see. Where am I?  
 9 Yes, yes.  
 10 Q Okay. And those would be the small  
 11 rectangular boxes between the longer parallel --  
 12 substantially parallel lines?  
 13 A That's correct.  
 14 Q Okay. Could you go to Column 16, Line 20.  
 15 It explains dummy features a little bit. You see  
 16 starting on Line 61, Column 16 says that:  
 17 "The dummy features 204 are electrically  
 18 isolated and positioned in the gaps between each of  
 19 the lines 206 and 208. Although they may be patterned  
 20 separately, the dummy features 204 are typically  
 21 patterned along with the lines 206 and 208.  
 22 Furthermore, although they may be formed from  
 23 different materials, the dummy features 204 are  
 24 typically formed with the same transparent conductive  
 25 material as the lines as, for example, ITO to provide

1 the best possible index matching."  
 2 How were the dummy features in your invention  
 3 electrically isolated from the parallel lines?  
 4 [REDACTED]  
 5 [REDACTED]  
 6 [REDACTED]  
 7 [REDACTED]  
 8 Q Okay. Would it be possible to treat the ITO  
 9 areas that were to be dummy features to make them more  
 10 resistive than the parallel lines?  
 11 MR. BARTLETT: Objection; it is calls for  
 12 speculation; and calls for expert testimony.  
 13 THE WITNESS: I I don't know. I can only  
 14 tell you how we did it.  
 15 MR. MACK: Okay.  
 16 Q But you physically etched away ITO between  
 17 the parallel lines and the dummy features; is that  
 18 right?  
 19 A That's how  
 20 MR. BARTLETT: Objection; vague; lacks  
 21 foundation.  
 22 THE WITNESS: That's how I recall that we did  
 23 it, yes.  
 24 MR. MACK: Okay.  
 25 Q And typically it says that the dummy features

1 are made from the same material as the conductive  
 2 lines; correct?  
 3 A Correct.  
 4 Q So if you were using ITO for the conductive  
 5 lines, you would also use the same ITO for the dummy  
 6 features?  
 7 A That's how we did it in our prototypes, yes.  
 8 Q And by using the same material, that provided  
 9 the exact same optical index of the dummy features and  
 10 the conductive lines; correct?  
 11 A That's correct.  
 12 Q Okay. Were you familiar with any other touch  
 13 screen products that used similar dummy features?  
 14 MR. BARTLETT: Objection; vague.  
 15 THE WITNESS: Not that I recall, no.  
 16 [REDACTED]  
 17 [REDACTED]  
 18 [REDACTED]  
 19 [REDACTED]  
 20 [REDACTED]  
 21 [REDACTED]  
 22 [REDACTED]  
 23 [REDACTED]  
 24 MR. BARTLETT: Objection; calls for a legal  
 25 conclusion.  
 [REDACTED]

1 [REDACTED]  
 2 [REDACTED]  
 3 [REDACTED]  
 4 [REDACTED]  
 5 [REDACTED]  
 6 [REDACTED]  
 7 [REDACTED]  
 8 [REDACTED]  
 9 [REDACTED]  
 10 [REDACTED]  
 11 MR. MACK: Q. What benefit, if any, would  
 12 the use of a virtual ground charge amplifier add to  
 13 the touch panel.  
 14 MR. BARTLETT: Objection; calls for  
 15 speculation; incomplete hypothetical; calls for expert  
 16 testimony.  
 17 [REDACTED]  
 18 [REDACTED]  
 19 [REDACTED]  
 20 [REDACTED]  
 21 [REDACTED]  
 22 [REDACTED]  
 23 [REDACTED]  
 24 [REDACTED]  
 25 [REDACTED]

1 MR. MACK: Okay.  
 2 Q And is the -- is the phrase "a virtual ground  
 3 charge amplifier," is that something that you've heard  
 4 of outside of Apple?  
 5 A I can't say I have, no.  
 6 Q Okay. So as far as your -- your best  
 7 understanding is, there's no -- that's not a term of  
 8 art; correct?  
 9 MR. BARTLETT: Objection; calls for a legal  
 10 conclusion.  
 11 THE WITNESS: Yeah, I'm not aware of  
 12 necessarily all the different terms that could be  
 13 used. I know the term "virtual ground" I'm -- I'm  
 14 aware of being used, "charge amplifier." The whole  
 15 combination, I can't say I know for sure.  
 16 MR. MACK: Q. Are you aware of any products  
 17 on today's market that may embody the invention  
 18 described in this patent?  
 19 MR. BARTLETT: Objection; lacks foundation;  
 20 calls for a legal conclusion.  
 21 THE WITNESS: I don't know of any  
 22 specifically, no.  
 23 MR. MACK: Q. Well, the Apple products would  
 24 embody this invention; correct.  
 25 A Oh, oh, sorry. I thought you meant outside

1 of Apple.  
 2 I -- I was not at Apple when Apple finally  
 3 shipped the final product, so I -- I can't tell you  
 4 what technique they're using on the final product.  
 5 Q Okay. But is it your understanding that it's  
 6 the -- the iPhone that's shipping today uses the  
 7 invention described in this patent?  
 8 A You know, I can't be sure. I've never torn  
 9 one apart to see how it works, so --  
 10 Q Okay.  
 11 A -- I can't speculate.  
 12 Q And you mentioned you're not aware of any  
 13 third parties that are practicing the invention  
 14 described in this patent; right?  
 15 A Not that I'm aware of.  
 16 Q All right. So you -- you were obviously  
 17 aware of touch screen displays prior to May of 2004;  
 18 correct?  
 19 A Correct.  
 20 Q So you didn't invent capacitive touch screen  
 21 displays; right?  
 22 MR. BARTLETT: Objection; vague.  
 23 THE WITNESS: It depends on what type you  
 24 mean -- what type of capacitive touch screens you  
 25 mean.

1 MR. MACK: Okay.  
 2 Q You didn't invite -- you didn't invent the  
 3 self-capacitive type of --  
 4 A No.  
 5 Q -- touch displays; right?  
 6 A No.  
 7 Q Do you believe that you invented the  
 8 mutual-capacitive type of touch displays?  
 9 MR. BARTLETT: Objection; vague; calls for a  
 10 legal conclusion; calls for expert testimony.  
 11 THE WITNESS: Well, I can tell you that  
 12 the -- the -- you know, the prototypes that we  
 13 implemented used mutual capacitance.  
 14 MR. MACK: Q. And you weren't aware of any  
 15 other touch displays that used mutual capacitance  
 16 before May of 2004; correct.  
 17 A No.  
 18 Q Okay. What about the two layers of  
 19 electrodes that were spatially separated from one  
 20 another? Were you aware of any other products prior  
 21 to May of 2004 that exhibited that feature?  
 22 MR. BARTLETT: Objection; vague; calls for a  
 23 legal conclusion; calls for expert testimony.  
 24 THE WITNESS: I was aware that there were  
 25 self-capacitive opaque touch devices that used

1 copper -- two layers of copper electrodes separated by  
 2 a dielectric. That was very, very common in things  
 3 like the Synaptics trackpads.  
 4 MR. MACK: Okay.  
 5 Q Were you aware of any transparent Synaptics  
 6 products?  
 7 A I believe they did give us a demo once  
 8 showing a transparent self-capacitive type touch  
 9 panel.  
 10 Q Okay. And did that transparent  
 11 self-capacitive type touch panel include two layers of  
 12 electrodes?  
 13 A I don't know. I didn't take it apart.  
 14 Q Okay. And you also didn't invent multi-touch  
 15 recognition on a touch display; correct?  
 16 MR. BARTLETT: Objection; vague; calls for a  
 17 legal conclusion; calls for expert testimony.  
 18 THE WITNESS: Can you repeat that question  
 19 one more time, please.  
 20 MR. MACK: Sure.  
 21 Q You also didn't invent multi-touch  
 22 recognition on a touch display; correct?  
 23 MR. BARTLETT: Same objections.  
 24 THE WITNESS: As far as I'm aware, no one had  
 25 done -- had been able to implement multiple-touch

1 left-hand column, SmartSkin sensor architecture. It  
2 says that:

3 "Figure 2 shows the principal of operation of  
4 the SmartSkin sensor. The sensor consists of a  
5 grid-shaped transmitter and receiver electrodes  
6 (copper wires). The vertical wires are transmitter  
7 electrodes, and the horizontal wires are receiver  
8 electrodes. When one of the transmitters is excited  
9 by a wave signal, the receiver receives this wave  
10 signal because each crossing point  
11 transmitter/receiver pairs acts as a very weak  
12 capacitor."

13 Correct?

14 A Yes.

15 Q And looking at that description above with --  
16 in context with Figure 2, would that indicate to you  
17 that there are two layers of copper wires?

18 MR. BARTLETT: Same objections.

19 THE WITNESS: Well, it looks like there  
20 are -- there are two -- there are receiver, as they  
21 call them, and transmitter electrodes which may or may  
22 not be on the same layer. I don't -- or on separate  
23 layers. It's hard to say.

24 MR. MACK: Q. From the text, all the  
25 horizontal wires are receiver electrodes; right.

1 A That's the way it's shown, yes.

2 Q And all the vertical wires are transmitter  
3 electrodes; correct?

4 MR. BARTLETT: Same objections.

5 THE WITNESS: It appears that way.

6 MR. MACK: Q. And in order for the  
7 intersection, as it describes, to act as a very weak  
8 capacitor, those wires must be physically separated;  
9 correct.

10 MR. BARTLETT: Same objections.

11 THE WITNESS: Yeah, without seeing exactly  
12 the physical orientation, it would be hard to say.  
13 They -- for something to be a capacitor, they can't be  
14 conductively connected to each other.

15 MR. MACK: Right.

16 Q So there must be -- there must be some space  
17 in between the horizontal wires and the vertical  
18 wires; correct?

19 MR. BARTLETT: Same objections.

20 THE WITNESS: I would say that would have to  
21 be true, yes.

22 MR. MACK: Okay.

23 Q And then the next sentence -- doesn't this  
24 next sentence describe a mutual-capacitive sensing  
25 arrangement, where it says:

1 "When a conductive and grounded object  
2 approaches a crossing point, it capacitively couples  
3 to the electrodes and drains the wave signal. As a  
4 result, the received signal amplitude becomes weak.  
5 By measuring this effect, it is possible to detect the  
6 proximity of a conductive object, such as a human  
7 hand."

8 Does this paragraph to you describe a  
9 mutual-capacitive sensing arrangement?

10 MR. BARTLETT: Same objections.

11 THE WITNESS: Again, without, you know, fully  
12 understanding exactly what they're doing, it would be  
13 hard to say. It's -- it sounds like a  
14 mutual-capacitive system to me.

15 MR. MACK: Q. Has anyone inside of Apple,  
16 when you were developing your multi-touch prototype,  
17 referred to the drive lines as transmitter lines or  
18 transmitter electrodes.

19 MR. BARTLETT: Objection; vague; overbroad;  
20 and calls for speculation.

21 THE WITNESS: I don't remember them ever  
22 being called transmitter electrodes, no.

23 MR. MACK: Okay.

24 Q What about the sense lines? Did -- have you  
25 ever referred to the sense lines in your prototype at

1 Apple in the multi-touch panel as receiver electrodes?

2 MR. BARTLETT: Same objections.

3 THE WITNESS: I don't remember ever calling  
4 the receiver electrodes, no.

5 MR. MACK: Q. Do you see the next -- in the  
6 next column on '31635, the second column, the top of  
7 the column says -- or actually, if we -- can we just  
8 continue reading that paragraph on the left-hand side:

9 "The system time dividing transmitting signal  
10 sent to each of the vertical electrodes and the system  
11 independently measures values for each of the receiver  
12 electrodes. These values are integrated to form  
13 two-dimensional sensor values which we call proximity  
14 pixels. Once these values are obtained, algorithms  
15 similar to those used in image processing, such as  
16 peak detection, connected region analysis, and  
17 template matching, can be applied to recognized  
18 gestures. As a result, the system can recognize  
19 multiple objects; for example, hands."

20 Do you see that?

21 A Yes.

22 Q So does this appear -- does this appear to  
23 show a mutual-capacitive-based sensing arrangement  
24 that would recognize multiple touches?

25 MR. BARTLETT: Objection; calls for expert

1 record.  
 2 (Recess taken.)  
 3 THE VIDEOGRAPHER: This marks the beginning  
 4 of Volume I, Disc 3, in the deposition of Brian Huppi.  
 5 The time is 2:33 p.m., and we are on the record.  
 6 MR. MACK: Q. Mr. Huppi, you have in front  
 7 of you Exhibit 712 and 713; correct.  
 8 A Yes.  
 9 Q And Exhibit 712, is the face of that  
 10 exhibit is U.S. Patent 7,372,455; correct?  
 11 A Yes.  
 12 Q Do you recall seeing this patent before?  
 13 MR. BARTLETT: The question as phrased can  
 14 potentially call for attorney client privileged  
 15 communication and therefore instruct the witness not  
 16 to answer.  
 17 THE WITNESS: I won't answer.  
 18 MR. MACK: Q. Do you know the date that you  
 19 saw this patent before?  
 20 MR. BARTLETT: Same instruction.  
 21 THE WITNESS: I won't answer.  
 22 MR. MACK: He can't tell me the date that he  
 23 seen this.  
 24 MR. BARTLETT: No. If you want to phrase  
 25 your questions as you as you have in the past and

1 say, you know, independent of any meeting with  
 2 counsel, have you seen this before and when, you can  
 3 go ahead and do that.  
 4 MR. MACK: Okay.  
 5 Q Independent -- independent from any meetings  
 6 you had with counsel, do you recall ever seeing this  
 7 patent?  
 8 A No, I do not.  
 9 Q Could you look at Figure 2 of this patent,  
 10 and the corresponding text starting in Column 13.  
 11 13, Line 30, starts with making reference to Figure 2.  
 12 A Okay.  
 13 Q And Column 13 says "Reference is now made to  
 14 Figure 2, which is a general description of the second  
 15 finger detection embodiment of the present invention,"  
 16 and then it talks about a two-dimensional sensor  
 17 matrix 20; do you see that?  
 18 A Yes.  
 19 Q And it mentions that at each junction between  
 20 the two conductors a certain minimal amount of  
 21 capacitance exists. A finger 26 touches the sensor 20  
 22 at a certain position and increases the capacitance  
 23 between the first conductor line 24 and the orthogonal  
 24 conductor line 28; correct?  
 25 A That's what it says, yes.

1 Q So the two-dimensional sensor matrix shown in  
 2 Figure 2, that would appear to be, again, similar to  
 3 the SmartSkin matrix that we looked at earlier;  
 4 correct?  
 5 MR. BARTLETT: Objection; calls for  
 6 speculation; calls for expert testimony; vague.  
 7 THE WITNESS: I'd say it's hard to say for  
 8 sure whether it's similar or not without fully reading  
 9 this document. You know, the Figure 2 looks similar,  
 10 but I -- that's all I can say about it.  
 11 MR. MACK: Okay.  
 12 Q And the -- the two-dimensional sensor matrix  
 13 20 in Figure 2 appears to be a rectangular grid matrix  
 14 of sensors; correct?  
 15 MR. BARTLETT: Same objections.  
 16 THE WITNESS: It appears that way, but  
 17 sometimes these things are schematic, so it's hard to  
 18 tell what this physical arrangement is.  
 19 MR. MACK: Q. And 24 and 28 of Figure 2 are  
 20 both referred to in the text as conductor lines;  
 21 correct.  
 22 A Yes.  
 23 Q And it actually says that the conductor lines  
 24 are orthogonal; correct?  
 25 A Correct.

1 Q And orthogonal to you would be another term  
 2 for perpendicular; correct?  
 3 A Yes, I think so.  
 4 Q Okay. Then if you look at Exhibit 713, which  
 5 is the provisional application which is incorporated  
 6 by reference into Exhibit 712, could you look at  
 7 page 17, which is Figure 3 of the provisional  
 8 application?  
 9 A Sorry. Say that again. Where am I going?  
 10 Q Page 17 at the bottom.  
 11 A Oh, page 17.  
 12 Q Sorry. Figure 3.  
 13 A Thank you. Okay.  
 14 Q And if you look at that in conjunction with  
 15 the text that refers to that figure, excuse me, which  
 16 appears on pages five and six, does it appear that the  
 17 two -- the two patterns, the vertical pattern and  
 18 horizontal pattern, are separated by a space?  
 19 MR. BARTLETT: I'm sorry. Did you refer him  
 20 to text? I'm confused.  
 21 MR. MACK: Yeah, there's text on -- well, we  
 22 can look at specific lines of text, if you want.  
 23 Q You see on page four, Section 4.2 under  
 24 "Sensor"?  
 25 A Yes.

1 Q It says that "In a preferred embodiment, the  
 2 sensor is a grid of conductive lines made of  
 3 conductive polymers patterned on a PT foil. The grid  
 4 is made of two layers which are electrically separated  
 5 from each other. One of the layers contains a set of  
 6 parallel conductors. The other layer contains a set  
 7 of parallel conductors orthogonal to the first set of  
 8 the set of the first layer"; correct?  
 9 A Correct.  
 10 Q Is that what it says?  
 11 Sorry.  
 12 A That's what it says, yep.  
 13 Q Sorry.  
 14 So that would indicate to you the presumption  
 15 of Figure 3, if there's two layers of conductive  
 16 lines; correct?  
 17 MR. BARTLETT: Same objections.  
 18 THE WITNESS: Well, it says the grid is made  
 19 of two layers, so --  
 20 MR. MACK: Okay.  
 21 THE WITNESS: -- that's what it says, yep.  
 22 MR. MACK: Q. And it says that the layers  
 23 are made up of parallel lines, correct, or parallel  
 24 conductors.  
 25 A Yes, it does say that.

1 are straight lines having one millimeter width equally  
 2 spaced in a 4 millimeter interval; do you see that?  
 3 A Yes.  
 4 Q That would indicate to you that the lines  
 5 have an equal pitch?  
 6 A Yes.  
 7 Q And an equal thickness as well or width?  
 8 A It sounds like it, yes.  
 9 Q Okay. And at the bottom of page five, in one  
 10 of the embodiments, there's a three-layered approach  
 11 described, and this paragraph says, quote, "In one  
 12 embodiment the transparent sensor is built up of three  
 13 different layers implemented on three different foils.  
 14 Two layers are used for two grid of lines. One for  
 15 the X axis and one for the Y axis, and the third layer  
 16 is used for hard coating and anti-glaring"; do you see  
 17 that?  
 18 A Yes.  
 19 Q Does that appear to correspond to the  
 20 Figure 3 that we looked -- looked at earlier on  
 21 page 14?  
 22 MR. BARTLETT: Objection.  
 23 MR. MACK: I'm sorry. Page 17.  
 24 MR. BARTLETT: Objection; calls for  
 25 speculation; calls for expert testimony.

1 Q And the two different -- the two different  
 2 layers of parallel conductive layers are orthogonal to  
 3 each other; correct?  
 4 A That's what it says, yes.  
 5 Q And again orthogonal is another -- another  
 6 word -- another term that means perpendicular;  
 7 correct?  
 8 A Yes.  
 9 Q And then do you see at the top of page five,  
 10 it says "In a preferred embodiment," very first  
 11 paragraph "the sensor is patterned to organic  
 12 conductive material on a PT foil. Organic conductive  
 13 materials are basically more flexible and easier to  
 14 handle and may be able to lower visual difference  
 15 between conductive to nonconductive area.  
 16 "However, in different embodiments the  
 17 present invention sensor can implement another  
 18 transparent conductive materials such as ITO"; do you  
 19 see that?  
 20 A Yes.  
 21 Q And the ITO, that would refer to  
 22 indium-tin-oxide; correct?  
 23 A I believe so, yes.  
 24 Q Okay. And then if you go down to the fifth  
 25 paragraph, in a preferred embodiment, the conductors

1 THE WITNESS: Well, it's a bit hard to say,  
 2 since I don't actually refer to the figure. It sounds  
 3 like it.  
 4 MR. MACK: Okay.  
 5 Q And then the -- the next -- on page six of  
 6 the Exhibit 713, it does go into more detail of  
 7 Figure 3.  
 8 It says, quote, "The general object of the  
 9 present invention is to enable as higher transparency  
 10 as possible and therefore a preferred embodiment only  
 11 one foil is used."  
 12 So this appears to be a second embodiment  
 13 where there's only one foil rather than three foils;  
 14 correct?  
 15 MR. BARTLETT: Objection; calls for  
 16 speculation; calls for expert testimony.  
 17 THE WITNESS: Well, I can just tell you what  
 18 it says. It says it's only using one foil.  
 19 MR. MACK: Q. Do you recall if your initial  
 20 prototype -- or strike that actually.  
 21 The -- the embodiment described in the '607  
 22 patent, how many layers are in the touch sensor?  
 23 MR. BARTLETT: Objection; compound; also  
 24 calls for expert testimony.  
 25 THE WITNESS: I can tell you I -- I can tell