

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF DELAWARE

LEADER TECHNOLOGIES,)	Trial Day 6
INC.,)	
)	
Plaintiff,)	
)	C.A. No. 08-862-JJF-LPS
v.)	
)	
FACEBOOK, INC., a)	
Delaware corporation,)	
)	
Defendant.)	

Monday, July 26, 2010
9:00 a.m.

BEFORE: THE HONORABLE LEONARD P. STARK
United States District Court Magistrate

APPEARANCES:

POTTER, ANDERSON & CORROON, LLP
BY: PHILIP A. ROVNER, ESQ.

-and-

KING & SPALDING
BY: PAUL ANDRE, ESQ.
BY: LISA KOBIALKA, ESQ.
BY: JAMES HANNAH, ESQ.

Counsel for Plaintiff

1 APPEARANCES CONTINUED:

2

3

4

BLANK ROME, LLP
BY: STEVEN L. CAPONI, ESQ.

5

-and-

6

7

COOLEY, GODWARD, KRONISH, LLP
BY: MICHAEL RHODES, ESQ.
BY: HEIDI L. KEEFE, ESQ.
BY: MARK WEINSTEIN, ESQ.
BY: JEFFREY NORBERG, ESQ.

8

9

Counsel for Defendant

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 THE CLERK: All rise.

2 THE COURT: Good morning,
3 everyone.

4 (Everyone said, Good morning.)

5 THE CLERK: Please be seated.

6 THE COURT: Welcome to week two.
7 All right.

8 Let's begin with developments over
9 the weekend. I have seen and reviewed and am
10 prepared to rule on Facebook's motion for a
11 mistrial, which asks in the alternative for a
12 limiting instruction. All of which arises from
13 Leader's questioning of Professor Greenberg last
14 Friday afternoon as to whether the '761 examiner
15 considered the Swartz patent.

16 Excuse me. I ran in too quickly.

17 Such questioning by Mr. Andre was
18 inappropriate due to my in limine ruling. By
19 contrast, on direct, Facebook stayed
20 appropriately within the narrow scope of my
21 ruling, elicited only disputed evidence that
22 Swartz is not mentioned on the face of the '761
23 patent.

24 It is also true that the '761 is

1 in re-exam in part as a result of the PTO's
2 finding that Swartz was not considered during
3 prosecution of the '761.

4 And further, I have ruled and I
5 adhere to these rulings that the fact of the
6 re-exam and whether there's similarities between
7 the prior art relied on by Facebook in this
8 case, and the prior art considered by the PTO
9 during prosecution of the '761 patent are not
10 relevant to this trial.

11 Therefore, this is not a matter on
12 which the jury should be permitted to draw what
13 might otherwise seems to be reasonable
14 inferences that the examiner considered Swartz
15 since she was also the examiner of Swartz.

16 But, however, I'm not going to
17 permit the parties to get into the re-examine.
18 We're not going to open up the door and get into
19 how many patents Ms. Mizrahi may have examined
20 or what else she was doing.

21 Instead there's going to be no
22 more questioning that relates in any way to what
23 the PTO considered or did not consider.

24 I'm denying the motion for a

1 mistrial because I think while there was
2 prejudice to Facebook, I think it is curable in
3 other ways short of the extraordinary remedy of
4 a mistrial, and in particular through jury
5 instructions and special interrogatories.

6 Leader, of course, claims that
7 it's prejudiced by Facebook's narrow questioning
8 of Greenberg about whether Swartz is listed on
9 the face of the '761 patent, but I absolutely
10 reject Leader's position. Again, as I said,
11 Facebook's questioning was entirely consistent
12 with my prior rulings.

13 Leader did not object during the
14 examination of -- well, even prior Leader, did
15 not object to Facebook giving the jury binder to
16 the jury which contained the Swartz patent.
17 Leader did not object to Facebook displaying the
18 Swartz patent for the jury.

19 Leader did not object to Facebook
20 blowing up the portion of the -- I'm sorry, the
21 Swartz patent that evidently shows the Swartz
22 examiner's name.

23 Leader did not object to
24 Facebook's questions, objections which I would

1 have overruled since the questions were
2 consistent with my ruling, but nonetheless
3 Leader did not object.

4 Leader did not seek permission to
5 question Professor Greenberg in the way it did
6 on cross. And Leader did not ask the Court to
7 reconsider or modify its prior rulings.

8 So with all this, I will be
9 granting the alternative relief sought by
10 Facebook of a jury instruction. In fact, what I
11 think might be fairly characterized as a
12 somewhat hash jury instruction, but one that I
13 think is fully warranted by what Leader did
14 during the cross-examination of Professor
15 Greenberg.

16 It will not, however, be in the
17 specific language proposed by Facebook as that
18 would I think improperly introduce ideas going
19 to the re-exam and other matters going to the
20 jury that simply don't have any place in the
21 trial.

22 Here is what you will see as a
23 portion of the final jury instructions. It will
24 be added at 4.2 and you'll see it when we get

1 all the instructions to you later today.

2 And now I'm reading from the
3 instruction. During Leader's cross-examination
4 of Facebook's expert, Professor Greenberg,
5 Leader's counsel made statements implying that
6 the U.S. Patent Office examiner who worked on
7 the '761 patent, Diane Mizrahi, was aware of and
8 considered the Swartz patent. I instruct you
9 not to draw such a connection.

10 Because of patent office
11 procedures, it would not be reasonable for you
12 to draw the inference that the examiner,
13 Ms. Mizrahi, was aware of and considered the
14 Swartz patent during prosecution of the '761
15 patent.

16 With respect to Facebook's
17 contentions that the '761 patent is invalid due
18 to anticipation or obviousness due to prior art,
19 the only relevant comparisons are between the
20 claims of the '761 patent and the disclosures of
21 the prior art references. What the PTO or the
22 examiner of the '761 patent considered or did
23 not consider is not relevant to your
24 determination and should not be considered by

1 you.

2 You'll also see in the final
3 instructions that at Section 1.3 I guess it is,
4 there was an additional paragraph that was in
5 dispute with respect to evidence defined, I'm
6 going to include the extra paragraph that
7 Facebook wanted that said essentially ignoring
8 comments of counsel or things that I told you
9 are stricken.

10 And there will also on the verdict
11 form be special separate interrogatories with
12 respect to each published prior art reference
13 asking the jury whether each one anticipates the
14 '761, so we'll know whether the Swartz patent
15 had any impact on the jury's finding.

16 That's my ruling on the pending
17 motion. A couple of procedural things. We're
18 allowing the jury to order lunch in today. We
19 thought that would be a nice thing to do for
20 them. And what we'll do is whatever time we
21 finish the evidence today, we'll call it a day
22 and send the jury home and then we'll just start
23 fresh in the morning with me reading
24 instructions, and then with all the argument

1 that's left to do. And so that means we'll get
2 the final jury instructions and the verdict form
3 to you sometime later today depending on what
4 time we finish today.

5 With that, let me ask Leader if
6 there is anything we need to discuss before we
7 bring the jury in.

8 MR. ANDRE: Thank you, Your Honor.

9 First of all, I would like to pose
10 an objection to Your Honor's ruling, of course.

11 THE COURT: Okay.

12 MR. ANDRE: One easy matter. We
13 had an exhibit earlier that's PTX 1058. We
14 would like to move that into evidence. I
15 believe it's without objection. It was noted in
16 the examination of Mr. McKibben.

17 MR. RHOADES: No objection.

18 THE COURT: Okay. It's admitted.

19 MR. ANDRE: And there is other
20 exhibits that were put in by the defendants in
21 binders that we would like to have the Court
22 staff remove after the jury goes home today.
23 They were not admitted into evidence and were
24 not referred to. Those are DTX 740, DTX 1051,

1 DTX 1095, DTX 1213, DTX 1317, and PTX 789.
2 Those are in the jury binders. We would like to
3 have those removed.

4 MR. RHOADES: I agree, Your Honor.

5 THE COURT: By agreement. Okay.
6 That will be done when the jury is not watching.

7 MR. ANDRE: Those are the easy
8 issues, Your Honor. A much more serious
9 implication now that just this morning
10 Facebook's counsel informed us that they wanted
11 to switch out an exhibit that Dr. Greenberg has
12 testified to. It's the iManage manual. We
13 received this document under a confidentiality
14 designation from a subpoena Autonomy.

15 We believe it's a confidential
16 document. They said they have a copy of it
17 without the confidentiality stamp and they want
18 to substitute it out.

19 We have never been informed that
20 this is a public document at all. In fact, up
21 until probably a few minutes ago, we believe it
22 was a confidential document. How this document
23 came into the case was Facebook subpoenaed a
24 Autonomy, Autonomy produced documents to them on

1 disks and when they were producing them to us,
2 they had a letter saying they should be treated
3 confidential under the protective order.

4 We saw the objections that
5 Autonomy lodged saying it was confidential
6 information, so we have been going under the
7 impression this entire case it's a confidential
8 document.

9 They produced a copy. According
10 to counsel, we haven't seen it because we don't
11 have the report here, in their expert's report
12 they didn't have the confidential stamp on it.

13 THE COURT: Did not.

14 MR. ANDRE: Did not. But their
15 expert report which only was done in prior art,
16 did have a highly confidential label in the
17 entire report. So it's our belief, as we sit
18 here today and the testimony that was provided
19 to the jury, that he's been testifying on a
20 confidential document. And this is not a
21 publicly available document. We cannot find it
22 on the internet. We have not been able to get a
23 copy of this document anywhere.

24 THE COURT: Just draw out the

1 logic for me. This goes to whether it's a basis
2 for invalidating the patent whether it's
3 publicly available or not.

4 MR. ANDRE: Prior art has to be
5 publicly available. We don't think this is
6 publicly available.

7 We have not been able to find it.
8 We have never seen a publicly available copy.
9 The only copy that was ever produced in this
10 case was marked confidential pursuant to the
11 Court's protective order.

12 They're going to substitute out --
13 we'd like to examine the witness along these
14 lines. We think it's appropriate because the
15 witness -- the exhibit that's in his binder
16 that's in front of the jury, his entire
17 testimony does have the confidential stamp on
18 every single page.

19 THE COURT: So you want to be able
20 to -- put aside for a moment whether we're
21 switching out the document or not, you want to
22 explore with him and would have, but for events
23 this morning, planned today to explore with him
24 whether he knows if the document's publicly

1 available or not?

2 MR. ANDRE: Yeah. It was a
3 document that was provided from counsel to
4 him --

5 THE COURT: Right.

6 MR. ANDRE: -- marked confidential,
7 at least the ones he's been testifying to. So
8 we don't believe this is a proper piece of prior
9 art, and I think this is a much more serious
10 issue than the moving of the exhibits in.

11 I'm sorry to bring it to Your
12 Honor first thing this morning. We didn't
13 learn -- this entire case we have been under the
14 impression that this is a confidential document.
15 This is the first this morning that we have
16 learned it was anything otherwise.

17 THE COURT: Let me hear what they
18 have to say.

19 MS. KEEFE: I'm surprised to hear
20 this, Your Honor. In fact, the iManage DeskSite
21 Reference Manual was produced originally when
22 Autonome first sent it under an abundance of
23 caution. Go ahead and mark everything
24 confidential.

1 So we did. We then asked Autonomie
2 if this is a, you know, public document. They
3 said, Yes. And they sent us another copy that
4 was only Bates labeled AUT 0020001 through the
5 remainder. It's in the exhibit binder at 925E.

6 It was attached to to Dr.
7 Greenberg's report with no confidentiality
8 designations whatsoever.

9 It was produced to opposing
10 counsel with -- along with a copy of the
11 re-examination materials with no confidentiality
12 designation whatsoever.

13 Publicly filed with the U.S. PTO.
14 It's been used in this case with no
15 confidentiality designations whatsoever.

16 And I was surprised when the one
17 that went up on the screen was the old one that
18 had the confidentiality designation, because it
19 has never been used in this case. So I just
20 wanted to swap it out.

21 THE COURT: And that's what
22 happened this morning was he --

23 MS. KEEFE: I simply asked Mr.
24 Andre, given the fact that the actual copy that

1 was used in Mr. Greenberg's report and that went
2 to the Patent Office did not have the
3 designation, could I please replace it since
4 this is clearly a public document. He then
5 tells me that he wants to do something else with
6 it.

7 That's where we are. And it's in
8 the binders at 925E with no designation on it.

9 And this is the exhibit. And I
10 was just going to change it. Ask Your Honor to
11 change it.

12 THE COURT: So the jury right now
13 has a binder that includes two versions of
14 iManage?

15 MS. KEEFE: Right now it only
16 includes the old version, the very first
17 version.

18 THE COURT: The first one is the
19 one that's confidential?

20 MS. KEEFE: 925E, the number that
21 is in the binders right now is 1010. 1010 has a
22 confidentiality designation. It's an artifact.
23 It's old.

24 The one that was used in the case

1 in the expert report that was produced by
2 Autome with no designation and it was given to
3 the Patent Office is 925E.

4 THE COURT: And your proposal is
5 to just switch them out without the jury ever
6 hearing anything about it and also to preclude
7 Mr. Andre from questioning the professor if he
8 knows if the document is publicly available.

9 MS. KEEFE: That was my proposal,
10 but you know because Mr. Greenberg -- obviously,
11 he knows that the one that he has had no
12 confidentiality designation on it. But I am not
13 sure he has personal information, you know,
14 beyond that.

15 But if they want to question him,
16 I'll simply ask him if the one that is attached
17 to his report was labeled confidential. He'll
18 say no.

19 Then I'll offer to move this in
20 and that will be the public document. It seems
21 like much adeu about nothing.

22 THE COURT: Mr. Andre.

23 MR. ANDRE: Your Honor, it's not
24 much adeu about nothing. When Facebook was

1 subpoenaed, Autonome lodged objections to the
2 subpoena. On category two, request number two,
3 he asked for a copy of each user manual or user
4 guides.

5 Autonome rejected -- responded and
6 objected to it stating that the request for the
7 production of confidential commercial and
8 information are trade secrets not within the
9 permissible scope of discovery. So they put an
10 objection in as being confidential information.

11 When we received the actual
12 production from Facebook after they received it
13 from Autonome, the correspondence to Mr. Hannah
14 from Ms. Keefe stated also included documents
15 containing Bates labels AUT 0001815 through AUT
16 0053887, which was received from Autonome, Inc.
17 In response to Facebook's subpoena, please be
18 advised that per nonparty Autonome's request,
19 the documents Bates numbered AUT 0001815 through
20 AUT 0053887 are to be treated as confidential
21 under the stipulated protective order.

22 Your Honor -- could you put up
23 1010? DTX 1010. DTX, not PTX.

24 If you noted the confidential

1 stamp here is a little off line with the Bates
2 number. I don't know if this designation was
3 added by Facebook counsel or Autonome. I don't
4 know.

5 Autonome may have produced them
6 with just the Bates numbers and the confidential
7 label that was added pursuant to their
8 instructions under the protective order. I
9 don't know.

10 I don't know how that confidential
11 stamp got there, but we have always treated this
12 document pursuant to the correspondence we
13 received as confidential.

14 THE COURT: But confidential for
15 purposes of litigation is different from -- I
16 mean, so it may be overly designated under the
17 Court's protective order, but the factual matter
18 that is important to the jury is whether or not
19 it was ever publicly available at the relevant
20 time. Right?

21 MR. ANDRE: Right.

22 THE COURT: And you don't know
23 whether it was or it wasn't, I take it.

24 MR. ANDRE: Only thing we know is

1 we can't find it. We can't get it.

2 When I assume that they gave it to
3 the people who bought their software, but I
4 don't know if there was a confidentiality
5 provision provided for that. The fact of the
6 matter is Facebook put this in evidence. They
7 put it in the jury binders. They put this
8 exhibit, in.

9 And that's a defense we have to
10 this exhibit. This is not a confidential
11 document.

12 THE COURT: Okay. Well, certainly
13 trickier than the ones you started with.

14 I think in fairness, you know,
15 weighing the circumstances on both sides, I
16 think that the jury -- I'm not going to take
17 away your ability to question Professor
18 Greenberg. Elicit whatever you can from him on
19 whether the document was confidential or not
20 confidential in terms of was it publicly
21 available or not.

22 But I'm also not going to do, what
23 would amount, I think, to granting summary
24 judgment to you on the weight, if any, of the

1 iManage by, you know, depriving Facebook of a
2 chance to on redirect put before the jury a
3 version of the document that apparently was
4 publicly available.

5 And the jury will just have to
6 weigh the competing arguments in evidence they
7 get as to whether it was available or not. And
8 we have a special interrogatory that will tell
9 us whether -- specifically whether the jury
10 thought the iManage software anticipated, not
11 the software the manual anticipated '761. So
12 that's my ruling.

13 MR. ANDRE: Your Honor, with
14 respect to that, there is not one without a
15 confidential stamp not on the exhibit list. The
16 exhibit Ms. Keefe told you about is actually the
17 reexamination documents, it's the reexamination
18 request and all that that's attached to it. So
19 there is not a copy of just this manual by
20 itself on the current exhibit list.

21 THE COURT: Ms. Keefe.

22 MS. KEEFE: That's not true, Your
23 Honor. Behind Tab 925E is a copy simply of the
24 reference itself.

1 THE COURT: And there is no
2 reference to re-examine or anything?

3 MS. KEEFE: None.

4 THE COURT: It's an identical
5 document to the manual sent without the
6 confidential stamp on it?

7 MS. KEEFE: Exactly.

8 THE COURT: I'm accepting
9 counsel's representation to that fact.

10 MR. ANDRE: Your Honor, to the
11 extent I questioned Dr. Greenberg, I would like
12 to be able to use the Autonomy documents and the
13 correspondence to get his understanding of this
14 document whether it's confidential or not, if
15 that's acceptable. I don't have to have a lot
16 of objections.

17 THE COURT: Right. I don't want
18 to have a lot of objections, either. Ms. Keefe,
19 come forward.

20 MS. KEEFE: Your Honor, I would
21 object. Those were litigation documents between
22 attorneys talking about a protective order. It
23 would be hearsay. And it's nothing that
24 Mr. Greenberg has ever looked at or considered.

1 He was handed a copy of the
2 document and asked to compare it. That's what
3 is in his report. The implication that he
4 understands what was happening with two lawyers
5 talking about a protective order as Your Honor
6 noted that may have been overly cautious based
7 on litigation is prejudicial and hearsay.

8 THE COURT: All right. Again, I'm
9 going to overrule the blanket objection. I'm
10 going to allow Mr. Andre a little bit of leeway.

11 We don't know whether Professor
12 Greenberg is going to have anything at all to
13 say about whether this document was confidential
14 or not confidential. And feel free to object
15 question by question and we'll just have to see
16 how it comes out.

17 MS. KEEFE: Thank you, Your Honor.

18 THE COURT: Anything else,
19 Mr. Andre?

20 MR. ANDRE: No, Your Honor.

21 THE COURT: No.
22 And anything from Facebook?

23 MR. RHOADES: No, Your Honor.

24 THE COURT: Okay. All right.

1 Let's bring in the jury.

2 THE CLERK: All rise.

3 (Jury entering the courtroom at
4 9:28 a.m.)

5 THE CLERK: Please be seated.

6 THE COURT: Good morning, ladies
7 and gentlemen of the jury. Welcome back. I
8 hope you had a nice weekend. I hope you were
9 able to get into the building okay. I saw quite
10 a crowd on the other side of the building. I
11 was hoping you were able to all avoid that.

12 We're going to pick up with the
13 testimony of Professor Greenberg.

14 Professor, please come back to the
15 stand.

16 Good morning, Professor.

17 THE WITNESS: Good morning.

18 MR. ANDRE: May it please the
19 Court, may I begin?

20 THE COURT: I'm sorry, you may
21 begin, yes.

22 BY MR. ANDRE:

23 Q. Good morning, Professor Greenberg.

24 A. Good morning.

1 Q. Just so we can kind of catch up to
2 where we left off on Friday, let's go over a few
3 things and make sure we're all on the same page.
4 Okay?

5 A. Sure.

6 Q. You were talking about prior art
7 in this case; correct?

8 A. That's correct.

9 Q. And in something -- in order for
10 something to be prior art, it has to be
11 published before a certain critical date; is
12 that correct?

13 A. That's correct.

14 Q. And the critical date you're
15 referring to in this case is the December 10th,
16 2003 date of when the patent was filed; is that
17 correct?

18 A. I would have to check the dates,
19 but it's -- my understanding is the year before
20 the filing of the patent and a year before the
21 filing of the provisional are two dates that are
22 often considered.

23 Q. Okay. Now, you testified to three
24 separate documents as a basis for your opinion

1 regarding anticipation; correct?

2 A. Three separate documents, yes, and
3 plus obviousness.

4 Q. That was for obviousness?

5 A. That's correct.

6 Q. And one of those documents was the
7 DTX 1010; correct?

8 A. Sorry, that's --

9 Q. I'm sorry, that's the iManage
10 manual; correct?

11 A. Yes, that's correct.

12 Q. Now, could you put DTX 1010 on the
13 screen. Thank you.

14 Now, you testified that you
15 received these documents from Facebook's
16 counsel; correct?

17 A. That's correct.

18 Q. And the numbers -- I lost my laser
19 pointer, sorry.

20 The numbers are down here on the
21 bottom of the document. Are you familiar with
22 what those numbers are called?

23 A. Sorry.

24 Q. Do you know what these numbers are

1 called at the bottom?

2 A. That is the Bates number.

3 Q. Bates number, right. You have
4 done this before, you have been an expert in a
5 few cases before; right?

6 A. Just a few cases, yes.

7 Q. And when company's produce
8 documents to other companies in litigation, they
9 put Bates numbers on documents; right?

10 A. I'm actually not -- I don't know
11 who actually puts them on, I just know that they
12 are numbered.

13 Q. Okay. And are you aware that a
14 company called Autonomy is the company that owns
15 the iManage product at this point?

16 A. No, I'm not aware of that.

17 Q. Now, you notice that the iManage
18 manual is marked confidential. Do you see that?

19 A. I see that on that page, yes.

20 Q. And you understand what it means
21 when something is marked confidential in a
22 litigation; correct?

23 A. I'm just looking at my copy here.

24 Q. I understand. I understand your

1 company is not marked confidential.

2 A. No, it's not marked confidential,
3 so the copy that I have that was given to me was
4 not marked confidential.

5 Q. I'm talking about the one you
6 actually testified to on Friday.

7 A. Sorry. I'm not sure I understand.
8 The copy I have is the one that I testified to.

9 Q. Well, Friday, this was the exhibit
10 that was shown to the jury; correct? DTX 1010.
11 This is the one that Ms. Keefe kept referring
12 you to?

13 A. Well, if that -- I can't recall
14 what was put on the display. If that particular
15 version with that confidential designation on
16 the bottom was put on there, that's one thing,
17 but I'm of course talking -- all my comments in
18 my expert report are on the exhibit that I
19 actually have that I included with any report.

20 Q. And that was given to you by
21 counsel; correct?

22 A. Yes.

23 Q. And they also produced this
24 document for the jury as confidential; correct?

1 A. Yes.

2 MS. KEEFE: Objection, Your Honor.

3 402.

4 THE COURT: I will overrule the
5 objection. I don't know, we'll see if the
6 professor has an answer.

7 A. I just can't recall what was
8 presented on Friday. If you're representing to
9 me that this was the one presented to the jury
10 on Friday, I'll accept that, but I really didn't
11 look at the bottom of the page there. I was
12 looking at the top.

13 Q. And if the iManage manual is
14 confidential, if it is, in fact, a confidential
15 document, would your opinion change about its
16 relevance in this case?

17 A. Well, I don't really know what
18 iManage itself means by confidential, so I can't
19 really tell you.

20 Q. You signed the undertaking in this
21 case for the protective order; correct?

22 A. Correct.

23 Q. And you understand that
24 confidential documents in this case are not

1 public documents; correct?

2 A. Fair enough.

3 Q. You understand that you read the
4 protective order, you signed it; right?

5 A. What I'm not certain if it was
6 designated legal confidential by counsel. This
7 kind of goes outside the scope of what I really
8 know in terms of how --

9 Q. Fair enough. What I'm asking you,
10 if this is a nonpublic document, if it was not
11 available to the public, would it change your
12 opinion with regard to the iManage manual?

13 A. It depends on how iManage itself
14 had disclosed it, so -- and I have no knowledge
15 of that, so I can only speak to what's in the
16 actual document itself.

17 Q. Dr. Greenberg, I'm not trying to
18 trick you here.

19 A. I know.

20 Q. It's a real simple question.

21 A. I know.

22 Q. If this is a nonpublic document,
23 if this confidential document is marked right
24 here, if this is not available to the public,

1 would it change your opinion regarding the
2 iManage manual as it relates to the '761 patent?

3 A. If it's confidential as you say it
4 is, which I don't know, I'm not trying to argue
5 with you, I'm just saying I don't know, and if
6 iManage hadn't actually disclosed it to anyone,
7 the only -- the question in my mind is when
8 iManage had made it public.

9 It wouldn't change my opinion, it
10 would just change maybe when it was made public,
11 so I would need more information to know about
12 the date.

13 Q. I think we're cross talking here.

14 A. Okay.

15 Q. I'm asking a very specific
16 question, not if it's public, I'm asking if it
17 is confidential, if this is a confidential
18 document not available to the public, ever?

19 A. Ever.

20 Q. Would it change your opinion with
21 regard to how the iManage manual relates to the
22 '761 patent?

23 A. Well, it wouldn't change my
24 opinion on how it relates to the '761. It may

1 change my opinion about the date.

2 Q. What do you mean the date?

3 A. Well, because I don't know if and
4 when it was made public.

5 Q. You keep changing my question,
6 Doctor. I don't want to quarrel with you, I
7 just want to make it real simple.

8 A. Just to clarify, do you mean would
9 it change my opinion about how the iManage
10 manual would relate to the '761 patent?

11 Q. You gave an opinion that the
12 iManage manual anticipates the '761 patent
13 because you believe it was a public document
14 published before the patent; correct?

15 A. Uh-huh.

16 Q. If it's a confidential document,
17 it was never published, never made available to
18 the public, would you still have the same
19 opinion that it anticipates the '761 patent?

20 A. Well, insofar as the iManage
21 reference manual actually describes a system
22 that is working, I relied on this particular
23 document to form that opinion, but it's my
24 understanding that a system also existed at the

1 time.

2 Q. Doctor, we're talking about the
3 document. That's all you relied on in this
4 Court, this document. You're not going to tell
5 me, are you, whether you think this is prior art
6 if it's confidential, are you?

7 A. If it is truly confidential, if it
8 wasn't disclosed at all, then I suppose then it
9 wouldn't anticipate. But again, it depends
10 totally on the date and when -- there are just
11 facts I just don't know about at this point.

12 Q. As you sit here right now, like
13 you said, you don't know if Autonomy, the
14 company who provided this in this litigation, if
15 it designated this as confidential, you don't
16 know if they made this public or not, you just
17 don't know?

18 MS. KEEFE: Objection.

19 THE COURT: I'll overrule it.
20 We'll get an answer to this and then we'll move.

21 THE WITNESS: I just don't know.
22 This is not information that I have.

23 THE COURT: Let's move on,
24 Mr. Andre.

1 MR. ANDRE: Thank you, Your Honor.
2 Your Honor, it's not about the
3 data, I just want to do ask one more question
4 about the document itself.

5 BY MR. ANDRE:

6 Q. Now, you testified about this
7 document that someone with ordinary skill who
8 has a bachelor's degree --

9 A. And two years plus.

10 Q. -- and two years of experience,
11 they could take this document and build the
12 system described in the document; right?

13 A. They could take this document and
14 use it as a specification to building certainly
15 the parts of the system that relate to the '761
16 patent.

17 Q. You could reverse engineer from
18 the document?

19 A. I would say so.

20 Q. That would be a good reason to
21 keep it confidential, wouldn't it, if you're
22 disclosing that type of proprietary technology?

23 A. I don't think so. It's a
24 reference manual. It's a user manual. You're

1 asking me things I don't know about. But it's a
2 reference manual. I use this to publicize the
3 document.

4 Q. Fair enough. Let's talk about the
5 manual. Now, you just made reference to the
6 fact that there is a piece of software that this
7 manual refers to. When you formed your opinion,
8 you had not used that software before; correct?

9 A. No, I had not.

10 Q. And if you look at the actual
11 manual itself, there is nowhere in this manual
12 does the word metadata appear, does it?

13 A. There are ideas in there. The
14 word metadata does not appear, but there are
15 ideas that relate to metadata.

16 Q. And the word context does not
17 appear in manual?

18 A. The actual word does not appear.

19 Q. Okay. And if you turn to page 12
20 of this document, and Doctor, would you please
21 refer to page 12 of the document in the
22 three-ring binder up there, DTX 1010, I want to
23 make sure we're using the same document.

24 A. Yes, I have it.

1 Q. I believe you're looking at
2 something else. I believe you're looking at a
3 different version of this document.

4 A. Okay. I'll look up there.

5 Q. There is a three-ring binder up
6 there that has the document in it. I would like
7 you to actually use the exhibit we're using at
8 trial here.

9 A. Sorry. The number was DT?

10 Q. DTX 1010.

11 A. Thank you.

12 And you're talking about the Bates
13 number or the page number?

14 Q. Page number. Bottom right-hand
15 corner.

16 A. Sorry, lots of paper. Okay. I
17 see it.

18 Q. And in the middle of the page it
19 ask the question what is a DMS. Do you see
20 that?

21 A. Yes, I do.

22 Q. Do you have an understanding of
23 what a DMS is?

24 A. Yes, I do.

1 Q. What is DMS?

2 A. It says here software and/or
3 hardware that managed the repositories of
4 millions of documents or hundreds or thousands
5 of users.

6 Q. It's a document management system?

7 A. That's its main function, but it
8 has a lot of other functions also packed in with
9 it as well.

10 Q. If you go to the next page, page
11 13, it actually talks about what is iManage
12 DeskSite. Do you see that?

13 A. I see that.

14 Q. And so it searches millions of
15 documents, it searches for documents based on
16 document content, it shares documents, it
17 searches for open documents, check in and check
18 out documents, create new versions of documents
19 and track document usage and history. Do you
20 see that?

21 A. I do.

22 Q. This is what the iManage system is
23 about?

24 A. It's describes the functions, yes.

1 Q. It's about tracking documents and
2 managing documents; correct?

3 A. Well, it's tracking document
4 usage, right, by people.

5 Q. It doesn't track people, it tracks
6 documents, that's what the document says;
7 correct?

8 A. It says it's tracking document
9 usage and it's showing in the history system,
10 it's certainly tracking people. This is just a
11 high level description of what it does. I have
12 shown previous in the history system that it
13 does track people. It is tracking people using
14 those documents.

15 Q. That's with the document history
16 system; is that correct?

17 A. That's correct.

18 Q. That's on page 83 of the document;
19 correct?

20 A. I'll have to check.

21 Yes, it is.

22 Q. So this is a document history tab
23 and you have document versions, document
24 history, related documents, document profile,

1 this is the manage travel policy. This is the
2 type of document management system that you see
3 in most offices today, right, this type of a
4 document management system, if you go to the
5 office you have this type of system?

6 A. I'm only speaking towards this
7 one, but this is a feature of this particular
8 system. I don't know if every document
9 management system has a management history in
10 it. This is one thing that sets iManage apart.

11 Q. And you can take that down. With
12 the iManage system, do you need to be connected
13 to the Internet to make this system work?

14 A. It has a --

15 Q. I'm just asking a real simple
16 question.

17 A. I'm sorry.

18 Q. Okay. Do you need to be connected
19 to the internet to make this system work?

20 A. When you say the "system", what
21 part of the system are you referring to?

22 Q. The document management system.

23 A. Well, it's a big system. It has a
24 portable mode that I mentioned previously.

1 Q. I'm saying is it possible to
2 operate the iManage system without being
3 connected to the internet?

4 A. There is -- that's not a yes or no
5 question, because there's a part of the system
6 that lets you operate it in disconnect mode.
7 And then as soon as you connect it, it
8 synchronizes with it.

9 Q. So it's possible. The internet is
10 something you could be on a closed system,
11 closed network now, not on the internet and this
12 system works perfectly fine; correct?

13 A. Well, that kind of
14 mischaracterizes it, because what it is, it's a
15 document of repository, which is what iManage
16 holds. And when you go off on the road, you --
17 and I think I showed a quote of that earlier, it
18 will -- you can kind of take certain versions
19 and you can work on it. And then you can --when
20 you reconnect, it will come back.

21 So it's not meant to just operate
22 entirely by itself. It's meant to kind of delay
23 what happens.

24 So like you work off line a bit,

1 so then you can reconnect.

2 Q. It's not an internet website, is
3 it?

4 A. Beg your pardon?

5 Q. It's not an internet website?

6 A. It has internet capability. I'm
7 not sure what you mean.

8 Q. You don't know what website is?

9 A. I do. When you say it, what do
10 you mean?

11 Q. iManage Desktop system.

12 A. Okay.

13 Q. It's not an internet website?

14 A. It has workings that allows you to
15 access the internet within it. Like you're kind
16 of saying a blanket. I can't say it's yes or no
17 because part of it does let you operate with the
18 internet.

19 Q. I'm not asking you that. I'm
20 asking you a very simple question.

21 Is that an internet website?

22 A. So are you -- just to clarify,
23 you're asking me does one normally access
24 iManage via the internet?

1 Q. That's not what I'm asking,
2 Doctor.

3 A. Okay. I just needed to clarify.

4 Q. Do you know what an internet
5 website is?

6 A. Of course.

7 Q. Is the iManage system an internet
8 website?

9 A. I believe that the main way you
10 interact with iManage system is throughout --
11 no, is not via the web.

12 Q. There you go.

13 A. Yes.

14 Q. Let's go to Figure 2.2 on Page 24.

15 A. Page 24?

16 Q. Yeah.

17 A. Okay.

18 Q. You see how the tree frame is set
19 up here?

20 A. I do.

21 Q. Is this how iManage manages its
22 documents in this type of file folder structure?

23 A. Well, certainly. iManage does
24 have a file folder structure that it can use.

1 Yes.

2 Q. Okay. Can you take that down.

3 Now, you testified on Friday that
4 the IManage DeskSite is a web-based system;
5 right?

6 A. It has a feature of a web-based
7 system.

8 Q. And it says -- I believe you
9 testified it could send URL to a document. And,
10 therefore, iManage must be web-based; correct?

11 A. I have to go back and just check
12 my reference because I think I had several up
13 there.

14 Q. Do you recall testifying to that?

15 A. Yes.

16 Q. Okay. Now, in order to send a
17 document URL link, your system must also include
18 the iManage DeskSite web component server?

19 A. I believe that's what the
20 quotation said. Yes.

21 Q. And the web component server is
22 not part of the desk site; is that right? It's
23 a separate product?

24 A. Well, this is all I'm talking here

1 about what IManage Reference Manual discloses.
2 And it discloses that. So it's part -- all part
3 of the same iManage system.

4 Q. So if you go to Page 75 of the
5 document --

6 A. Okay.

7 Q. So actually on the previous page
8 before this is the site you're referencing where
9 you can send an URL link. And that was your
10 basis for a web-based system; correct?

11 A. For web-based capabilities, yes.

12 Q. And if you go to the next page,
13 the top of the page it says, In order to send a
14 document URL link, your system must include an
15 iManage work site web component server; correct?

16 A. That's correct.

17 Q. And that web component server is
18 not part of the desk set itself; right?

19 A. Well, it's part of iManage.

20 Q. Well the entire -- there's 50
21 products in iManage, but you are relying on the
22 DeskSite?

23 A. I'm referring to the disclosure in
24 the manual. And this is part of all -- the

1 software in the iManage disclosure is one of the
2 aspects of the software.

3 So I am --

4 Q. So that's a different product,
5 though; right?

6 A. But it's part of iManage.

7 Q. So, basically your opinion is if
8 the manual is made by iManage, you get the
9 entire iManage portfolio of products?

10 A. Well, what my opinion is is that
11 there's certain disclosures in this manual and
12 it discloses lots of things. And these do map
13 onto the '761 disclosures.

14 Q. Now, you just testified also that
15 you believe this manual would enable someone to
16 go out and build the product that's described in
17 the manual; correct?

18 A. I believe so, yes.

19 Q. And is it your understanding that
20 user manuals normally allow people to go out and
21 reverse engineer and just build the product
22 that's in the user manual?

23 A. Well, in fact, as a computer
24 scientist often we do specifications to

1 engineers and one of the ways we specify things
2 is by giving a detailed user interface, because
3 the interface itself is often one of the most
4 fundamentally important part of the system.

5 It's how do people use it? How do
6 they see it?

7 How do they present themselves?
8 In fact, I train my students with that. The
9 function should be the user interface.

10 Q. Doctor, when you gave your opinion
11 in this case, when you gave your written
12 opinion, you didn't have an opinion whether or
13 not this was an enabling disclosure, did you?

14 A. I can't recall at that point. I'd
15 have to go back and check.

16 Q. You didn't provide it in the
17 written opinion, though, did you?

18 A. I just can't recall. My expert
19 report is several hundred pages long, so I just
20 can't recall. I can go back and check if you'd
21 like.

22 Q. That's okay. If you don't recall,
23 that's fair enough.

24 A. Okay.

1 Q. Now, the next reference that you
2 referred to was the Swartz reference; correct?

3 A. That's correct.

4 Q. Actually before we go to Swartz, I
5 believe we had a conversation Friday about PTX
6 1105. I just want to clarify a point.

7 We talked about how you had broke
8 the claim out into these different subsections;
9 correct?

10 A. That's correct.

11 Q. And you stated that you broke up
12 this clause here, the wherein clause because of
13 the comma; correct?

14 A. Well, you know what -- yes, I did.

15 Q. Okay. Now, there's a comma there
16 in the first paragraph on the context component;
17 correct?

18 A. That's correct.

19 Q. And there's like another comma
20 right here, second comma in the context
21 component as well?

22 A. That's correct.

23 Q. You didn't break those out, did
24 you?

1 A. Well, actually if you could look
2 at my claim chart, I did break --

3 Q. Doctor, the claim charts are not
4 into evidence. I don't want to talk to this.

5 You didn't break those into
6 separate elements, did you?

7 A. Well, I -- this was presented to
8 me during the deposition because you're talking
9 about my claim charts. And my claim charts do
10 break up all the elements in much the same way
11 that they're talking about right now.

12 Q. You can take down that.

13 All right. Dr. Schwartz -- I
14 mean, Dr. Greenberg, let's go back to Swartz.

15 A. Okay.

16 Q. Now, Swartz is a middleware
17 product; correct?

18 A. Swartz is a product that's
19 primarily middleware, but also interacts with --
20 through the applications with an API.

21 Q. And the middleware sits between
22 two applications; correct?

23 A. Middleware generally is described
24 as a software that interacts with other

1 software. It does sit between things. Yes.

2 Q. And I believe you showed Figure 2A
3 in your demonstrative slide. Do you have his
4 demonstrative?

5 This figure here.

6 A. That's correct.

7 Q. Now, this is -- the DataDocket is
8 actually Swartz; correct?

9 A. It -- well, Swartz is interacting
10 with the other -- with the applications.

11 Q. And these are third-party
12 applications; right?

13 A. In -- yes, but there is an API
14 that DataDocket uses to communicate with those.

15 Q. I understand. But these are --
16 this could be, for example, Microsoft Word?

17 A. Well, they're much -- Swartz looks
18 at much broader things, but it's a system.

19 Q. Yeah.

20 A. It's a system.

21 Q. It's third parties?

22 A. Yes.

23 Q. Now, you stated the tracking
24 component would reside within Swartz; is that

1 correct?

2 A. The tracking component resides in
3 the DataDocket Software, which has an API that
4 communicates through all these systems. That's
5 actually also indicated in Swartz.

6 Q. And where is the context component
7 in Swartz, did you say?

8 A. The context component is some of
9 the software that resides on the DataDocket
10 software.

11 Q. So --

12 A. Again, that interacts with an API.
13 Swartz specifically discloses an API that talks
14 with the systems.

15 Q. So, in your opinion, these
16 third-party systems somehow interact and perform
17 the functions of the '761?

18 A. Yes, it's not somehow. It does.
19 It's -- Swartz, it actually describes how it has
20 an API that talks to these third-party systems.

21 This is a standard on the client
22 server type of architecture, so...

23 Q. And this document, this system,
24 the Swartz system, this doesn't rely on the

1 internet, either, does it?

2 A. Let me try to recall. Can I just
3 do a quick check to my report?

4 Q. If you need to.

5 A. Okay. Thank you.

6 Swartz actually has web-based
7 capabilities and I believe I showed that on --

8 Q. I understand it's web based, but I
9 think we're cross talking again.

10 A. Okay.

11 Q. You don't need to be on the
12 internet to have Swartz working; correct?

13 A. Certain parts of Swartz, you don't
14 have to be on the internet. I think that's fair
15 to say.

16 But other parts do allow you to be
17 on the internet. It discloses what is
18 interacting.

19 Q. I understand. I understand.

20 Now, if you go to Figure 11 of the
21 document, once again, Swartz organizes the data
22 in these tree structures and files them in
23 folders; correct?

24 A. On this figure, it does.

1 Q. Okay. And if you go to -- you
2 mentioned the indexing of Claim 21 and Claim 11.
3 I believe it was in Swartz; correct?

4 And you used Column 3, and you
5 cited Line 6 to 69.

6 Let's go right up here.

7 A. I see that. Yes.

8 Q. This was the part you cited
9 towards -- for the indexing portion of Swartz
10 for the claims; right?

11 A. That's correct.

12 Q. Okay. And the indexing in this
13 particular instance, is not really talking about
14 Swartz at all, is it?

15 A. Well, it's part of the background
16 to Swartz. It talks about all the capabilities
17 that a system like this should have.

18 Q. And actually if you go back to the
19 previous column in Column 2, it's actually
20 talking about another product right down here;
21 correct? It's a continuation?

22 A. Well, in this case.

23 Q. It's FileNet's Foundation. This
24 was a different system that we're talking about

1 index; right, FileNet's Foundation?

2 A. Yes.

3 Q. Not the Swartz system itself;
4 right?

5 A. Correct. The defining is defining
6 the context of this. But indexing is a standard
7 term known to those in the art.

8 Q. But what I am saying, what you
9 relied upon in your opinion is talking about the
10 FileNet's paper, not the Swartz reference, not
11 the Swartz disclosure or --

12 THE COURT: Ms. Keefe.

13 MS. KEEFE: I just want to insert
14 an objection. Please let him answer the
15 question instead of talking over him so many
16 times.

17 THE COURT: Sustained. But let's
18 let him answer this question if he knows what
19 the question is.

20 THE WITNESS: Okay. So, yes, it
21 was introducing the context of this, but it's
22 talking about indexing in a way that's well
23 known to those of ordinary skill in the art.

24 It's talking about database. This

1 is really standard stuff that any second year
2 student would know. It was nothing surprising
3 here.

4 Q. And that's kind of your take on
5 the entire patent. There's nothing surprising
6 about this patent at all, the '761 patent;
7 right?

8 A. Oh, I didn't say that. You know,
9 there is things in the '761 that would be
10 surprising if it was in fact new.

11 Q. All right. Let's go to Hubert
12 real quick.

13 Go to DTX 604.

14 A. Okay.

15 Q. Dr. Greenberg, you're testifying
16 that something called a meta-document is the
17 same thing as the '761 patented technology;
18 correct?

19 A. What I'm saying -- what I said was
20 that the ideas disclosed in this patent
21 discloses the ideas in the '761 patent.

22 Q. And if you go to the figure in
23 this -- I'm sorry. Go back to the previous.
24 It's Figure 2.

1 Page 9 of the document. So this
2 is the meta-document right here; correct?

3 A. It's that inter-component of a
4 source or environment.

5 Q. And so this document travels from
6 source to source to source; correct?

7 A. The meta-document travels from
8 source to source, which contains a document plus
9 metadata plus processing information, which is
10 another type of metadata.

11 Q. And in your opinion, as you sit
12 here today, you believe that that's somehow
13 tracking users on a system? That's your
14 opinion; correct?

15 A. Yes, it is.

16 Q. And the storage component of this
17 system is where?

18 A. Well, there's -- there's a few
19 storage components. There's the storage
20 component on the meta-document itself and
21 there's -- because meta-document is stored and
22 there was a section in Hubert that talks about
23 that.

24 And as well as part of this

1 pollination that I mentioned.

2 Q. I understand. I don't mean to
3 interrupt you. If you just give me where it is
4 in simple terms.

5 THE COURT: You did interrupt him.
6 Let's let him answer the question.

7 THE WITNESS: So what -- a
8 meta-document stores the information. So it's
9 stored on the particular source that it happens
10 to reside on.

11 There's also another storage
12 that's part of this pollination that happens.
13 So as the meta-document travels around, it
14 actually deposits some of the knowledge in
15 those.

16 So the storage can be all
17 throughout the system only if the meta-document,
18 it arrives there.

19 Q. And it's your opinion that in a
20 meta-document is the same type of system in the
21 '761 patent?

22 A. Well, as I mentioned, my opinion
23 is that there's concepts disclosed by Hubert
24 that disclose the same concepts in the '761

1 patent. You know, there's parts of Hubert that
2 are different. But the ideas there are
3 disclosed.

4 Q. The ideas there are disclosed.

5 You also mentioned -- you can take
6 that down -- that you believe the patent is
7 obvious; correct?

8 A. That is correct.

9 Q. And you said basically in these
10 references to be combined in any way to cover
11 whatever elements to make it obvious; correct?

12 A. That's correct.

13 Q. You didn't go through and actually
14 say this part of this reference and that part of
15 that reference would make it obvious; correct?

16 A. No, I did not. Although here we
17 are only talking about those three references.
18 We're not talking about Ausems.

19 With Ausems, I did say where it
20 would be combined.

21 Q. You also gave an opinion, Dr.
22 Greenberg, that the provisional patent did not
23 disclose the '761; is that correct?

24 A. That's correct.

1 Q. So --

2 A. Sorry. That it did not disclose
3 certain elements of the '761.

4 Q. So your opinion is that a document
5 management system, a middleware product or
6 meta-document does disclose everything the
7 actual source code that the inventors used to
8 make their product and they put into the
9 provisional did not disclose all the elements;
10 correct?

11 A. Well, there's several questions
12 there. Should I take them -- I'll try.

13 Q. Well, let me just give you a
14 conclusion. It's your opinion that the codes in
15 the back of the provisional application did not
16 disclose the invention of the '761 patent;
17 correct?

18 A. No. My opinion was that it did
19 not disclose the elements of the asserted
20 claims. There are parts of that disclosure that
21 talk about other parts of the patent, the '761
22 patent.

23 In fact, in other claims that
24 aren't to my understanding being asserted in

1 this case, that are there, but not in the
2 asserted claims. That's what I'm saying. It's
3 quite a different thing.

4 Q. Right. And you stated that in
5 your presentation that there was no mention of
6 context data in the provisional application;
7 correct?

8 A. There's no mention of context
9 information. There is no mention of a context
10 data itself in terms of that phrase.

11 Q. You're drawing a distinction
12 between context information and context data?

13 A. No, the main thing I'm saying is
14 that there's no context component and there's no
15 tracking component. I think when I was showing
16 those words, I actually said, Here's the words
17 that don't actually appear, but the main
18 argument throughout was that there's no context
19 component. There's no tracking component in the
20 way that's used in the asserted elements.

21 Q. You also mentioned the word
22 metadata doesn't appear?

23 A. I said it appears once in the
24 background.

1 Q. And that meant something to you
2 regarding the provisional; correct?

3 A. That's correct.

4 Q. But when -- the words metadata
5 didn't appear in iManage and it wasn't a
6 problem, was it?

7 A. Well, iManage has distinctly
8 talked about history record.

9 Q. Mm-hmm.

10 A. It talks about profiles. It talks
11 about all these things, which is really data
12 about data.

13 So in there they use different
14 language because -- as user language. They are
15 not using jargon, technical jargon.

16 So they use every day language,
17 but or more something more akin to every day
18 language as you can get in computer system.

19 But so certainly they're talking
20 about data about data. So it's metadata.
21 That's the definition of it.

22 Q. And if we go to the summary of the
23 invention of the provisional application.

24 On page -- this is PTX 3 -- Page

1 5. When it talks about in the first, in
2 paragraph 13, it is an objection of the
3 invention to provide a communication tool that
4 seamlessly facilitates comments, compiles, and
5 distributes communication data?

6 A. Yes, I see that.

7 Q. You wouldn't consider that
8 metadata?

9 A. It just says communication data,
10 that's the data, I don't see where the metadata
11 is in that.

12 Q. Really, it's your opinion that
13 wouldn't be talking about data about data?

14 A. Where is data about data? It says
15 communication data, so if I'm sending, for
16 example, a document, that's the data. It
17 doesn't say anything about metadata in there to
18 me.

19 Q. Go down to paragraph 16, where it
20 says it is still a further object of the
21 invention to provide a communication tool that
22 automatically stores contextual information
23 relating to an item of communication and
24 utilizes that contextual in performance of

1 communication tasks?

2 A. I see that.

3 Q. It's your understanding that the
4 contextual information is not context data?

5 A. Well, I didn't say that. What I
6 said, in fact, was that a board actually
7 contains -- I can't actually recall how I
8 defined it on my slide, but the board would
9 contain that kind of data, but it's not done in
10 the way that's described in the asserted claims,
11 elements of the asserted claims.

12 Q. In your slide you said there is no
13 mention of context data. You don't think that's
14 a mention of context data?

15 A. What I said in my slide, and
16 remember that slide said at a face value here is
17 what we see, that these words are not there, and
18 then I went to talk about the particular ideas,
19 particular context component and tracking
20 component, just to clarify. I just want to
21 clarify.

22 Q. Sure. I want your clearest
23 testimony.

24 And then go to the next page,

1 paragraph 22. The last sentence of that
2 paragraph, as users create and change their
3 contexts, going from one context to another;
4 right?

5 A. So --

6 Q. I want to make sure, we seem to be
7 talking past each other. I just want to get
8 your understanding. As users create and change
9 their contexts, they're going from one context
10 to another, right? They're changing the
11 context. Do you agree with that?

12 A. Uh-huh.

13 Q. They're going from one to the
14 other, they're moving the files and applications
15 automatically follow, you got that?

16 A. Uh-huh.

17 Q. They're being tracked, they're
18 being followed, dynamically capturing those in
19 context, do you see that?

20 A. I see that, but I don't agree with
21 that.

22 Q. You don't agree that the words say
23 that?

24 A. No. You said tracking. Remember,

1 I showed --

2 Q. I understand you don't agree?

3 THE COURT: Mr. Andre, let him
4 answer the question.

5 THE WITNESS: I actually showed
6 this, this phrase to the jury when I was talking
7 about how the system presents boards and then
8 relationships between boards and the workflow.
9 That's -- and then I showed in the code where
10 this is specified manually.

11 So this is kind of what happened,
12 what people do with that afterwards. So you
13 have a workflow, essentially here is a procedure
14 that you can follow. And that's what I think
15 this thing is saying is that as you follow that
16 procedure, this will happen.

17 But these relationships were not
18 done by tracking people. As I said, there is
19 nothing about tracking people in this or
20 capturing the context as they're doing it, this
21 is an after-the-fact thing.

22 MR. ANDRE: I have no further
23 questions, Your Honor.

24 THE WITNESS: Thank you.

1 MR. ANDRE: Your Honor, may I have
2 a side-bar?

3 THE COURT: Yes.

4 (Side-bar discussion.)

5 MR. ANDRE: Your Honor, I just
6 would like to make an offer of proof regarding
7 the Swartz reference that the substance, purpose
8 and relevance of the following testimony will
9 make clear on the record we expected if
10 permitted to cross-examine Dr. Greenberg would
11 have established the testimony of Facebook's
12 expert that this same examiner who appeared on
13 the face of the '761 also appeared on the Swartz
14 reference. We believe this is relevant because
15 they are going to put into evidence and put it
16 in front of the jury and show the jury the face
17 of the patent on multiple occasions. We did in
18 our request on Friday say that patent office
19 considered that reference. We state that the
20 examiner would likely be aware of the reference.

21 We think that the testimony would
22 provide the jury with valuable information
23 regarding what was actually the process in the
24 patent office and the fact of the matter is that

1 information is factually based, put into
2 evidence by Facebook in this case.

3 THE COURT: Okay.

4 MS. KEEFE: Do you want me to
5 respond?

6 THE COURT: Only if you feel you
7 have to. I have ready already made my ruling.

8 MS. KEEFE: I agree.

9 THE COURT: Fine. Thank you.

10 (End of side-bar.)

11 THE COURT: Redirect.

12 MS. KEEFE: Just two small things,
13 Your Honor.

14 BY MS. KEEFE:

15 Q. Dr. Greenberg, do you have a copy
16 of your report there in front of you?

17 A. Yes, I do.

18 Q. I believe Mr. Andre was asking you
19 whether or not you had actually opined about
20 whether the iManage reference manual was
21 enabling; is that correct?

22 A. Yes, he did.

23 Q. Could I turn your attention to
24 paragraph 48.

1 A. Sorry. Are we looking at my
2 report.

3 Q. I'm sorry. Paragraph 48 of your
4 report.

5 A. Okay.

6 Q. And did you, in fact, express an
7 opinion regarding the enablement of the iManage
8 reference?

9 A. Yes, I did. And thanks for
10 reminding me. It's been a while since I wrote
11 this.

12 MR. ANDRE: Objection, Your Honor.
13 Hearsay.

14 MS. KEEFE: He opened the door,
15 Your Honor.

16 THE COURT: Overruled.

17 A. Paragraph 48, I say it is my
18 opinion that iManage user manual and the system
19 that it describes invalidates every asserted
20 claim of the '761 patent.

21 Q. And regarding --

22 MR. ANDRE: Objection, Your Honor.
23 Move to strike. That's not what was asked.

24 MS. KEEFE: I agree.

1 THE COURT: I'm not going to
2 strike it, but let's move on. I'm overruling
3 the motion, or denying the motion to strike.

4 MS. KEEFE: Thank you.

5 BY MS. KEEFE:

6 Q. Also with respect to the iManage
7 DeskSite user reference manual, Dr. Greenberg,
8 when you were writing your report, did the copy
9 of the manual that you were using contain a
10 confidentiality designation?

11 A. No. I have it right in front of
12 me, this is an exact copy used, and it did not
13 have that confidentiality designation.

14 MS. KEEFE: Your Honor, at this
15 time we would move into evidence Exhibit 925E.

16 MR. ANDRE: Objection, Your Honor.
17 This is not the document that he has testified
18 to.

19 THE COURT: I'm overruling the
20 objection. It's admitted.

21 MS. KEEFE: Thank you, Your Honor.

22 Nothing further, Dr. Greenberg.

23 Thank you.

24 THE WITNESS: Thank you very much.

1 THE COURT: Thank you, Professor.

2 THE WITNESS: Thank you.

3 MS. KEEFE: We're about to finish
4 up. At this time Facebook rest its case on
5 invalidity.

6 THE COURT: Okay. Thank you.

7 MR. ANDRE: Your Honor, we would
8 like to do some housekeeping matters at this
9 point. I don't know if it's appropriate to have
10 the jury step out.

11 THE COURT: We can go to the
12 side-bar.

13 MR. ANDRE: It will be a pretty
14 long one. If we can do it at side-bar --

15 THE COURT: And without telling me
16 in front of the jury what the housekeeping is,
17 it's something that needs to be done now I take
18 it?

19 MR. ANDRE: It is. It's
20 essential.

21 THE COURT: Okay. Well, let's
22 start at side-bar and if it's going to take too
23 long, we'll excuse the jury. Let's see if we
24 can get it done.

1 (Discussion at side-bar:)

2 THE COURT: You're here to make a
3 motion.

4 MR. ANDRE: I'm here to make a
5 motion. It's on behalf of Leader Technologies.
6 On behalf of Leader Technologies, we move for
7 judgment as a matter of law with respect to a
8 number of issues presented in the case.

9 THE COURT: As I did with
10 Mr. Rhodes, I'm not going -- I'm going to be
11 reserving judgement on this. Other than just
12 identifying what the issues are, do you feel to
13 you need to make a record at this time?

14 MR. ANDRE: We do, Your Honor. We
15 believe that with the uncertain flux of the
16 appellant courts, I just don't feel comfortable
17 not making a complete record on it. We do have
18 a script to read through each of the claims.
19 It's go to take some time to go through what we
20 believe is the proper procedure.

21 THE COURT: And then you'll recall
22 Dr. Herbsleb?

23 MR. ANDRE: And Dr. Herbsleb will
24 be our last witness.

1 THE COURT: He's going to be
2 approximately how long?

3 MR. ANDRE: Hour, hour-and-a-half.

4 MR. RHODES: May I speak, Your
5 Honor?

6 THE COURT: Yes.

7 MR. RHODES: I don't fundamentally
8 agree with Mr. Andre. There is some confusion
9 at least in my mind, I'm a trial lawyer, not an
10 appellant lawyer. There are some issues in the
11 record. What I would propose for the record, we
12 would want to do the same thing at the same
13 time, but we have more records. Perhaps you
14 could let the jury out and we could each read it
15 in and then we're done.

16 THE COURT: I'll give them their
17 break early.

18 MR. ANDRE: I just know that we
19 want to put it on the record before we begin our
20 rebuttal case.

21 THE COURT: I understand.

22 (End of side-bar discussion.)

23 THE COURT: Ladies and gentlemen,
24 there are some matters that I need to discuss

1 with the lawyers and they are going to take more
2 than just a couple of minutes, so we're going to
3 give you your break early this morning and we'll
4 hope to have you back in about fifteen minutes.
5 But rest assured we'll have you back just as
6 soon as we can.

7 THE CLERK: All rise.

8 (Jury leaving the courtroom at
9 10:14 a.m.)

10 THE COURT: You can be seated.

11 Mr. Andre, come forward and make
12 your motion.

13 MR. ANDRE: Thank you, Your Honor.
14 On behalf of Leader Technologies, we move for
15 judgment as a matter of law with respect to a
16 number of issues presented.

17 On Facebook's claims. Number one,
18 judgment as a matter of law that the asserted
19 claims of U.S. Patent Number 7,139,761 were not
20 anticipated by prior art and are therefore not
21 invalid for that reason.

22 Number two, judgment as a matter
23 of law that the asserted claims of U.S. Patent
24 Number 7,139,761 are not obvious in light of the

1 prior art and are therefore not invalid for that
2 reason.

3 Number three, judgment as a matter
4 of law that the invention covered by any of the
5 asserted claims of U.S. Patent Number 7,139,761
6 was not in public use or on sale by Leader
7 Technologies more than one year prior to the
8 effective filing date and the asserted claims of
9 U.S. Patent Number 7,139,761 are therefore not
10 invalid for that reason.

11 Number four, judgment as a matter
12 of law that Facebook has no defense to
13 infringing the asserted claims of U.S. Patent
14 Number 7,139,761 under the Doctrine of
15 Equivalents, including but not limited to, that
16 Facebook has not demonstrated that infringement
17 under the Doctrine of Equivalents results in the
18 asserted claims ensnaring the prior art, as
19 Facebook has failed to provide a hypothetical
20 claim as required to prove ensnarement.

21 Number five, judgement as a matter
22 of law that the U.S. Provisional Patent
23 Application 60/432,255 supports the asserted
24 claims of the U.S. Patent Number 7,139,761 and

1 U.S. Patent Number 7,139,761 Patent properly
2 relies on the December 11th, 2002 priority date
3 of that provisional application.

4 On Leader's claims. Number one,
5 judgment as a matter of law that Facebook
6 literally infringes Claim 1 of United States
7 Patent Number 7,139,761 in violation of 35
8 U.S.C. Sections 271(a), (b), and/or (c).

9 Number two, judgment as a matter
10 of law that Facebook infringes under the
11 Doctrine of Equivalents Claim 1 of U.S. Patent
12 Number 7,139,761 in violation of 35 U.S.C.
13 Sections 271 at (a), (b) and/or (c).

14 Number three, judgment as a matter
15 of law that Facebook literally infringes Claim 4
16 of U.S. Patent Number 7,139,761 in violation of
17 35 U.S.C. Sections 271(a), (b) and/or (c).

18 Number four, judgment as a matter
19 of law that Facebook infringes under the
20 Doctrine of Equivalents Claim 4 of U.S. Patent
21 Number 7,139,761 in violation of 35 U.S.C.
22 Sections 271(a), (b) and/or (c).

23 Number five, judgment as a matter
24 of law that Facebook literally infringes Claim 7

1 of U.S. Patent Number 7,139,761 in violation of
2 35 U.S.C. Sections 271(a), (b) and/or (c).

3 Number six, judgment as a matter
4 of law that Facebook infringes under the
5 Doctrine of Equivalents Claim 7 of U.S. Patent
6 Number 7,139,761 in violation of 35 U.S.C.
7 Sections 271(a), (b) and/or (c).

8 Number seven, judgment as a matter
9 of law that Facebook literally infringes Claim 9
10 of U.S. Patent Number 7,139,761 in violation of
11 35 U.S.C. Sections 271(a), (b) and/or (c).

12 Number eight, judgment as a matter
13 of law that Facebook infringes under the
14 Doctrine of Equivalents Claim 9 of U.S. Patent
15 Number 7,139,761 in violation of 35 U.S.C.
16 Sections 271 (a), (b) and/or (c).

17 Number nine, Facebook as a matter
18 of law -- strike that.

19 Number nine, judgment as a matter
20 of law that Facebook literally infringes Claim
21 11 of U.S. Patent Number 7,139,761 in violation
22 of 35 U.S.C. Sections 271(a), (b) and/or (c).

23 Number ten, judgment as a matter
24 of law that Facebook infringes under the

1 Doctrine of Equivalents Claim 11 of U.S. Patent
2 Number 7,139,761 in violation of 35 U.S.C.
3 Sections 271(a), (b) and/or (c).

4 Number eleven, judgment as a
5 matter of law that Facebook clearly infringes
6 Claim 16 of U.S. Patent Number 7,139,761 in
7 violation of 35 U.S.C. Sections 271(a), (b)
8 and/or (c).

9 Number twelve, judgment as a
10 matter of law that Facebook infringes under the
11 Doctrine of Equivalents Claim 16 of U.S. Patent
12 Number 7,139,761 in violation of 35 U.S.C.
13 Sections 271(a), (b) and/or (c).

14 Number thirteen, judgment as a
15 matter of law that Facebook literally infringes
16 Claim 21 of U.S. Patent Number 7,139,761 in
17 violation of 35 U.S.C. Sections 271(a), (b)
18 and/or (c).

19 Number fourteen, judgment as a
20 matter of law that Facebook infringes under the
21 Doctrine of Equivalents Claim 21 of U.S. Patent
22 Number 7,139,761 in violation of 35 U.S.C.
23 Sections 271(a), (b) and/or (c).

24 Number fifteen, judgment as a

1 matter of law that Facebook literally infringes
2 Claim 23 of U.S. Patent Number 7,139,761 in
3 violation of 35 U.S.C. Sections 271(a), (b)
4 and/or (c).

5 Number sixteen, judgment as a
6 matter of law that Facebook infringes under the
7 Doctrine of Equivalents Claim 23 of U.S. Patent
8 Number 7,139,761 in violation of 35 U.S.C.
9 Sections 271(a), (b) and/or (c).

10 Number seventeen, judgment as a
11 matter of law that Facebook literally infringes
12 Claim 25 of U.S. Patent Number 7,139,761 in
13 violation of 35 U.S.C. Sections 271(a), (b)
14 and/or (c).

15 Number eighteen, judgment as a
16 matter of law that Facebook infringes under the
17 Doctrine of Equivalents Claim 25 of U.S. Patent
18 Number 7,139,761 in violation of 35 U.S.C.
19 Sections 271(a), (b) and/or (c).

20 Number nineteen, judgment as a
21 matter of law that Facebook literally infringes
22 Claim 31 of U.S. Patent Number 7,139,761 in
23 violation of 35 U.S.C. Sections 271(a), (b)
24 and/or (c).

1 Number twenty, judgment as a
2 matter of law that Facebook infringes under the
3 Doctrine of Equivalents Claim 31 of U.S. Patent
4 Number 7,139,761 in violation of 35 U.S.C.
5 Sections 271(a), (b) and/or (c).

6 Number twenty-one, judgment as a
7 matter of law that Facebook literally infringes
8 Claim 32 of U.S. Patent Number 7,139,761 in
9 violation of 35 U.S.C. Sections 271(a), (b)
10 and/or (c).

11 Number twenty-two, judgment as a
12 matter of law that Facebook infringes under the
13 Doctrine of Equivalents Claim 32 of U.S. Patent
14 Number 7,139,761 in violation of U.S.C. Sections
15 271(a), (b) and/or (c).

16 I have completed my motion, Your
17 Honor.

18 THE COURT: Okay. I'm going to be
19 reserving judgment on those motions.

20 Is there anything that Facebook
21 would like to say at this time?

22 MR. RHODES: Yes, Your Honor.

23 MR. WEINSTEIN: We also have quite
24 a few more motions, but we were going to go into

1 quite a bit more detail than they were and I
2 realize that -- I don't want to be Jimmy Stewart
3 and Mr. Weinstein goes to Wilmington here, but
4 it will take about thirty-five to forty minutes
5 to read this into the record.

6 THE COURT: Thirty-five to forty
7 minutes?

8 MR. WEINSTEIN: Yes, Your Honor.
9 If Your Honor would like I could outline them
10 and file a written submission that would be
11 deemed submitted at the close of all evidence.

12 THE COURT: That's certainly
13 preferable to making the jury wait for forty
14 more minutes.

15 MR. WEINSTEIN: That's what I
16 thought, Your Honor.

17 THE COURT: So give me the five-
18 to ten-minute version and then we'll deem your
19 written filings submitted as of this point in
20 the presentation.

21 MR. WEINSTEIN: Thank you, Your
22 Honor.

23 Pursuant to Rule 50(a) for the
24 Federal Rules of Civil Procedure, Facebook moves

1 for a judgment as a matter of law as to Leader's
2 first cause of action for infringement of United
3 States Patent Number 7,139,761 and with respect
4 to all asserted claims which include Claims 1,
5 4, 7, 9, 11, 16, 21, 23, 25, 31, and 32. Any
6 reference to these claims shall be referred to
7 as the asserted claims, the claims asserted, or
8 any other variant intended to refer only to
9 those claims that I just mentioned.

10 Initially Facebook seeks judgment
11 as a matter of law with respect to all the other
12 claims on which no evidence was presented at
13 trial which includes Claims 2, 3, 5, 6, 8, 10,
14 12, 13, 14, 15, 17, 18, 19, 20, 22, 24, 26
15 through 30 and 33 through 35 which includes
16 several claims that were previously asserted in
17 this case, but abandoned during discovery and
18 expert discovery.

19 No reasonable jury could find
20 infringement under any of these claims literally
21 or under the Doctrine of Equivalents through any
22 theory based on direct, induced and/or
23 contributory infringement.

24 Facebook seeks judgment as a

1 matter of law of noninfringement on the grounds
2 that Leader has presented no legally sufficient
3 evidentiary basis from which a reasonable jury
4 can find that Facebook exercises direction or
5 control over any user with respect to claim
6 elements that user must satisfy, or claim step
7 that user must perform, as required by the
8 Muniauction and BMC decisions. Each of these
9 independent claims contain at least one claim
10 step or claim element that requires user
11 involvement to satisfy all elements of such
12 claim. I'll detail this more in our written
13 submissions, the specific basis and more of the
14 evidence on which this particular motion is
15 based, Your Honor.

16 Facebook also seeks judgement as a
17 matter of law with respect to Leader's claim for
18 direct patent infringement on the ground that
19 Leader has presented no legally sufficient
20 evidentiary basis from which a reasonable jury
21 could find that Facebook performs each and every
22 element of any asserted claim, literally or
23 under the Doctrine of Equivalents, under the
24 claims as properly construed.

1 There are additional elements that
2 apply to Leader's claims for induced and
3 contributory infringement which I will address
4 separately.

5 With respect to the direct
6 infringement claims, each claim includes either
7 a tracking component of the number, for tracking
8 a change of the user from the first context to a
9 second context and dynamically updating the
10 stored metadata based on the change, wherein the
11 user accesses data from the second context in
12 all four independent claims and I will deal with
13 those claims as set forth in the written
14 submissions.

15 Judge Farnan finds dynamically as
16 automatically in response to preceding event.
17 Judge Farnan's claim construction order, docket
18 entry number 200 further clarified in the
19 preceding event for purposes of clarification of
20 these claims is the user movement from the first
21 context workspace or environment to a second
22 context workspace or environment. With respect
23 to this element, no evidentiary basis was
24 presented at trial whatsoever to establish this,

1 so infringement can not be established either
2 literally under the Doctrine of Equivalents.

3 Leader has presented no -- Leader
4 has not presented any legally sufficient
5 evidentiary basis from which a reasonable jury
6 could find that the elements of dynamically
7 updating, dynamically associating, or
8 dynamically storing information in the metadata
9 in the second context, environment or workspace
10 are satisfied. And I'll go into more detail in
11 the written submissions with respect to the
12 basis in evidence on which that motion is based,
13 Your Honor.

14 With respect to each of the
15 asserted claims, independent claims, Your Honor,
16 they include additional limitations as well.
17 Facebook, Leader has failed to show legally
18 sufficient evidentiary basis from which a
19 reasonable jury could find that the stored
20 metadata or that metadata is updated, modified,
21 changed, or affected in any way whatsoever let
22 alone based on a change or movement of the user
23 from a first context to a second context,
24 workspace or environment.

1 I will detail the basis of that in
2 the written submission, Your Honor.

3 With respect to the other
4 elements, computer-implemented context component
5 of the network-based system for capturing
6 context information associated with user-defined
7 data created by user interaction of a user in a
8 first context of the network-based system, the
9 context component dynamically storing the
10 context information in metadata associated with
11 the user-defined data, the user-defined data and
12 metadata stored on a storage component of the
13 network-based system.

14 In other claims which I will
15 detail in the written submission, Leader has
16 failed to present a legally sufficient
17 evidentiary basis from which a reasonable jury
18 could find that each aspect of these claims have
19 been satisfied. There has been no evidence
20 submitted as to the creation of user-defined or
21 user-created data in the first context,
22 environment or workspace.

23 Leader has failed to show
24 infringement of any sort of claim of the patent,

1 no reasonable evidentiary basis has been put
2 forth as to any claim of literal infringement as
3 it requires that each and every element of the
4 claim be met by the accused system. Therefore,
5 it cannot be established.

6 With respect to Doctrine of
7 Equivalents, Your Honor, Leader has presented no
8 legally sufficient evidentiary basis for a
9 reasonable jury to find that Facebook infringes
10 any claim under the Doctrine of Equivalents,
11 which requires Leader to show that the
12 differences between that accused product and the
13 allegedly equivalent claim limitations are
14 insubstantial to one of ordinary skill in the
15 art, or that the accused product performs
16 substantially the same function, in
17 substantially the same way to achieve
18 substantially the same result as the claim
19 element. That's DeMartini Sports at 239 Fed
20 3rd, 1314.

21 The evidence presented at trial
22 established no case of Doctrine of Equivalents,
23 no -- I apologize, Your Honor -- evidence in
24 argument of Doctrine of Equivalents was merely

1 subsumed in the literal infringement analysis
2 contrary to PC Connector Solutions LLC at 406
3 Federal 3rd 1359. No differences or a single
4 cause of limitations were identified in a
5 Doctrine of Equivalents analysis at trial.

6 No particularized testimony or
7 linking argument was also provided by Dr. Vigna
8 as to either the insubstantiality of differences
9 or with respect to the function, way and result
10 test as required by Motionless Keyboard versus
11 Microsoft 486 Federal 3rd 1376.

12 With respect to the testimony of
13 Doctrine of Equivalents, to the extent any was
14 given it was tied only to the independent claims
15 and not the dependent claims. There is no
16 legally sufficient evidence presented with
17 respect to the asserted dependent claims
18 whatsoever. No reasonable jury could find for
19 Leader on those claims with respect to the
20 Doctrine of Equivalents.

21 With respect to the Doctrine of
22 Equivalents, Federal Circuit law is clear that
23 may not be employed in a manner the wholly
24 violates a claim limitation. Under Scimed Life

1 Systems, 242 Federal 3rd 1337. The elements
2 missing from the Facebook site cannot be found
3 by equivalent because they are entirely absent.

4 Additionally with respect to the
5 Doctrine of Equivalents, the claim is barred by
6 the doctrine of prosecution history estoppel
7 under Festo at 535 U.S. 722, precludes Doctrine
8 of Equivalents to any claim.

9 The doctrine likewise cannot be
10 applied in a manner suggested by Leader because
11 to do so would ensnare the prior art as
12 explained in the testimony of Professor Kearns.

13 With respect to the inducement
14 claim, which was covered by 35 U.S.C. 271(b),
15 required for a claim of inducement have not been
16 established. These include Facebook knowing of
17 the '761 patent, Facebook's evidence of specific
18 intent, specific intent to induce infringement
19 of any claim. There was failure to present
20 evidence of third parties having directly
21 infringed any claim of the '761 patent, a
22 necessary prerequisite for a claim of inducement
23 under 271(b), under DSU Medical at 471 Federal
24 3rd at 1293.

1 With respect to contributory
2 infringement as governed by 35 U.S.C. 271(c),
3 multiple elements have not been established by
4 the trial evidence. As with the indirect
5 infringement claim, no legally sufficient
6 evidence was presented as to any direct
7 infringement by any third party, a necessary
8 prerequisite to a claim of indirect infringement
9 including contributory infringement under
10 271(c), no third party allegedly infringing has
11 been identified, let alone the manner in which
12 such third party alleged infringement takes
13 place. And no element-by-element analysis has
14 been provided with respect to any third party's
15 performance.

16 THE COURT: Mr. Weinstein, how
17 much more do you think you have?

18 MR. WEINSTEIN: About -- I'm about
19 two-thirds through it. If you would like me to,
20 I can just do this all in a written submission,
21 Your Honor, that would make it easier for you.

22 THE COURT: I'm fine with you just
23 listing for us if there are additional motions.

24 MR. WEINSTEIN: I can do that,

1 Your Honor. I just want to make sure in case I
2 misread one of them. As I understand the rule,
3 as long as I get this in before the submission
4 of the case to the jury, I'm okay.

5 THE COURT: I'm not sure. But
6 we're going to deem -- we've already agreed to
7 deem submitted your written submission at this
8 point in the trial and I do want to bring the
9 jury in in just a couple of minutes.

10 MR. WEINSTEIN: I'll conclude very
11 quickly.

12 With respect to the on sale bar,
13 and the effective filing date, there is no
14 legally sufficient evidentiary basis for a
15 reasonable jury to find or a reasonable jury to
16 refuse to find that the '761 patent is entitled
17 to the filing date of the provisional
18 application.

19 A reasonable jury also could not
20 fail to find that the '761 patent is not
21 entitled to the patent date, regardless which
22 way the burden is, judgment as a matter of law
23 is warranted with respect to the on sale bar,
24 the invention must be the subject of the

1 commercial sale or offered for sale, no jury
2 could fail to find that both these elements were
3 satisfied based on the trial evidence.

4 No reasonable jury could fail to
5 find that the Leader2Leader product embodied the
6 asserted claims of the '761 patent for the
7 reasons discussed in the trial evidence.

8 No reasonable jury could fail to
9 find that Leader2Leader was subject to at least
10 three commercial offers for sale, including to
11 The Limited, Boston Scientific and Wright
12 Patterson Air Force Base, to whom Leader made
13 offers for sale as detailed in the testimony of
14 Mr. McKibben.

15 With respect to anticipation, no
16 reasonable jury could fail to find that U.S.
17 Patent Number 6,236,994 to Swartz, the published
18 European application to Hubert, the issued '349
19 patent to Hubert which contains a disclosure to
20 the European patent and the iManage, each
21 anticipate Claims 1, 4, 7, 9, 11, 21, 23, 25, 31
22 and 32.

23 With respect to Claim 16, it is
24 anticipated by iManage as described by Professor

1 Greenberg. No reasonable jury could fail to
2 find that each of these references qualifies as
3 a printed publication prior art reference that
4 discloses, either expressly or inherently, each
5 element of these asserted claims as explained in
6 the testimony of Dr. Greenberg. No reasonable
7 jury could fail to find that each of these
8 references provides an enabling disclosure
9 because each is either entitled to a presumption
10 of enablement as an issued U.S. patent that has
11 not been rebutted, or because no reasonable jury
12 could fail to find enablement in light of the
13 evidence presented by Dr. Greenberg and other
14 evidence at trial.

15 Facebook's defense of obviousness
16 under the '761 is governed by 35 U.S.C. 103(a)
17 and the Supreme Court's decision in KSR, 550
18 U.S. 398. Factors to consider include the scope
19 and content of the prior art, the differences
20 between the prior art and the claims of the
21 patent, and the level or ordinary skill in the
22 art.

23 I have three paragraphs left, Your
24 Honor.

1 THE COURT: Three paragraphs, one
2 sentence. One more sentence.

3 MR. WEINSTEIN: Can I use
4 semicolons? I'm sorry, Your Honor.

5 Each and every claim of the '761
6 patent is invalid as obvious as detailed in the
7 testimony of Professor Greenberg and no
8 reasonable jury could fail to find as much.

9 And we just want to reserve our
10 right under the IPXL Holdings. I understand
11 Your Honor has reviewed the IPXL ruling.

12 THE COURT: I'm willing to reserve
13 judgment on all of Facebook's motions as I have
14 on Leader's.

15 I do want to give counsel a
16 five-minute break. Is there anything else that
17 needs to be discussed first? Hopefully not.
18 No.

19 We'll see you in five minutes.

20 (A brief recess was taken.)

21 THE CLERK: All rise.

22 THE COURT: Okay. We'll bring the
23 jury in.

24 MR. ANDRE: Your Honor, before the

1 jury comes in, we also -- I think Your Honor
2 also already made this clear. We're going to
3 reserve our right to the file written submission
4 on the Rule 50 motion.

5 THE COURT: That's fine. That
6 right is now reserved --

7 MR. ANDRE: Thank you.

8 THE COURT: -- to the extent, it
9 wasn't earlier.

10 MR. ANDRE: I thought it was, but
11 after that long --

12 THE COURT: That's fine.

13 MR. RHODES: And, Your Honor, at
14 the end of the case, I'm literally just going to
15 say and I reiterate what Mr. Weinstein said and
16 then say no more. I can do it at a side-bar.

17 I don't want to interrupt your
18 flow at the end. So I'll look at you, and all I
19 am going to say is remake the motion again for
20 the reasons stated. That is all I am going to
21 do.

22 THE COURT: I think you will
23 probably be able to do that in front of the
24 jury.

1 MR. ANDRE: We'll do the same
2 thing.

3 THE COURT: Okay.

4 THE CLERK: All rise.

5 (Jury entering the courtroom at
6 10:43 a.m.)

7 THE CLERK: Please be seated.

8 THE COURT: All right. Welcome
9 back.

10 We are finally prepared to proceed
11 again. Again, I've done the work I need to do
12 with the lawyers. Turn it over to Ms. Kobialka.

13 MS. KOBIALKA: Thank you, Your
14 Honor. Thank you.

15 We'd like to call Dr. Herbsleb to
16 the stand.

17 THE COURT: That's fine.

18 MS. KOBIALKA: And at this time,
19 we have some jury binders that we'd like to
20 provide, which include the exhibits that were
21 moved into evidence on Friday, as well as one
22 that we'll be using today.

23 THE COURT: Have you shown the
24 defense that?

1 MS. KEEFE: We have no objection,
2 Your Honor.

3 THE COURT: Fine. You may
4 distribute.

5 THE CLERK: Please state and raise
6 your right hand. State and spell your full name
7 for the record.

8 THE WITNESS: James Herbsleb.
9 J-A-M-E-S H-E-R-B-S-L-E-B.

10 THE CLERK: Do you, James
11 Herbsleb, swear the testimony you're about to
12 give to the Court and the jury will be the
13 truth, the whole truth and nothing but the
14 truth?

15 THE WITNESS: Yes, I do.

16 THE CLERK: Thank you. You may be
17 seated.

18 THE COURT: Good morning.

19 THE WITNESS: Hi.

20 MS. KOBIALKA: I'll note there's
21 actually one really long exhibit that's not
22 included in these jury binders from Friday, but
23 that will be provides one set since it's 13
24 binders long.

1 THE COURT: Oh, okay.

2 MS. KOBIALKA: Thank you very
3 much.

4 BY MS. KOBIALKA:

5 Q. Welcome back, Dr. Herbsleb. It's
6 been about a week.

7 Could you just remind the jurors
8 where you currently are working?

9 A. I'm a professor at Carnegie Mellon
10 University, the School of Computer Science.

11 Q. And just briefly, what were your
12 degrees that you have in research areas?

13 A. So my degrees, I had a bachelor's
14 in psychology in economics. I have a Ph.D. in
15 collaborative social psychology.

16 I have a Master's degree in
17 computer science. And my research area is in
18 collaborative technologies, you know, designing
19 collaborative technologies, understanding how
20 people use them, what problems are solved and
21 not solved by collaborative technologies.

22 Q. And are you here today to provide
23 your opinion with respect to the validity of the
24 asserted claims of the '761 patent?

1 A. Yes. Yes, I am.

2 Q. And are you also here today to
3 provide your opinion with respect to what
4 information is disclosed in the provisional
5 application?

6 A. Yes, that's right.

7 Q. What were you asked to do?

8 A. Basically I was asked to respond
9 to Dr. Greenberg's report.

10 Q. Okay. And if we could maybe take
11 a look at the front of the '761 patent.

12 And if we can blow up the prior
13 art references recited. Is there anything that
14 looks familiar here?

15 A. Yes. I see my old colleague,
16 Randy Hackbarth's name, third from the bottom.
17 Randy Hackbarth and myself and Graham Wills are
18 the inventors on this patent.

19 This was a patent that came out of
20 the days when I was leading the Bell Labs
21 collaborative project. This was one of the
22 patents that came from that.

23 Q. Okay. So you're one of the
24 inventors of the patent?

1 A. That's right. I'm one of the
2 inventors of that patent.

3 MS. KOBIALKA: Okay. At this
4 time, Your Honor, I'd like to tender Dr.
5 Herbsleb as an expert in computer science for
6 his opinions.

7 MS. KEEFE: No objection.

8 THE COURT Ms. Keefe. Okay.

9 BY MS. KOBIALKA:

10 Q. What is your opinion with respect
11 to whether or not the provisional application
12 discloses all the elements of the asserted
13 claims of the '761 patent?

14 A. That -- my opinion is that the
15 provisional application does disclose all of the
16 elements of the asserted claims of the '761
17 patent.

18 Q. We'll go through that in more
19 detail. What is your opinion with respect to
20 whether the asserted claims of the '761 patent
21 is valid in light of the prior art that Dr.
22 Greenberg relied upon?

23 A. All right. My opinion is all
24 those claims are valid in light of the prior art

1 that is in Dr. Greenberg's report.

2 Q. What information did you review in
3 order to come to your opinion?

4 A. Well, I reviewed Dr. Greenberg's
5 report and all of the citations or all of the
6 references cited in his report.

7 I reviewed the '761 patent. I
8 reviewed the claim construction order. I
9 reviewed the prosecution history of the patent.

10 And I think that completes the
11 list.

12 Q. And you reviewed the provisional
13 application?

14 A. Of course, I did review the
15 provisional application.

16 Q. For all of your analysis, did you
17 understand that you needed to identify who
18 constitutes one of ordinary skill in the art as
19 it relates to the '761 patent?

20 A. Yes, I did.

21 Q. Who would that person be?

22 A. Well, it might be one of ordinary
23 skill in the art would be someone with a
24 bachelor's degree in computer science or related

1 field, and/or perhaps several years of
2 experience.

3 Q. And would someone with let's say
4 Master's degree in computer science fit within
5 the scope of one of ordinary skill in the art?

6 A. Sure. I think so.

7 I mean, it's increasingly common
8 for developers in industrial settings to have
9 bachelor's degree. So I don't think that would
10 be unusual.

11 Q. And as you get more advanced in
12 degrees, is it typical to specialize in a
13 certain area?

14 A. Yeah. I think by the time someone
15 is studying for Ph.D., the things that the
16 person is studying for are extremely narrow and
17 aren't typically all that helpful in real world
18 in building things like web applications.

19 So I think a Bachelor's degree or
20 higher would be -- people in that category would
21 be fairly equivalent when it comes to building
22 applications like this.

23 Q. Did you do all your analysis for
24 the opinions that you're going to provide today

1 from the perspective of one of ordinary skill in
2 the art at the time of the '761 patent
3 invention?

4 A. Yes, I did.

5 Q. So let's turn to the provisional
6 application.

7 A. Okay.

8 Q. You can maybe show that up on the
9 screen here. Do you -- this is PTX 3. Do you
10 recognize that document?

11 A. I do.

12 Q. And on the face of it, do you see
13 where the inventors are listed?

14 A. Yes, I do. Michael McKibben and
15 Jeff Lamb.

16 Q. And are those the same inventors
17 listed on the '761 patent?

18 A. Yes, they are.

19 Q. Now, if we turn to the face of the
20 '761 patent, maybe we can enlarge for the jury
21 where the inventors are listed as well as --
22 yes, all of that information.

23 Thank you.

24 And do you see where the inventors

1 are listed on the '761 patent?

2 A. I do. Yes.

3 Q. Does the '761 patent identify the
4 provisional application on the cover?

5 A. Yeah. I believe that's down on
6 Line 60 provisional application, which is the
7 line that you're referring to.

8 Q. And based on your review of the
9 provisional application, does it disclose all of
10 the asserted elements or all of the elements of
11 the asserted claims of the '761 patent?

12 A. Yes. In my opinion, it discloses
13 all of the elements of all the claims.

14 Q. Is it based on anything other than
15 it's just a review of the provisional
16 application?

17 A. Yes. Actually, I have two things
18 that I did to sort of answer that question. One
19 was to review the provisional application.

20 And based upon that, I reached the
21 opinion that it discloses everything that the
22 '761 patent does. So in a way that allows
23 someone to make and use the invention. But to
24 test that, I took another step and I identified

1 someone who is sort of ordinary skill in the art
2 that was a fellow named Marcello Caltaldo, who's
3 a post-doc in my research lab.

4 And I gave him the provisional
5 application and asked him to, you know, build a
6 web application that, you know, that embodies
7 this technology.

8 Q. And was he able to do that?

9 A. Yes, he was. And he provided --
10 there's another document here that has been
11 added into evidence.

12 Q. Sure. I believe that is PTX 1125.
13 That's provided in the binders.

14 A. Okay.

15 Q. If we can show that on the screen.
16 Is this what you're referring to Dr. Caltaldo
17 had provided?

18 A. Yes, that's it. That's what he
19 provided to me as a result of my request.

20 Q. And we're just looking at the
21 front page. Are there more pages behind that?

22 A. Yes, there's actually seven or
23 eight, six or seven more pages of source code.
24 That's -- the document here consists of source

1 code like this.

2 Q. And if we could turn back to the
3 front page. Okay. Can you explain what this
4 is, especially in connection with the reference
5 to a generic application skeleton?

6 A. Yes, that does sound rather odd,
7 doesn't it? The idea is that is to create sort
8 of just kind of a simple application that
9 embodies this technology.

10 So something that would allow you
11 to -- that would provide context that would
12 associate applications and data with those
13 contexts would allow a user, you know, to move
14 from one context or work space to another, to
15 track those movements. So to basically, you
16 know, do the things that the provisional
17 application described.

18 Q. Is your understanding that all
19 Marcella Caltaldo had used was the provisional
20 application in building this particular
21 application?

22 A. Yes. That's all I provided to
23 him.

24 And I asked him later and he said

1 that was the only thing that he had used in
2 producing this document.

3 Q. If we turn to the second page of
4 Exhibits 1125 and we see this code.

5 A. Mm-hmm.

6 Q. Just generally, what is this kind
7 of code? Can you just walk us through it and
8 explain what's included in 1125?

9 A. So what we're looking at here is
10 the first -- it's two main parts.

11 The first part, as you can see up
12 at the top, is called WebApp. So what this code
13 is doing is kind of setting up a collection of
14 workspaces and showing a relationship among
15 them.

16 It has a functionality that would
17 allow a user to select from menus to select, you
18 know, a particular web or collection of
19 workspaces to select a webslice, which is
20 another way of creating a collection of
21 workspaces in sort of a workflow arrangement.

22 And so select a particular
23 workspace within that. So that's kind of what
24 the first part does here. It allows the user to

1 construct something like that.

2 Then if we move ahead, there's a
3 second part where there's the word board at the
4 top Class: Board. And I think it's on Page 6 a
5 little farther.

6 No. It's back. There we go.

7 And what this is doing is, you
8 know, setting up a workspace. And so we see
9 here that it has associated with it data items.
10 So that would be -- you know, could be any sort
11 of data, photos, documents, whatever.

12 Applications are associated with
13 it and users are associated with the workspace.
14 And also, if we scroll further down, we can see
15 that you could access the boards of the
16 workspaces that are part of the workflow.

17 And as we go on, we'll see that it
18 also -- I think it's on the next page. Makes
19 available to -- yeah, at the top here.

20 Q. And just for the record, you're
21 referring to Page 7 of this document?

22 A. Oh, I'm sorry. Actually I think
23 it begins on the previous page, but rather than
24 worrying about it, let me just describe how you

1 do it.

2 This is showing you how --
3 different workspace functionalities in the
4 WebApp are provided.

5 But it also shows that as a user
6 moves from one workspace to another, it
7 continues to make all of the items from the
8 previous workspace available to that user. And
9 if the user moves to another workspace and
10 accesses some of that the data or applications,
11 then it updates metadata reflecting that move
12 from one workspace to another.

13 Q. When you are using the word
14 workspace, can you just explain what you mean by
15 that?

16 A. So workspace on my tutorial, if
17 you recall, I described the workspace kind of
18 like an analogy of somebody working on the desk.
19 They have a calender, stapler, whatever the
20 things that are that you need, the tools, you
21 know, to do work collected on one place. A
22 workspace is like that, you know, but on the
23 screen.

24 So you have the things that you

1 need to do something. You have applications.
2 You have all kinds of data documents you could
3 -- pictures you can upload.

4 You have all that kind of in one
5 place. And so that's what's associated with
6 that are, you know, those types of data, things
7 that you've uploaded and the applications that
8 you use and your identity.

9 So that's basically what a
10 workspace is.

11 Q. I noticed that in the provisional,
12 you have text and code and then the issued
13 patent has diagrams.

14 A. Right.

15 Q. What provides more detail for
16 someone like yourself to make and build the
17 invention of the '761 patent?

18 A. Well, the diagrams are helpful,
19 but the code is actually much more helpful for
20 one skilled in the art. If I could use an
21 analogy, it's as if you have a cookbook where
22 you have some recipes and a bunch of pictures of
23 sauteing and whipping up egg whites and so on.
24 And those pictures are helpful, but for someone

1 skilled in the art, you could just say, for
2 example, this is classic French cuisine and that
3 communicates a great deal of information to
4 someone about how to go about making this
5 recipe.

6 Q. In your opinion, does it matter
7 whether the provisional is shorter in length
8 than the actual issued patent which is the '761
9 patent?

10 A. No. Source code is a very sort of
11 dense way of conveying information. The
12 diagrams take up, you know, much more space,
13 unfortunately, and so I think there's 20 some
14 diagrams.

15 So you just kind of expect that
16 the '761 patent with many diagrams would be much
17 longer.

18 Q. Okay. So let's dive into the
19 patent now, so let's take a look at Claims 1, 4
20 and 7 --

21 A. All right.

22 Q. -- once we have it up here on the
23 screen. Let's see if we can shorthand some of
24 the claim language, so when we take a look at

1 Claim 1 and after the computer-implemented
2 network-based system that facilitates management
3 of data, we have the next paragraph that starts
4 a computer-implemented context component of the
5 network-based system.

6 And it continues all the way down
7 past a couple commas and ends with the user
8 defined data and metadata stored on a storage
9 component of the network-based system. And do
10 you see that?

11 A. Yes, I do.

12 Q. Can I call that the context
13 component of Claim 1? Are we talking about the
14 same thing?

15 A. Yes. Okay.

16 Q. And then if we turn to the next
17 element, which starts a computer-implemented
18 tracking component and it continues all the way
19 through the end of the claim or the -- yes, the
20 end of the claim where it says wherein the user
21 accesses the data from the second context.

22 You'll understand when I say
23 tracking component of Claim 1, I'm referring to
24 all of that.

1 A. Okay. Good.

2 Q. Could you just generally and
3 briefly describe what your understanding of what
4 Claim 1 covers?

5 A. All right. So what you called the
6 context component, we have to go back to the
7 claim construction order to understand what's
8 meant by context here.

9 And the claim construction order
10 says that a context is environment. So an
11 environment is, you know, what I've been calling
12 a workspace. It is a place that has -- you
13 know, lets a user do some work, contains the
14 things that the user needs to do something.

15 So what the first element is
16 saying is that the '761 invention has a context
17 component, so it has that kind of a workspace.
18 And one of the things that it does is to use
19 that context data to sort of update metadata
20 every time you use or upload something to your
21 workspace.

22 So by uploading something, the
23 context component will attach some -- will use
24 that context information to update your

1 metadata.

2 So the second element is a
3 tracking component. Again, this sort of keeps
4 track of a user moving from one workspace to
5 another, if you will.

6 And what this element says that
7 when a user works -- moves from one workspace to
8 another, and then accesses from the second
9 workspace, accesses data that was uploaded into
10 the first workspace, it updates the metadata
11 with that tracking information about that
12 action.

13 Q. Why don't we turn to the
14 provisional application PTX 3.

15 A. Okay.

16 Q. And see where these elements are
17 described. Now, does the entire provisional
18 application inform your opinion that each of the
19 elements of the asserted claims are disclosed in
20 the provisional?

21 A. Yes. Reading this as a whole, it
22 -- well, it's responsible for my opinion that it
23 does disclose all the elements.

24 Q. So right now we'll just go through

1 a few examples of that. Does that sound right?

2 A. Yes.

3 Q. Okay. So if we take a look at the
4 summary of the invention here, I believe it's
5 Paragraph 16.

6 Would you please explain what this
7 tells you and how it relates to the claims of
8 the '761 patent?

9 A. Okay. As you can see, it says
10 that the tool automatically stores contextual
11 information relating to an item of communication
12 and utilizes that contextual -- I believe the
13 words information is missing from performance of
14 communication tasks.

15 So that tells me that it's storing
16 this contextual information and using it later.
17 So it's stored in some permanent kind of form.

18 Q. And is there anything in the code
19 that's also helpful with respect to the context
20 component element of Claim 1?

21 A. I think there are a couple of
22 things that are helpful.

23 Q. If you turn to the first page of
24 the code, I think it will --

1 A. Right. All right.

2 So if you look at these import
3 statements, these import statements represent
4 taking code that's, you know, common code class
5 libraries, code that exists sort of outside and
6 imports them into this application.

7 So this is very common in most
8 programming languages. You have certain --
9 certain kind of sort of boiler plate codes.
10 Things are used all the time over and over and
11 over again.

12 And usually you just take those
13 common things and import them for use in your
14 own application. Now, what's interesting is
15 that by looking at the kinds of things that get
16 imported here, you know, you can get a pretty
17 good idea of some of the things that the
18 application is doing.

19 So if we look at the fourth and
20 fifth lines where it says import com, you know,
21 persist and persist.vbsf. So that tells us that
22 there's some form of persistent storage here.

23 And vbsf, in particular, is a
24 middleware package that makes it easier to store

1 things in a relational database when you're
2 using object-oriented language. So to sort of
3 hopefully not confuse you with the technology,
4 this is all written in object-oriented style, a
5 particular style of programming.

6 And yet, apparently they're going
7 to use a relational database to store their
8 permanent data. And the only reason you would
9 have vbsf around is because you want to do that.
10 You want to use -- store things in a relational
11 data.

12 So that's saying that there's some
13 permanent kind of storage and it's in a
14 relational database. If you look down at the
15 very last import statement, it talks about
16 session state.

17 Session state, again is a common
18 term. And session state sort of captures --
19 remember we talked about session, that you might
20 log into your, you know, website, for example,
21 and start a session, authenticate it, then do a
22 bunch of things. And then you end the session.

23 Well, somewhere you have to store
24 this information that, Gee, this person is

1 logged in, and they're now on this page. And
2 they're now going to another page.

3 It's kind of temporary storage
4 kind of tracking what a user is doing in that
5 session and when the session is over. So this
6 tells you that that kind of information is going
7 to be stored and it's going to be stored in this
8 type of analogy.

9 Q. Maybe we can turn to another place
10 in the code. I believe it has the Bates Number
11 LTI 7576.

12 A. Mm-hmm.

13 Q. There's a line, add new
14 relationships. If you could blow that section
15 up.

16 Thank you.

17 A. Right. This is showing us that
18 information like -- it talks about -- see where
19 it has group key field, for example. There's
20 lots of places in here where he's talking about
21 keys. That sort of tells you that something is
22 being stored in a relational database.

23 So this is storing basically
24 relations between workspaces and information

1 about what's in a workspace in the database in
2 permanent form.

3 So this is where it is using the
4 context information to update the metadata.

5 Q. Okay. Do you need a pointer?

6 Would that be helpful?

7 A. Oh, you know what, I have one
8 right here.

9 Q. Okay.

10 A. I just forgot about it. Yeah.

11 So as I was saying, the various
12 places it talks about key, and key fields. That
13 is indicative of saving something in a
14 relational database.

15 And so what this is saying, to
16 reiterate, is that it's saying that things like
17 the users that are associated with the workspace
18 and relations of between workspaces are all
19 being stored in this permanent kind of storage
20 in a relational database. So that represents to
21 me using context information to update the
22 metadata.

23 Q. Can you give me some examples?

24 Well, so what we've just talked about, does that

1 really relate to the context component of Claim
2 1.

3 A. Yes, that relates to the context
4 component.

5 Q. Can we turn to some examples that
6 relate to the tracking component of Claim 1?

7 A. Sure. Let me get another.

8 Q. So we start with the description
9 of embodiments here in the patent. And I
10 believe Paragraph 22.

11 A. Right.

12 Q. Could you please explain here what
13 this provides to one of ordinary skill in the
14 art?

15 A. Right. So it says here towards
16 the end, as users create and change their
17 contexts, the files and applications
18 automatically follow, dynamically capturing
19 those shifts in context.

20 So this signals to me that the --
21 when the user changes context access data from
22 other contexts, that that information is
23 recorded.

24 Q. Okay. And I believe there's one

1 other place in the text, if we go to the example
2 which starts on -- well, it's on LTI 747, the
3 last paragraph.

4 If you can enlarge it. Dr.
5 Herbsleb, could you please explain what this
6 tells you?

7 A. Sure. So this is talking about
8 how the system decides what content belongs
9 where in the system. And so it says location
10 may be determined by detecting changes in
11 structure, detecting temporary location and
12 using a routing algorithm before and after the
13 change to adjust the affect of the location of
14 the affected content.

15 So what this is saying, the
16 content that is associated with the board is
17 stored in metadata. And that when using a
18 routing algorithm, which they call a webslice,
19 there's sort of dynamically associating the
20 content with each of the workspaces. And,
21 again, that the location of a content relative
22 to the workspaces is what's captured in
23 metadata. That's done by tracking information
24 that follows users from workspace to workspace.

1 Q. And are there places in the code
2 that we can look to that help you understand
3 that there's a tracking component of Claim 1
4 found in this provisional application?

5 A. Yes.

6 Q. Maybe we can turn to the first
7 page of the code there in PTX 3.

8 A. Well, again, this is just
9 reminding you that we have session state, which
10 is kind of a temporary storage about the
11 session, and we have up here vbsf, which is
12 storing things in a relational database. That
13 would be where metadata would be stored. It's
14 relatively permanent.

15 And then we have another location
16 in the code.

17 Q. Right. I believe it's on LTI 757.
18 I think the section that started
19 add new relationships, if you could -- sub-form
20 -- if you could blow that up.

21 Thank you.

22 A. Mm-hmm. So here it's showing
23 adding relationships between a workspace and
24 content, again, showing that that's done with,

1 you know, using the relational database. So
2 this is, again, illustrating how, you know, the
3 tracking component updates a workspace.

4 Q. So, in your opinion, are all the
5 elements of Claim 1 disclosed in the provisional
6 application?

7 A. I think all the elements of Claim
8 1 are disclosed here.

9 Q. And that's based on the entire
10 disclosure, not just limited to these examples;
11 is that right?

12 A. Right. So to sort of describe how
13 to look at this, the text sort of describes
14 what, you know, describes the disclosure. When
15 we look at source code what we're seeing is
16 hints about how someone would actually make and
17 use this.

18 Right. So the source code that's
19 disclosed here is not a complete implementation
20 of everything described in the text. That would
21 be much larger.

22 So what the source code is doing
23 is just disclosing enough information about how
24 this is intended to work, that one of ordinary

1 skill could then use this to actually make
2 something.

3 So it's not the case that the
4 source code is a complete implementation. It's
5 not intended as that.

6 It's just more information for
7 someone trying to make and use this invention.

8 Q. Okay. Let's turn to Claim 4 and
9 7.

10 A. Okay.

11 Q. And if we could take a look at
12 Claims 4 and 7, is it your understanding that
13 these are dependent claims on Claim 1?

14 A. Right.

15 Q. And so is it your opinion that the
16 additional element found in Claim 4 is disclosed
17 in the provisional application?

18 A. Yes, it is. The additional
19 element here is saying a little bit about what
20 the context information has to include. Right.

21 It has to include a relationship
22 between a user and at least one of the
23 application, application data and user
24 environment. So that's an addition.

1 Q. Why don't you briefly describe
2 Claim 7 and then we will go to the provisional?

3 A. Okay. So a claim -- what Claim 7
4 is saying that the data created in one context
5 is associated with data created in the second
6 context. That's what's new about that.

7 Q. Okay. All right.

8 If we could turn to PTX 3 and go
9 to LTI 743, the first paragraph.

10 A. Mm-hmm.

11 Q. What does this tell you in terms
12 of as it relates to Claim 4?

13 A. Yeah. This -- so this is
14 basically almost the same language at Claim 4
15 here. It relates to new structures and methods
16 for creating relationships between users
17 applications and files and folders, which is
18 essentially what it said in Claim 4.

19 Q. And if we could take a look at
20 where in this application we refer to Claim 7.
21 I believe we can turn to LTI 749.

22 A. Mm-hmm.

23 Q. And if you could just blow up that
24 page there. There you go.

1 A. Great. So remember this claim has
2 to do with creating associations between
3 workspaces. So the location of content may be
4 determined by detecting changes in structure,
5 detecting the temporary location to the content
6 of the boards in the routing of algorithms
7 before and after the change and adjusting the
8 location of the affected content as part of the
9 change in structure.

10 All of that is a lot of language.
11 That's a little bit difficult to decipher. But
12 it's basically saying that there is this routing
13 algorithm that associates different workspaces
14 by virtue of saying that they are the locations
15 for some particular content.

16 All right. So the routing
17 algorithm creates a link between the workspace
18 and says, Here are the workspaces where this
19 content belongs.

20 Q. Is it your opinion then that
21 Claims 4 and 7 are fully disclosed in the
22 provisional application?

23 A. Yes. It's my opinion that they're
24 fully disclosed.

1 Q. Let's turn now to Claim 9, 11 and
2 16. And actually there we go.

3 So I'm going to break these claims
4 up, so we don't have to read the entire claim
5 element every time.

6 A. Okay.

7 Q. When we refer to -- well, so
8 looking at Claim 9, we have a
9 computer-implemented method of managing data and
10 then the first element has creating data within
11 a user environment. Continues on after the
12 colon, the data in the form of at least files
13 and documents.

14 Do you see that after the comma?

15 A. Yes, I do.

16 Q. And then that will be Element 1 of
17 Claim 9.

18 The next element will start
19 dynamically associating metadata with the data.
20 And it continues on to include information
21 related to the user, the data, the application
22 and the user environment.

23 Can I refer to that as Element 2
24 of Claim --

1 A. Sure.

2 Q. -- 9?

3 Okay. And if I put element one
4 and two together, would it be easier to just
5 refer to that as the context component --

6 A. Yeah. That's very much like the
7 description of the context component in Claim 1.

8 Q. -- or how would you do that?

9 So we could refer to it either way
10 and we'll be talking about the same thing when
11 we refer to Claim 9; right?

12 A. Right.

13 Q. And then the remainder of the
14 claim has this element three that starts
15 tracking movement of the user and continues on.

16 And then the next element, which
17 is four, starts dynamically updating the stored
18 metadata all the way through the end of the
19 claim. Do you see that?

20 A. Mm-hmm. Yes.

21 Q. And those can be elements three
22 and four of Claim 9. Is that okay?

23 A. Yes. Yes.

24 Q. And can we refer to that also as

1 the tracking component of Claim 9?

2 A. Yes. I believe that those
3 together describe the tracking component.

4 Q. How is Claim 9 different than
5 Claim 1?

6 A. Well, Claim 9 adds a few new
7 things. So it introduces language of user
8 environment instead of context means the same
9 thing.

10 It talks about web-based computing
11 platform. That's one of the major differences
12 is that this requires something that's web based
13 and is a platform for user interaction.

14 So that's the main difference in
15 the context component. And I think that's the
16 same down here, just a web-based kind of big
17 difference between this and Claim 1.

18 Q. And it continues throughout Claim
19 9, this web based --

20 A. Down to Claim 9. So web based
21 here in part of the description is the tracking
22 component as well.

23 Q. Is it your opinion that all the
24 elements of Claim 9 are disclosed in the

1 provisional application?

2 A. Yes, that's my opinion. They're
3 all disclosed.

4 Q. Okay. Let's take a look at the
5 provisional application. It's PTX 3.

6 And well, for all the reasons
7 you've already testified about, does that
8 support your opinion that all the elements of
9 Claim 9 are fully disclosed in the provisional?

10 A. Right. So the discussion we had
11 before about the context component and the
12 tracking component that all, you know, applies
13 here.

14 The thing that is the additional
15 element for Claim 19, that it's web based.

16 Q. Okay.

17 A. So we need to look for something
18 new to support that.

19 Q. Can we turn to the code at LTI
20 756?

21 A. 756?

22 Q. Six. Yes.

23 A. That's 46. Fifty-six.

24 There we go.

1 Q. And then it goes on to 57?

2 A. Right. If we look at where it
3 starts, let's see, at the bottom public form,
4 get form on 746. So you see discussion here of
5 forms.

6 You see discussion of, on the next
7 page, of sub-forms and pages, concrete pages and
8 so on.

9 This is all language that
10 describes creating web pages. So by form, they
11 mean this form. Form is an area within a web
12 page. So the codes here reveal that this is, in
13 fact, a web-based system.

14 Q. Why don't we turn to then Claims
15 11 and 16. Is it your understanding that Claims
16 11 and 16 are dependent on Claim 9?

17 A. Yes. That's my understanding.

18 Q. What is the addition that's added
19 to Claim 11 and then 16?

20 A. So Claim 9 adds indexing the
21 content to user environment. So with that one,
22 more than one user to user access environment.

23 Q. And how about Claim 16?

24 A. So Claim 16 talks mainly -- the

1 addition is this, that you can access this from
2 a portable wireless device.

3 Q. And do you have an opinion as to
4 whether or not Claims 11 and 16 are fully
5 disclosed in the provisional application?

6 A. Yes. I think they are fully
7 disclosed.

8 Q. Okay. Let's take a look at the
9 provisional PTX 3. If we can take a look at LTI
10 747. I believe, Paragraph 22.

11 A. So --

12 Q. And can you explain how this
13 relates to your opinion with respect to Claim
14 11?

15 A. Okay. So this sort of shows that
16 multiple users are intended to be able to access
17 files. So they create changes in context files
18 and applications, automatically following
19 dynamically capturing those shifts in context.

20 So, you know, users are supposed
21 to be able to access their files from multiple
22 context or environments, which is part of Claim
23 11. So I think we can continue on to the next
24 reference relevant to Claim 11, which -- is so I

1 was thinking again of the code where it talks
2 about the codes that we looked at before that it
3 talks about keys. I'll find it here in a
4 second.

5 So, for example, on LTI 758, the
6 top half of the page. So, again, this just kind
7 of shows this discussion of these key and key
8 fields and so on that the data are intended to
9 be stored. See the keys and it's in a
10 relational database.

11 And if you had any sort of a
12 sizeable relational database, you would prefer
13 index for that. Index is -- I think of a little
14 -- by the index of the back of the book that's
15 sort of for each major entry, it tells you where
16 that word can be found.

17 So this is just referring to an
18 index that the computer can use to locate
19 content. So it creates basically an index.

20 And if you're using a relational
21 database and storing lots and lots of
22 information, you would naturally need an index
23 to find it. Going through, going through every
24 item and order would be way too slow.

1 Q. Okay. So let's turn to Claim 16
2 which has the other element of a portable
3 wireless device.

4 A. Okay.

5 Q. In the provisional application,
6 can you give us an example of where a
7 provisional application, one of ordinary skill
8 in the art would understand that that is
9 disclosed in the provisional application?

10 A. Sure. I think we go to.

11 Q. PTX 3, please.

12 A. I think we go to LTI 747.

13 Q. You said 747?

14 A. I believe so. Yes.

15 Q. Okay.

16 A. That's one of the places we want
17 to look. So here's how I was thinking about
18 this, that this describes the kinds of data that
19 would be associated with user workspace.

20 And among things listed we have
21 phone calls, for example. So phone calls are,
22 according to this invention, intended to be
23 accessed or intended to be, you know, part of
24 the user workspace.

1 And if we go to LTI 746, the
2 preceding page, Paragraph 17, we see once again
3 that integrates two or more different
4 communication applications such as telephony.
5 So clearly they had telephony in mind as one of
6 the things, you know, associated with this
7 workspace.

8 Well, in 2002, it was, you know,
9 universally possible to access your stored phone
10 call or your voice mail, you know, through a
11 cell phone. I mean, it just wouldn't make sense
12 in this time period to have workspace, and that
13 included your phone calls and your voice mail
14 and would not let you access it from a cell
15 phone.

16 Of course you would build it so
17 you can access it from a cell phone. So that
18 is, in my view, accessing information or it's
19 accessing the user workspace from a verbal
20 wireless device, which is your cell phone.

21 Q. Is it your opinion that the
22 provisional application fully disclosed each and
23 every element of Claims 9, 11 and 16?

24 A. Yes. It's my opinion it discloses

1 every element of those claims.

2 Q. Okay. We're going to keep moving
3 along. Let's go to Claim 21 here.

4 A. All right.

5 Q. So if we take a look at Claim 21,
6 this is broken up into five different elements.
7 You see the first element will be creating data?

8 A. Mm-hmm.

9 Q. It continues on of a web-based
10 computing platform using an application. So you
11 will understand when I refer to that as element
12 one?

13 A. Correct.

14 Q. Okay. The next element will start
15 dynamically associating metadata and continues
16 on to the end where it says into the user
17 workspace.

18 Do you see that?

19 A. Yes.

20 Q. That will be element two.

21 The next element is tracking user
22 of -- the movement of the user. It ends with
23 the web-based computing platform. You'll
24 understand that as element 3?

1 A. Right.

2 Q. And the next element is
3 dynamically associating the data and continues
4 on through and says and data from the second
5 user workspace. And do you see that?

6 A. Mm-hmm.

7 Q. That will be Claim 4 or element
8 four of Claim 21.

9 And finally, the last element
10 which is indexing the data, and it ends with
11 from a corresponding plurality of different user
12 workspaces; right?

13 So I'll refer to that as element
14 five.

15 A. Okay.

16 Q. Can you explain how Claim 21 is
17 different than the claims we've already talked
18 about?

19 A. Well, Claim 21 is again very
20 similar, although it talks about a
21 computer-readable medium for storing
22 instructions. But the elements of the claim are
23 very similar to what we've seen before. It does
24 again mention indexing down at the end.

1 It describes a context component.

2 It describes a tracking component.

3 So, you know, for the reasons that
4 I've described before, these are disclosed in
5 the provisional application for exactly the same
6 citations and uses.

7 Q. With respect to indexing the
8 data, --

9 A. Mm-hmm.

10 Q. -- that particular element, is
11 there a place that we can look to in the
12 provisional application in the code that might
13 be helpful that informs your opinion that all
14 the elements of Claim 21 are, in fact, disclosed
15 in the provisional?

16 A. Yeah. I think I would point us
17 back to the same place we looked at before in
18 terms of when we looked at indexing, when we see
19 that relational database is being used to store
20 the data and to store the metadata. And it just
21 would not be sensible to do that any way except,
22 you know, by indexing.

23 That's just almost essential,
24 otherwise it would take forever to sort of go

1 through everything to see if it's there. You
2 would just naturally do this.

3 Q. And for the record, are you
4 referring to what has LTI 758 at the bottom
5 there?

6 A. Yes. Yes, that's what I'm
7 referring to.

8 Q. Okay. We're in the last set of
9 claims. Let's look at Claim 23, 25, 31 and 32.

10 A. Okay.

11 Q. And as soon as we have that up.
12 Can you generally describe what Claim 23
13 discloses and how it's different than what we've
14 already talked about?

15 A. Well, so what claim -- so we're
16 looking at 23. Okay.

17 So this is now
18 computer-implemented system. This is again, you
19 know, basically describing a context component,
20 but it says now it's on a web-based server,
21 okay, which is a little bit different
22 terminology than has been used so far.

23 And it also talked about assigning
24 one or more applications to the first user

1 workspace and capturing context associated with
2 the user interaction while in that workspace.
3 So that's a little bit different than what we
4 see.

5 The second element describes
6 tracking change information, right, which is a
7 little bit different associated with a change in
8 access of the user from the first workspace to
9 the second user workspace and dynamically
10 storing the change on the storage component as
11 part of the metadata, wherein the user accesses
12 the data from the second user workspace.

13 So this describes slightly
14 differently, but this is very similar to the
15 tracking component that we've looked at already.

16 Q. Okay. So we can refer to Claim
17 23, the two elements. The first element being
18 the context component that would be the entirety
19 of the element and the second element being the
20 tracking component, meaning the remainder of the
21 claim; is that fair?

22 A. Yes, that makes sense.

23 Q. Okay. Could you provide an
24 example in the provisional application where it

1 informs your opinion that all the elements of
2 Claim 23 are disclosed in the provisional
3 application?

4 If you can turn to PTX 3, I think
5 it starts LTI 747. Paragraph 23, if we could
6 enlarge that.

7 A. Mm-hmm. So here they're using the
8 board to mean workspace in this claim. It's the
9 same example workspace, same exact thing as a
10 workspace, collection of data and functionality
11 related to a user defined topic.

12 So this is sort of showing that
13 the application functionality is related to a
14 board. So data functionality is related to the
15 boards.

16 If you look down at the bottom,
17 the data application may be grouped in a board
18 based on the identity of the tag (data and
19 application. So if application can be grouped
20 inside of a board there, it obviously referred
21 to inside of a board, which is what the claim
22 requires.

23 Q. Is it your opinion that all the
24 elements of Claim 23 are disclosed in the

1 provisional application?

2 A. Yes, it's my opinion.

3 Q. If we can take a look now at the
4 dependent claims, which are 25, 31 and 32.
5 Could you briefly explain what the differences
6 are or what the additions are to Claim 25, 31
7 and 32?

8 A. All right. So Claim 23, the
9 context component, which is the thing that we
10 have been talking about before captures
11 relationship data associated with the
12 relationship between the first user workspace
13 and at least one user workspace. So they are
14 saying that has to be a component by what's
15 captured by the context component.

16 So it's being a little more
17 specific about that.

18 So Claim 31 introduces the idea
19 that the metadata is stored in at least one of a
20 relational or object storage methodology.
21 That's something new there.

22 And so Claim 32 is saying once
23 again that storing the metadata in the storage
24 component in association with the data

1 facilitates many-to-many functionality, which
2 means more than one user being able to access
3 more than one data file via the metadata.

4 So that's the, you know, new parts
5 that have been introduced?

6 Q. Is it your opinion that in reading
7 the entire provisional application, that all the
8 elements of Claim 25, 31 and 32 are fully
9 disclosed?

10 A. Yes. It's my opinion that all of
11 them have been fully disclosed.

12 Q. Can we take a look at the
13 provisional application, which is PTX 3 and can
14 you provide a few examples where these
15 additional examples from Claim 25, 31 and 32 are
16 covered?

17 A. Sure. 747, Paragraph 22, if you
18 can blow that up, please. Thank you.

19 Yeah. So the Claim 25 says there
20 has to be -- a context component has to capture
21 relationship data associated with a relationship
22 between the first user workspace and at least
23 one other user workspace. So as users create
24 and change their context files and applications

1 automatically follow dynamically capturing those
2 shifts in context.

3 So a shift in context is the
4 movement from one workspace to another capturing
5 the relationship between those workspaces. So
6 that I think pretty well discloses Claim 25.

7 Q. Are there other places as well in
8 this provisional application that would disclose
9 that element?

10 A. Sure.

11 Q. Maybe we could turn to the next
12 page and if we can look at the last paragraph.

13 What does this tell you?

14 A. Mm-hmm. So this is saying that if
15 you have a collection of workspaces, which has
16 -- they mean hereby webs, the content is
17 associated with a routing algorithm referred to
18 here as a webslice.

19 So, in other words, using this,
20 this is a relationship between workspaces and
21 content. So the webslice directs where the
22 content goes. It knows which workspaces the
23 content is associated with that creates a
24 connection, a relationship between those

1 workspaces because they share the same content.

2 Q. Okay. Why don't we turn to Claim
3 31.

4 And let's look at it actually in
5 the actual provisional itself for the additional
6 element of Claim 31.

7 Can we go to PTX 3, please? LTI 7
8 -- yeah, the first page of the code there.
9 Thank you.

10 Could you please explain what we
11 have here and how that relates to Claim 31?

12 A. Sure. So I think I mentioned
13 earlier if you see this import statement for
14 vbsf, that does indicate an intention to store
15 data in a relational database. So it makes it
16 pretty clear that that's the technology that's
17 used for storing the storage.

18 Q. In the code of the provisional
19 application, there are other references to vbsf;
20 isn't that right?

21 A. Right. There are a number of
22 places where in the comments it refers to vbsf
23 as, you know, where something's being stored,
24 which is, you know, a further indication that

1 that's what is supposed to be happening there.

2 Q. Okay. If we could maybe turn to
3 LTI 757. I think there might be another example
4 of that that we can look at towards the bottom.

5 A. Yeah. These are a couple of
6 examples that these particular collections get
7 relationship collection. These are stored and
8 retrieved from a relational database.

9 Q. Okay. Very good.

10 We're going to add on 32. Let's
11 take a look to see where that last element of
12 Claim 32 is disclosed in the provisional, an
13 example of that. So maybe we can turn to
14 Paragraph 1 under the Field of Invention of the
15 provisional application PTX Number 3.

16 Thank you. Can you please explain
17 whether or not this is an example of how that
18 last element of Claim 32 is disclosed?

19 A. So management storage
20 electronically creating a relationship between
21 user applications files and folders. So users
22 name more than one file, means more than one. I
23 mean, that's what the many to many means.

24 So here we're seeing that the

1 intention is to create relationships between
2 more than one user and more than one file which
3 is what the claim says.

4 Q. Based on your understanding, is it
5 your understanding that the provisional
6 application meets all the requirements such that
7 one can claim priority to the provisional
8 application for the asserted claims of the '761
9 patent?

10 A. Yes, that is my opinion.

11 Q. Is it your opinion that one of
12 ordinary skill in the art would be able to take
13 the provisional application and make and use the
14 invention of the asserted claims of the '761
15 patent?

16 A. Yes, it is. It is my opinion that
17 using both the text and the code, one could --
18 one of ordinary skill in the art could do that.

19 Q. An is that opinion based on your
20 review of the provisional application and the
21 '761 patent as well as the work that was done by
22 Mr. Marcello Caltaldo?

23 A. Yes. Those are the two bases.

24 One is my own review. The other

1 is actually handing it to a person of ordinary
2 skill in the art and saying, Please make one of
3 these, and he made one. So I assumed that one
4 could do that.

5 Q. And just to make sure I didn't
6 miss any claim, I want to make sure that we got
7 that. It is your opinion that each and every
8 element of the asserted claims we've talked
9 about for all the reasons we've discussed today
10 is, in fact, disclosed in the provisional
11 application?

12 A. It is my opinion each and every
13 element of every claim is disclosed.

14 Q. Okay. Let's turn to now the prior
15 arts references.

16 Did you have a chance to review
17 Dr. Greenberg's report?

18 A. I did. I reviewed his report.

19 Q. And do you understand that he's
20 asserting certain references as prior art to the
21 asserted claims of the '761 patent?

22 A. Right. I do understand that.

23 Q. Okay. What is your understanding
24 of what constitutes prior art?

1 A. Well, in order to constitute prior
2 art, it must be something that is publicly
3 available. It must be something that was
4 publicly available before the December 11th date
5 of the filing of the provisional patent
6 application.

7 And it must be something that is
8 enabling, that would allow a person of ordinary
9 skill in the art to actually make and use the
10 invention without too much problem.

11 Q. What is your opinion regarding the
12 references that Dr. Greenberg has cited against
13 the asserted claims of the '761 patent?

14 A. So the -- none of those references
15 disclose the elements of the claims of the '761
16 patent.

17 Q. Okay. Do you understand there are
18 two different theories out there? One is called
19 anticipation and the other is obviousness?

20 A. Mm-hmm.

21 Q. Could you just briefly explain
22 what is your understanding of anticipation?

23 A. Well, my understanding of
24 anticipation is that means that one reference

1 has to disclose each and every element of the
2 patent of the invention in order to invalidate
3 it.

4 And obviousness means that -- that
5 several different things can be combined if
6 there's some reason to think that they would be
7 used together. They could be combined to render
8 the invention just something that would be
9 obvious.

10 Q. Well, why don't we turn to the
11 tutorial slide that you had earlier in the case.

12 A. Okay.

13 Q. And looking at that, can you
14 explain what problems the '761 patent sought to
15 solve?

16 A. Right. Well, I think you recall
17 maybe from the tutorial that we were talking
18 about this kind of hierarchial arrangement where
19 the user has to, you know, name a folder, you
20 know, create a folder, decide how to name it and
21 then to store data. The user has to then sort
22 of figure out, you know, why each individual
23 item should go in this hierarchy.

24 So that is one of the problems

1 that the '761 technology was assigned to solve
2 and to make it much easier and more natural to
3 share documents and keep track of users by, you
4 know, using a technique to automatically update
5 metadata.

6 Q. Do the references that Dr.
7 Greenberg, that he cited, do they have anything
8 in common?

9 A. They have something in common.
10 That is that they are all basically document
11 management systems.

12 They have nothing to do really
13 with the users. They're all about documents and
14 they all use this sort of hierarchial storage
15 system.

16 So they disclose basically the
17 same problem that the '761 technology was
18 designed to solve. All these document
19 management systems are centered around
20 documents. They keep track of documents. They
21 keep the histories for documents.

22 The '761 technology is all about
23 users. It's all centered around users. It
24 creates workspaces for users.

1 And it tracks users and what users
2 do. So it's just a some completely different
3 basis on which to build a system.

4 Q. Why don't we take a look at the
5 abstract of the patent.

6 A. Yes.

7 Q. Is there something in the abstract
8 information one of ordinary skill in the art,
9 that that's what the invention of the '761
10 patent is?

11 A. Right. Absolutely.

12 If we start certain notes here,
13 the highest contextual assumption is that there
14 exists an entity that consists of one or more
15 users. What that basically means is that there
16 are -- everything is centered around users.
17 Right.

18 There are -- there always has to
19 be a user, an entity that represents one or more
20 users as part of the system. Everything else is
21 built around that.

22 And that's what makes this really
23 very different from the document management,
24 basically document management systems that are

1 cited as prior art.

2 Q. Let's turn to the prior art.
3 Let's go to the iManage User Reference Manual,
4 which is DTX 1010. Now, what is your
5 understanding of what this user reference manual
6 is?

7 A. Well, it's a manual intended for
8 end users to -- you know, people who want to use
9 the iManage DeskSite system would refer to this
10 to figure out, you know, how to use it.

11 Q. And does it actually tell you how
12 to build the iManage software?

13 A. Well, no, not at all. Actually
14 it's as if, you know, we all have owners manuals
15 for our cars that tell you, Here's how you
16 operate the automatic transmission. For
17 example, that tells me absolutely nothing about
18 how to build an automatic transmission.

19 It's just -- it just doesn't
20 disclose anything about that. So in the same
21 way a user manual might tell me how to engage
22 the functionality of the software, but it
23 doesn't tell me anything about how to build it.

24 Q. All right. And within the four

1 corners of this document we've marked as DTX
2 1010, does it give you any information for one
3 of ordinary skill in the art to be able to build
4 the software in all the components that it might
5 reference?

6 A. No, it doesn't. It doesn't say
7 anything about how it's designed, what the
8 structure looks like. It simply tells us how to
9 use it once it's there.

10 Q. Do you know whether this iManage
11 manual, which is marked as DTX 1010 whether that
12 was publicly available in 2001 or 2002?

13 A. I have no idea.

14 Q. Now, do you have an opinion as to
15 whether the iManage User Reference Manual is
16 prior art to the '761 patent?

17 A. Yeah. Because it doesn't
18 disclose, you know, how to make and use this
19 invention, I would say it's not prior art. It
20 doesn't qualify as prior art.

21 Q. What is the difference between the
22 iManage User Manual and the information
23 disclosed within the four corners of that
24 document and the invention of the '761 patent?

1 A. Well, so the -- you mean the
2 difference in nature of the technology that's
3 described?

4 Q. Correct.

5 A. So the iManage DeskSite describes
6 basically a document management system as we've
7 been discussing. So it provides a way for an
8 organization using a local network to kind of
9 store documents in a central place and access
10 those documents, have secure access. Probably
11 has -- you know, has passwords and so on.

12 But it's basically just a way of
13 creating, as you see on the left here, one big
14 document repository system that people can put
15 their documents into. And other people, if they
16 have the right provisions, can pull them out.
17 So that's the basic technology that's disclosed
18 there.

19 Q. When you refer to one big document
20 system and you're pointing with your pointer,
21 just for the record, are you referring to the
22 traditional hierarchial system?

23 A. Yes, I am. I'm referring to the
24 traditional hierarchial system from the slide,

1 from the tutorial.

2 Q. Why don't we take a look at
3 iManage Manual and go to Page 4. So could you
4 explain to us, Dr. Herbsleb, what are we looking
5 at here?

6 A. This is an example. Exactly an
7 example of what I was talking about is that this
8 is how iManage, you know, according to its own
9 documentation, stores documents.

10 We see them put into hierarchy.
11 Someone had to decide that this folder called
12 corporate folder, called personal pages, public
13 pages and then people name their pages and put
14 them into folders. So this is very much the
15 hierarchial storage system that is, you know,
16 part of the problem that the '761 was trying to
17 overcome.

18 Q. Is this just one example of the
19 iManage Manual that provides you with this
20 example?

21 A. Yes. If you look through it, you
22 find many examples that are similar showing the
23 hierarchial storage system.

24 Q. Why don't we take a look at

1 another portion on Page 83.

2 I believe it's Figure 3.26.

3 A. Mm-hmm.

4 Q. Can you explain: What are we
5 looking at here?

6 A. Well, I mean, the caption makes
7 pretty clear what we're looking at is a document
8 history. So this is showing that for some
9 particular document, these are the things that
10 happen to that document.

11 All right. So this system is very
12 document central. So you can see here somebody
13 checks in the documents. They modified the
14 documents.

15 Someone checked it out. Somebody
16 created a different version of the document.

17 It just keeps track of everything
18 that happens to that document.

19 Q. Well, does this figure show that
20 the iManage manage system or the iManage --
21 strike that. Does this figure show that in the
22 iManage User Manual, there is tracking of
23 documents?

24 A. Yes. This sort of tracks

1 documents and it tracks what happens to
2 documents. Sure.

3 Q. Does this figure show in the
4 iManage User Manual that there's tracking of
5 users?

6 A. No, absolutely not. There's no
7 view that you can go to.

8 There's no view shots anywhere in
9 the manual where you can sort of pull up some
10 user and see what a user has done. That's not
11 part of this technology.

12 It's all completely document
13 central. And as you can see here, these are all
14 entries of here of documents.

15 So it doesn't track users at all.

16 Q. Is there anything in the entirety
17 of the iManage User Reference Manual that
18 discloses tracking of users?

19 A. No, not that I could identify. I
20 see nothing in there that tracks users.

21 Q. Is there anything in the iManage
22 User Reference Manual that talks about
23 workspace?

24 A. No, it does not have workspaces as

1 part of the technology. It doesn't provide, you
2 know, environments places for people to do work
3 with their tools and allow people to move from
4 one workspace to another. There is none of that
5 in the technology.

6 Q. Okay. Well, let's turn to Claim 1
7 of the '761 patent.

8 A. Okay.

9 Q. And take a look at that.

10 Unfortunately, since we
11 shorthanded, actually could we turn to the other
12 slide that we were referring to?

13 Since we shorthanded the elements
14 here, I think I can refer to them as the context
15 component of Claim 1. We know what we're
16 talking about.

17 So in your opinion, does the
18 iManage User Reference Manual disclose the
19 context component element of Claim 1?

20 A. No, not at all. We -- again, we
21 have to be very careful what we mean by context
22 here because that's a word that gets used in
23 many different ways. And what we have to use
24 here is we have to use the construction that's

1 in the claim construction order, which says that
2 context means environment.

3 Okay. So the software to provide
4 a context and have a context component has to
5 provide an environment for a workspace for the
6 user.

7 And the technology described,
8 iManage Manual just does not do that. So it
9 does not have a context component, period.

10 Q. It doesn't have the entirety of
11 the first element?

12 A. No. It just -- that's not there.
13 There is no context component.

14 Q. Let's turn to the tracking
15 component. Does the iManage User Reference
16 Manual use -- disclose that tracking component
17 of Claim 1?

18 A. No. Again, so if you see -- if
19 you look at the tracking component, this is
20 tracking a user changing a user from one context
21 or environment or workspace to another context,
22 which has to mean an environment or workspace.

23 All right. And then updating the
24 stored metadata based on that tracking

1 information. Well, this doesn't have any part
2 of this.

3 This doesn't have workspaces. It
4 doesn't track users. It doesn't update metadata
5 based on a change from one workspace to another.
6 It just doesn't have any of that.

7 Q. Okay. Well, let's take a look at
8 the dependent claims, which are 4 and 7. Does
9 the iManage User Reference Manual disclose the
10 other elements of Claim 4 and 7?

11 A. Right. So this is a dependent
12 claim. So if Claim 1 is valid, I understand
13 that these are also valid.

14 But it does not disclose anything
15 about relationship of a user to context
16 information of a relationship between a user and
17 at least one of an application, application
18 data, and user environment. It does not
19 disclose data created in the first context
20 associated with data created in the second
21 context.

22 Well, as I mentioned, it doesn't
23 have, you know, context in the software. So
24 this can't satisfy Claim 7.

1 Q. Is it your opinion that the
2 iManage User Reference Manual does not
3 anticipate Claims 1, 4 and 7 of the '761 patent?

4 A. It's my opinion it does not
5 anticipate any of those claims.

6 Q. Okay. Let's take a look now at
7 Claim 9.

8 I believe we had already discussed
9 the difference with Claim 1 and Claim 9 as it
10 related to the web-based computing platform;
11 right.

12 A. Right.

13 Q. Okay. Is there anything -- I'm
14 sorry.

15 Were you going to --

16 A. Elements one and two are basically
17 the context component. Three and four are
18 basically the tracking component. And what's
19 new here is web-based computing platform.

20 And it's a method of managing,
21 right, method of managing data using a web-based
22 computing platform.

23 Well, there's no indication in
24 this manual that product is web based. There

1 is, you know, the predominant mode of operation
2 appears to be over a local network.

3 There is one small reference. I
4 think we may have it here to something web
5 based.

6 Q. Right. If we could turn to the
7 iManage User Manual and I believe it was AUTO
8 275.

9 A. Yes, if we focus in on the top
10 here. This is about the only reference that I
11 can recall in this manual to anything that's web
12 like.

13 So it's saying up here that you
14 can -- if you're set up correctly, send a
15 document by email or you can send a link by
16 email, and then someone can access your document
17 through a URL, which would be a web-based access
18 but. What this is saying is your system must
19 include an iManage worksite web component
20 server.

21 Well, that's not described
22 anywhere in this manual. This is some other
23 product apparently that has some kind of web
24 functionality. We don't really know, you know,

1 what.

2 We just have this kind of very
3 oblique sort of reference. So there's some
4 mention of web, but it's for a different
5 product. It's not even disclosed in this
6 manual.

7 Q. Does the iManage User Reference
8 teach a user environment?

9 A. No. There is just nothing like
10 the user environment in this system. It's just
11 all about documents.

12 Q. And does it disclose anything
13 about metadata about the user environment?

14 A. Well, no. No.

15 Having no user environment, it
16 also has no metadata about user environments.

17 Q. Okay. Why don't we take a look at
18 Claims 11 and 16, which are the dependent claims
19 to Claim 9.

20 A. Right. So Claim 11, as you see
21 it, it talks about plurality of users accessing
22 a content from an associated plurality of user
23 environments. And again, having no user
24 environments, you don't -- you can't have a

1 plurality of user environments.

2 So I don't think it discloses
3 Claim 11.

4 Q. And how about Claim 16?

5 A. Well, no. There's really nothing
6 at all in there about portable wireless devices
7 or even about having kinds of data like
8 voicemail that one typically accesses over a
9 portable wireless device. There's no mention of
10 it there.

11 Q. What is your opinion as to whether
12 or not the iManage User Reference Manual
13 anticipates Claim 9, 11 and 16?

14 A. It's my opinion it does not
15 anticipate Claims 9, 11 and 16.

16 Q. Okay. Claim 21.

17 Is Claim 21 valid or what is your
18 opinion with respect to whether or not Claim 21
19 is anticipated by the iManage User Reference
20 Manual?

21 A. It's my opinion it's not
22 anticipated by the iManage -- I'm forgetting the
23 name of this thing -- iManage Reference User
24 Manual. Sorry.

1 I think it's not anticipated by
2 that.

3 Again, so I think each one of
4 these elements mentions user workspace. They're
5 first element user workspace in the second
6 element. User workspace in the third element.

7 User workspace in the fourth
8 element. User workspace in the fifth element.
9 There's no user workspace here.

10 Also, it talks about, you know,
11 web-based computing platform. There's nothing
12 in there to indicate this particular product
13 whose manual we have in front of us is web
14 based. So it doesn't disclose any of these
15 elements.

16 Q. And for the reasons that you've
17 already testified previously with respect to the
18 other claims and that also apply with respect to
19 Claim 2 --

20 A. Correct.

21 Q. -- those apply here?

22 A. Right.

23 Q. So what is your opinion with
24 respect to Claim 23 as it relates to the iManage

1 User Reference Manual, just in case I didn't ask
2 earlier?

3 A. Claim 21 you mean?

4 Q. Did I say -- sorry, 21. Yes.

5 A. So that the iManage manual does
6 not disclose any of the elements, I believe, of
7 Claim 21.

8 Q. Let's turn to Claim 23.

9 Does the iManage User Reference
10 Manual disclose any of the elements in Claim 23?

11 A. No, it does not. Again, we have a
12 context component and we have a tracking
13 component. And for all of the reasons I've
14 mentioned before, it has neither of those.

15 And so it does not disclose any of
16 the elements of Claim 23.

17 Q. And if we look at the dependent
18 claims on Claim 23, which are Claim 25, 31 and
19 32, are those claims -- strike that.

20 Are Claims 25, 31 and 32
21 anticipated by the iManage User Reference
22 Manual?

23 A. No, they're not, because these are
24 claims dependent on Claim 23. And so Claim 23

1 is not anticipated.

2 None of these can be anticipated.
3 They're simply making that claim more specific.
4 So, no, none of these is disclosed by the
5 iManage User Reference Manual.

6 Q. We're almost done talking about
7 this one, so we'll -- if you look at Claim 25,
8 there's a reference to the first user workspace.
9 Do you see that?

10 A. Mm-hmm.

11 Q. Is that disclosed anywhere in the
12 iManage User Manual?

13 A. No. There are no user workspaces
14 in that technology.

15 Q. Okay. So is it your opinion that
16 the asserted claims is valid over the iManage
17 User Reference Manual?

18 A. My opinion is that all these
19 references are valid as against the iManage User
20 Reference Manual.

21 Q. Let's turn now to the Hubert
22 reference --

23 A. Okay.

24 Q. -- which is DTX 922. Can you

1 explain to us what does Hubert disclose?

2 A. Hubert discloses something that
3 they call a meta-document. Okay. So now a
4 meta-document is like kind of like a regular
5 document with some extra stuff.

6 And the extra stuff that goes
7 along with it is kind of history of everything
8 that's happened to that document. So if the
9 document is a report, if that report gets
10 translated from English to Spanish.

11 That would be recorded in the
12 meta-document. If it gets sent from one person
13 to another, that would be reported in the
14 meta-document of the document.

15 All right. So it's basically you
16 can think of what you would usually think about,
17 a document plus some more information about, you
18 know, what's happened to that document as it's
19 moved from one place to another, been edited,
20 been shipped around, so on. That's what it is.

21 Q. If you look at the title, which I
22 believe is Line 54, enlarge that. It says
23 meta-documents and method of managing them.

24 A. Mm-hmm.

1 Q. Is that a good description of
2 what's in the Hubert reference here?

3 A. It is. It's -- again, it's very
4 document central meaning that's what it's about.
5 It's documents. It actually adds to the notion,
6 it's sort of a fancy document. A document plus
7 a little bit more information.

8 Q. How's that different from the
9 invention of the '761 patent?

10 A. Well, the '761 patent is based
11 around users and users' workspaces, you know,
12 having environments for users and tracking users
13 when I go from one environment to another
14 environment and so on.

15 This is just about these
16 meta-documents. It doesn't have any sense of
17 users doing anything except it's recorded in
18 history of a document. So again it's just sort
19 of keeping a document history.

20 Q. Okay. Maybe we can take a look at
21 Paragraph 11 of this reference.

22 A. Mm-hmm.

23 Q. Can you just explain what this
24 describes here in Paragraph 11 of the Hubert

1 application, if you can see it?

2 A. Right. So it's talking about what
3 is included in the meta-document. So we have
4 what it calls the object-conveying document
5 information. So that's just like a regular
6 document.

7 All right. That's sort of a
8 document part of the meta-document. It includes
9 processing information pertaining to processing
10 of the meta-document and metadata for indexing
11 and retrieving the processing information.

12 It includes the fact that
13 meta-document was processed by whom and any
14 relevant tool used in the result of the
15 processing. So, in other words, if the document
16 gets sent from one person to another, that gets
17 recorded in the processing information.

18 If you do something to it like I
19 suppose even spell check it, or translate it or
20 do anything like that, that gets recorded in the
21 processing information, and so on. So that's
22 the processing part.

23 Each time processing information
24 is recorded on the document, appropriate

1 metadata index and retrieving the processing
2 information is also stored on the meta-document.
3 So it keeps its own history in the metadata.
4 That's basically what this is saying.

5 Q. Is there anything about the users
6 here?

7 A. There's absolutely nothing about a
8 context, or environment or moving from one
9 context to another, tracking users. I mean,
10 it's just not centered around users. It's
11 centered around these meta-documents.

12 Q. So, in your opinion, is it totally
13 different than the '761 patent?

14 A. It's completely different.

15 Q. Okay. Are there figures in this
16 application, this Hubert reference that explain
17 what the Hubert reference is about?

18 A. Yeah. I think there are two
19 figures as I recall.

20 Yeah. This is the first one.

21 This is just sort of showing what
22 we just explained a second ago that, you know,
23 there's data information. This is basically the
24 document, a regular document.

1 And down here, this sort of tells
2 you what processing has happened to the
3 document. And that's stored index to the
4 metadata, so that you can, you know, find that
5 if you want to.

6 So that's just, you know, storing
7 the history. The tool part is actually it's an
8 optional part. It's a little bit of code that
9 you can include if you want so the document
10 updates its own history.

11 Basically that's what that tool
12 is. It's just something that -- oh, I just --
13 it just notices that there was a translation
14 that happened, so it updates the metadata to
15 record that.

16 Q. Why don't we take a look at Figure
17 2, and if we can explain what that shows?

18 A. Yeah. Well, this is how a
19 meta-document would go from one person to
20 another.

21 So source one, that's a person
22 whose -- here's a person that has this
23 meta-document.

24 And it shows this link which says

1 internet. The description in the patent itself
2 says the usual way of transmitting these would
3 be as an email attachment. Okay.

4 So you would take this
5 meta-document. You would attach it to an email
6 and you would send it via an email to some other
7 person who might then do something. And if they
8 do something, that would be recorded as part of
9 this document history as well.

10 Q. And that source two that you just
11 pointed to?

12 A. Mm-hmm. So source two -- sorry.
13 And they might do something to it and then
14 extend along to source three.

15 That person might also do
16 something to it. And as it goes through this
17 chain being sent along through email, it just
18 keeps track of what's happened to it.

19 Q. Is there anything in this figure
20 that shows a user moving from one environment to
21 another?

22 A. No. No. not at all.

23 I mean, it's just a document being
24 sent from one user to the next.

1 Q. Is there anything in the Hubert
2 reference at all that talks about a user moving
3 from one environment to another?

4 A. No. No, there's nothing at all
5 about that.

6 Q. Is it all about meta-documents?

7 A. It's completely about
8 meta-documents. It is where the documents
9 entered.

10 Q. In your opinion, is emailing a
11 document from, let's say, source one to source
12 two, the same thing as the on-line collaboration
13 tool of the '761 patent?

14 A. No. This is not sort of an
15 on-line system.

16 It's just a document that could be
17 sent over the internet. But just as a textual
18 document is not an on-line document, it's just a
19 document that you can send through email.

20 Again, this is just sort of a
21 fancier document that you could send through
22 email. It's not an on-line collaboration tool.

23 Q. Let's take a look now at the
24 claims and walk through these elements. So in

1 Claim 1, does the Hubert reference disclose the
2 context component element of Claim 1?

3 A. No, not at all, for all the
4 reasons I've already mentioned. There just is
5 no context.

6 In the sense of an environment or
7 user environment, there's nothing like that in
8 the system. It's also not a network-based
9 system.

10 It's just a document. There's no
11 sense of being in a network.

12 As far as the tracking component,
13 element two is concerned, again, it doesn't
14 track users doing anything. It can't track
15 users from first context to the second context
16 because the technology doesn't provide user
17 environments, or contexts or people.

18 So it doesn't disclose any of
19 those things.

20 Q. For all the reasons we have
21 already talked about, is it your opinion that
22 the Hubert reference does not anticipate Claim 1
23 of the '761 patent?

24 A. The Hubert reference does not

1 anticipate Claim 1 of the '761 patent.

2 Q. Let's take a look at Claims 4 and
3 7. How about these claims, what is your opinion
4 with respect to these claims?

5 A. Well, these claims are, you know,
6 dependent on Claim 1. So since I believe Claim
7 one is valid, those claims are also valid.

8 But, again, there's no user
9 environment. Again, there's no context, you
10 know.

11 So you can't have data created in
12 one context associated with data in the second
13 context. So because there is no context in the
14 second context.

15 Q. When you say because Claim 1 is
16 valid, it's also your opinion that these
17 dependent claims are valid, is that because they
18 don't have the elements of the system of Claim 1
19 that starts out on these dependent claims here?

20 A. Yes. They don't have the elements
21 of the system under Claim 1.

22 Q. Let's turn to Claim 9.

23 Do you have an opinion as to
24 whether or not the Hubert reference anticipates

1 Claim 9?

2 A. Right. Well, yes, I do. I am
3 starting to feel like a broken record up here,
4 but for the same reasons that you've been
5 describing, there really is no context
6 component. There really is no tracking
7 component for exactly the reasons mentioned
8 before.

9 It does not anticipate Claim 9 or
10 even any of the elements of Claim 1.

11 Q. What about the web-based computing
12 platform, which I believe is one of the
13 differences we've identified?

14 A. Yeah. There's nothing like a
15 web-based computing platform. A meta-document,
16 as I said, is just a document. It's independent
17 of a network.

18 It certainly doesn't necessarily
19 live on the web. It's not a platform. It
20 doesn't fit that at all.

21 Q. So let's turn to the dependent
22 Claims 11 and 16.

23 A. Mm-hmm.

24 Q. And do you have an opinion as to

1 whether or not the Hubert reference anticipates
2 Claim 11 and 16?

3 A. Right. So once again, it talks
4 about associated plurality of user environments.
5 Well, there are no user environments in a
6 meta-document.

7 And this talks about -- Claim 16
8 talks about further comprising accessing the
9 user environment via a portable wireless device.
10 There's no mention of anything like that.

11 Q. So is it your opinion that Claims
12 9, 11 and 16 are valid over the Hubert
13 reference?

14 A. Yes. It's my opinion that 9, 11
15 and 16 are valid as against the Hubert
16 reference.

17 Q. Let's take a look at Claim 21.

18 A. Mm-hmm.

19 Q. Is Claim 21 valid over the Hubert
20 reference?

21 A. Yes, in my opinion, Claim 21 is
22 valid over the Hubert reference. Once again,
23 this is the one that it pointed out, let's say,
24 user workspace is mentioned in each element of

1 this claim. And the meta-document does not have
2 any user workspaces in it.

3 It does not disclose any user
4 workspaces; therefore, it doesn't really
5 anticipate any of the elements of Claim 21.

6 Q. Let's turn to Claim 23?

7 A. Okay.

8 Q. Do you have an opinion with
9 respect to Claim 23 as to whether or not it's
10 anticipated by the Hubert reference?

11 A. Well, again, you know, this is
12 basically -- the first element is the context
13 component. The second element is the tracking
14 component.

15 And for all the same reasons that
16 I mentioned repeatedly, there is no context
17 component. There is no tracking component.

18 There is no web-based server
19 involved in this technology. For all of these
20 reasons, it does not anticipate either of the
21 elements of Claim 23.

22 Q. Are any of the elements of Claim
23 present in the Hubert reference?

24 A. No. None of the elements of Claim

1 23 are present in the Hubert reference?

2 Q. Would that be true of the other
3 independent claims of the '761 patent?

4 A. That is true of all of the
5 independent claims of the '761 patent.

6 Q. Can we take a look at the
7 dependent claims, which are 25, 31, 32. Do you
8 have an opinion with respect to whether the
9 Hubert reference anticipates these claims?

10 A. Well, since it does not anticipate
11 Claim 23, these claims are all dependent on
12 Claim 23. To simply make it more specific, the
13 Hubert reference does not anticipate any of
14 these claims.

15 Q. Now, in the Hubert reference,
16 there's the word -- the use of the word context.
17 Is it used in the same way as the '761 patent?

18 A. No. It's not used in the same way
19 at all. If we follow the claim construction
20 order, then context means environment.

21 And in the Judge's description or
22 discussion of how that terminology was settled,
23 there's some mention of the user environment is
24 part of an environment.

1 User environment is very much what
2 we would call a workspace. It's where the user
3 lives, does things, has tools for the user,
4 keeps the user's stuff.

5 And the term context is not used
6 at all in that way in the Hubert reference.

7 Q. I think we covered this. Is it
8 your opinion that Hubert doesn't anticipate any
9 of the asserted claims of the '761 patent?

10 A. Yes. It's my opinion that Hubert
11 does not anticipate any of the claims in the
12 '761 patent.

13 Q. Let's move to Swartz, which is PTX
14 919. What does the Swartz reference cover?

15 A. The Swartz reference, it discloses
16 a system that creates audit trail or regulatory
17 compliance purposes. So to give a little bit
18 more description, the idea is that if you want
19 to show that your work complies with regulatory
20 requirements, let's say, for example, you're
21 doing pharmaceutical tests. You're testing a
22 drug or something.

23 There are very detailed
24 regulations that dictate how you have to, you

1 know, do those tests. So what this Swartz
2 invention does, the idea is that you first start
3 out by creating a very detailed kind of work
4 flow of all the steps that you need to
5 undertake, so that you will be sure that you
6 comply with regulations. Okay.

7 And then it sort of keeps track of
8 everything that gets done. So if I do a
9 statistical analysis, it sort of grabs the data
10 and the analysis and plugs it into this audit
11 trail. Right.

12 If someone writes a document, or
13 does a sign off, or does a review or whatever it
14 is that the regulations require, this second
15 technology sort of takes the results of all
16 those things, integrates them into an audit
17 trail.

18 So when it gets to the end, you
19 not only had to report, but you can establish
20 exactly where everything came from. And so you
21 can prove through that audit trail that you've
22 complied with the regulations.

23 Q. Are there some figures that show
24 what's disclosed here in this source reference?

1 A. Yeah. I think we can move forward
2 and --

3 Q. Can we take a look at Figure 7?

4 A. Yeah. This is one of the figures.

5 So, again, here we're dealing with
6 documents and we're also dealing with data. And
7 what this system is doing is kind of integrating
8 them and weaving them together into an audit
9 trail.

10 As you can see, the way the data's
11 stored here is just, you know, just like the
12 other diagrams that we've seen. Again, folders
13 have to be named. Individual items have to be
14 placed into folders and that's how the data is
15 organized.

16 All right. So you have clinical
17 reports. Then you have to decide, okay, here
18 are the reports that I want to put into that
19 folder. And, you know, again, we have sort of
20 storage in the way that creates all the problems
21 that we talked about for people trying to share
22 documents.

23 All right. And this is a problem
24 that the '761 is trying to overcome.

1 Q. So when you referred to the other
2 diagrams just earlier in your testimony, were
3 you referring to the hierarchial structure?

4 A. Yes. Sorry.

5 I was. I was referring to the
6 hierarchial structure of files and folders.

7 Q. Okay. Why don't we turn to Figure
8 11 of the Swartz patent, which that's DTX 109.

9 A. Yes.

10 Q. So now what does this show us?

11 A. This is, again, very much the same
12 kind of thing showing how data gets stored in
13 the system, showing files and folders that have
14 to be named. And then you sort of choose where
15 the different -- different files go in this
16 hierarchial system.

17 Q. Does what's disclosed in Swartz
18 care about the users?

19 A. No, not at all. It doesn't care
20 about users. It's centered about all the
21 operations necessary to get, you know, improved
22 regulatory compliance. So that's what keeps
23 track of -- it keeps track of all those steps
24 that go into the creation of this report

1 documenting exactly how they were taken, so that
2 you can prove at the end that you track them the
3 right way.

4 It doesn't care about users.
5 There's no workspace.

6 There's no moving of a user from
7 one workspace to another workspace. It doesn't
8 care about users.

9 Q. Why don't we take a look at Figure
10 2A?

11 A. Mm-hmm.

12 Q. What does this show us?

13 A. Well, this is again showing that
14 the DataDocket Software, this is the Swartz
15 technology, is sitting in the middle and it's
16 interacting with some number of applications you
17 might have. You know, some of these --
18 according to the wording in the patent, some of
19 these regulatory compliance cases have thousands
20 of documents and thousands of statistical
21 analyses. And you might have any number of
22 applications that you have to use to sort of
23 create that document.

24 So DataDocket Software kind of

1 sits in the middle as middleware and collects
2 all these different operations that happen as
3 this process moves forward to create the audit
4 trail. So here this is just showing sitting in
5 the middle. It's a piece of middleware that
6 kind of gathers up all the stuff that is
7 stepping in the application and creates the
8 audit trail.

9 Q. Why don't we take a look -- I
10 think there's a description in the patent in
11 Figure 2A. Take a look at Column 9, Lines 5
12 through 8.

13 Yes?

14 A. Mm-hmm.

15 Q. So can you explain what we are
16 looking at here in Lines 5 through 8?

17 A. Right. So the way this works
18 actually is this middleware sits above the
19 operating system. Right.

20 And the application is run sort
21 of, if you will, on top of the middleware, so
22 the DataDocket kind of can intercept the data
23 that's exchanged and facilitate the exchange of
24 data between the applications so that you can

1 capture them and integrate them.

2 It has an integration component.
3 It kind of weaves them together to create this
4 trail of what happened.

5 Q. Is the primary idea behind the
6 Swartz reference to manage the flow of raw
7 source data to a final report?

8 A. Exactly. It's not at all about
9 collaboration or sharing.

10 It's all about sort of pulling
11 things together into an audit trail of documents
12 and final report.

13 Q. I think there's some places that
14 it's described here in the patent. If we could
15 turn to Column 8, --

16 A. Mm-hmm.

17 Q. -- lines 49 through 56. So could
18 you explain, you know, what is being described
19 here?

20 A. I think we're starting at the line
21 at a first or basic level, it automates the
22 process of transferring data analysis reports to
23 a document management system for document
24 production.

1 So the idea is that it takes data
2 from the application where the work is being
3 done and kind of funnels into a document
4 management system creating this history that --
5 so that this whole package can then be used for
6 regulatory approval submission.

7 So, you know, it synchronizes
8 information flow between data and a document
9 repository. So it's weaving together these data
10 and the documents into a single stream.

11 Q. Does this have anything to do with
12 users?

13 A. No.

14 Q. Okay. Can we turn to one other
15 place here in the patent?

16 I believe it's Column 6, Lines 22
17 through 26.

18 And Dr. Herbsleb, I was hoping you
19 could explain what's being described here about
20 what the Swartz reference is about.

21 A. Sure. Okay.

22 More specifically, the middleware
23 is preferably employed to identify, including
24 tracking, monitoring, analyzing the context in

1 which information is employed so as to enable
2 the use of such context in the management of
3 knowledge.

4 Okay. Here's one of those
5 examples that it uses some of the terminology of
6 '761, meaning tracking and context. It's using
7 those words in a completely different way.

8 So context here is the context in
9 this regulatory compliance scheme. Right.

10 So you want to show that as you're
11 creating this document, that, you know, it's
12 based on these data, analyzed in this way. And
13 that's the kind of context it's referring to is
14 weaving together the statistical data the
15 document just talked about tracking.

16 It's talking about tracking what's
17 going on in this regulatory compliance scheme,
18 what's being done to the documents, what's being
19 done to the data. There's no sense at all of it
20 tracking people, or tracking users or having
21 even workspaces for users.

22 So this is a completely different
23 type of thing.

24 Q. Is there anything in the claims of

1 this Swartz reference that also demonstrates
2 this point that you're making?

3 A. Sure.

4 Q. Can you turn to Claim 1 and 2?

5 A. Right. So a knowledge integration
6 system for providing application
7 interoperability for data analysis between
8 heterogeneous documents and data sources. So
9 basically what this describes is it has database
10 memory.

11 It has a data source suitable for
12 interoperatively performing data analysis. That
13 basically means there's some application that's
14 doing statistical analysis out there. That's
15 the first data source.

16 And as a source of documents, all
17 right, including document database memory. And
18 then this has a knowledge integration
19 application, which then kind of weaves together
20 the documents and the data that support those
21 documents to create this audit trail, this
22 history. And that's basically what's what it's
23 about.

24 Q. And if you look at Claim 2, --

1 A. Mm-hmm.

2 Q. -- does that confirm your
3 understanding of what's been disclosed in the
4 Swartz reference?

5 A. The knowledge system wherein the
6 knowledge integration application generates an
7 audit trail to represent the flow of data.

8 Q. Okay.

9 A. So, again, how does the data flow
10 to create this report? That's' what it's trying
11 to capture.

12 Q. Can we take a look at Claim 5 of
13 the Swartz reference? And can you explain, what
14 does this mean to one of ordinary skill in the
15 art?

16 A. So this is storing -- the
17 integration component is storing information
18 about the integration transaction. So what it
19 means here by integration transaction is when it
20 takes some data in a document and pulls them
21 together to sort of show that, you know, it's
22 been done correctly. So those are the
23 transactions it's talking about.

24 So it's -- so it stores those

1 transactions, everything, data and documents
2 into a trail. It stores that history of
3 transactions.

4 Q. It uses the words dynamically
5 stores. Is that the same concept of dynamically
6 stores or dynamic storing as disclosed in the
7 '761 patent?

8 A. It doesn't really specify what
9 dynamically is here. Just means that if, you
10 know, something happens and then it stores the
11 information. It's not really very specific
12 about what that means.

13 Q. How is that different than what's
14 disclosed in the '761 patent?

15 A. Well, so the '761 patent, what
16 gets stored is, you know, the user takes some
17 action and that updates, you know, the metadata
18 either based on context information or the
19 tracking information.

20 This doesn't really say that it's
21 triggered necessarily by something the user
22 does. It doesn't -- it's not clear what
23 triggers it. It just says that it's, you know,
24 stored over time.

1 Q. So by using the same words, does
2 it mean the same thing from the Swartz reference
3 to the '761?

4 A. No. No.

5 These words often get used in very
6 different ways and we have a claim construction
7 order that covers some of the words that are
8 used here. We have to understand them in that
9 sense.

10 Q. Okay. Let's look at the claims
11 now. So we'll turn to Claim 1.

12 A. Mm-hmm.

13 Q. Do you have an opinion as to
14 whether or not the Swartz reference discloses
15 the context component element of Claim 1?

16 A. I have an opinion. It does not
17 disclose the context element of Claim 1 for many
18 of the same reasons we discussed. It doesn't
19 have a context component.

20 There's nothing like an
21 environment. There's nothing like a user
22 workspace.

23 And so it can't do any of the
24 things, you know, described in here because it

1 doesn't have user workspace.

2 Q. And how about the tracking
3 component element of Claim 1?

4 A. The tracking component element of
5 Claim 1 is essentially in the same story, it
6 does not track users as they move from any
7 context to any other context. It's not centered
8 around users. It doesn't track users at all.

9 Q. Do you have an opinion as to
10 whether or not the Swartz reference anticipates
11 Claim 1 of the '761 patent?

12 A. I do. It does not anticipate in
13 my opinion Claim 1 of the '761 patent.

14 Q. Let's look at Claims 4 and 7.
15 What is your opinion with respect to whether or
16 not the Swartz reference anticipates Claims 4
17 and 7 of the '761 patent?

18 A. Right. My opinion it does not
19 anticipate Claim 4. Context information, this
20 is information from a user environment in which
21 the invention doesn't have, so it doesn't
22 anticipate Claim 4.

23 Claim 7 talks about a first
24 context associated with data created in the

1 second context. It doesn't have context in the
2 software.

3 Q. So is it your opinion that four
4 and seven --

5 A. It does not anticipate either
6 Claim 4 or Claim 7.

7 Q. Let's turn to Claim 9. Do you
8 have an opinion with respect to Claim 9 as to
9 whether or not the Swartz reference anticipates
10 Claim 9?

11 A. Well, as we discussed the first
12 two elements comprise the context component, the
13 section two elements comprise the tracking
14 component and for all the same reasons that I
15 have discussed, it does not anticipate any of
16 the elements of Claim 9.

17 Q. Let's turn to Claims 11 and 16.
18 What is your opinion with Claims 11 and 16 as to
19 whether or not the Swartz reference anticipates
20 those claims?

21 A. Well, again, we have indexing the
22 content of the user environment. It has no user
23 environment so it does not anticipate Claim 11.
24 Accessing the user environment via a portable

1 wireless device, it has neither so it does not
2 anticipate Claim 16.

3 Q. Is it your opinion also that since
4 Claims 11 and 16 depend on Claim 9 that the same
5 reasons you articulated for Claim 9 also apply
6 to those two claims?

7 A. Right. Those same reasons apply
8 here as well as additional reasons.

9 Q. Let's turn to Claim 21.

10 A. All right.

11 Q. Do you have an opinion as to
12 whether or not Claim 21 is anticipated by the
13 Swartz reference?

14 A. I do. My opinion is that Claim 21
15 is not anticipated by the Swartz reference.
16 Again, we see here user workspace mentioned in
17 every element of this claim. And there is, you
18 know, no user workspace in the technology of
19 Swartz disclosure, so I don't think that any of
20 these elements are anticipated by Swartz.

21 Q. Let's turn to Claim 23. Do you
22 have an opinion as to whether or not Claim 23 is
23 anticipated by the Swartz reference?

24 A. Well, once again, I find myself

1 saying the same thing over and over again. I
2 apologize if it's getting repetitive. But the
3 first element is the context component. The
4 second element is the tracking component. And
5 once again, for all the reasons I have
6 mentioned, it doesn't have a context component
7 as described here. It does not have a tracking
8 component as described here, so it does not
9 anticipate either of the elements of Claim 23.

10 Q. How about the dependent claims,
11 Claims 25, 31 and 32, which depend on Claim 23?

12 A. Well, they depend on Claim 23 in
13 the sense that they just make it more specific.
14 It does not anticipate any of these claims,
15 either, for the same reasons.

16 Q. And when you say any of these
17 claims, you're referring to 25, 31 and 32; is
18 that correct?

19 A. That's correct.

20 Q. So is it your opinion that the
21 Swartz reference doesn't anticipate any of the
22 asserted claims for all the reasons you have
23 testified to today?

24 A. It is my opinion that the Swartz

1 reference does not anticipate of these claims.

2 Q. Do you have an opinion as to
3 whether or not the Swartz reference affects the
4 validity of any of the asserted claims of the
5 '761 patent?

6 A. I think the Swartz patent does not
7 affect the validity of any of the claims in the
8 '761 patent.

9 Q. Do you have an opinion as to
10 whether or not the Hubert reference affects the
11 validity of any of the asserted claims of the
12 '761 patent?

13 A. I do have an opinion. I think
14 that the Hubert reference does not affect the
15 validity of any of the claims in the '761
16 patent.

17 Q. Do you have an opinion whether or
18 not the iManage reference affects the validity
19 of any of the asserted claims of the '761
20 patent?

21 A. I do. I believe the iManage user
22 reference manual does not affect any of the
23 claims of the '761 patent.

24 Q. Would there be any combination of

1 these references that we have just talked about
2 that would render the asserted claims of the
3 '761 patent obvious in your opinion?

4 A. No. In the first place, I haven't
5 seen any reference that anyone has offered as to
6 why someone would think to combine them anyway.
7 There has really been no reason offered as to
8 why we should do that. But even if we did, all
9 suffer from the same problems as we've seen. I
10 was saying the same thing over and over again,
11 if you can combine them all, none of them has a
12 context. None of them has a tracking component.
13 None of them invalidates any single element of
14 any of the claims. If you put them all
15 together, they still don't invalidate any of the
16 elements of any of the claims.

17 Q. Do those references actually
18 practice the problems that the '761 patent
19 sought to solve?

20 A. Yes. As we saw I think for all of
21 them, there is the same hierarchal arrangement
22 of data storage, folders, you name the folders,
23 you put stuff in the folders, so it does not
24 facilitate sharing in collaboration. In fact,

1 it creates -- it's the same kind of system that
2 creates the problem that the '761 is trying to
3 solve.

4 Q. In Dr. Greenberg's report based on
5 your review of the it, did he provide the
6 motivation to combine any of these references
7 together that we have talked about?

8 A. No, I don't believe he provided
9 any reason why someone would try to combine
10 these references together.

11 Q. Would the fact that on the face of
12 two of these references refer to an assignment
13 to Xerox suggest a motivation to combine?

14 A. No, I believe those are Swartz and
15 Hubert. No, I don't think so. You know, Xerox
16 must have thousands or tens of thousands of
17 patents. Just the fact that it's the same
18 company doesn't suggest that you would
19 automatically think they would be combined in
20 some way.

21 Besides, I believe the Hubert
22 patent was a European patent, so I believe
23 Hubert is in Europe somewhere. And the Swartz
24 patent was patented in the U.S., so it's not

1 even clear -- you know, they're in different
2 continents presumably, that doesn't provide any
3 reason to think that someone would combine
4 those.

5 Q. Do you have an understanding for
6 the concept of obviousness that we had talked
7 about earlier whether an element-by-element
8 analysis combining the references is required?

9 A. Yeah, my understanding is that it
10 is required.

11 MS. KEEFE: Objection, Your Honor.
12 601, legal opinion.

13 MS. KOBIALKA: I'm asking for his
14 understanding of --

15 THE COURT: Overruled. If he has
16 an understanding, he can testify to it.

17 THE WITNESS: My understanding was
18 that one must sort of look at each element in
19 turn and find some reason to combine the
20 references rather than saying all these things
21 should be put together and somehow they add up
22 to the invention.

23 Q. So in 2002, the time of the filing
24 of the provisional, would it have been difficult

1 to convert a standalone software product into a
2 web-based product?

3 A. Yeah, there are a number of
4 problems, depending on the product it could be
5 quite difficult. If you're creating a
6 standalone product, you can use whatever you
7 want to use on the computer, you're just totally
8 unrestricted. If you're trying to create a
9 web-based version of it, you have to create
10 something that runs inside of a browser, that's
11 a very, very restrictive environment, so it can
12 be quite challenging to do that, let alone
13 dealing with the fact that, you know, network
14 conductivity might be there, it might not be
15 there, it might die in the middle of a session,
16 there are a number of things to deal with. It
17 does not make it a trivial undertaking at all.

18 Q. I just asked that question in
19 connection with 2002. Today would that answer
20 be any different?

21 A. It would be easier now, still not
22 trivial, but probably easier.

23 Q. How about in 2002, would it have
24 been difficult to convert an existing product

1 into one that's accessible by a portable
2 wireless device?

3 A. Yes, the portable wireless devices
4 of 2002 have very small screens, for example, so
5 to create some way to interact with an
6 application on a little tiny screen is a very
7 big problem.

8 And in 2002, that was before we
9 heard much about 3G connectivity, so it was
10 very, very small bandwidth, so it's hard to get
11 something useful to run with a tiny bit of
12 bandwidth and you have problems of being
13 connected and disconnected and what to do when
14 that happens. It's not a trivial exercise.

15 MS. KOBIALKA: Your Honor, this
16 may be a good stopping point. I do have a
17 little more and it would extend into the lunch
18 break.

19 THE COURT: That's fine. I think
20 it's an appropriate time for our lunch break and
21 we'll allow our jurors to go out to get their
22 lunch.

23 THE CLERK: All rise.

24 (Jury leaving the courtroom at

1 12:28 p.m.)

2 THE COURT: You can step down,
3 Professor.

4 Ms. Kobialka, your estimate about
5 how much longer on direct?

6 MS. KOBIALKA: Probably fifteen
7 minutes.

8 THE COURT: We'll be in recess
9 until 1:30.

10 (A brief recess was taken.)

11 THE COURT: Good afternoon.
12 Anything we need to discuss before we bring the
13 jury in?

14 MR. ANDRE: Just one quick matter,
15 Your Honor, before the jury comes in. We'll be
16 resting our case after Dr. Herbsleb. Before we
17 do so, there was a stipulation early in the case
18 about the commercial success of Facebook and I
19 realize they have recently challenged that
20 stipulation once again and we don't know if we
21 should offer proof before we close our case or
22 how the Judge wants us to handle that.

23 THE COURT: Right. Mr. Rhodes, do
24 you want say something?

1 MR. RHODES: Not very much. I
2 wanted to avoid evidence coming in on the
3 matter. They over my objection got evidence in
4 on the matter, so I told them there is no need
5 for a stipulation, you can argue evidence.

6 THE COURT: Well, my plan right
7 now is to have one sentence in the jury
8 instructions at the obviousness portion that --
9 which I think is language that Leader proposed,
10 Facebook's website is commercially successful,
11 so that plus the evidence that came in is as
12 much on commercial success as we're going to
13 have.

14 Anything else before we bring the
15 jury in?

16 MR. ANDRE: That's all, Your
17 Honor. Thank you.

18 THE COURT: Mr. Rhodes?

19 MR. RHODES: No.

20 THE COURT: No. Let's bring the
21 jury in.

22 THE CLERK: All rise.

23 (Jury entering the courtroom at
24 1:41 p.m.)

1 THE CLERK: Please be seated.

2 THE COURT: Good afternoon.

3 Welcome back.

4 Ms. Kobialka, I believe you're
5 still on.

6 MS. KOBIALKA: Yes. Thank you,
7 Your Honor. I would like to finish up with
8 Dr. Herbsleb. While he's on his way up to the
9 stand, we would like to move PTX 1125 into
10 evidence.

11 MS. KEEFE: No objection, Your
12 Honor.

13 THE COURT: It's admitted.

14 BY MS. KOBIALKA:

15 Q. Dr. Herbsleb, in your opinion,
16 would any of the references that we have
17 discussed today used in combination in any way
18 render any of the asserted claims of the '761
19 patent obvious?

20 A. No, they would not. As I
21 mentioned they all suffer from very similar kind
22 of issues, so putting them together doesn't
23 help.

24 Q. And that's all based on the

1 reasons that you have already provided today; is
2 that right?

3 A. Exactly.

4 Q. In your opinion, does the
5 invention of the '761 patent address a long-felt
6 but unresolved need in the industry?

7 A. I think it does. I mean, this
8 2002 time frame was right at the end of the
9 period where I was doing research in
10 collaboration technology at Bell Labs. We were
11 trying to introduce and develop some
12 technologies to help distribute teams and share
13 documents and it was a huge problem. And I
14 think others were suffering from very similar
15 kinds of problems trying to figure out how to
16 get global distributed teams to share, for
17 example.

18 And, again, in terms of
19 obviousness, I think if, you know, a solution to
20 that had been obvious, someone would have come
21 up with it some time ago.

22 Q. In your opinion, based on the
23 techniques that were known around 2002, did
24 those techniques teach a way from the invention

1 of the '761 patent as it related to users?

2 A. Yeah. I think what we saw in some
3 of the other references are the kinds of things
4 that were, you know, typical of the day, you
5 know, hierarchy arranged filing systems, systems
6 built around documents, managing documents,
7 tracing history of documents, that is what was
8 around.

9 So that would not lead someone to
10 suddenly go in the other direction and build
11 everything around users. I think that's a
12 significant shift and I don't think that was at
13 all obvious from the technologies that were
14 prevalent at the time.

15 Q. In your opinion, did these factors
16 provide evidence that the invention of the '761
17 patent is not obvious?

18 A. I think they do. I think they
19 give another good indication that it was not
20 obvious in that time frame.

21 MS. KOBIALKA: Thank you. No
22 further questions at this time.

23 THE COURT: Thank you.

24 Cross-examination.

1 MS. KEEFE: Thank you.

2 CROSS-EXAMINATION

3 BY MS. KEEFE:

4 Q. Good afternoon, Dr. Herbsleb.

5 A. Good afternoon.

6 Q. Dr. Herbsleb, are you being paid
7 to be an expert in this case?

8 A. Yes, I am.

9 Q. How much are you being compensated
10 at?

11 A. They compensated my usual
12 consultant fee which is \$300 an hour.

13 Q. Dr. Herbsleb, you respect
14 Dr. Greenberg, don't you?

15 A. I do.

16 Q. And, in fact, you would consider
17 him to be an expert in collaboration
18 technologies; correct?

19 A. I would, that's correct.

20 Q. And we've just heard you had
21 expressed an opinion that the patent was
22 nonobvious; right?

23 A. That's correct.

24 Q. And the only two considerations of

1 nonobviousness that you used were your belief
2 that there was some long-felt but unresolved
3 need and teaching away by others of the
4 invention; is that right?

5 A. No. No. Those are not my only
6 reasons for thinking that it was not obvious.
7 I'm also thinking about the particular things
8 that were disclosed in the references in the
9 Greenberg report, and the other kinds of
10 technology that were available and prevalent at
11 the time. And all of those things together,
12 along with the fact that there was a long-felt
13 unmet need as well as teaching away, all those
14 together caused me to think that it was
15 definitely not obvious.

16 Q. But in your report, the only
17 secondary considerations of nonobviousness that
18 you listed were long-felt but unresolved need
19 and teaching away by others from the invention;
20 is that correct?

21 A. I don't believe so. I think that
22 those are the only secondary considerations that
23 I mentioned at the time. I believe that an
24 examination of the references also indicates

1 that it was not obvious. I believe I commented
2 in the report on the fact, for example, that
3 Dr. Greenberg did not attempt to provide any
4 justification for combining references to
5 indicate obviousness, so that indicates that his
6 report did not adequately support a finding of
7 obviousness.

8 MS. KEEFE: Your Honor, I would
9 like to play for the record at his deposition
10 page 188, lines 10 through 14, please.

11 THE COURT: Hold on a second.

12 MS. KOBIALKA: No objection.

13 THE COURT: No objection. You can
14 play it.

15 MS. KEEFE: Thank you.

16 (Videotape.)

17 Q. As I read your report, sir, your
18 secondary considerations of nonobviousness are
19 in the category of long-felt but unresolved need
20 and teaching away by others from the invention.
21 Is that accurate?

22 A. Yes. That's right.

23 BY MS. KEEFE:

24 Q. But Dr. Herbsleb, you couldn't

1 identify any products in the industry that
2 implement the claims of the '761 patent that are
3 asserted in this case, could you?

4 A. I couldn't seem to identify any
5 products in the industry. Could you repeat it
6 again.

7 Q. Absolutely. You could not
8 identify any products out there in the industry
9 that implement the claims of the '761 patent
10 that are asserted in this case?

11 A. So as you recall during the
12 deposition, I was just responding to
13 Dr. Greenberg's report and I was sticking mostly
14 to commenting on that report. So since I was
15 not asked to prepare for that report any survey
16 of products out there in the world, I didn't do
17 that.

18 Q. And as a result, you did not
19 identify any products out there in the industry
20 that implement the claims of the '761 patent
21 that are asserted in this case; correct?

22 A. I don't actually remember that,
23 but that could well be true, yes.

24 Q. And you had no opinion one way or

1 the other as to whether anyone in the industry
2 is following the teachings of the '761 patent;
3 isn't that correct?

4 A. So, I don't recall. I may have
5 said that.

6 Q. And you did not perform any tests
7 to test how effective the '761 patent is?

8 MS. KOBIALKA: Objection. Outside
9 the scope of his direct.

10 MS. KEEFE: It goes directly to
11 the secondary considerations he's been
12 discussing.

13 THE COURT: Overruled.

14 THE WITNESS: So, no, I didn't
15 perform any tests, but I have on the other hand
16 been involved in collaboration technology in
17 sort of introducing collaboration technologies
18 to industry and I think it's pretty clear that
19 this technology is an effective approach to
20 that.

21 Q. But you did not perform any tests
22 to test the efficacy of the systems and methods
23 claimed in the '761 patent, did you?

24 A. No, I didn't perform any

1 experiments or anything, no, that's correct.

2 Q. And you did not perform any
3 surveys regarding the effectiveness of the
4 systems and methods claimed in the '761 patent,
5 did you?

6 A. No. As I pointed out, I was just
7 responding to Dr. Greenberg's report, and it
8 didn't seem to require conducting any
9 experiments in surveys, I did not.

10 Q. Now, you talked about the code
11 that was attached to the back of the provisional
12 application.

13 A. That's right.

14 Q. And I think your testimony earlier
15 this morning was that you talked about it for
16 you being something like a recipe, we talked
17 about sauteing something. Do you recall that?

18 A. I think what I said actually was
19 that it is a concise way to convey information.
20 That it's not the complete implementation of the
21 invention disclosed, by any means, but it's
22 something which would give someone skilled in
23 the art, you know, information about how one
24 would actually make and use this invention.

1 Q. But you also have testified before
2 that the code attached to the provisional
3 application is just pseudo code; correct?

4 A. Yes. Well, that goes along with
5 the idea that it's mainly a communication device
6 for other people who might want to make and use
7 this invention. It's not really a full
8 implementation as I said, but it is designed to
9 be helpful, you know, to give information and
10 hints to someone who might want to actually make
11 this invention.

12 Q. To make hints, that is what you
13 just said?

14 A. For someone practicing the art, it
15 would give strong indications of how to
16 implement, make and use this invention.

17 Q. And pseudo code would not actually
18 function if you were to compile it into an
19 executable program; right?

20 A. Pseudo code would not, right.

21 Q. And that's because it's not a real
22 programing language; right?

23 A. So pseudo code is not a real
24 programing language, but there is really kind of

1 a fine line here that I would like to clarify.

2 So the language that appears here
3 looks very much like Java, although I didn't
4 really try to compile it and test it and see if
5 it actually runs. But the purpose of that code
6 that looks a lot like Java is to provide
7 information to someone skilled in the art so you
8 know what kind of glasses had been imported, you
9 would know how data was being stored, you would
10 know where to go to access information about
11 users, and so on.

12 Q. You mentioned a lot of things in
13 that last answer that I would like to go
14 through.

15 A. Okay.

16 Q. Can we actually see the import
17 statement section of the provisional, please.
18 So you mentioned these import statements quite a
19 few times; is that correct?

20 A. That's right.

21 Q. And, in fact, the ones that we
22 pointed to most frequently were the import.com.
23 Leader.persist.vbsf, and the very last import,
24 com.leader.osapplication.sessionstate; is that

1 correct?

2 A. That's correct.

3 Q. You just mentioned that an import
4 statement imports classes that are defined
5 elsewhere; is that right?

6 A. That's right.

7 Q. What is a class?

8 A. It is a unit of code.

9 Q. So an import statement is used to
10 bring in code that lives somewhere else into the
11 code without having to repeat that code right
12 here; is that correct?

13 A. Yeah, it's used for, you know,
14 very common sort of utilities and boiler plate
15 sort of code that's used very frequently. And
16 every Java program and most programming language
17 these days import things like that.

18 Q. But with respect to the import
19 statements that we have highlighted here, you
20 can't really know what is in those classes
21 unless you actually have access to the
22 underlying source code that's being imported;
23 isn't that correct?

24 A. I would say that's not correct. I

1 would say that anyone skilled in the art knows,
2 you know, you don't know every single detail of
3 exactly what is within those classes, but you
4 know that VBSF is middleware that allows you to
5 store information in a database, you know, that
6 session statement is there to sort of capture
7 and hold information about a session because web
8 protocols are stateless and they can't catch a
9 state, so you know that kind of stuff from just
10 looking at the names of these things because
11 those are very common names in the industry.

12 MS. KEEFE: Your Honor, I would
13 like to play from the deposition at page 132,
14 lines 19 through 22.

15 MS. KOBIALKA: I'll object.
16 That's an incomplete clip. We need to continue
17 on to --

18 THE COURT: Which lines do you
19 propose in addition?

20 MS. KOBIALKA: At least page 133
21 through line one.

22 THE COURT: 133, one.

23 MS. KEEFE: That's fine, Your
24 Honor.

1 THE COURT: Okay.

2 (Videotape:)

3 Q. You can't really know what's in
4 these classes unless you actually have access to
5 the underlying code. Correct?

6 A. So, that's correct -- except
7 someone with skill in the art would be able to
8 make reasonable guesses based on the names, I
9 would maintain.

10 BY MS. KEEFFE:

11 Q. And, in fact, the best you could
12 do is guess as to what's in the code referred to
13 in an import statement; isn't that correct?

14 A. Not in the sense of a wild guess,
15 no. So as I said before, you don't know the
16 details of how each one of those is implemented
17 because you don't see the code. But VBSF are
18 very common well understood terms so that anyone
19 knowledgeable in the art would know basically
20 what they're doing and they would tell you that
21 if you are trying to make and use this
22 invention, certain kinds of information are
23 going to be stored in a relational database and
24 certain kinds of information are going to be

1 stored in a session state. That would be clear.

2 MS. KEEFE: Your Honor, I would
3 like to play page 133 lines, two through six.

4 MS. KOBIALKA: I'll object as
5 incomplete. If it goes through line 13 on page.

6 THE COURT: No objection through
7 line 13?

8 MS. KOBIALKA: Yes.

9 THE COURT: Ms. Keefe.

10 MS. KEEFE: I actually disagree, I
11 literally asked the question directly and then
12 the answer, but if that helps then we can go
13 ahead and play it.

14 THE COURT: It helps. Let's go
15 ahead and play it then, the whole portion.

16 (Videotape:)

17 Q. But that's the most they could
18 make, is reasonable guesses?

19 A. Yes. But someone, you know,
20 skilled in the art could make reasonable
21 guesses, I think.

22 Yes. But someone, you know,
23 skilled in the art could make reasonable
24 guesses, I think.

1 Q. So let's talk about VBSF for a
2 minute. What is VBSF?

3 A. Sort of a middleware that matches
4 up object-oriented programs with relational
5 databases so that it does the translation from
6 the object model to a relational model, makes it
7 much easier to use in a relational database.

8 BY MS. KEEFE:

9 Q. And, in fact, with respect to the
10 sessions state classes, you were, in fact,
11 speculating as to what was contained within
12 them; isn't that correct?

13 A. So, are you talking about this
14 clip? This clip is talking about VBSF.

15 Q. No, I'm talking about session
16 state classes.

17 A. Session state classes.

18 Q. That were imported.

19 A. So, as I mentioned, you can't see
20 the details of what is session state because the
21 source code is not here. But it is sort of
22 boiler plate type code. Session state is
23 something that if you're writing a web and you
24 have to maintain session state, it's usually the

1 same for almost every application, a set of
2 things that you're doing in web protocols, they
3 don't know that you have logged in, they don't
4 know that you have seen this page but not that
5 page. But session state captures that sort of
6 information and holds it.

7 It is well-known that this is the
8 purpose of session state libraries.

9 Q. But you agree that with respect to
10 the session state, you were speculating as to
11 what it contained?

12 A. I think that when something is
13 well understood by people versed in the art it's
14 not really quite speculation. It is a very
15 informed inference.

16 MS. KEEFE: Your Honor, I would
17 like to play from page 132, line five through
18 line 18.

19 MS. KOBIALKA: Object, Your Honor.
20 This isn't impeachment.

21 THE COURT: Pass up a copy, please
22 of the transcript. 132, line five through 18?

23 MS. KEEFE: Yes, sir, Your Honor.

24 THE COURT: The objection is

1 overruled. You can play it.

2 MS. KEEFE: Thank you, Your Honor.

3 (Videotape:)

4 Q. So you would not know how to
5 locate those classes. Correct?

6 A. So there are session state classes
7 in Java, for example, that may be very similar
8 to this, so the functionality of these kinds of
9 classes -- the reason -- well, I'm speculating.
10 But the reason they're not fully reproduced here
11 is simply because they're fairly common kinds of
12 things that you wouldn't need to look at.

13 Q. But you are speculating. I mean,
14 you can't --

15 A. I am.

16 (End of videotape.)

17 A. So if I may clarify what I was
18 speculating about is the reason they don't
19 appear here, if you go back and carefully read
20 that, I'm not speculating about what the classes
21 mean, I'm saying I'm speculating the reason they
22 don't appear here is because they're very common
23 and they don't need to appear here.

24 Q. When you hired doctor -- you hired

1 Dr. Caltaldo to actually attempt an experiment,
2 is that correct, using the provisional
3 application?

4 A. I'm not sure if hire is the
5 correct word. I'm the one that gave him the
6 task, I did not pay him, someone else paid him,
7 but yes, I gave him that task.

8 Q. And you agree that a person of
9 ordinary skill in the art in this case can have
10 as little as a bachelor of science in computer
11 science according to your testimony; is that
12 right?

13 A. Yes, that's right.

14 Q. But Dr. Caltaldo actually has a
15 Ph.D.?

16 A. He does.

17 Q. And Dr. Caltaldo has more than ten
18 years of experience in the field of computer
19 science?

20 A. That's correct.

21 Q. And you consider him to be very
22 talented; right?

23 A. He's talented, yes, but then on
24 the other hand, as I said before, having a Ph.D.

1 does not necessarily enhance somebody's ability
2 to create a web application. Having a Ph.D.
3 you're doing research that takes you into an
4 extremely specialized area and since I was his
5 thesis supervisor, I can tell you it had
6 absolutely nothing to do with web applications
7 or even applications.

8 I think ten years of experience
9 is, you know, probably fairly average for
10 someone in industry, so I think if you put all
11 that together, he was someone, you know, that
12 would be a representative of someone who was
13 well versed in the art.

14 Q. And other than assigning him this
15 task, you didn't actually oversee Dr. Caltaldo
16 in any way during the project; is that right?

17 A. Not in any way having to do with
18 this, no.

19 Q. And you don't know if Dr. Caltaldo
20 referenced any outside materials in coming up
21 with the pseudo code that he developed; isn't
22 that correct?

23 A. All I know is what he told me, and
24 he told me he did not, when I asked him.

1 Q. But when you had your deposition
2 taken in this case, you were asked the question,
3 and you, in fact, answered that you did not know
4 if Dr. Caltaldo had referenced any outside
5 materials; isn't that correct?

6 A. That's correct. And it was the
7 deposition that convinced me that that was a
8 pretty important question and I ran off and
9 asked Dr. Caltaldo at which point he told me he
10 had not referenced any other materials in
11 preparation.

12 Q. You didn't know during the time of
13 your deposition whether or not Dr. Caltaldo had
14 worked with anyone else in connection with his
15 work; isn't that correct?

16 A. At the time of the deposition, I
17 probably didn't know that.

18 Q. And similarly at the time of your
19 deposition, you did not know whether anyone else
20 had contributed to the content of the pseudo
21 code that Dr. Caltaldo handed you; isn't that
22 correct?

23 A. So there is a little wrinkle here
24 that I should try to explain to make this clear

1 is that at some point in the deposition, I think
2 it was at lunchtime or perhaps a break, I called
3 Dr. Caltaldo and asked him some of these
4 questions. So I didn't know during the first
5 half, I knew some of the answers during the
6 second half. There were some things I didn't
7 think to ask him which I asked him yet later, so
8 there are several different points in time here.

9 Q. Could we pull up the pseudo code,
10 please. I think it's the new exhibit, 1125.
11 1125, please. Can you highlight just the title.

12 Dr. Herbsleb, is this the title of
13 the report that Dr. Caltaldo gave you?

14 A. Yes, it is.

15 Q. And the terms at the end here,
16 context and tracking components. Those are
17 phrases used in the patent; isn't that correct?

18 A. That's correct, they are used in
19 the patent.

20 Q. In fact, it's -- you testified
21 earlier that it was possible that Dr. Caltaldo
22 actually had a copy of the final patent when he
23 was performing his analysis, didn't you?

24 A. I believe what I said is that it's

1 public information, that anybody can access
2 that, so of course he had access to it as does
3 everyone.

4 Q. Dr. Herbsleb, what Dr. Caltaldo
5 built was actually pseudo code, wasn't it?

6 A. Well, again, it appears to be
7 Java. It is very, very close to Java, but since
8 I didn't compile it, I don't know if it really
9 runs, so we could call it pseudo code. It looks
10 just like Java.

11 Q. You testified before that
12 Dr. Caltaldo did not build any actual working
13 system in connection with his work with the
14 provisional; isn't that correct?

15 A. That's correct, because it does
16 make calls into the code, you know, provided in
17 the provisional patent application which we
18 didn't have in code form, so it couldn't run
19 because it makes those calls to the code that's
20 in the system.

21 Q. And the fact that it is pseudo
22 code indicates to you that the code Dr. Caltaldo
23 developed could not be used to create a working
24 application; is that correct, by itself?

1 A. Not, it's not complete by itself,
2 right, it does rely on the code in the
3 provisional application.

4 Q. Dr. Herbsleb, with respect to the
5 iManage reference materials, you testified that
6 the iManage reference materials did not teach a
7 web-based system; is that correct?

8 A. Yes, that's right.

9 Q. Can you please pull up page 41 of
10 the iManage reference manual. This is in
11 chapter two.

12 Dr. Herbsleb, could you please
13 read for me the first sentence under the header
14 web browsers out loud?

15 A. "iManage DeskSite has a web
16 browser utility to allow you to quickly access
17 the web directly from iManage Desktop."

18 Q. Thank you. Can you also please --

19 A. So could I comment on that. That
20 does not mean that it's web-base system, that
21 means it has a browser built into it. Browser
22 simply goes out and makes the http requests and
23 gets web pages, but iManage is not a web-based
24 system. That is not to say the documents within

1 iManage is accessible in any way, it means you
2 have a browser and you can go look at the web,
3 that's all it says.

4 Q. Go you pull that back up again,
5 please. But, in fact, can you read for me the
6 tool bar here under the address and what is the
7 name of that website?

8 A. Tool bar under the address. It's
9 http.www.iManage.com.

10 Q. Thank you.

11 Can you now please turn to page 83
12 in Figure 3.26. I believe you also testified
13 that it's your belief that iManage does not
14 involve users, or taking care of tracking users
15 or where users are; is that correct?

16 A. It does not track users from one
17 context to another, that's correct.

18 Q. Can you read for me what the title
19 is on the left-hand column of this figure in the
20 iManage reference?

21 A. So that is the user, which in this
22 case happens to be the same user four times in
23 the row, it could be four different users. As
24 the figure caption says this is a document

1 history in which whatever user happens to
2 interact with the document. Those user names
3 would show up there. In this case it happens to
4 be the same user four times in a row, but if
5 Bowen went to do anything else this would not
6 track them.

7 Q. With the Hubert system, you also
8 believe that the Hubert system has nothing to do
9 with the web; is that correct?

10 A. The Hubert system has nothing to
11 do with the web, that's right.

12 Q. Could you please pull up page 25
13 of the Hubert reference. Paragraph 25, I'm
14 sorry. Can you please read for me the first
15 sentence of paragraph 25 of Hubert?

16 A. "Meta-document 20 is then
17 forwarded via the internet to source
18 (environment) 34."

19 So the internet is not the same as
20 the web. The Internet is the basic plumbing,
21 the basic functionality. It's a big network
22 that hooks computers together. The web is a set
23 of servers built on protocols on top of the
24 internet. So something going by Internet

1 doesn't necessarily mean something going by web.
2 And the illustrations in the description here
3 are sending something as an email attachment.

4 Q. Isn't it possible that one of
5 ordinary skill in the art could see the word via
6 the internet and also assume that it could be
7 done via the worldwide web?

8 A. Well, it could be done, you know,
9 with paper airplanes or something. It's not
10 here.

11 Q. So you also said that Hubert had
12 nothing to do with users, I believe; is that
13 correct?

14 A. I said Hubert has nothing to do
15 with tracking users from one context to another.
16 It's not centered around users.

17 Q. Could we pull up paragraph four,
18 please. Paragraph four was talking about what
19 Hubert was trying to solve; is that correct?
20 Sort of the background of what was wrong in the
21 past?

22 A. Excuse me, let me take just a
23 second to read this.

24 Q. Sure.

1 A. (Witness reviewing.) Yes.

2 Q. Okay.

3 A. So, in fact, at the end it
4 actually says one of the problems was, in fact,
5 most of the information about what happened to
6 the document during its whole life, e.g., who
7 read it, reviewed it, a user, where it was sent
8 as an email attachment, who liked it, et cetera,
9 is lost. So that is what it says.

10 And this as I believe I
11 characterized it before is a document history,
12 it's sort of here are all the things that
13 happened to the meta-document, somebody read it,
14 somebody else reviewed it, it got sent around,
15 it's just accumulated history of what happened
16 to it.

17 Q. Can we turn to paragraph nine,
18 please. Here in paragraph nine, can you please
19 read for me the highlighted sentence?

20 A. There is also a need for a system
21 and method of managing documents which tracks
22 all of the information about what happened to a
23 document during its whole life (e.g., who
24 reviewed it, where it was sent as an email

1 attachment, who liked it, et cetera).

2 So once again, that you know says
3 that it is keeping a history of the document,
4 everything that happens to a document.

5 Q. Keeping track of what user touches
6 that document?

7 A. Exactly. So it's centered around
8 the documents, it's not saying here is a user,
9 here is what the user did, and here the user
10 moving around from one context to another, it's
11 not following users, it's following a document.

12 Q. Can we look at paragraph 14,
13 please. Can you please read for me the sentence
14 that's highlighted?

15 A. Sure.

16 "All of the processing information
17 in the meta-document is explicit, accessible,
18 and reusable so that other tools or other people
19 in different contexts can benefit from it."

20 So this -- sorry.

21 Q. Thank you. That's all.

22 So with respect to the Swartz
23 document, you also indicated that Swartz was not
24 web based; is that correct?

1 A. So Swartz does have a brief
2 mention of the web. I don't believe I testified
3 to whether it was web based or not, but it does
4 have a brief sort of hand wave that in the
5 future embodiment it would be good if we could
6 do this on the web. I don't think it contains
7 much more than that.

8 Q. Can we pull up column nine, lines
9 ten through fifteen, please. Is this what you
10 were referred to?

11 A. No, actually it's not. The client
12 will run on a client server system as depicted
13 in Figure 3 to provide web-based operability,
14 use and users will operate PC client systems.
15 This is the kind of thing that I was talking
16 about, yes.

17 Q. And I believe you also testified
18 that Swartz didn't deal centrally with users; is
19 that correct?

20 A. That's right.

21 Q. Can you please pull up column
22 four, starting at line 55. Can you read me the
23 first sentence starting line 55?

24 A. Okay. "Alternative or improved

1 embodiments of the invention will enable users
2 to define and execute multiple tasks to be
3 performed by one or more applications from
4 anywhere within a document."

5 Q. And can you also turn, please, to
6 column eight at line 55. Can you read that for
7 me, please?

8 A. "Such a system also preferably
9 captures metadata associated with the
10 information shared, stored, and accessed by the
11 users of the data so as to characterize the
12 context in which the information is being used."

13 But again, this is all tracking
14 information being integrated into an audit
15 trail, so the word context shouldn't be confused
16 with context component as here in the '761
17 patent.

18 MS. KEEFE: I have no further
19 questions. Thank you, Dr. Herbsleb.

20 THE COURT: Thank you.

21 Redirect.

22 MS. KOBIALKA: Quickly.

23 REDIRECT EXAMINATION

24 BY MS. KOBIALKA:

1 Q. Dr. Herbsleb, when you were asked
2 about whether or not the entirety of your
3 opinion related to the long-felt need and
4 teaching away for secondary considerations, was
5 that just in reference to a specific paragraph
6 in your report?

7 A. It was. That was just a specific
8 paragraph. The report said considerably more
9 about obviousness.

10 Q. And so the report provided much
11 more background and information with respect to
12 what your opinion was about why the invention of
13 the '761 patent is valid?

14 A. I had much more information than
15 that. That was merely one --that was merely the
16 secondary considerations about obviousness.
17 There was all the other talk about obviousness,
18 and so there was a couple of hundred pages of
19 stuff in addition to that.

20 Q. Did any of that snippets that were
21 provided to you of the three references disclose
22 -- indicate to you that the invention of the
23 '761 patent was disclosed in any of those
24 references?

1 A. Not at all. I still maintain that
2 there was not a single element of a single claim
3 disclosed in any of those references.

4 Q. And as an inventor of one of the
5 prior art references actually cited during the
6 prosecution of the '761 patent, is it still your
7 opinion that the invention of the '761 patent is
8 valid?

9 A. It is still my opinion that the
10 '761 patent is valid.

11 MS. KOBIALKA: Thank you very
12 much.

13 THE COURT: Thank you. You can
14 step down.

15 THE WITNESS: Thank you.

16 MR. ANDRE: Your Honor, at this
17 point Leader Technologies rests its case.

18 THE COURT: Okay. Thank you.

19 Mr. Rhodes.

20 MR. RHODES: Your Honor, I
21 incorporate by reference the statements and
22 motions made by Mr. Weinstein during our break
23 this morning at this point in the proceedings.

24 THE COURT: I will take those

1 under advisement.

2 MR. RHODES: Thank you, Your
3 Honor.

4 MR. ANDRE: In light we renew our
5 motions as well, Your Honor.

6 THE COURT: I will take that under
7 advisement as well.

8 Mr. Rhodes is there anything in
9 the way of rebuttal on the validity case?

10 MR. RHODES: I'm happy to say that
11 we have nothing further, Your Honor.

12 THE COURT: Okay. I believe that
13 means we're at the close of evidence and we're
14 going to be able to let our jurors go a little
15 bit early today. Am I right about that,
16 counsel?

17 MR. ANDRE: That's correct, Your
18 Honor.

19 MR. RHODES: Yes, Your Honor.

20 THE COURT: We got them to agree
21 on something.

22 Ladies and gentlemen of the jury,
23 we have now completed the evidentiary portion of
24 the case. What still remains is for me to

1 charge you, that is give you the legal
2 instructions that you will apply to the facts as
3 you find them, and for you to hear from both
4 sides their argument as to why they think you
5 should rule for them.

6 As you might imagine, it will take
7 me and it will take counsel a little bit of time
8 to gather our thoughts so that they can make
9 sure that we get everything correct and make the
10 best possible presentations to you. And as a
11 result, I'm going to give all of you the
12 afternoon off and we'll reconvene tomorrow
13 morning at nine o'clock.

14 You'll hear first from me with the
15 legal instructions, then you will hear from
16 counsel. And once all that of that is done, the
17 case will be submitted to you to begin your
18 deliberations.

19 But so as to not to get ahead of
20 ourselves, you're not to start deliberating yet.
21 You're not to start discussing the case yet.
22 You're not to discuss the case with anybody
23 outside of the courtroom, either. Don't look at
24 any media coverage if there is any. Don't do

1 any investigation. Don't use Facebook. And be
2 back here tomorrow morning in time to get
3 started at 9:00 a.m.

4 THE CLERK: All rise.

5 (Jury leaving the courtroom at
6 2:19 p.m.)

7 THE COURT: Counsel, we are going
8 to take a break for about ten to fifteen minutes
9 and then I'm came back in, I'll tell you a
10 little bit about the jury instructions and then
11 we'll let you all go.

12 MR. RHODES: I have some really
13 ministerial housekeeping matters about exhibits
14 and things that I would like to put on the
15 record at some point.

16 THE COURT: Let's talk about that
17 when I come back. Thanks.

18 (A brief recess was taken.)

19 THE COURT: All right. Before we
20 get into whatever issues you all may have, let
21 me just talk to you just a little bit about the
22 jury instructions.

23 They are nearly complete, so
24 they'll be filed later this afternoon and you'll

1 see them when you get back to your offices.

2 And I'm not going to go through
3 instruction by instruction and tell you
4 everything I was thinking about each one. But I
5 do want to hit a few of the points for you.

6 First on 1.10 on deposition
7 testimony, there won't be any explicit reference
8 to Mr. Lamb or to the errata sheet. I'm content
9 that I've allowed the parties to create a
10 sufficient record that each side can argue the
11 impact, if any, of the errata sheet and the
12 corrected testimony. And I didn't think there
13 was any reason to pull out and identify for the
14 jury one particular type of credibility
15 challenge to one particular witness.

16 On 3.3, which is just telling the
17 jury which are the independent and which are the
18 dependent claims and what are their
19 relationships, I did not include Facebook's
20 proposed charge. The more I thought about it
21 and sat through the trial, I thought I think the
22 record is pretty clear as to the relationship
23 between the independent and dependent claims. I
24 think the language proposed by Leader makes that

1 clear. I'm confident the jury understands how
2 dependent and independent claims are related to
3 one another.

4 On 3.4, on the claim construction
5 for the case, I have added a construction for
6 wherein to mean in which. There is -- this was
7 proposed by Facebook rather late in the case.
8 That portion of the instruction is not objected
9 to by Leader, and I -- so I am going to include
10 that construction.

11 I am not adding a negative
12 construction of quote not when. Generally, of
13 course, courts construe terms affirmatively and
14 not negatively. Here if I were to go down the
15 path of saying what things are not, there is a
16 lot of things I would have to say in which is
17 not, and arguably I would have to start saying
18 what all the other claim terms that were in
19 dispute are not. That would be confusing and
20 unnecessary.

21 The experts, and by that I do mean
22 experts, plural, experts more than one have
23 testified as to how they understand the wherein
24 language. Both sides have been permitted to

1 question the experts in ways that implicate the
2 experts' understanding of the wherein term and
3 both sides can argue consistent with the
4 evidence that came in when they're discussing
5 what wherein means.

6 In 3.4 I have also added some
7 language along the lines proposed by Facebook
8 with respect to the idea that the jurors are not
9 to consider prosecution history or specification
10 as a basis for altering the Court's claim
11 construction.

12 A general point that affects a
13 number of the instructions is that I'm not going
14 to be instructing the jury on theories of
15 indirect infringement. I'm only instructing on
16 direct infringement, so I'm not including any
17 instruction on induced infringement or
18 contributory infringement.

19 I don't believe there has been
20 evidence from which the jury could find that any
21 third party other than Facebook is the direct
22 infringer, nor do I think there is any evidence
23 of Facebook's knowledge of the '761 patent at
24 this trial.

1 So the instructions, the verdict
2 form, and argument will be limited to theories
3 of direct infringement, literal as well as
4 Doctrine of Equivalents.

5 3.7, direct literal infringement,
6 this is where I have addressed the issue of
7 control or direction with respect to method
8 claims, 9, 11 and 16. I'm telling the jury that
9 this is a factual issue for them. I'm also
10 identifying some of the factors that they can
11 consider in making that factual determination.

12 My instruction accommodates my
13 view that this is a factual dispute, and also
14 what I have put in here is in my view consistent
15 with the law.

16 4.10, obviousness, the only thing
17 I wanted to point out there is as came up
18 earlier today, I have added in a sentence that
19 the Facebook website is commercially successful.
20 I have also pointed out that it is for the jury
21 to decide if Facebook embodies all of the
22 asserted -- all of the claims of the '761
23 patent.

24 So what we will do tomorrow is I

1 will read to the jury all of the instructions
2 through 5.2, so I'll stop after I read the
3 unanimous verdict instruction, and I'll save for
4 myself the duty to deliberate which tells them
5 go ahead and start deliberating and that the
6 Court has no opinion.

7 So after I read through all the
8 way through 5.2, turn to Leader for argument,
9 then Facebook, and then I'm going to let Leader
10 have the last word if they have any time left.
11 I'm not going to have a second Facebook argument
12 solely on validity. So Facebook will stand up
13 once, Leader twice, if they have got the time to
14 do it.

15 That is it for me. I know I have
16 a question about exhibits, but it was suggested
17 there were issues that the parties wanted to
18 raise, so let's go through those first.

19 Mr. Andre.

20 MR. ANDRE: The only issue we have
21 is about exhibits. We have particularly
22 cumbersome exhibits that are I believe DTX 725.

23 THE COURT: Is that thirteen
24 volumes?

1 MR. ANDRE: The thirteen
2 three-inch binders that are an exhibit. And I
3 believe our paralegals have that ready to go,
4 but we just want to know the logistics of how to
5 -- people giving me death stares in the front
6 row here.

7 THE COURT: I have a question
8 about the logistics, too.

9 MR. ANDRE: How do you want us to
10 get that to you?

11 THE COURT: First off, is there
12 any objection to its admissibility?

13 MR. RHODES: I don't think we
14 object to the admissibility. I question the
15 wisdom of 3,000 documents in the room.

16 THE COURT: We don't need to argue
17 about it. It is admitted. And let me confer
18 with my deputy for a second.

19 All right. It's just going to be
20 with all of the other exhibits in the custody of
21 my deputy, so you'll just need to give it to us
22 as you have given us any other exhibit, but it
23 is admitted.

24 Anything further, Mr. Andre?

1 MR. ANDRE: I'm not sure how you
2 want to handle the jury binders, if they
3 actually take the jury binders away from them at
4 this point and let them go with the official
5 exhibits. If they are not, if they're going to
6 keep their own individual jury binders, there
7 probably needs to be some of those exhibits
8 removed.

9 THE COURT: If they do keep their
10 jury binders?

11 MR. ANDRE: If they do keep the
12 jury binders, they need to have some of those
13 exhibits removed because they have not been
14 entered into evidence. And I believe counsel
15 talked to me earlier about putting some exhibits
16 in. I don't have a strong preference. I think
17 it's probably easiest to just have them have the
18 official set. Sometimes they write notes on
19 their own exhibits. I don't know what they're
20 doing. So I'm open to the Court's suggestion or
21 the counsel's suggestion.

22 THE COURT: Let me hear what
23 Facebook's position is.

24 MR. RHODES: First, Your Honor, I

1 just had one question about the Court's
2 construction of the term wherein. On Friday,
3 Mr. Andre I believe stated in open court that he
4 would not argue when. If he starts to argue
5 when in the closing, I wouldn't want to object.
6 I can't stand making objections during someone's
7 closing. I just wanted to address that with the
8 Court. I heard him say to Your Honor I will not
9 argue when.

10 THE COURT: I heard him say that,
11 but what I have ruled today is that you're all
12 free to make arguments on -- in which, or on
13 wherein that are consistent with the evidence.
14 So that may open the door to him arguing when.
15 You can note an objection to any such argument
16 now or right after the argument.

17 I certainly have a preference that
18 you don't all get up in the middle of closing
19 arguments and object left and right to one
20 another. I don't think it helps you with the
21 jury, anyway.

22 MR. RHODES: I agree. May I just
23 lodge the objection at this moment that if he
24 makes the argument that in which is the same as

1 when, we do object and we think that has gone
2 beyond the Court's guidance in the case. I just
3 want to note that for the record.

4 THE COURT: It has been noted.
5 Now on exhibits.

6 MR. RHODES: On exhibits just a
7 couple of housekeeping matters. I don't have a
8 particular view on the binders, Your Honor.
9 Frankly, you know, that doesn't bother me what
10 they want to do. We went through this morning
11 the ones that we thought I had in a binder that
12 I never used. They should obviously be taken
13 out. I wanted to add the one that Ms. Keefe
14 moved into evidence which was the
15 nonconfidential iManage reference manual.

16 I don't know whether you want to
17 take that one out and add this one or put them
18 both in, that's your preference.

19 THE COURT: Let's talk about
20 iManage first because I think I left the record
21 kind of unclear there. To the extent we have
22 jury binders, I'm keeping in that jury binder
23 the quote confidential version of iManage.

24 MR. RHODES: That was DTX 1010.

1 THE COURT: 1010. So to the
2 extent that I in any way indicated I was going
3 to have that removed from the binder, I did not
4 mean that. They have been removed from the
5 binder. They will not be removed from the
6 binder.

7 MR. RHODES: May I ask that we add
8 to the binder DTX 925E.

9 THE COURT: You can request it and
10 we'll add it to the binder if we're going to let
11 them hang on to the jury binders. I need to
12 think about that for a second and confer.

13 Hold on.

14 (Discussion off the record.)

15 THE COURT: All right. What I
16 think is neatest and cleanness is if my staff
17 retrieves all the jury binders which we're told
18 are all in the jury room right now. Of course
19 we don't let the jurors take them with them.
20 We'll hold on to them. I can't imagine that
21 anybody is going to need them, but we'll hold on
22 to them. But the jury won't have them, so I
23 think it's academic at this point what we put in
24 or take out of the jury binders.

1 MR. RHODES: That makes it easier,
2 then, Your Honor.

3 Then I just had a housekeeping
4 question. Mr. Andre and I spoke last week about
5 our closing demonstratives and we're both a
6 little bit old school, it's closing, you get to
7 do what you want.

8 We kind of had an understanding we
9 wouldn't share them, but then I realized that
10 you actually had a procedure in your order. I
11 went back and looked at it. So I wanted to ask
12 you what you wanted us to do and when you wanted
13 us to do it.

14 I suspect he like me needs a
15 little bit of time to be able to work the
16 instructions, how they'll come in. My
17 suggestion was going to be if you thought this
18 made any sense was early tomorrow morning we
19 just send each one a set, we agree those sets
20 are frozen, it at least gives us an hour to look
21 at it, make sure there is nothing completely off
22 the wall.

23 THE COURT: Mr. Andre, any
24 thoughts?

1 MR. ANDRE: As I told Mr. Rhodes,
2 short of having naked pictures of me in his
3 presentation, I wouldn't care what he put in it.
4 But that being said, I don't really care. I
5 think it's closing argument, and if you can get
6 up and try to present something that's not been
7 proven factually it hurts your case. So if he
8 wants to have some type of objection procedure
9 in the morning which can really disrupt
10 obviously the close, I mean...

11 MR. RHODES: Actually, Mr. Andre
12 and I actually agree on this, but I actually do
13 have those photographs in my iPad.

14 THE COURT: No. That's all right.
15 Please.

16 MR. RHODES: Your Honor, I would
17 never besmirch the Court's integrity by showing
18 those, because trust me, you wouldn't want to
19 see them. We agree on this, actually, but don't
20 want to --

21 THE COURT: I understand. You're
22 both old school. It remains to be seen what I
23 am. But I know I don't want the pictures.

24 I'm going to hopefully not regret

1 this, but I'm going to trust the two of you on
2 modifying my procedure to the extent it's in the
3 pretrial order, if you want to share, share. If
4 you don't want to share, don't share.

5 MR. RHODES: Don't share. It's a
6 deal.

7 THE COURT: Okay. Anything
8 further, Mr. Rhodes?

9 MR. RHODES: No. We got the
10 official file, so I think we're good there. And
11 I think with that, unless there is anything
12 else, no, I think we're good, Your Honor.

13 THE COURT: Okay. Mr. Andre?

14 MR. ANDRE: The special verdict
15 form, will that come out with the jury
16 instructions?

17 THE COURT: It will. You should
18 have both of those within an hour.

19 MR. ANDRE: Thank you, Your Honor.

20 THE COURT: Have a good evening
21 and we'll see you at nine o'clock tomorrow.

22 (Court recessed at 2:57 p.m.)

23

24

1 State of Delaware)
2 New Castle County)

3
4
5 CERTIFICATE OF REPORTER

6
7 I, Heather M. Triozzi, Registered
8 Professional Reporter, Certified Shorthand Reporter,
9 and Notary Public, do hereby certify that the
10 foregoing record, Pages 1,643 to 1,895 inclusive, is
11 a true and accurate transcript of my stenographic
12 notes taken on July 26, 2010, in the above-captioned
13 matter.

14
15 IN WITNESS WHEREOF, I have hereunto set my
16 hand and seal this 26th day of July, 2010, at
17 Wilmington.

18
19
20 _____
21 Heather M. Triozzi, RPR, CSR
22 Cert. No. 184-PS
23
24