

# EXHIBIT A

**THIS EXHIBIT HAS BEEN  
REDACTED IN ITS ENTIRETY**

# **EXHIBIT B**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN THE UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF DELAWARE

PERSONALIZED USER )  
MODEL, LLP, )  
Plaintiff, )  
vs. ) CA number 09-525 (LPS)  
GOOGLE, INC., )  
Defendant. )

- - - - -

VIDEOTAPED DEPOSITION OF JAIME CARBONELL  
WASHINGTON, D.C.  
NOVEMBER 27, 2012

The videotaped deposition of JAIME CARBONELL was  
convened on Tuesday, November 27, 2012,  
commencing at 10:05, at the law offices of SNR  
Denton, located at 1301 K Street, Northwest, in  
Washington, D.C., before Paula G. Satkin,  
Registered Professional Reporter and Notary  
Public.

- - - - -

Job No. CS1565706

1 illegal and so forth. A disjunctive normal form  
2 allows you to combine one or more of these  
3 different target expressions into a single  
4 expression and to learn it from incoming data  
5 streams without having to first categorize it as  
6 to which type of potential fraud it might be.  
7 It's a theoretical method with practical  
8 applications.

9 Q. And your -- the machine learning  
10 artificial intelligence approach, did that  
11 involve estimation of probabilities?

12 A. Estimation --

13 MS. BENNETT: Objection. Form.

14 THE WITNESS: Estimation of  
15 parameters, which can be interpreted as  
16 probabilities.

17 BY MR. PERLSON:

18 Q. Okay. So it would have involved  
19 both estimating parameters and estimating  
20 probabilities; is that correct?

21 A. So the parameters are part of the  
22 learn model, the learn function, and the  
23 probabilities are probabilities of the outcome.

24 Q. And both -- and both of those  
25 aspects would have been part of what was

1 disclosed in your book; right?

2 A. Yes. It was disclosed subsequent  
3 to the book in more detail than in the original  
4 book. The original book was the start of the  
5 field.

6 Q. Right. It was proposed in --  
7 okay. But let's then use the last version of  
8 the book. The last version of the book would  
9 have disclosed that; right?

10 A. It's -- yes. It -- I need to  
11 correct the presumption in your -- in your  
12 question.

13 Q. Sure.

14 A. It wasn't three versions of the  
15 same book. It was three volumes, three  
16 different books. And they taught different  
17 aspects much machine learning.

18 And aspect of estimating  
19 parameters was disclosed there, but it was  
20 developed in much greater detail in subsequent  
21 work post-1990.

22 Q. Okay. By you or others?

23 A. Yes and yes.

24 Q. Okay. And was -- does the Machine  
25 Learning and Artificial Intelligence Approach

1 discuss creating models using machine learning?

2 MS. BENNETT: Objection. Form.

3 THE WITNESS: Yes, it does.

4 BY MR. PERLSON:

5 Q. And what sort of -- does -- does  
6 Machine Learning and Artificial Intelligence  
7 Approach have -- discuss any practical  
8 applications of machine learning?

9 A. Yes. Several examples are given.  
10 Applications in disease diagnosis, in  
11 agriculture, in medical diagnosis. I guess  
12 that's similar to disease diagnosis. In  
13 planning such as robotic planning and so on.

14 Q. And was -- you would agree that in  
15 -- that throughout the 1990s, machine learning  
16 was applied to a variety of practical  
17 applications; right?

18 MS. BENNETT: Objection. Form.

19 THE WITNESS: Yes, sir.

20 BY MR. PERLSON:

21 Q. And that would have included  
22 search engines; correct?

23 A. No. I do not believe so. The  
24 applications to search engines started towards  
25 the end of the 1990s, not in the greater part of

1 that decade.

2 Q. Fair enough. But machine learning  
3 was used in the context of search engines in --  
4 let's say at least by 1998; correct?

5 MS. BENNETT: Objection. Form.

6 THE WITNESS: It was starting to  
7 be used.

8 BY MR. PERLSON:

9 Q. And -- and machine learning also  
10 would have been used in the context of  
11 personalization by 1998; correct?

12 A. No --

13 MS. BENNETT: Objection. Form.

14 THE WITNESS: Incorrect.

15 BY MR. PERLSON:

16 Q. Your -- you're telling this  
17 Delaware jury that in no circumstance was  
18 personalization used in machine learning by  
19 1998?

20 A. No --

21 MS. BENNETT: Objection. Form.

22 THE WITNESS: I'm stating that the  
23 field did not focus on personalization in  
24 machine learning at that time. Personalization  
25 requires large amounts of information, data



1 collected about individuals, about each specific  
2 individual in order to build a model that is  
3 tailored or customized or instantiated for that  
4 particular individual.

5 Prior to 2000, such information  
6 was almost never available. Search engines did  
7 not go around capturing the behavior of users at  
8 that time. Electronic commerce, Mechanical Turk  
9 for crowd sourcing, LinkedIn and Facebook didn't  
10 exist. So all the sources of electronic data in  
11 sufficient quantities to personalize was really  
12 not available in that decade.

13 BY MR. PERLSON:

14 Q. So in 1999 it really was not  
15 possible to personalize search engines; is that  
16 your testimony?

17 A. My testimony is that it was not at  
18 that time practiced.

19 Q. And -- but was it possible or not  
20 possible?

21 MS. BENNETT: Objection. Form.

22 THE WITNESS: In order to make it  
23 possible, it would have required a collection of  
24 all of this data. It would have required a  
25 method that was similar to the patents in suit,

1 and these were not available at that time.  
2 These are preconditions.

3 BY MR. PERLSON:

4 Q. Okay. And do the patents in suit  
5 provide a way that you would be able to collect  
6 sufficient information in order to actually  
7 provide personalization of search results?

8 MS. BENNETT: Objection. Form.

9 THE WITNESS: The patents in suit  
10 teach how to collect the information  
11 unobstrusively -- in other words,  
12 "transparently" I think is the word that is used  
13 in the claim language -- based on user  
14 click-through data. That is one of the  
15 necessary ingredients in order to collect  
16 information in large quantity about multiple  
17 users so they could be able to personalize user  
18 models about each one. It also required other  
19 ingredients.

20 BY MR. PERLSON:

21 Q. What other ingredients?

22 A. It required a learning machine  
23 with a suite of parameters and a method of  
24 estimating values for these parameters based on  
25 the personalized user data that is collected,

1 and then it required a -- that learning machine  
2 to be customized; in other words, begins with a  
3 generic learning machine set of parameters and  
4 there's a mathematical update function that  
5 looks at the incoming data, customizes the  
6 learning machine, and so now you have customized  
7 version of that learning machine per each user  
8 that has provided sufficient data. Those are  
9 all required ingredients.

10 It also requires analysis of the  
11 incoming information in the form of documents or  
12 electronic files in order to be able to use the  
13 learning machine to make a prediction, posterior  
14 probability, which is a degree of -- numerical  
15 degree of belief on whether that document would  
16 be of interest to the particular user for whom  
17 the learning machine was estimated -- the  
18 parameters of the learning machines were  
19 estimated.

20 Q. And -- so you mentioned documents,  
21 analyzing documents. Now, would an example of  
22 that would be a web page? Is that -- is that  
23 accurate?

24 A. If your question is whether a web  
25 page is an example of a document, the answer is

1       yes.

2                   Q.     Okay.  And in order to analyze the  
3       web page, you'd actually have to look at the  
4       page; right?

5                   MS. BENNETT:  Objection.  Form.

6                   THE WITNESS:  Yes.

7       BY MR. PERLSON:

8                   Q.     You can't just look at the URL  
9       that's the pointer to the page.  You would agree  
10      with that; right?

11                   MS. BENNETT:  Objection.  Form.

12                   THE WITNESS:  That's essentially  
13      correct.

14      BY MR. PERLSON:

15                   Q.     That's essentially correct?

16                   A.     The URL provides a small amount of  
17      information pertinent to the page.

18                   Q.     Well, do you think just looking at  
19      the URL of a web page is sufficient to analyze  
20      documents in -- in the context of this patent?

21                   A.     If the document is a document to  
22      which the URL points -- is that what you mean by  
23      analyzing?

24                   Q.     Yeah.

25                   A.     No, I don't think it's sufficient.

1 Q. Now let's talk a little bit about  
2 the probability that you mentioned. You  
3 referred to estimating a probability of interest  
4 in a document; is that right?

5 MS. BENNETT: Objection. Form.

6 THE WITNESS: Yes.

7 BY MR. PERLSON:

8 Q. What is that?

9 A. Okay. What is what?

10 Q. What does that mean?

11 A. Interest in the document or  
12 probability?

13 Q. Estimating a probability?

14 MS. BENNETT: Objection. Form.

15 THE WITNESS: Estimating a  
16 probability means using available information  
17 usually in a Bayesian setting, which is the  
18 majority of settings under which -- Bayesian. I  
19 can spell it out for you later. Bayesian is a  
20 type of probability in statistics. It's the  
21 dominant type that is used here. So in order to  
22 answer your question, I need to make a couple of  
23 assumptions, and one assumption is that I am  
24 using probability in the same way that  
25 Dr. Jordan used it, your own expert, and so

1       forth, which is the Bayesian way.

2               In that case you would combine the  
3       available data from the past. In other words,  
4       judgments made by that user. If you're  
5       estimating the probability of interest of a  
6       document for a particular user, judgments made  
7       by that user for previous documents. The  
8       similarity between those previous documents and  
9       new documents. This is why you need the content  
10      analysis in order to establish that similarity.  
11      And you would combine it in a mathematical form  
12      that would take the parameters, the values of  
13      the parameters, I should say, and from it  
14      compute a number, a numerical degree that would  
15      estimate the extent to which the new document is  
16      of interest to the user for which that model was  
17      made.

18              That number, if you want to be --  
19      use strict probabilities, would then be  
20      renormalized to the 0-1 interval to get --  
21      probability cannot be less than 0, it cannot  
22      greater than 1, or it can be left un-normalized,  
23      in which case it normally would not be called a  
24      probability in the technical sense but it would  
25      be called a probability in the popular sense,

1       like a percentage.

2               Q.     Okay.  So you would agree, though,  
3       that the probability is a number between 0 and  
4       1; right?

5                       MS. BENNETT:  Objection.  Form.

6                       THE WITNESS:  The Court's  
7       construction is broader than that.

8       BY MR. PERLSON:

9               Q.     Okay.  Well, let me just first ask  
10       you, in the context of Bayesian statistics,  
11       probability has to be between 0 and 1; you would  
12       agree with that?

13                      MS. BENNETT:  Objection.  Form.

14                      THE WITNESS:  In the technical  
15       definition, yes.  In the popular definition, it  
16       doesn't -- is not confined to that.

17       BY MR. PERLSON:

18               Q.     Okay.  But in -- what do you mean  
19       "the popular definition"?

20               A.     We use probabilities in the  
21       language more loosely than in the technical  
22       sense, and the Court's construction requires  
23       only it be a numerical -- measure the degree of  
24       belief.

25               Q.     And in -- in the patents in this

1 A. Which one do you mean?

2 Q. Just the general concept.

3 A. No. They did not invent.

4 Q. I mean, transparently monitoring  
5 user interactions was known before the patents  
6 in this case generally?

7 MS. BENNETT: Objection. Form.

8 THE WITNESS: Generally? It was  
9 known at least to some people. Yes.

10 BY MR. PERLSON:

11 Q. It would have been known to  
12 someone of skill in the art; correct?

13 MS. BENNETT: Objection. Form.

14 THE WITNESS: Just transparently  
15 monitoring in general, it would have been, yes.

16 BY MR. PERLSON:

17 Q. And -- and certainly documents  
18 were -- documents that had been -- well, let me  
19 back up.

20 Monitoring documents that a user  
21 had interacted with would have also been known  
22 in the art before the patents; correct?

23 MS. BENNETT: Objection. Form.

24 THE WITNESS: Keeping track of  
25 which documents the user would have interacted



1 with was known prior to the patents.

2 BY MR. PERLSON:

3 Q. And using -- in connection with  
4 the transparently monitoring phrase, would you  
5 agree that if a user was required to indicate  
6 that -- well, scratch that.

7 You would agree that estimating  
8 parameters of learning machines at least  
9 generally were known to one skilled in the art  
10 before the patents?

11 MS. BENNETT: Objection. Form.

12 THE WITNESS: Not in the way that  
13 it was described in the patents, in the patent  
14 claims.

15 BY MR. PERLSON:

16 Q. But I'm just asking you generally.  
17 I mean, the estimating parameters of learning  
18 machines was known just generally to one of  
19 skill in the art; right?

20 MS. BENNETT: Objection. Form.

21 THE WITNESS: You take it out of  
22 the context of the patent and out of the context  
23 of search engines, out of the context of  
24 personalization, the answer is yes.

25 BY MR. PERLSON:

1 Q. And, in fact, estimating  
2 parameters of a learning machine generally is  
3 just kind of a basic concept of machine  
4 learning; isn't it?

5 MS. BENNETT: Objection. Form.

6 THE WITNESS: Yes.

7 BY MR. PERLSON:

8 Q. I mean, that would have been  
9 disclosed in your work in the machine  
10 learning --

11 A. Yes. Estimating parameters of a  
12 learning machine is part of the process of  
13 machine learning and has been since the '80s.

14 Q. Okay. And the same is true with  
15 estimating probabilities; right?

16 MS. BENNETT: Objection. Form.

17 THE WITNESS: Estimating  
18 probabilities as the output of a learning  
19 machine wasn't as widely practiced. It was  
20 narrower, but still -- it still was part of the  
21 prior existing art.

22 BY MR. PERLSON:

23 Q. You're familiar with the -- the  
24 WebWatcher prior art?

25 A. Yes.

1 Q. And the WebWatcher prior art used  
2 machine learning to determine a -- whether a  
3 user would be interested in a document; correct?

4 MS. BENNETT: Objection. Form.

5 THE WITNESS: No.

6 BY MR. PERLSON:

7 Q. Why not?

8 A. For actually both parts of your  
9 question is no.

10 Q. Okay.

11 A. It was not targeted at a specific  
12 user, and it was not targeted at a document.

13 Q. Well, but it was used in  
14 connection with providing documents of interest  
15 to users; right?

16 MS. BENNETT: Objection. Form.

17 THE WITNESS: It was used in  
18 connection with providing suggestions of  
19 hyperlinks that the user may want to follow in  
20 the user's navigation.

21 BY MR. PERLSON:

22 Q. Okay. And it used machine  
23 learning as part of that process; correct?

24 A. It used -- it used machine  
25 learning as part of that process. Right. The

1 models were not -- I actually used it on a trial  
2 basis. The models were not specific to a user.  
3 It was a generic model for users. And the --  
4 what it did was, among the different links on  
5 the page, it would recommend you might want to  
6 follow this one or this one but not these two  
7 others. It was right about half the time.

8 Q. Okay. And whether it's right or  
9 not, I mean, there's no requirement in the  
10 patents that -- that in this case, that the  
11 results are correct or incorrect in determining  
12 whether -- users' interest; right?

13 MS. BENNETT: Objection. Form.

14 THE WITNESS: I don't know the  
15 legal definition, but usually for something to  
16 be effective, you would assume it would have to  
17 be better than a random chance.

18 BY MR. PERLSON:

19 Q. Well, but let's say -- let's say  
20 that I practice every single element of this  
21 claim in a system and then I go back and I talk  
22 to the -- let's say I've implemented this --  
23 every element of one of the asserted independent  
24 claims in this case, and you've -- and delivered  
25 results to the user and I talked to the user

1 Q. I'm talking about the Pazzani one?

2 A. Naive Bayesian predates Pazzani  
3 and it's the -- as the name suggests, the  
4 simplest of all the Bayesian methods. There are  
5 more complex ones of which, for example,  
6 Dr. Jordan knows well. He created one of them.  
7 This is not the totality of the Bayesian. This  
8 is just one instance among the multiple Bayesian  
9 methods.

10 Q. Understood. Do the patents talk  
11 about using Bayesian?

12 MS. BENNETT: Objection. Form.

13 THE WITNESS: I have to go back  
14 and reread to see which methods, the patent  
15 suggests several methods -- they probably  
16 mention Bayesian methods as those were common at  
17 that time.

18 BY MR. PERLSON:

19 Q. And then it goes on to discuss the  
20 last -- if you look at the second to last line  
21 it says -- how do you pronounce that? Joachims?

22 A. Joachims.

23 Q. Joachims, that's the WebWatcher?

24 A. Yes.

25 Q. So Joachims goes on to say,

1 "Joachims introduced probabilistic TFIDF that  
2 takes into account document representation" --  
3 what is that little symbol there?

4 A. That's a theta.

5 Q. "Theta and defines probability for  
6 class C for given doc that contains words W,"  
7 and then it lists this long formula here?

8 A. Yes.

9 Q. What is that formula?

10 A. That is a probabilistic version of  
11 the basic TFIDF version, which is -- which will  
12 give you numbers in the zero to one range and  
13 will continue.

14 Q. Okay. And then it mentions the  
15 WebWatcher also used naive simple Bayesian  
16 classifier on frequency vectors, the same as we  
17 used in Personal WebWatcher. Do you see that?

18 A. Yes. The naive simple Bayesian  
19 classifier is more straightforward than this  
20 particular formula.

21 Q. Okay. And so -- but that's the  
22 learning algorithm that is being used in the  
23 Personal WebWatcher; is that right?

24 MS. BENNETT: Objection. Form.

25 THE WITNESS: I believe Personal

1 WebWatcher -- excuse me, that WebWatcher tried  
2 both of those algorithms and I believe that  
3 Personal WebWatcher tried naive Bayes and tried  
4 k-nearest neighbors, just letter K.

5 BY MR. PERLSON:

6 Q. Then if you look on the last  
7 sentence of that paragraph states, "the current  
8 version of PWW uses a naive simple Bayesian  
9 classifier for vectors to generate"?

10 A. Sorry. I lost the paragraph.

11 Q. Sure. See where it says structure  
12 of the Personal WebWatcher on page 7?

13 A. Yes. Yes. I see that.

14 Q. The sentence immediately before  
15 that.

16 A. I got it. I read it.

17 Q. It says, "the current version of  
18 Personal WebWatcher uses a naive simple Bayesian  
19 classifier on frequency vectors to generate a  
20 model of user interest that is used for advising  
21 hyperlinks." Do you see that?

22 A. That's right. That is not the  
23 full formula given above.

24 Q. Okay. But that's what it says  
25 right here?

1           A.     Yes.  That's what she used.

2           Q.     Okay.  That's something that is --  
3           so in generating this model of user interest  
4           there would be estimating the parameters;  
5           correct?

6                    MS. BENNETT:  Objection to form.

7                    THE WITNESS:  It would be  
8           estimating the parameters of the naive Bayesian  
9           algorithm.  Those parameters would be  
10          coefficients or weights on the various terms  
11          that would be included in the naive Bayesian  
12          formulation.

13          BY MR. PERLSON:

14                  Q.     And the Personal WebWatcher  
15          discloses using this naive Bayes machine  
16          learning to make probabilities; right?

17                    MS. BENNETT:  Objection.  Form.

18                    THE WITNESS:  That's not quite  
19          right.  First of all, just to clarify.  Let's go  
20          back to that paragraph that you were quoting  
21          from.  The beginning of it, short paragraph.  
22          "We decided to test different learning  
23          algorithms on PWW data since there it's not  
24          clear which algorithm as many appropriate."  
25          Later on in the paper they describe the K next



1 neighbor as the other one they applied. So  
2 naive Bayes was one of the two -- still using  
3 naive Bayes to make a binary prediction. If you  
4 look at the top of page 11 it was trying to  
5 predict -- bottom of page 10 as well, it was  
6 trying to predict positive or negative.

7 BY MR. PERLSON:

8 Q. I'm sorry, where are you pointing  
9 to?

10 A. I'm pointing to the bottom of page  
11 10 and the top of page 11.

12 Q. So where does it say it's a binary  
13 prediction?

14 A. The second paragraph in section  
15 4.2 and the simple formula that follows it.

16 Q. Okay. I thought you had just  
17 directed me to -- maybe I'm looking at the wrong  
18 page.

19 A. Page 10 and 11 of the article, not  
20 the complicated number there.

21 Q. Okay. So in your view deciding  
22 whether or not the user's interested in the  
23 document as a binary prediction is not a  
24 probability?

25 MS. BENNETT: Objection. Form.

1 THE WITNESS: That's correct.

2 BY MR. PERLSON:

3 Q. In estimating parameters could a  
4 parameter be a binary parameter such as zero to  
5 one?

6 MS. BENNETT: Objection. Form.

7 THE WITNESS: We're confusing  
8 issues here. One of them is the output of a  
9 system and another one is internal to the model.  
10 Parameters are internal to the model. The  
11 prediction is the output of the model.

12 BY MR. PERLSON:

13 Q. Understood.

14 In building the model could a  
15 variable would be sports and could -- would  
16 estimating a parameter, could that be merely  
17 determining a binary decision of whether I'm  
18 interested in sports or not?

19 MS. BENNETT: Objection. Form.

20 BY MR. PERLSON:

21 Q. In assigning a one to yes I'm  
22 interested or zero to not interested?

23 MS. BENNETT: Objection. Form.

24 THE WITNESS: The parameters of a  
25 model can be continuous, can be discrete, and

# **EXHIBIT C**

**THIS EXHIBIT HAS BEEN  
REDACTED IN ITS ENTIRETY**