

EXHIBIT 5

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF DELAWARE

PERSONALIZED USER)
MODEL, LLP,)
Plaintiff,)
vs.) CA number 09-525 (LPS)
GOOGLE, INC.,)
Defendant.)

- - - - -

VIDEOTAPED DEPOSITION OF JAIME CARBONELL
WASHINGTON, D.C.
NOVEMBER 27, 2012

The videotaped deposition of JAIME CARBONELL was
convened on Tuesday, November 27, 2012,
commencing at 10:05, at the law offices of SNR
Denton, located at 1301 K Street, Northwest, in
Washington, D.C., before Paula G. Satkin,
Registered Professional Reporter and Notary
Public.

- - - - -

Job No. CS1565706

2	4
<p>1 APPEARANCES</p> <p>2</p> <p>3 ON BEHALF OF THE PLAINTIFF:</p> <p>4 JENNIFER BENNETT, ATTORNEY AT LAW</p> <p>5 SNR DENTON</p> <p>6 1530 Page Mill Rd.</p> <p>7 Suite 200</p> <p>8 Palo Alto, CA 94304-1125</p> <p>9 650.798.0300</p> <p>10</p> <p>11 ON BEHALF OF THE DEFENDANT:</p> <p>12 DAVID PERLSON, ATTORNEY AT LAW</p> <p>13 JOSH SOHN, ATTORNEY AT LAW</p> <p>14 QUINN EMANUEL</p> <p>15 50 California Street</p> <p>16 22nd Floor</p> <p>17 San Francisco, CA 94111</p> <p>18 415.875.6600</p> <p>19 and</p> <p>20 MARC FRIEDMAN, ATTORNEY AT LAW</p> <p>21 QUINN EMANUEL</p> <p>22 51 Madison Avenue</p> <p>23 22nd Floor</p> <p>24 New York, NY 10010</p> <p>25 212.849.7000</p>	<p>1 CONTENTS</p> <p>2</p> <p>3 JAMIE CARBONELL EXAMINATION</p> <p>4</p> <p>5 BY MR. PERLSON 7</p> <p>6 BY MS. BENNETT 289</p> <p>7 BY MR. PERLSON 294</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>
3	5
<p>1 ALSO PRESENT:</p> <p>2 T.J. O'TOOLE, Videographer</p> <p>3</p> <p>4</p> <p>5</p> <p>6</p> <p>7</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p>1 EXHIBITS</p> <p>2</p> <p>3 CARBONELL EXHIBIT NO: PAGE NO:</p> <p>4 Ex. 1 - A Personal Evolvable Advisor 93</p> <p>5 For WWW Knowledge-Based Systems</p> <p>6 M. Montebello</p> <p>7 Ex. 2 - '040 patent 111</p> <p>8 Ex. 3 - '276 patent 125</p> <p>9 Ex. 4 - Personal WebWatcher 137</p> <p>10 Dunja Mladenic</p> <p>11 Ex. 5 - Syskill & Webert: Identifying 186</p> <p>12 interesting sites</p> <p>13 Ex. 6 - Learning and Revising User 187</p> <p>14 Profiles: The Identification of</p> <p>15 Interesting Web Sites</p> <p>16 Ex. 7 - Learning Probabilistic User 198</p> <p>17 Models</p> <p>18 Ex. 8 - '032 patent 201</p> <p>19 Ex. 9 - Order 224</p> <p>20 Ex. 10 - Collecting User Access 254</p> <p>21 Patterns for Building User</p> <p>22 Profiles and Collaborative</p> <p>23 Filtering</p> <p>24 Ex. 11 - Report of Michael I. 276</p> <p>25 Jordan, Ph.D.</p>

2 (Pages 2 to 5)

6

1 PROCEEDINGS
 2 (10:05 a.m.)
 3 THE VIDEOGRAPHER: On the record
 4 with disk number one of the video deposition of
 5 Dr. Jaime Carbonell taken by the Defendant in
 6 the matter of Personalized User Model LLP versus
 7 Google Inc. and Google Inc. versus Personalized
 8 User Model LLP, both cases being heard before
 9 the United States District Court for the
 10 District of Delaware, Civil Action Number 09-525
 11 LPS.
 12 This deposition is being held at
 13 the law offices of SNR Denton, located at 1301 K
 14 Street, Northwest, in Washington, D.C., on
 15 November 27th, 2012, at approximately 10:05 a.m.
 16 My name is T.J. O'Toole. I am the
 17 certified legal video specialist. The court
 18 reporter is Paula Satkin. We are both here
 19 representing Veritext of New Jersey.
 20 Will counsel please introduce
 21 themselves and indicate which parties they
 22 represent.
 23 MS. BENNETT: Jennifer Bennett
 24 representing Plaintiff Personalized User Model
 25 and the witness, and with me today I have Marc

7

1 Friedman.
 2 MR. PERLSON: David Perlson from
 3 Quinn Emanuel representing Defendant Google.
 4 MR. SOHN: And Josh Sohn of Quinn
 5 Emanuel also representing the Defendant.
 6 THE VIDEOGRAPHER: Thank you.
 7 Will the court reporter please swear in the
 8 witness.
 9 Whereupon--
 10 JAIME CARBONELL
 11 a witness, called for examination, having been
 12 first duly sworn, was examined and testified as
 13 follows:
 14 EXAMINATION BY COUNSEL FOR THE DEFENDANT
 15 BY MR. PERLSON:
 16 Q. Good morning. Could you state and
 17 spell your name for the record?
 18 A. It's Jamie Carbonell, J-A-I-M-E,
 19 C-A-R-B-O-N-E-L-L.
 20 Q. And do you go by Dr. Carbonell or
 21 Mr. Carbonell?
 22 A. Dr. Carbonell.
 23 Q. Okay. And you've been deposed
 24 before; correct?
 25 A. Yes.

8

1 Q. And you understand that you are
 2 testifying under oath as if you were testifying
 3 before a jury; correct?
 4 A. Yes.
 5 Q. And I'm going try to be as clear
 6 as I can today and -- but if I ask a question
 7 that you do not understand, please let me know
 8 and I will do my best to make it more clear.
 9 Okay?
 10 A. Okay.
 11 Q. Now, Dr. Carbonell, you -- you
 12 co-authored a book called Machine Learning and
 13 Artificial Intelligence Approach; is that right?
 14 A. There were three of them in that
 15 series.
 16 Q. Okay. And when -- when was the
 17 first one?
 18 A. I believe it was 1983.
 19 Q. When was the second one?
 20 A. 1986.
 21 Q. And how about after that?
 22 A. There was one more. I don't
 23 recall the date. A year or two afterwards.
 24 Q. It was about 1990; does that sound
 25 right?

9

1 A. It could be, or it could have been
 2 a little earlier.
 3 Q. You've been publishing in the
 4 machine learning field since then?
 5 A. Yes, I have.
 6 Q. When was the last time?
 7 A. This year.
 8 Q. What -- what did you publish this
 9 year?
 10 A. The latest paper is one at the
 11 Association of Computing Machinery on
 12 learnability of DNF, disjunctive normal form,
 13 expressions.
 14 Q. What's that?
 15 A. Disjunctive normal form is a --
 16 learning when you have different expressions of
 17 the target concept. So maybe an example is the
 18 clearest way to explain it.
 19 Q. Sure.
 20 A. If there is bank fraud, there are
 21 different ways of defrauding the bank. For
 22 example, by pretending to be a customer when you
 23 really aren't. By pretending you have a lot
 24 more in an account than you really do and
 25 withdrawing it. Insider transactions that are

22

1 be safe in here.
2 Q. That's good to know.
3 A. A Bayesian world, you can use
4 information like that without data based on
5 priors which can be updated if you have other
6 observations. If you observe that a meteor has
7 struck somewhere else and a second one has
8 struck, then the probability that a third one
9 will strike might be higher than it would have
10 been had there been no other meteor strikes. In
11 the frequentist case, you're not allowed to use
12 the equivalent of a prior. You base it only on
13 the data. And if there is no data, you
14 basically cannot provide an estimate.
15 Q. And -- but mathematically, is --
16 is that probability expressed as a number
17 between 0 and 1?
18 MS. BENNETT: Objection. Form.
19 THE WITNESS: In the frequentist
20 approach, it is.
21 BY MR. PERLSON:
22 Q. I'm sorry.
23 A. I was trying to answer your
24 earlier question.
25 Q. The -- okay. Go ahead.

23

1 A. It's not just frequentist and
2 Bayesian. If you go a little broader, there are
3 things interpreted like fuzzy logic and other
4 forms of reasoning with degrees of belief, of
5 belief propagation, that do not require the
6 values of the interval to be between 0 and 1.
7 Q. Okay. So fuzzy logic doesn't
8 require a number between 0 and 1?
9 A. Some types of fuzzy logic do not
10 require that; others do.
11 Q. Okay.
12 A. Fuzzy logic is a broad term for
13 introducing numbers into logic -- degree of
14 belief into logic.
15 Q. But in order for there to be a
16 degree of belief, there has to be some sort of
17 scale of the -- the degree of likelihood of
18 interest?
19 A. Yes, sir. That's right.
20 Q. So if I had a -- if I had a --
21 numbers that went from 1 to 10 and assigning
22 something a number of 2 was not -- well, in
23 order for something to be a probability, would
24 -- let's say I have a -- I can assign numbers 1
25 through 10, and if I assign something a number

24

1 of 1, that is -- and that does not mean that a
2 number of 2 is twice as likely to be of interest
3 to the user as 1, would that be a probability?
4 MS. BENNETT: Objection. Form.
5 THE WITNESS: Sorry. I did not
6 quite grasp the -- the premise of your question.
7 If you're talking about if you had
8 a scale that went from 1 to 10, 1 was the lowest
9 value and 10 was the highest value --
10 BY MR. PERLSON:
11 Q. Correct.
12 A. -- 2 then would not represent
13 twice as likely as 1 because if 1 is the low end
14 of the scale, 1 means it's not going to happen.
15 Q. Okay. Okay. Let's say it's
16 between 0 and 10?
17 A. Okay.
18 Q. And if I assign something a number
19 1 and in order for that range to be a range of
20 probabilities, wouldn't it be the case that a
21 number of 2 would have to be twice as likely to
22 be -- show the interest of a user in a document
23 in order for it to be a probability?
24 MS. BENNETT: Objection. Form.
25 THE WITNESS: Okay. So, first of

25

1 all, let's call it a likelihood rather than a
2 probability.
3 BY MR. PERLSON:
4 Q. Okay.
5 A. Technically speaking, it's hard to
6 think about probability other than 0-to-1
7 interval. That's how the math works out.
8 In probability theory, what you're
9 saying is correct in the sense that it's a
10 linear scale. If something has twice the
11 probability value of another event so long as
12 it's not 0, it means it's twice as likely to
13 happen.
14 If you use likelihoods, the
15 typical interpretation is the same. So if a
16 0-to-10 scale, an event has a probability or
17 likelihood of 1 and the second event has a
18 likelihood of 2, the second event would be twice
19 as likely to happen as the first.
20 It is not required that the scale
21 be linear, but by convention you assume
22 linearity unless told otherwise. So anybody's
23 scheme of likelihood is either linear or they
24 inform you how to calculate it.
25 Q. How to -- what do you mean, inform

26

1 you how to calculate it?
2 A. If it's -- if the scale were not
3 linear, it could be, for example, on a -- based
4 on a sigmoid function or something else, then
5 they would have to provide you that sigmoid
6 function that says if something has a value of 2
7 and something else has a value of 1, here's how
8 you estimate how much more likely the value of 2
9 is over the value of 1. So in the absence of
10 providing a function, and I used sigmoid
11 function as an example of one that is sometimes
12 used, it would be exactly as you say. It would
13 be linear.
14 Q. And then let's say that I had a --
15 a -- likelihood numbers of 1 through 4 where 1
16 was somewhat likely, 2 was very likely, 3 was
17 extremely likely, and 4 was a certainty of
18 likelihood. Would that be -- would those
19 numbers 1 through 4 be probabilities?
20 MS. BENNETT: Objection. Form.
21 THE WITNESS: Okay. So, first of
22 all, you didn't define the bottom end of the
23 range -- 0 means unlikely or 0 means impossible?
24 BY MR. PERLSON:
25 Q. Let's say 0 means highly unlikely?

27

1 A. So where's the point that it
2 means -- so neither of the two ends are
3 definitive, so that cannot be converted directly
4 into a probability.
5 Q. So you --
6 A. A probability requires both end
7 points to be nailed down, to be defined. The
8 impossible versus the certain.
9 Q. Now, the patent talks about
10 estimating parameters. Are you familiar with
11 that?
12 A. Yes.
13 Q. And what does it mean to estimate
14 a parameter?
15 MS. BENNETT: Objection. Form.
16 THE WITNESS: It means to compute
17 the value of that parameter based on the
18 information available. That computation can be
19 inexact. It can be an approximation because the
20 amount of information available is finite. It's
21 not all possible likes or dislikes by a user.
22 It's a finite set of those documents they have
23 already seen. Given that it's based on partial
24 observations of how a person would react to a
25 document rather than the totality, that's why

28

1 it's called an estimation. It's an approximate
2 calculation of the value.
3 Now, a model can have multiple
4 parameters. It can have parameters that
5 represent whether they like certain terms,
6 certain concepts, whether they like certain
7 sources of documents, whether they like certain
8 topics within the documents, whether they like
9 to see documents about the same area they've
10 seen before and so forth.
11 The collection of all these
12 parameters together with a mathematical function
13 that combines them is the model. And estimating
14 the parameters is finding or estimating a value,
15 approximating a value, for each one of these
16 inputs to the model, as it were, one of these
17 variables in the model. A parameter is like a
18 variable. It has a value. And you're
19 estimating the values.
20 BY MR. PERLSON:
21 Q. Is the parameter the value or the
22 -- or the variable?
23 A. It's used to mean both, and that
24 is a cause of confusion, I'm afraid. I wish
25 that my colleagues had been, let's say, more

29

1 discriminating in using it to mean only one of
2 the two. That would have avoided future --
3 future confusion, but a parameter is used to
4 mean the value and the parameter is also used to
5 be the variable.
6 Q. And is that -- is that how it's
7 used in -- in the patents, too?
8 MS. BENNETT: Objection. Form.
9 THE WITNESS: The patent talks
10 about estimating the parameters. It really
11 talks about estimating the values of variables.
12 BY MR. PERLSON:
13 Q. Sorry. Were you done?
14 A. Yeah. I'm done.
15 Q. And the -- in order to -- to
16 estimate the values of the variables, is that
17 done by a calculation?
18 MS. BENNETT: Objection. Form.
19 THE WITNESS: It is done --
20 everything is done by a calculation. So an
21 estimation is a calculation based on the
22 available data.
23 BY MR. PERLSON:
24 Q. And that's the -- that's -- the --
25 you mentioned a Dr. Jordan earlier. Is Michael

258	260
<p>1 THE VIDEOGRAPHER: Counsel passed 2 me a note asking me how much time we had left, 3 and I told her that we've been on the record 4 6 hours and 10 minutes. I have no idea how much 5 time is left. 6 MR. PERLSON: Okay. 7 MS. BENNETT: 50 minutes. 8 MR. PERLSON: That's all I need to 9 know. 10 BY MR. PERLSON: 11 Q. The -- okay. So let's go to -- 12 okay. And so on page 59, you see it says 13 "representation for web navigation"? 14 A. Yes. 15 Q. And then underneath it, it says, 16 "The probability distribution of the pages to be 17 accessed is based on collecting the visiting 18 patterns of many users." 19 A. Yes. 20 Q. And what do you understand the 21 probability distribution of the pages is that's 22 referred to there? 23 A. That is the probability of 24 navigating from one web page to another web page 25 by following a link between these pages. In</p>	<p>1 -- of hyperlinks in order to be in the same 2 current state. 3 So he trades off the order of the 4 model in order to balance accuracy with -- and 5 generality, and he mostly does an order M equals 6 2 model as he states on the second -- in the 7 middle of the second column. He goes through an 8 example that I have no need to repeat here. 9 And so this is essentially a 10 navigation process, and he shows in a finite 11 state diagram in Figure 1 on the next page -- he 12 does that illustration so he can calculate the 13 probability that you will traverse a certain 14 link, a certain hyperlink, from one page to 15 another based on what others have traversed 16 before. 17 Q. And that probability is used to 18 determine the variable TIJ; is that right? 19 A. That probability may be used to 20 initialize the variable TIJ. He has -- there's 21 two parts to this -- to this paper, the part 22 that we are talking about now and the 23 entropy-based part which is just before it. 24 In the entropy-based part that he 25 -- excuse me. E-N-T-R-O-P-Y. In the</p>
259	261
<p>1 some cases some links are followed by many 2 users. Other links may be followed by few 3 users. Some links may be followed by no users. 4 Q. And how is that information used 5 in the -- in Wasfi? 6 A. The main use that he puts to it is 7 he builds what's called an order M model. So is 8 there any problem with the recording? 9 Q. No. 10 A. We can just continue? 11 Q. He said that there was 6 hours 12 10 minutes, and then he passed a note that said 13 5 minutes. We were just chuckling -- it seemed 14 inconsistent, but nothing to do -- sorry. 15 A. So an order -- an order M model 16 decides how far back in the sequence of 17 navigation you look to. So an order 1 model 18 means that you look at the current page and 19 where else you go next. An order 2 model is 20 where you came from, the current page. An order 21 3 model, where you came from before the last one 22 you came from and so on. The higher the order 23 of the model, the more information you have, but 24 then again, the less generalization because you 25 must have traversed this particular sequence of</p>	<p>1 entropy-based part that comes before it, he 2 defines TIJ in a different way as a negative log 3 of probability, rather than the probability 4 itself. That negative log of the probability is 5 bounded from -- let's see. The probability is 6 zero -- is bounded from zero to infinity. So 7 it's not really a probability in -- in that 8 particular definition of TIJ on page 59, 9 column 1. 10 MR. PERLSON: Okay. I think we 11 need to take a break. 12 THE VIDEOGRAPHER: This ends disk 13 number 4 of the Carbonell deposition. The time 14 is 6:01:58. Off the record. 15 (A brief recess was taken.) 16 THE VIDEOGRAPHER: On the record 17 with disk number five of the testimony of 18 Dr. Jamie Carbonell in the matter of 19 Personalized User Model versus Google. The date 20 is November 27th, 2012. The time is 6:11:21. 21 BY MR. PERLSON: 22 Q. So now, we were discussing the 23 variable TIJ in Wasfi? 24 A. Yes. 25 Q. What is that?</p>

262

1 A. TIJ is meant to be measure of
 2 importance or interestingness of the page -- of
 3 the Ith page the Jth user. Ith page to the Jth
 4 user. In fact, I believe Wasfi says so
 5 explicitly -- let me find it. Yes, column 1,
 6 page 59, just above the formula. However, that
 7 statement is not exactly consistent with his --
 8 with his formula. This is sometimes called
 9 stochastic entropy rather than the more
 10 traditional or more commonly used Shannon
 11 entropy. Shannon entropy is minus $P \log P$, and
 12 that is bounded on both ends. This is
 13 unbounded, at infinity.
 14 Q. Which is unbounded?
 15 A. TIJ, the H of PR, which is the
 16 same thing.
 17 Q. So something that is unbounded
 18 cannot be a probability; is that right?
 19 A. That's right. It cannot be
 20 normalized into a probability.
 21 Q. The TIJ variable, that indicates
 22 how much weight a new page should get in a
 23 user's profile when that user accesses that
 24 page; right?
 25 A. That's right.

263

1 Q. And then --
 2 A. So a page that would have zero
 3 probability in this case would have an infinite
 4 value.
 5 Q. Okay. But what -- I don't
 6 understand what the --
 7 A. The negative -- the logarithm of
 8 zero is minus infinity. And so if you take
 9 minus the minus infinity, it becomes positive
 10 infinity.
 11 Q. You agree that a probability can't
 12 be a negative number?
 13 A. That's right.
 14 Q. So --
 15 A. It also cannot be infinite.
 16 Q. Does the fact that the -- the TIJ
 17 is calculated based on a probability
 18 distribution of pages based on collecting the
 19 visiting patterns of many users affect your view
 20 of whether the -- the variable TIJ is a
 21 parameter of a learning machine or user model?
 22 A. No, not really. The -- the fact
 23 that there are many -- information is collected
 24 about many users means that it's less often the
 25 probability of a particular transition will be

264

1 zero. And so this pathological case will not
 2 occur with nearly as much frequency as it would
 3 occur if it was just an individual user who had
 4 not traversed that link.
 5 Q. Can the weights of a user-specific
 6 learning machine be -- let me start over.
 7 Can the parameters of a
 8 user-specific learning machine be set based on
 9 formulas that take into account the activity of
 10 other users?
 11 MS. BENNETT: Objection. Form.
 12 THE WITNESS: You're talking about
 13 a learning machine for an individual user or a
 14 learning machine for all users?
 15 BY MR. PERLSON:
 16 Q. A learning machine for an
 17 individual user?
 18 A. A learning machine for an
 19 individual user can take account of behaviors of
 20 other users, but it must also take account of
 21 behavior by this specific user so at least some
 22 of the parameters must be estimated from data
 23 specific to this user, not necessarily all of
 24 them.
 25 Q. So a user-specific learning

265

1 machine must at least have some parameters that
 2 are specific to that user?
 3 A. Yes.
 4 Q. What if the parameters that are
 5 specific to that user -- well, let me give you
 6 -- let me give you an example of something.
 7 If a -- the system creates a
 8 parameter for the user interest in sports and it
 9 determines by the fact that users -- in
 10 reference to all users, that if you've clicked
 11 on sports pages five times, that that indicates
 12 that you should get a weight of .5 for the
 13 variable interest in sports. Would that --
 14 would that be a user-specific parameter?
 15 MS. BENNETT: Objection. Form.
 16 THE WITNESS: So how did you
 17 determine that it should have a weight of .5?
 18 BY MR. PERLSON:
 19 Q. Because you look to see it was
 20 assigned based on the activity of all users,
 21 that if in observing all the users, they saw
 22 that if a user clicked on sports pages five
 23 times, that an appropriate weight was .5.
 24 A. Okay. And then this specific user
 25 also clicked on it exactly five times?

298

1 THE WITNESS: No. Estimating the
 2 parameters is -- always means estimating the
 3 values or weights with those parameters. It is
 4 the case, as I mentioned in answers to both of
 5 you, that the field uses the word "parameter"
 6 more loosely sometimes to mean the variables of
 7 the -- and sometimes to mean the values. And
 8 Refuah does that as well because -- and the way
 9 that is consistent with the Court's construction
 10 is consistent with my report and is consistent
 11 with the claim language is "parameters" mean the
 12 values that are being estimated.
 13 BY MR. PERLSON:
 14 Q. Right. And if you look at 1E, it
 15 refers to estimating a probability PUD that an
 16 unseen document D is of interest to the user U.
 17 Then it goes on to say, "wherein the probability
 18 PUD is estimated by applying the identified
 19 properties of the document to the learning
 20 machine having the parameters defined buy the
 21 User Model." Do you see that?
 22 A. Yes.
 23 Q. So that requires that the learning
 24 machine must actually have the values of the
 25 variables that are defined by the user model;

299

1 right?
 2 MS. BENNETT: Objection. Form.
 3 THE WITNESS: That's right.
 4 MR. PERLSON: I don't have any
 5 further questions.
 6 MS. BENNETT: Okay. And we
 7 reserve the right to review the transcript and
 8 provide an errata.
 9 THE VIDEOGRAPHER: This ends disk
 10 number 5 and concludes the testimony of
 11 Dr. Jamie Carbonell in the matter of
 12 Personalized User Model versus Google. The date
 13 is November 27th, 2012. The time is 7:08:47.
 14 Off the record.
 15 MR. FRIEDMAN: Ms. Satkin, you did
 16 a stellar job.
 17 (Signature not waived.)
 18 (Whereupon, at 7:08 p.m., the
 19 deposition was concluded.)
 20 - - - - -
 21
 22
 23
 24
 25

300

1 ACKNOWLEDGMENT OF DEPONENT
 2
 3 I do hereby acknowledge that I have
 4 read and examined the foregoing of the
 5 transcript of my deposition and that:
 6
 7 (Check appropriate box):
 8
 9 () the same is a true, correct and
 10 complete transcription of the answers given by
 11 me to the questions therein recorded.
 12
 13 () except for the changes noted in
 14 the attached errata sheet, the same is a true,
 15 correct and complete transcription of the
 16 answers given by me to the questions therein
 17 recorded.
 18
 19
 20
 21
 22
 23
 24
 25 _____
 DATE SIGNATURE

301

1 CERTIFICATE OF NOTARY PUBLIC
 2 I, Paula G. Satkin, the officer before whom
 3 the foregoing proceedings were taken, do hereby
 4 certify that the witness whose testimony appears
 5 in the foregoing proceeding was duly sworn by
 6 me; that the testimony of said witness was taken
 7 by me in stenotype and thereafter reduced to
 8 typewriting under my direction; that said
 9 proceedings is a true record of the testimony
 10 given by said witness; that I am neither counsel
 11 for, related to, nor employed by any of the
 12 parties to the action in which these proceedings
 13 were taken; and, further, that I am not a
 14 relative or employee of any attorney or counsel
 15 employed by the parties hereto, nor financially
 16 or otherwise interested in the outcome of the
 17 action.
 18
 19 My commission expires November 14, 2015.
 20
 21
 22
 23
 24
 25 _____
 PAULA G. SATKIN
 Notary Public in and for the
 District of Columbia

1 ERRATA SHEET
2 VERITEXT CORPORATE SERVICES
3 800-567-8658
4 ASSIGNMENT NO. CS1565706
5 CASE NAME: Personalized User Model v. Google
6 DATE OF DEPOSITION: 11/27/2012
7 WITNESS' NAME: Jaime Carbonell

8	PAGE/LINE(S)/	CHANGE	REASON
9	____/____/	_____	_____
10	____/____/	_____	_____
11	____/____/	_____	_____
12	____/____/	_____	_____
13	____/____/	_____	_____
14	____/____/	_____	_____
15	____/____/	_____	_____
16	____/____/	_____	_____
17	____/____/	_____	_____
18	____/____/	_____	_____
19	____/____/	_____	_____
20	____/____/	_____	_____

21 _____
22 Jaime Carbonell
23 SUBSCRIBED AND SWORN TO
24 BEFORE ME THIS _____ DAY
25 OF _____, 2012.

NOTARY PUBLIC
MY COMMISSION EXPIRES _____

Veritext Legal Solutions
25B Vreeland Road - Suite 301
Florham Park, New Jersey 07932
Toll Free: 800-227-8440 Fax: 973-629-1287

_____, 2012

To: JENNIFER BENNETT, Esq.
Case Name: Personalized User Model v. Google
Veritext Job Number: 1565706
Witness: Jaime Carbonell
Deposition Date: 11/27/2012

Dear Ms. Bennett:
Enclosed please find a deposition transcript. Please have the witness review the transcript and note any changes or corrections on the included errata sheet, indicating the page, line number, change, and the reason for the change. Have the witness' signature at the bottom of the sheet notarized and forward errata sheet back to us at the address shown above.

If the jurat is not returned within thirty days of your receipt of this letter, the reading and signing will be deemed waived.

Sincerely,
Production Department
Encl.
Cc: DAVID PERLSON, Esq.

EXHIBIT 6



IN THE UNITED STATES PATENT AND TRADEMARK OFFICE

#11
RWB
3-17-04

Application No.: 09/597,975

Docket No.: UTO-101

Filing Date: 06/20/2000

Art Unit: 2157

Applicants: Konig *et al.*

Examiner: Barbara N. Burgess

Title: Automatic, Personalized Online Information and Product Services

CERTIFICATE OF MAILING

I hereby certify that this correspondence is being deposited with the United States Postal Service with sufficient postage as First Class Mail in an envelope addressed to: Commissioner of Patents, Alexandria, VA 22313-1450

on 3/4/04 _____
Date Signature

AGA Kleszcz
Type or print name of person signing

RECEIVED

MAR 10 2004

Technology Center 2100

Reply under 37 CFR 1.111

Commissioner for Patents
Mail Stop Non-Fee Amendment
P.O. Box 1450
Alexandria, VA 22313-1450

Sir:

In reply to the Office Action mailed by the USPTO on January 29, 2004, the Applicants respectfully submit the following remarks.

REMARKS

CLAIM REJECTION, 35 USC Paragraph 103

Claims 1-62 were rejected under U.S.C. 103(a) as being unpatentable over *Breese et al.* (U.S. Patent No. 6,006,218) in view of *Hertz et al.* (U.S. Patent No. 5,754,939).

In reply, the Applicants respectfully disagree.

A. GENERAL COMMENTS

What does the present invention teach and claim in independent claims 1 and 32?

The present invention is a method for predicting user interests in documents and products using a learning machine and probability measures. The steps are among others (See claim 1 and 32):

- transparently monitoring user interactions;
- using the monitored user actions (note: transparently monitored) for user-specific files;
- estimate parameters of a learning machine to define a user model based on user specific files;
- using the learning machine (i.e. with user estimated parameters) to estimate the probability that a document is of interest to a user (i.e. probability estimates);
- using the estimated probability to provide personalized information to user.

The Applicants would like to respectfully note that learning can be divided into two parts:

(1) memorization and (2) generalization or prediction.

Ad 1. Memory

Memory refers to what happened in the past. A model could be developed that keeps track or score of what happened. For instance, a user model could be developed of the scored/tracked items (e.g. which websites were visited or which documents were looked at). Items could be correlated or similarities could be established (See e.g. *Hertz* Col. 8, line 49; *Hertz* Claim 3).

Using such a model (called knowledge or memory model) one could determine the probability that a user has seen or knows about an item. Based on this memory, one could determine correlations/similarities/matches (See e.g. *Hertz* Fig. 10 item 1103; *Hertz* Col. 78 lines 51-52 "... cluster articles based on similarity ...") with items obtained through a search query. Note such a model is only applicable to determine the probability for:

- (1) an individual user, and
- (2) for that particular item.

There is no carry over and no generalization to other users or other items. Memorization could also be referred to as low-level learning (or limited learning).

More specifically to *Breese*, who teaches that one could determine the probability that a user knows about an item (*Breese*: Column 7, lines 1-10, 31-36) – i.e. the user has seen that item in the past. Note knowledge probability (i.e. memory) as in *Breese* IS NOT the same as probability that documents are of interest (i.e. generalization/estimate probability) as in the present application as an artisan would readily appreciate.

In a model one could further make the distinction between application-dependent or application-independent learning. An example of application-dependent learning could be “choose all relevant NY Times articles”. An example of application-independent learning could be “choose all relevant NY Times articles **and** find the most important emails, provide personalized search results, etc.”. The Applicants assert that *Hertz* teaches the application-dependent approach, whereas the present application is application-independent as defined by elements 1(e) and 1(f) (same for our claim 32).

Classification as an application-**in**dependent approach requires at least two criteria:

- (i) “*cross fertilization*” (see present application), i.e. feedback or learning in one application is used to serve all applications. Neither *Hertz* nor *Breese* teach cross-fertilization.
- (ii) a user-model can be used for a new personalized application, without the need for application specific learning or initialization. Neither *Hertz* nor *Breese* teach such a generic user model.

To illustrate the application-dependency of *Hertz*, see for instance column 10, lines 10-24 and column 11, lines 3-16. *Hertz* also teaches different sets of attributes for different applications, which makes it obvious that *Hertz* **can’t conceive** an application-independent user model. It is again further noted that the present application **does not teach memorization**. Rather, the present invention teaches a learning model to estimate probabilities to predict personalized information that is of interest to the user.

Ad 2.Generalization

Neither *Breese* nor *Hertz* teach any type of generalization; there is no learning involved other than keeping score or tracking what happened in the past. Please note that there is no learning or generalization in these prior art references and could therefore not suggest the present invention to render it obvious.

For example could *Breese* or *Hertz* use a user-model for apples to predict if the user is interested in pears? The answer is no, since the user-model for apples has no knowledge or generalization power related to pears. The teachings of *Breese* and *Hertz* are knowledge-based without any teaching on how to use that knowledge model to generalize beyond that or become application independent – independent from the apples and extend to pears. It is one of the objectives of the present invention to overcome this shortcoming; i.e. a **learning machine in the probability domain** and **cross-fertilization of learning in one mode to another mode.**

Generalization **predicts beyond** items in the past and even beyond the user itself; it **estimates probability** of something to happen in the future. It is exactly this generalization that is claimed in claims 1 and 32 by:

- (1) using the monitored actions to **estimate parameters of a learning machine,** and
- (2) using the learning machine **to estimate the probability** that a document is of interest to a user.

As clearly taught in the present application, **generalization** is made possible by defining a model in the **probability domain**, which **decouples particular feature vectors** and learns to make the model **application/item independent**. The user model of the learning machine in the present invention represents user interests **independent** of any specific (note: specific is application dependent) user information. In other words, the present invention is not related to a specific query. There is therefore no need to distinguish between seen or unseen documents.

Furthermore, *Hertz* (Col. 5, lines 4-21) teaches ordering articles. The question arises what the importance is of the ordered articles. For instance, is it important enough to drag your boss out of a meeting to show the article? *Hertz* does not have a solution for this problem. Ordering articles could be useless if on one day the article is of high importance and the next day is of low importance. **This is in contrast to the present invention, which determines for every document an absolute score of importance, e.g. 0.9 probability that a document is of interest to a user,** independent what the other documents on today's list were. This aspect is clearly claimed in element 1(e) and 1(f) (vice versa in claim 32) of the present application.

Accordingly, the Applicants submit that the present claims 1-62 are **NOT obvious** with respect to *Breese* in view of *Hertz*. A **prima facie** case of obviousness (See MPEP 2143) has **not been established** as discussed *supra*.

B. SPECIFIC COMMENTS

Claims 1 and 32

1. The Office Action asserts that column 5, lines 25-38 of *Breese* discloses, “transparently monitoring user interactions with data while the user is engaged in normal use of a computer.”

In reply, the Applicants assert that the cited passages **do not** specify **nor imply** that the user is engaged in normal use of the computer, nor that the monitoring is transparent. In fact, the cited passage includes obtaining information from questionnaire results, which are certainly not transparently obtained when the user is engaged in normal use of a computer.

2. The Office Action asserts that column 8, lines 33-36, 44-46 of *Breese* discloses, “updating user-specific data files, wherein the user-specific data files comprise the monitored user interactions with the data and a set of documents associated with the user.”

In reply, the Applicants assert that if the step in element (a) “transparently monitoring user interactions ..” is not taught or implied, then there can not be a teaching or implication of step (b) that follows (a). Note it is updating (step b) with the monitored user interactions (step a).

3. The Office Action asserts that element, “analyzing a document to identify properties of the document,” is described in column 8, lines 15-26 of *Breese*.

In reply, the cited section of *Breese* does not discuss any analysis of documents and are irrelevant to the claim element.

4. The Office Action asserts that several sections of *Hertz* discloses steps (c), (e) and (f).

In reply, the Applicants respectfully disagree and refer to the arguments made *supra* (general comments). The Applicants would like to respectfully point out that the Office Action fails to **clearly point out** where *Hertz* teaches steps (c), (e) and (f) since reviewing these sections the Applications are unable to identify the relevant teachings. Perhaps the Examiner could assist and be more precise by pointing to the selective sentences instead of an *aggregate* of independent sections/paragraphs/words.

In addition, *Hertz*:

- (i) teaches **memorization**, we don't,
- (ii) teaches an **application specific** user model without any generalization power, we have an application-independent learning model,
- (iii) does not teach or imply any learning to **estimate probability** of user interests, we do,
- (iv) does not teach or imply any information theory to **determine probability measures**, we do,
- (v) does not teach **probability measures** if whether an item is of interest to a user (See also *infra*), we do, and/or
- (vi) teaches **clusters of documents** (See *Hertz* Col. 78, lines 51-53) and does not teach **clusters of user models** like we do (which is a big difference).

None of the sections (either *individually* or *combined*) of *Hertz* referred to in the Office Action discusses, teaches or implies steps (either *individually* or *combined*) (c), (e) and (f). Accordingly, the Applicants submit, as submitted *supra*, that the present claims 1-62 are **NOT obvious** with respect to *Breese* in view of *Hertz*. A **prima facie** case of obviousness (See MPEP 2143) has **not been established**.

CLAIMS 2-31 and 33-62

The Applicants believe that the significant differences discussed above between the claimed invention and *Breese* in view of *Hertz* make the claimed invention novel and *non-obvious*. Because all other claims depend from either claim 1 or claim 32, the Applicants believe that all pending depending claims are also novel and *non-obvious*. In addition to their dependency on claims 1 or 32, the Applicants incorporate herewith **all previous arguments** made on the record in the previous reply to the first Office Action.

In addition, the Applicants have trouble comprehending the relevant teaches pointed out by the Examiner related to *Hertz* that would render the present claims obvious. As a side note, *Hertz* in Column 7, lines 47-67 to Column 8 1-9 teaches “truly passive” and “browsing and filtering”, which shows that *Hertz* does not have the intention to suggest its teachings to be a basis for predicting user interests for personal search and services. This is in contrast to claim 1 and 32 of the present application.

Furthermore, Applicants would like to point out that *Hertz* does not teach nor imply probability measures, or how to define probability measures in either formula or


wordings. A simple word search on the word probability in *Hertz* doesn't return a favorable answer. Note the word "probability" can be found e.g. in *Hertz* Col. 50 line 28 it refers to " ... probability that a user will access target object T". **However**, this probability is based on a memorized user model (see *supra*) and not the probability that the document is of interest to a user (which is based on a learning model of estimated probabilities and not memories). Furthermore, a description or implication of the necessary information theory to establish probability measures as claimed in claim 1 and 32 is missing in *Hertz*. Accordingly, the Applicants are puzzled to why the Office Action asserts that *Hertz* teaches or renders our claims obvious in combination with *Breese*.

CONCLUSION

Applicants respectfully submit that the present claims 1-62 are **NOT obvious** with respect to *Breese* in view of *Hertz*. A **prima facie** case of obviousness (MPEP 2143) has **not been established** as discussed *supra*. Even *if* at the time the invention (i.e. hindsight is impermissible, See MPEP 2141.01 III) was made one skilled in the art would be motivated to combine *Breese* and *Hertz*, the resulting method would still not possess the capability to provide automated and personalized information services to a user that uses machine learning including memorization and generalization defined in the probability domain simply because neither *Breese* or *Hertz* teach or suggest anything beyond memorization models.

Therefore, the Applicants submit that claims 1-62 are novel and unobvious over the closest prior art of record. Accordingly, allowance of the claims now in the application is kindly requested.

Respectfully submitted,



Dr. Ron Jacobs
Reg. No. 50,142
LUMEN Intellectual Property Services
2345 Yale Street, 2nd Floor
Palo Alto, CA 94306-1429

Phone: (650) 424-0100
Fax: (650) 424-0141
Email: ron@lumen.com