

EXHIBIT A

USDC - In Re Obviousness-Type Double Patenting Hearing Vol. 1 10/1/2007 2:00:00 PM

1 UNITED STATES DISTRICT COURT
 2 FOR THE DISTRICT OF MASSACHUSETTS
 3 Civil Action
 4 No. 05-12237-WGY
 5 * * * * *
 6 AMGEN, INC., *
 7 Plaintiff, *
 8 v. * DAILY TRANSCRIPT
 9 F. HOFFMANN-LA ROCHE LTD, * OF HEARING IN RE
 ROCHE DIAGNOSTICS GmbH and * OBVIOUSNESS-TYPE/
 HOFFMANN-LA ROCHE, INC., * DOUBLE PATENTING
 10 Defendants. * (Volume 1)
 11 * * * * *
 12
 13
 14 BEFORE: The Honorable William G. Young,
 District Judge
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24 1 Courthouse Way
 25 Boston, Massachusetts
 October 1, 2007

1 A P P E A R A N C E S

2

DUANE MORRIS LLP (By D. Dennis Allegretti,
3 Esq. and Michael R. Gottfried, Esq.), 470 Atlantic
Avenue, Suite 500, Boston, Massachusetts 02210

4 - and -

DAY CASEBEER MADRID & BATCHELDER, LLP (By
5 Lloyd R. Day, Jr., Esq., David M. Madrid, Esq. and
Robert M. Galvin, Esq.) 20300 Stevens Creek
6 Boulevard, Suite 400, Cupertino, California 95014

7 - and -

McDERMOTT WILL & EMERY (By Michael Kendall,
8 Esq.), 28 State Street, Boston, Massachusetts
02109

9 - and -

McDERMOTT WILL & EMERY (By William G.
10 Gaede, III, Esq.), 3150 Porter Drive, Palo Alto,
California 94304

11 - and -

MARSHALL, GERSTEIN & BORUN LLP (By Kevin M.
12 Flowers, Esq.), 6300 Sears Tower, 233 S. Wacker
Drive, Chicago, Illinois 60606-6402

13 - and -

STUART L. WATT and WENDY A. WHITEFORD, Of
14 Counsel, Amgen, Inc., One Amgen Center Drive,
Thousand Oaks, California 91320-1789, on behalf of
the Plaintiff

15

BROMBERG & SUNSTEIN LLP (By Lee Carl
16 Bromberg, Esq. and Julia Huston, Esq.), 125 Summer
Street, Boston, Massachusetts 02110

17 - and -

KAYE SCHOLER LLP (By Leora Ben-Ami, Thomas
18 F. Fleming, Esq., Patricia Carson, Esq.,
Christopher Jagoe, Esq. and Howard Suh, Esq.),
19 425 Park Avenue, New York, New York 10022, on
behalf of the Defendants

20

21

22

23

24

25

1 PROCEEDINGS - 2:03 P.M.

2

3 THE CLERK: All rise. Court is in session, please
4 be seated.

5 THE COURT: All right, I do think I'm prepared to
6 proceed. I don't require an opening as to this, and I am
7 counting the time, but I don't preclude one either.

8 So, counsel, who's going to take the lead here?

9 MS. CARSON: Your Honor, I'm going to take the lead
10 on behalf of Roche.

11 Before we begin, though, just to kind of talk a
12 little bit about the structure, we would like to reserve 45
13 minutes of Roche's time so that we can cross Dr. Lodish who
14 we expect probably to give testimony on behalf of Amgen.

15 THE COURT: Well, of course you can. But in a way
16 it's in your hands. You have two hours of time in a total
17 four hour presentation. So you proceed as you see fit.

18 MS. CARSON: And we were assuming that you were
19 giving two hours to Roche and two hours to Amgen.

20 THE COURT: You're absolutely right.

21 MS. CARSON: Okay.

22 THE COURT: But it's your burden, so you go first.

23 MS. CARSON: So Roche would like to begin by
24 calling Dr. Thomas Kadesch to the stand.

25 THE COURT: He may be called.

1 THE CLERK: Right up here, sir.

2 MS. CARSON: Your Honor, before I begin with Dr.
3 Kadesch, I just want to point out that we have two
4 obviousness/double patenting theories, Number 3 and Number
5 4, and we're going to begin with Dr. Kadesch's testimony
6 which is relevant to Theory 4.

7 THE COURT: Thank you.

8 THE CLERK: Sir, would you raise your right hand.

9 Do you solemnly swear that the answers you will
10 give to this Court will be the truth, the whole truth, and
11 nothing but the truth, so help you God?

12 THE WITNESS: I do.

13 THE CLERK: Please be seated.

14 MS. CARSON: Your Honor, may I proceed?

15 THE COURT: Of course.

16 MR. DAY: Your Honor, I would just like to object
17 that we received no expert report from Dr. Kadesch
18 addressing this subject. And to the extent that a report --

19 THE COURT: Well, this is jury waived and I'll be
20 sensitive to that.

21 Well, though, that does raise a question. How can
22 we get his opinions if there's no report? I would never
23 have let you do that before the jury.

24 MS. CARSON: And, your Honor, Dr. Kadesch did put
25 in a total of three expert reports and this was among the

1 issues that he addressed --

2 THE COURT: Oh.

3 MS. CARSON: -- in his expert report.

4 THE COURT: All right, fine. So long as we have --

5 MS. CARSON: And we'll be handing you the color
6 coded expert reports.

7 THE COURT: That's fine. And you'll hand them to
8 the plaintiffs as well, I'm sure. Yes.

9 THOMAS R. KADESCH

10 DIRECT EXAMINATION

11 BY MS. CARSON

12 Q Good afternoon, Dr. Kadesch. Would you please introduce
13 yourself to the Court.

14 A Yes. My name is Dr. Tom Kadesch. I am Professor of
15 Genetics at the University of Pennsylvania, and currently
16 serve as the chair of the department.

17 Q And could you describe what you do in your position as
18 Professor of Genetics at the University of Pennsylvania?

19 A Well, I've got three major responsibilities. One is to
20 teach; the second is to run a research lab; and the third is
21 to administer the department.

22 Q And the research lab that you run, what is the focus of
23 your research?

24 A The focus of my research is the regulation of gene
25 expression and protein expression.

1 Q How long have you studied protein expression?

2 A Well, I've been at the University of Pennsylvania since
3 1984, but I started when I was a postdoctorate fellow at
4 Stanford.

5 Q Could you please briefly describe your educational
6 background for the Court?

7 A Yes. I got B.S. in biochemistry from the University of
8 California at Santa Barbara in 1975. In 1980 I got a Ph.D.
9 in biochemistry from the University of California at
10 Berkeley. Then I did post-doctoral work with Dr. Paul Berg
11 at Stanford for three years, and then I started my job at
12 Penn in 1984.

13 Q And who is Dr. Paul Berg?

14 A Dr. Paul Berg is a Nobel Laureate. He won the Nobel
15 Prize around 1980. Often considered by many to be one of
16 the fathers of recombinant DNA technology.

17 Q What kind of work did you do in Dr. Berg's laboratory?

18 A Well, we were very much involved in developing
19 recombinant DNA technology and the ability of that
20 technology to express genes in cells.

21 Q Now, do you understand that you're providing testimony
22 in this proceeding from the point of view of a person of
23 skill in the art in the 1983-1984 time frame?

24 A Yes, I do.

25 Q And what qualifications in your opinion would a person

1 of ordinary skill in the art in that time frame possess?

2 A Well, somebody of ordinary skill would have a Ph.D. or
3 an M.D. and typically two years of research lab experience
4 in addition to that.

5 Q And did you consider yourself to be one of ordinary
6 skill in the art in the 1983-1984 time frame in recombinant
7 DNA technology?

8 A Yes, I did.

9 Q What opinion are you here today to provide to the Court?

10 A My opinion has to do with the double patenting issue
11 which is, given the '698 patent, the '349 patent claim 7 is
12 obvious.

13 Q Now --

14 MR. DAY: Objection, your Honor; it's outside the
15 scope of his report.

16 MS. CARSON: Your Honor, red report, Paragraph 12.

17 THE COURT: Well, I'll tell you we're going to save
18 time here and I'm not going to entertain those objections.
19 But to the extent that it is claimed to be outside the
20 report, counsel will have time enough to set that out in a
21 brief, and I have the reports and I'm not going to, I'm
22 simply not going to consider anything that's not in the
23 report. That way we'll move along more smoothly. I will
24 not consider it unless it's here in the report.

25 MR. DAY: Your Honor, may I be heard for just one

1 second on that. It's simply not in the report, that's the
2 point.

3 THE COURT: Oh, I understand that. I understand
4 that. So here's what we're going to do. I'm going to sit
5 here, I'm going to listen to it, I'm going to take notes on
6 it, and then you're going to submit a brief saying, because
7 you have daily copy, the following testimony is not in the
8 report. And I'm going to then -- and they of course will
9 say, oh, yes, it is, here it is. And I'm then going to look
10 at those portions of the report and if it's not in the
11 report, I'm not considering it. I'm capable of doing that
12 and that's how I'll do it.

13 MR. DAY: Thank you.

14 MS. CARSON: Your Honor, in view of the way you're
15 proceeding, it's also in yellow Paragraph 8 and blue
16 Footnote 3, Pages 8 through --

17 THE COURT: Well, they're going to attack it. It
18 will be your opportunity then to tell me where it is and I
19 will look at it. But, bottom line, claim 7 of '349 is
20 invalid?

21 THE WITNESS: Yes.

22 THE COURT: In view of '698?

23 THE WITNESS: Yes.

24 Q Are you aware that the Court --

25 MS. CARSON: I'm sorry, your Honor, may I proceed?

1 THE COURT: You may.

2 Q Are you aware that the Court has provided definitions
3 for certain claim terms in this case?

4 A Yes.

5 Q And have you reviewed the Court's definitions in this
6 case?

7 A Yes, I have.

8 Q And how did the Court's claim definitions come into play
9 in you coming to your opinions?

10 A I considered them when I came to my opinions, yes.

11 Q Now, have you reviewed the '698 and the '349 patents and
12 claims in coming to your opinions?

13 A Yes, I have.

14 Q So --

15 MS. CARSON: TK-9, please.

16 Q So, let's start by looking at claim 4 of the '698
17 patent, and this is claim 4 that's taken from Trial
18 Exhibit 3.

19 MR. DAY: Your Honor, I object. This claim's not
20 in suit.

21 THE COURT: No, the claim's not in suit, but this
22 is the one that is supposed to be, or I take it that
23 invalidates or aids in invalidating '349 claim 7.

24 MS. CARSON: That's correct, your Honor.

25 THE COURT: Yes.

1 MS. CARSON: This --

2 THE COURT: I understand. You may proceed.

3 MS. CARSON: Dr. Kadesch's opinion was offered
4 before Amgen's response.

5 THE COURT: I have it in mind. I'm trying to save
6 you time.

7 MS. CARSON: Okay. Thank you.

8 Q Can you tell me what your understanding of claim 4 of
9 the '698 patent is?

10 A Yes. It's a process of producing erythropoietin in
11 vertebrate cells.

12 Q Now, if we could look at TK-3.

13 And this is -- if you could tell me what your
14 understanding of claim 7 of the '349 patent is?

15 A Yes. If you actually read it, a process for producing
16 erythropoietin comprising steps of culturing vertebrate
17 cells.

18 Q Now, in your opinion, what is the difference between
19 claim 4 of the '698 patent and claim 7 of the '349 patent?

20 A Well, first of all, claim 7 of the '349 patent is
21 dependent upon claims 1 through 6. Shown here is claim 1
22 for an example. And in claim 1 they talk about growing
23 those cells and obtaining, actually measuring the amount of
24 erythropoietin that's produced in those cells.

25 MS. CARSON: Okay. So if we could have TK-4.

1 Q So, Dr. Kadesch, if you compare claim 7 as you've now
2 read it in light of claim 1 of the '349 patent with claim 4
3 of the '698 patent, can you tell me in your opinion what the
4 differences are between these two claims?

5 MR. DAY: Excuse me, your Honor. If I understand,
6 you don't wish me to object that these are outside the
7 scope?

8 THE COURT: I do not. And your rights against the
9 report are fully saved in the manner that I've said.

10 MR. DAY: Thank you.

11 MS. CARSON: May I proceed, your Honor?

12 THE COURT: You may.

13 Q So, Dr. Kadesch, if you could look at claim 7 of the
14 '349 patent as you've now read it in light of claim 1 and
15 compare it to claim 4 of the '698 patent, can you tell me in
16 your opinion what the differences are between these claims?

17 A Well, in this particular case, highlighted in yellow are
18 two aspects or two phrases within the claims. Basically a
19 process of producing erythropoietin, glycosylated
20 erythropoietin in claim 4 of the '698, and a process of
21 producing erythropoietin, claim 7 in the '349 patent.

22 MS. CARSON: If we could have TK-5.

23 Q Again, this is a comparison of the same two claims, if
24 you could continue your discussion.

25 A Yes. Both involve the use of vertebrate cells.

1 Q If we could have TK-6.

2 A And this basically is to say if you grow those
3 vertebrate cells under appropriate nutrient conditions.

4 Q And if we could look at TK-7.

5 A So, in the '698 patent you have, basically, the way in
6 which these, the erythropoietin is produced, promoter DNA,
7 other than the human erythropoietin promoter in claim 4, and
8 nonhuman DNA sequences controlling transcription in claim 1
9 of the '349.

10 Q Now, the '349 claim 7 which you've been reading in light
11 of claim 1 of the '349 patent recites an amount of
12 erythropoietin. What is that amount?

13 A The amount in this case is a value that they describe as
14 a hundred units of erythropoietin using radioimmunoassay.

15 Q And what is radioimmunoassay?

16 A It was a standard assay used at the time, one of many
17 assays that are used to measure the amount of protein.

18 Q And when you say standard, what do you mean by that?

19 A Well, someone of skill in the art in 1983 or 1984 would
20 have certainly known about the RIA assay, radioimmunoassay.

21 Q Now, the '349 claim 1 says that these vertebrate cells
22 are making a hundred units of erythropoietin by
23 radioimmunoassay.

24 Do you have an opinion as to whether one of skill
25 in the art, it would have been obvious to one of skill in

1 the art to make that level of erythropoietin using the
2 process that's claimed in claim 4 of the '698 patent?

3 A Yes, that would have been obvious.

4 Q And can you explain your opinion?

5 A Well, the manner in which the Amgen scientists, the
6 process that they used to generate this amount of
7 erythropoietin involved a process called gene amplification.
8 The gene amplification, simply put, is when you put a
9 selected pressure on one gene, if another gene is linked to
10 it, then that other gene will become amplified along with
11 the DHFR gene that's being selected for. And so this is a
12 way, a very convenient way of increasing the number of
13 copies of the gene in the cell, but any gene that's linked
14 to it, such as erythropoietin, would also be amplified and
15 therefore you would express more of that gene product as
16 well.

17 MR. DAY: Your Honor, I object and move to strike
18 as irrelevant; not comparing the claim to the claim.

19 THE COURT: No, overruled; that may stand. I'll
20 give it such weight as it its due.

21 Ms. Carson, I'll proceed as I have stated. I have
22 very much in mind their motion to preclude the testimony of
23 this witness in its entirety and their reference to the
24 expert reports here. Just understand you're going to have
25 to deal with that at some stage. But you proceed.

1 MS. CARSON: Thank you, your Honor.

2 Q Yes, you mentioned amplification. If you would turn in
3 your book to Exhibit NUK.

4 A I don't know where it is. I'm sorry.

5 THE COURT: It's the penultimate tab in the book.

6 Q It's all the way in the back, almost the last.

7 A Okay.

8 MS. CARSON: Thank you, your Honor.

9 A Yes, I got it. Thank you.

10 Q Can you tell the Court what this is?

11 A Yes, this is a copy of a manuscript, first page of a
12 manuscript by -- I'm sorry -- by Gordon Ringold.

13 THE COURT: I don't mind it being put up even
14 though it's not yet in evidence. I'm reading it here as the
15 presiding officer.

16 MS. CARSON: Thank you, your Honor. You can put it
17 back up.

18 THE COURT: Society trusts us to be able to do
19 this, and I work very hard to retain that trust.

20 Q Dr. Kadesch, can you tell us what this paper is?

21 A Yes, this is a paper that was published by Gordon
22 Ringold at Stanford University basically describing what I
23 just told you, namely, that if you take, if you amplify a
24 dihydrofolate reductase gene in cells carried on in plasmid
25 you can co-amplify a gene that's linked to it, in this case,

1 the XGPRT gene, which is a gene produced normally in E.coli.
2 And so, this paper demonstrates that you can amplify the
3 DHFR, the dihydrofolic reductase gene, co-amplify the XGPRT
4 gene, and get higher level expression of the XGPRT gene.

5 Q And what is the date of this reference?

6 A 1981.

7 Q And the journal that this appears in, what is your
8 opinion of the Journal of Molecular and Applied Genetics?

9 A The Journal of Molecular and Applied Genetics is a
10 journal that is composed of peer-reviewed manuscripts. So
11 to get a manuscript published in that journal it has to be
12 considered by your peers and it has to be considered to be
13 not only scientifically sound but of some impact.

14 MS. CARSON: Your Honor, I offer NUK into evidence
15 as prior art.

16 THE COURT: Any objection?

17 MR. DAY: Yes, I object on relevance rounds, your
18 Honor. There's nothing about amplification in either of the
19 two claims.

20 THE COURT: What do you say to that?

21 MS. CARSON: Your Honor, the claims that are
22 impacted by the double patenting assertions do talk about
23 amplification, but moreover, you can consider for double
24 patenting what was known in the prior art and this is
25 evidence of what was known in the prior art that could be

1 done to achieve the levels that are claimed in claim 7 of
2 the '349 patent.

3 THE COURT: Well, respectfully, I don't follow. I
4 don't -- it has nothing to do with amplification.

5 MS. CARSON: The amplification relates to the
6 levels of expression that are recited in the '349 claims.
7 So, the issue is, if you practice the process of claim 4 of
8 the '698 patent, you may or may not get a hundred units of
9 erythropoietin, but you will certainly get it if you apply
10 the well-known technique that was clearly taught in the
11 prior art and is referenced in the patent specification of
12 amplification.

13 THE COURT: All right. I guess I have a problem
14 here, and here's my problem.

15 I am satisfied that the Federal Circuit considers
16 this issue matter of law. And what I say I do say with
17 respect, they do so in their rather sloppy and undefined
18 view that they state the things are matter of law when what
19 they really mean is that they're matters for the judge to
20 determine.

21 Now, one way to approach this is as simply as
22 matter of construction, which is, which is for me and which,
23 though mentally there are some problems with it, that's how
24 I do a Markman hearing. So I compare the two claims and I
25 listen carefully to your arguments, I construe the claims of

1 the two patents, and then as matter of law I rule whether
2 one is patentably distinct from the other. And having done
3 it that way, I'm perfectly content that the Federal Circuit
4 review my ruling de novo.

5 You've asked for an evidentiary hearing on this and
6 in an excess of caution I've given it to you. And at least
7 in my mind that would permit me in this complex area, where
8 I don't have much guidance from the Federal Circuit on
9 obviousness/double patenting, I'm using the Markman by
10 analogy and what they did in Festo by analogy. It seems to
11 me I would be helped by getting expert testimony. And so
12 I'm getting it, for a few hours anyway. But now you want to
13 introduce actual evidence to bolster that testimony, I take
14 it. And I think somewhere I should draw the line.

15 I'll hear you.

16 MS. CARSON: I think that even in a Markman hearing
17 if you were to construe the claims, you still have to
18 consider what that construction would mean to one of skill
19 in the art.

20 THE COURT: Correct.

21 MS. CARSON: And what this reference provides is
22 the context as to what one reading the elements of claim 4
23 of the '698 patent would have in their mind as they're
24 reading that because that's the obviousness/double patenting
25 inquiry.

1 THE COURT: Right.

2 MS. CARSON: Not do they claim the same thing,
3 that's same invention double patenting.

4 THE COURT: Well, same invention double patenting
5 is, has the relationship to obviousness/double patenting as
6 anticipation has to obviousness, correct? It is the
7 epitome --

8 MS. CARSON: It's the same thing, yes. They're
9 claiming the same invention.

10 THE COURT: No, I'm excluding this. I'm excluding
11 it. NUK.

12 MS. CARSON: And just as an additional point on
13 this reference for your Honor's consideration, even under
14 Federal Circuit law prior art can be considered in
15 conjunction with claim construction.

16 THE COURT: I've construed the claims. And the
17 record is what it is and I'm stuck with it. And thus far, I
18 have no reason to reconsider it.

19 MS. CARSON: Thank you, your Honor. May I proceed?

20 THE COURT: Of course.

21 Q So, Dr. Kadesch, how does this paper by Dr. Ringold --
22 actually let's just back up.

23 MS. CARSON: So, if we can go back to TK-13. I'm
24 sorry, back up to TK-7.

25 Q You walked through these claims individually and pointed

1 out the similarities between the claims and we talked about
2 amplification. When you take everything together, can you
3 explain to the Court your opinion regarding the obviousness
4 of claim 7 over '698 claim 4?

5 A Yes. If you look at claim 4, it's for, I mean, to put
6 it in simple language, it's a process of making
7 erythropoietin using promoter DNA other than human
8 erythropoietin promoter DNA. And claim 1 pretty much is the
9 same thing, nonhuman DNA sequences. And as I said, the only
10 difference really is that you decided to measure the amount
11 of erythropoietin you're making in claim 1 in the '349
12 patent as opposed to claim 4 in the '698 patent.

13 Q So, in your view, is there anything unexpected about the
14 result that's claimed or what's claimed in claim 7 of the
15 '349 patent?

16 A No, there's nothing unexpected. It was all in the art,
17 and generating that amount of erythropoietin would have been
18 expected.

19 MS. CARSON: Roche has no further questions for Dr.
20 Kadesch.

21 THE COURT: Thank you. Any questions?

22 MR. DAY: Oh, I have a few, your Honor.

23 THE COURT: Go ahead.

24

25

1 CROSS-EXAMINATION

2 BY MR. DAY

3 Q Dr. Kadesch, you do not work on EPO, do you?

4 A No.

5 Q And you've never studied the EPO gene, have you?

6 A No.

7 Q You've never produced a recombinant EPO protein, have
8 you?

9 A No.

10 Q You've never conducted experiments relating to the
11 production of a recombinant EPO protein, have you?

12 A No.

13 MS. CARSON: Objection; vague.

14 THE COURT: Overruled.

15 Q Your peers would not consider you to be an expert
16 regarding processes for production of EPO, would they?

17 MS. CARSON: Objection.

18 A No.

19 THE COURT: Overruled. Your answer?

20 A No.

21 Q You have no experience with CHO cells that have been
22 genetically engineered to produce recombinant protein, do
23 you?

24 A That's correct.

25 Q And you've offered no opinions on EPO glycosylation,

1 have you?

2 A No.

3 MS. CARSON: Objection.

4 THE COURT: Overruled.

5 Q By 1984 it was known that EPO was a secreted
6 glycoprotein, correct?

7 MS. CARSON: Objection; outside the scope.

8 THE COURT: Overruled.

9 A I believe that's true.

10 THE COURT: And my usual approach to scope stands.
11 This is the only time I'm going to hear from this witness in
12 this jury waived proceeding. Let's get everything he has to
13 say.

14 Q By 1984 it was known that many secreted proteins like
15 EPO must undergo post-translational modification such as
16 glycosylation in order to be biologically active, correct?

17 MS. CARSON: Objection; no foundation.

18 THE COURT: Overruled.

19 A I believe that's true.

20 THE COURT: He can answer if he knows.

21 Q And, for example, it was known that protein misfolding
22 could compromise or even eliminate in vivo biological
23 activity, correct?

24 A That's correct.

25 MS. CARSON: Objection; no foundation.

1 THE COURT: Overruled.

2 Q With regard to EPO specifically, the in vivo biological
3 activity can be compromised due to misfolding or
4 inappropriate post-translational modifications, right?

5 MS. CARSON: Objection; no foundation.

6 THE COURT: Overruled.

7 A I believe that's true.

8 Q In 1984 it was known that misfolding or deglycosylated
9 EPO may have no biological activity, right?

10 MS. CARSON: Objection; no foundation.

11 THE COURT: Overruled.

12 A I believe that's true.

13 Q In fact, it was known that changes in glycosylation can
14 reduce or eliminate in vivo biological activity for EPO,
15 correct?

16 MS. CARSON: Objection.

17 A I don't know the answer to that question.

18 Q You do know, however, that the absence or removal of a
19 particular sugar, terminal sialic acid, from the EPO protein
20 will eliminate biological activity, correct?

21 MS. CARSON: Objection; vague, no foundation.

22 THE COURT: Overruled.

23 A I don't know the answer to that question.

24 Q You had your deposition taken in this case, correct?

25 A Uh-huh.

1 Q Let me hand you a copy of your deposition.

2 MR. DAY: Do you want to pass them out, please.

3 Q If you would turn to Page 276 of your deposition. I'm
4 going to point you to Lines 13 to 16.

5 MS. CARSON: I'm sorry, Mr. Day, which lines?

6 MR. DAY: I said 276, Lines 13 to 16.

7 Q Question: Do you have an understanding of the removal
8 of sialic acid when using erythropoietin biologically
9 inactive?

10 Answer: That's my understanding, yes.

11 So what is the significance of that particular
12 statement?

13 Well, the fact that you can remove sugars and you
14 could not alter the readout of an RIA, but you dramatically
15 inhibit the readout in a bioassay.

16

17 Did you give that testimony, sir?

18 A Yes, I did.

19 MS. CARSON: Objection.

20 THE COURT: Overruled.

21 Q Now, CHO cells do not naturally produce human
22 erythropoietin, do they?

23 A They do not.

24 Q And one of ordinary skill in the art in 1983 or '84
25 would have understood that whenever you take protein outside

1 of its natural environment, that is, you take it outside of
2 the cell that naturally produces that protein, one always
3 has to be aware that a protein, if it's produced in its
4 nonnatural environment, may misfold, correct?

5 MS. CARSON: Objection; vague, and no foundation.

6 THE COURT: Overruled. If he knows.

7 A One would have to take that into consideration, yes.

8 Q Okay. In fact, you're aware that some CHO cells produce
9 biologically inactive recombinant human EPO, aren't you?

10 MS. CARSON: Objection; no foundation.

11 THE COURT: Overruled.

12 A That would be the interpretation of some of the data
13 presented in the patent, yes.

14 MR. DAY: Thank you. No further questions.

15 THE COURT: Anything more for this witness?

16 MS. CARSON: Just one question, your Honor.

17 REDIRECT EXAMINATION

18 BY MS. CARSON

19 Q Dr. Kadesch, if you could look at your deposition
20 testimony that Mr. Day just examined you on on Page 276.
21 And I believe Mr. Day directed your attention to Line 16
22 through 18.

23 A Yes.

24 Q Okay. Can you tell me whether you were discussing EPO
25 generally there, or was that in the context of a specific

1 article that was being marked at that deposition?

2 A Well, if you look on the previous page it's clear that
3 that was in reference to a particular article that I was
4 reading from.

5 THE COURT: Just, just so I'm clear, I'm going to
6 back to something as I think it through. As you understand
7 it, nonnaturally-produced human EPO runs the risk of being
8 misfolded?

9 THE WITNESS: All proteins run the risk of being
10 misfolded.

11 THE COURT: All right. And human EPO produced in
12 the human body runs the risk of being misfolded?

13 THE WITNESS: That's correct.

14 THE COURT: I see. All right. Go ahead. If
15 there's nothing else, that's fine.

16 MS. CARSON: Roche has no further questions.

17 THE COURT: Nothing else --

18 MR. DAY: No, sir.

19 THE COURT: -- Mr. Day?

20 You may step down.

21 (Whereupon the witness stepped down.)

22 THE COURT: Is that it for Roche's presentation?

23 MS. CARSON: That's it for Roche's evidentiary
24 presentation. I would like to take the remainder of the
25 time to present oral argument on both Theory 4 and Theory 3.

1 THE COURT: I'll, I'll proceed that way. That's,
2 that's fine. I understand that. And I'll give you the time
3 that we've allotted. And, therefore, Ms. Ben-Ami.

4 MS. BEN-AMI: I'm just segueing. Mr. Suh is going
5 to carry the burden on this, your Honor. I did just want to
6 point out a couple of things to you.

7 I would cite to your Honor the In re Longi case,
8 759 Fed. Circuit 887, 1985 case from the Federal Circuit,
9 applying double patenting, obviousness-type/double patenting
10 where specifically prior art references were considered with
11 the claims for the double patenting.

12 And there are cases -- there's also MPEP cites on
13 this I can read to your Honor. The MPEP Section 804,
14 Paragraphs 8.36, 8.37. So this was the reason that we were
15 presenting the view that you can look at the prior art. You
16 take the claims of the, that you're looking at for the
17 double patenting, and you apply the prior art plus the
18 claims, and that is our understanding of the law. So I
19 didn't want to, you know, let your Honor think that there
20 are not cases that say this. There are cases that are quite
21 specific out of the Federal Circuit that do say you do
22 consider the prior art.

23 THE COURT: Happy to receive that specific
24 argument. And I have no doubt about the authenticity of
25 NUK. For the moment, I adhere to my ruling.

1 Mr. Suh.

2 MS. CARSON: Actually, your Honor, before we turn
3 to, Mr. Suh's going to take care of obviousness/double
4 patenting Theory 3, I was going to finish addressing Theory
5 4.

6 THE COURT: That's fine. It's one of the
7 advantages of time limits. I'm comfortable that this will
8 end at a specific time, and let's do the work together.

9 I'll hear you, Ms. Carson.

10 MS. CARSON: So, your Honor, this Theory 4 again is
11 the assertion that Roche has made from the outset of this
12 litigation, that the '933, the '349 and the '422, those are
13 two product claims and one process claim, are obvious over
14 the process claims of the '868 and the '698 patent.

15 And so, the first thing that I wanted to address --
16 RB-1.

17 Amgen's taken the position that we didn't raise
18 this theory or preserve this theory, and we raised it as
19 early as our amended answer and we maintained it throughout
20 our pretrial memorandum. So, I wanted to dispense with that
21 right out of the box.

22 The next thing that I wanted to talk to the Court
23 about is, I want to explain why the language of 35 U.S.C.,
24 Section 121 makes it clear that Roche can in fact rely on
25 the '868 and the '698 patents as obviousness/double

1 patenting references against the '933, '422 and '349
2 patents. And I want to, to illustrate this point, I'm going
3 to look at the language of 35 U.S.C. 121 and then walk the
4 Court as quickly as possible through the prosecution history
5 just to show the Court how these patents came into
6 existence.

7 So if I can have RB-2.

8 35 U.S.C. Section 121 says that a patent that
9 issues on an application with respect to which requirement
10 for restriction has been made, or an application that's
11 filed as a result of such a requirement, shall not be used
12 as a reference either in the Patent and Trademark Office or
13 in the courts against a divisional application.

14 So, the question that we have to ask is did the
15 '868 and '698 patents issue on an application to which a
16 requirement for restriction was made, or did it issue on an
17 application that was refiled as a result of a restriction
18 requirement.

19 And what I want to illustrate to the Court today is
20 that in the case of the '868 and the '698 patents, it did
21 not issue, those patents did not issue on an application
22 that a restriction was made on nor did those patents issue
23 from an application that was filed as a result of a
24 restriction requirement.

25 And so, if we can RB-3.

1 This is a very complicated family history of the
2 patents-in-suit. But if you look to the far left side,
3 serial number 675,298, that's your original application that
4 resulted in the '008 patent. It's on that '298 patent where
5 the restriction requirement happened. And in that
6 restriction requirement the Patent Office restricted the
7 claims to a series of separate groups. And so the separate
8 groups that were -- so, this is the application that the
9 restriction requirement was issued in.

10 The '008 patent issued from that application. So
11 if you look at the statute, you would say that this is a
12 patent that issued on an application in which a restriction
13 requirement was made. This is your patent, the '008.

14 So, the '008 patent we could not rely on as a
15 reference assuming consonance was maintained, and it's our
16 position that it was not, but your Honor has, has already
17 ruled on that in summary judgment.

18 So, now the question is, is this patent
19 application, which is the application from which the '868
20 and the '698 patent issued, was this application filed as a
21 result of the restriction requirement. Because that's the
22 other, the other bar to using these patents as a reference,
23 if this application was filed as a result of a restriction
24 requirement.

25 And what I want to walk you through is the fact

1 that this was not filed as a result of a restriction
2 requirement. As a matter of fact, the application that gave
3 rise to the '868 and the '698 patents was voluntarily filed
4 to encompass process claims that could have been prosecuted
5 into the '008 patent.

6 And could I have RB-4.

7 Now, the Manual of Patent Examining Procedure
8 explicitly tells you that if the applicant voluntarily files
9 two or more applications without a restriction requirement
10 35 U.S.C. 121 does not apply.

11 So, if we can go to the next slide which is RB-5.

12 So, the next series of slides that we're going to
13 walk through are going to show you as you walk through the
14 prosecution history and the application that was filed that
15 gave rise to the '868 and the '698 patents that that
16 application was filed voluntarily, directed to claims that
17 could have been prosecuted to result in the '008 patent, and
18 that it was a voluntary decision by Amgen.

19 So, if I could have the next slide.

20 So, this is a time line of how, what we're going to
21 walk through. The '298 application, that's what resulted in
22 the '008 patent. This is where your restriction requirement
23 happened. The claims that were to DNA and the claims that
24 were to process were put into Group II. They belonged
25 together. The Patent Office says you get one patent on

1 process claims and DNA claims. So that's the restriction
2 requirement that happened.

3 Amgen prosecuted the DNA and the process claims in
4 Group II like the Patent Office told them to do and at
5 some point they just voluntarily canceled their process
6 claims. And Amgen actually has explained why they
7 voluntarily canceled their process claims. They canceled
8 them because they weren't getting them allowed quickly
9 enough, so they decided to take those Group II claims out of
10 the DNA claims and pursue them elsewhere.

11 And I'm going to illustrate this with portions of
12 the file history. They voluntarily dropped their process
13 claims in 1987, March of 1987. The '008 patent issues
14 without their process claims. And then in October of 1987,
15 now they file a new application and now they're going to go
16 back after the process claims again.

17 If I could have the next slide.

18 So, here's the restriction requirement that
19 occurred in the '298 application where the examiner grouped
20 the claims in six different groups and they said that the
21 DNA claims belong with the process claims.

22 And could I have the next slide, please.

23 So claims 61 through 72, these were process claims,
24 along with DNA claims, where Amgen decided to pursue way
25 back when when that restriction requirement was first

1 issued.

2 If I could have the next slide.

3 And this is just a slide to illustrate that claims
4 69 through 72 were directed to a process for production of a
5 polypeptide having all or part of the structural
6 conformation of EPO where you grow under nutrient conditions
7 host cells that have been transformed, et cetera.

8 So these are the process claims that the Patent
9 Office told Amgen belonged with their DNA claims as part of
10 Group II and that they should all be prosecuted together.

11 Can I have the next slide.

12 Now, after prosecuting those claims for a while,
13 along with the DNA claims, Amgen decided to voluntarily
14 cancel these process claims out of the application.

15 And if I could have the next slide.

16 And what Amgen told the Court in the offer of proof
17 that they recently filed, they said, although they initially
18 elected all of the Group II claims, which included DNA host
19 cell and process claims for further prosecution in the '298
20 application, they later canceled those process claims after
21 it became apparent that the PTO would not allow issuance of
22 those claims. So they were in a hurry, they wanted to get
23 the DNA claims, so they voluntarily pulled the process
24 claims out.

25 Can I have the next slide. Next slide, please.

1 All right. So now where we are is -- and it might
2 help to go back very quickly to the time line, which is
3 RB-6.

4 So, where we are on the time line right now is,
5 Amgen has voluntarily canceled their process claims. The
6 '008 patent issues here. Now, it's October 1987 and they
7 file the '179 application. Now, this is the application
8 that we have to focus on. The question becomes is this
9 application filed as a result of a restriction requirement,
10 because that's the only other way that Amgen could have the
11 benefit of 35 U.S.C. Section 121.

12 So, if we can go to RB-13, please.

13 Okay. So here is the initial filing of the '179
14 application. This is the application that we're looking at
15 to find out whether or not it was filed as a result of the
16 restriction requirement. It was a request for filing in
17 continuation. Amgen canceled claims 2 through 60.

18 And if we could go to the previous slide just to
19 see what that is.

20 So in canceling claims 2 through 60, these are the
21 original claims that were restricted in the first
22 application, they were left with one DNA claim. So, they
23 had one DNA claim from Group I.

24 Now, if I could have slide RB-14.

25 However, at the same time -- so you would say,

1 okay, well, they filed this as a result of the restriction
2 requirement, they were going for Group I, not Group II
3 claims. But that's not what happened. Because on that same
4 day, the same time that they canceled those claims and left
5 the one DNA claim, they also canceled the DNA claim at the
6 exact same time and they inserted new claims 61 through 64.
7 And those were claims that were directed to processes for
8 producing erythropoietin.

9 So, all they did was, through a series of
10 simultaneous amendments, they now are prosecuting claims in
11 the '179 application that are two, Group II process claims
12 that they should have pursued in the application that led to
13 the '008.

14 So this application was not filed as a result of a
15 restriction requirement. This application was filed because
16 Amgen decided that they wanted to produce, to pursue process
17 claims later on when they thought they could get them and
18 they could get their DNA claims earlier.

19 This application, these are process claims, they're
20 added to the '179 at the same time that Amgen files the
21 application. So this application is not filed as a result
22 of a restriction requirement.

23 And the same series of events occurred for the '698
24 application. They did the exact same thing. They canceled
25 all the claims except for claim 1, and at the same time they

1 canceled claim 1 and they added process claims.

2 And I realize that this is a complicated series of
3 events and a lot of maneuvers. But if the Court goes
4 through this file history with the help of the slides that
5 we've handed up, the Court will see that Section 121 does
6 not say that we are prohibited from using the '868 and the
7 '698 patents as a reference against the '933, '349 and the
8 '422 patents.

9 Now, just due to time constraints, I can't go into
10 how if you look at those claims of the '868, it's a process
11 for making glycosylated erythropoietin polypeptide that has
12 the in vivo biologically active. The '933 claims are
13 product-by-process. You use the process to get the product.
14 So, it's hard to believe that these claims could possibly be
15 patentably distinct.

16 And really the only question here is, can we use
17 the '868 and the '698 as a reference patent against the '933
18 and the '349 and the '422. And the answer is a resounding
19 yes. Yes, we can. And once the Court gets past that
20 question and recognizes that 121 offers Amgen no protection
21 whatsoever, then it becomes abundantly clear that there's
22 obviousness-type/double patenting going on. And the
23 evidence is all in the record from Dr. Lowe and now from Dr.
24 Kadesch.

25 THE COURT: Well, let me ask you -- let me just

1 follow this -- I understand that argument, and let me try to
2 follow it out.

3 You say that there is no way they could get
4 protection, or more narrowly, they should not have the
5 protection of 121. Therefore, the '698 patent should be
6 construed as matter of law to render the related claims in
7 the patents-in-suit obvious. As matter of law, right?

8 MS. CARSON: Well, I think as your Honor pointed
9 out, they say as matter of law but it's an issue for the
10 Court to decide. Because as Ms. Ben-Ami just pointed out,
11 you can in obviousness-type/double patenting determination
12 consider what was known in the prior art.

13 THE COURT: Okay. I appreciate your consistency.
14 And therefore, when we, when we tease out this aspect of the
15 case as I have chosen to try it, a result, the result for
16 which you argue is that I would determine, whether I call it
17 a finding by the judge or whether it's a ruling by the
18 judge, that certain of the claims in issue are obvious by
19 virtue of this prosecution history. Right?

20 MS. CARSON: You -- yes, your Honor. What our
21 argument is is that if you take certain of the claims of
22 either the '698 or the '868 and you look at the asserted
23 claims, those claims are obvious over the claims of the '698
24 and the '868.

25 THE COURT: Yes. Let me see here.

1 Well, what is your position as to the '179
2 application? Is it your position that it contained both
3 claims that were the result of a restriction requirement and
4 claims not subject to any restriction?

5 MS. CARSON: Your Honor, because everything was
6 done at the exact same time it never actually contained
7 claims that would be to a nonelected invention, because
8 everything happened at the same time.

9 THE COURT: All right. But as I -- I see.

10 MS. CARSON: And, your Honor --

11 THE COURT: Let me say it back to you to see if I
12 have got it.

13 They filed claims subject to a restriction
14 requirement. At least claim 1 was.

15 MS. CARSON: Yes.

16 THE COURT: All right. They -- you say claim,
17 claims 2 through 60 were not because it was they who had
18 voluntarily ceased the prosecution of those claims.

19 MS. CARSON: Claims 62 through 72, or something
20 like that.

21 THE COURT: All right. Then they drop all those
22 claims, they drop the one claim that they, is, is subject to
23 a restriction requirement.

24 MS. CARSON: Right.

25 THE COURT: They substitute on the same day claims

1 62, inserting 62 through what?

2 MS. CARSON: Seventy -- 61 to 72.

3 THE COURT: Sixty-one through 72. Which you say
4 are not subject with, to a restriction requirement.

5 MS. CARSON: Right. They were process claims that
6 belonged to --

7 THE COURT: Are they consonant with the restriction
8 requirement as the law uses that term?

9 MS. CARSON: They're dead on in Group II. They
10 belonged in Group II. They track the language of what
11 should have been in Group II. They're process claims.
12 They're what the Patent Office said you should be
13 prosecuting with your DNA claims.

14 And, your Honor, there's a point that I would like
15 to make. Because if you take -- Amgen can say to you, well,
16 when we filed the '179 we had a claim 1 and that was subject
17 to a restriction requirement, so the '179 and any patent
18 that issued from it should be immunized by 121.

19 THE COURT: Oh, no, Ms. Carson, whatever my other
20 failures, you've made an argument I understand. And I'll be
21 asking them about it and we'll hear what their response is.

22 MS. CARSON: Okay. Thank you, your Honor.

23 THE COURT: Now, before we turn to Mr. Suh, I need
24 about five minutes, to change reporters, and I need five
25 minutes. It seems to me that this would be a good time,

1 because I'm just counting the time, and I don't want to
2 interrupt your argument.

3 So we'll take a five minute recess at this time.
4 We'll recess.

5 THE CLERK: All rise. Court is in recess.

6 (Recess.)

7 THE CLERK: All rise. Court is in session, please
8 be seated.

9 THE COURT: Mr. Suh?

10 MR. SUH: Good afternoon, your Honor.

11 THE COURT: Go right ahead.

12 MR. SUH: As my colleague, Ms. Carson, explained, I
13 will be dealing with the obviousness-type/double patenting
14 Theory Number 3, which is the theory that's based upon the
15 earlier issue and now expired '008 patent, and that those
16 claims render the asserted claims in the '868 and the '698
17 patents invalid. And with respect to this theory, your
18 Honor, I don't think there is any dispute anymore that
19 Section 121, the restriction requirement safe harbor,
20 applies. I believe that Amgen has now agreed to that.

21 And I also wanted to make the point with respect to
22 the 121 restriction requirement that my colleague,
23 Ms. Carson, talked about, that that is still Amgen's burden
24 to prove.

25 THE COURT: What's Amgen's burden of proof?

1 MR. SUH: To show that the Section 121 safe harbor
2 actually applies as a defense to double patenting.

3 THE COURT: Oh, I follow that. All right.

4 MR. SUH: And the reason why we're giving, as we
5 can call this argument, with respect to ODP Theory Number 3,
6 is because your Honor actually allowed the jury to hear some
7 evidence on ODP Number 3 through Dr. Lowe.

8 THE COURT: Yes. And you have every right to rely
9 on the full record that's been prepared in the case. When I
10 got it in my mind that I was going to determine this myself,
11 I thought that -- I didn't -- it might be confusing to the
12 jury to start striking things out, we're just not going to
13 mention that any further. Those arguments as they are today
14 are going to be directed to me.

15 MR. SUH: Yes, your Honor.

16 THE COURT: But the record is what it is.

17 MR. SUH: In addition to Dr. Lowe, there were
18 issues that did overlap from what Dr. Lin actually testified
19 yesterday, which actually bear on ODP Theory Number 3. But
20 before I actually lay that evidence out, I'd like to
21 actually go to the file history, because I think this is a
22 good point where it lays out, again, the fact that there is
23 no restriction requirement issued here. And I'd like to go
24 to what Ms. Carson published as RV12.

25 Your Honor, this is the 1986 restriction

1 requirement from the parent applications of all the patents
2 in suit. And your Honor, if we look at Group II, that group
3 is the group whereby the claims of the '008 patent issue,
4 and that group is also the claims that the '868 patent
5 claims issued, and the '698. So those were all within the
6 same restriction group. They were never separated out.
7 That's why there is no 121 issue with respect to the '008 as
8 opposed to the '868 and the '698.

9 Now, I think this particular time period is very
10 relevant, late '80s. I think we should go back 20 years
11 ago, this was before any of the patents in suit had issued.
12 Amgen had their '008 patent, and they were faced with their
13 first commercial and legal challenge with respect to EPOGEN.

14 Amgen sued a company by the name of Genetics
15 Institute in the District of Massachusetts before Judge
16 Saris. But at the same time, they were involved in a very
17 bitter interference fight in the patent.

18 THE COURT: Which they win.

19 MR. SUH: And that's exactly why I think that with
20 respect to ODP Theory Number 3, the things that they
21 actually said in those patent interferences are directly
22 contradictory to their position here today. And I'm going
23 to go through that with you.

24 THE COURT: Well, I'm interested in that, because
25 is it not true that if I adopt either of the arguments that

1 you and your colleague, Ms. Carson, have made in this
2 lawsuit, I will be making rulings of law contrary to the
3 agency officers that have looked to these matters?

4 MR. SUH: Absolutely not, your Honor.

5 THE COURT: I won't be. I'm not -- not that that
6 frightens me, or will cause me to stay my hand, but it's
7 something. So, and you're saying not at all.

8 MR. SUH: Absolutely not.

9 THE COURT: That if I adopt the arguments that you
10 and she have made, the record as against some claim that
11 different hearing officers are reaching inconsistent
12 positions as matter of law, won't lie.

13 MR. SUH: No. I'll tell you why. Because our
14 position is that Amgen is judicially estopped because
15 they're trying to actually contradict the findings that
16 these judicial bodies and the Patent Board actually adopted.
17 They argued it, the patent office adopted it, and now they
18 want to change their minds. I'm going to go through that
19 with you right now.

20 In the late '80s, there were three interferences
21 that Amgen was involved with against Genetics Institute.

22 THE COURT: Well, Mr. Suh, I want to hear this. At
23 the same time, if I count the time now, you're at an hour,
24 and Roche is an hour ten minutes out of their two. So
25 you've got five more minutes before you're into the 45

1 minutes you want to reserve for Lodish. And much as I could
2 direct, and the like, I'm not likely to. I'm prepared to
3 use the full four hours.

4 Now, I'm not -- I just want you to figure out how
5 you want to do it. That's all.

6 MR. SUH: I understand. I'll go through this very
7 quickly.

8 THE COURT: Go ahead.

9 MR. SUH: Those interference decisions involved
10 three sets of inventions. One interference was called
11 the '096 interference, and that involved the actual claim.
12 The count of that interference was an actual claim to the
13 now expired '008 patent. That was claim 2.

14 There was also another interference, and that
15 was -- that involved 102097 interference. That count was
16 the claim that became the '868 and the '698 patent. So we
17 have these two separate counts, your Honor. And that was
18 being contested before the Patent Board.

19 Now, Amgen caught a break in the late '80s because
20 Judge Saris actually came out with a finding which said that
21 Amgen was the first to clone the EPO gene before GI. So
22 what did Amgen do, your Honor? It took that district court
23 decision, went to the Patent Board and said, Patent Board,
24 you should recognize this decision. You should recognize
25 the decision because the count in the interference was to be

1 '008, and the litigation was also involving the '008.

2 And to a certain extent that makes sense, but Amgen
3 didn't stop there because there was another interference and
4 that involved the '868 claim. And what Amgen did there was
5 it said this decision on the '008 claims should also be
6 used, it should also be dispositive on the '097
7 interference.

8 And how did they make that argument, your Honor?
9 They made that argument by saying that the '008 claims and
10 the claims that became the '868 and '698 patents were the
11 same invention. And their words were, These are merely
12 different manifestations of the same invention.

13 THE COURT: Well, why would they ever say that?

14 MR. SUH: Because they wanted to bootstrap the
15 opinion that Judge Saris made on the '008 and use that as
16 judicial authority in order to win their other interference.
17 And they made those arguments, and they made these arguments
18 in this interference brief, which I'd like to offer into
19 evidence.

20 THE COURT: Well, I don't know -- why don't I take
21 judicial notice of it. I'm disposed to receive it.

22 MR. SUH: And your Honor, this is not only did they
23 make the arguments, and this is answering the question that
24 you asked, they made these arguments and the Patent Board
25 accepted it. They agreed with them. They said that for

1 purposes of priority, the '097 interference that dealt with
2 the '698 and '868 patent and the other interference, '008,
3 are identical based upon the arguments that Amgen made.

4 THE COURT: And so this is rather straightforward
5 argument. So you say, Having said that, that is a classic
6 judicial estoppel. They said that, they got the advantage
7 of that before the administrative board, now they can't say
8 something else here.

9 MR. SUH: Absolutely. Because that was 20 years
10 ago. And now what happened in 2004, the '008 patent
11 expired, and in 2006 Amgen sued Roche. And when we answered
12 that pleading, we said double patenting, '008 patent. As
13 soon as we said that, they ran away from their statements
14 that they made 20 years ago. And the arguments that they
15 give are three-fold. They said, Well, you're really taking
16 those statements that we made out of context. Well, your
17 Honor, we can provide you the briefs. We're not taking
18 anything out of context.

19 The other argument that they're making is that,
20 Well, these were really arguments that Genetics Institute
21 was making. And your Honor can actually take a look at the
22 briefs and see that Amgen actually adopts the position by GI
23 in order to take advantage of Judge Saris' position.

24 So we're not asking you to overturn anything that
25 Judge Saris did. We're not asking you to overturn anything

1 that the Patent Board did. As a matter of fact, those stay
2 exactly the same. And it's Amgen that wants to actually go
3 back and change history.

4 THE COURT: I understand the argument. Is that
5 Roche's presentation?

6 MR. SUH: Yes, your Honor.

7 THE COURT: Very well. Mr. Day, I, again -- I'm
8 sorry.

9 MR. SUH: And, your Honor, may I please move this
10 particular interference brief into evidence?

11 THE COURT: I'm not accustomed to taking briefs as
12 evidence, but since this is a judicial estoppel claim, I'm
13 going to receive it. We'll give them letters here, so we
14 don't foul up -- does this have letters?

15 MR. SUH: Yes. GUK.

16 THE COURT: GUK. I'll consider it in this
17 proceeding.

18 All right, Mr. Day, I'm a little --

19 MR. SUH: I'm sorry, your Honor, one last thing.
20 May we please also allow you to have judicial notice of the
21 interference decision, which I also have a copy of?

22 THE COURT: Yes. Does it have letters?

23 MR. SUH: Yes, it does. CAD.

24 THE COURT: CAD will be considered in this.

25 All right. Mr. Day, I'm not going to have

1 arguments about directed findings, but really you can spend
2 your time as you see fit. You can either take them on
3 legally right now, you can call witnesses. They've reserved
4 45 minutes, and we'll use it up however. And you may
5 proceed as you see fit.

6 MR. DAY: Thank you, your Honor. And before I
7 begin, I apologize to the Court, but I did misspeak this
8 morning. I said that Dr. Varki was our last witness. I
9 believe that Dr. Lodish will also be testifying in our case,
10 but very briefly. He will be our last witness. I just
11 wanted to bring that to the Court's attention.

12 I'm going to use my time today for argument, your
13 Honor, and we're going to be calling Dr. Lodish as a witness
14 on Thursday afternoon.

15 THE COURT: And that's fine.

16 MR. DAY: I think it's probably best simply to
17 follow the order in which Roche has presented its arguments.
18 So I'm going to begin with Theory Number 4. Is it okay if I
19 refer to these as Theory 4 and Theory 3?

20 THE COURT: It is. And a question I wanted to put
21 to your side, since we're going to argue is, I would like to
22 see the legal -- this can be done by brief -- but the legal
23 landscape and gloss that's put on the word "consonance."
24 Because you've got this single application from which
25 various patents flow, and as I understand the law, for them

1 to have any benefit from an earlier restriction, your
2 conduct must be consonant with that restriction.

3 First, isn't that a correct statement of the law?

4 MR. DAY: Yes.

5 THE COURT: And, therefore, I'd like --

6 MR. DAY: So it must maintain consonance.

7 THE COURT: Fine. I'd like to see -- and my
8 statement is to both sides. In the limited time we have,
9 I'd like to see that develop by the decisions of courts
10 either controlling or persuasive. But now I'll hear your
11 argument.

12 MR. DAY: I think the two controlling decisions
13 here that your Honor's going to want to look at are Symbol
14 in the Federal Circuit -- let me give you the citation to
15 that case, if I could. My eyes are shot. It's Symbol
16 Technologies versus Opticon, 935 F. 2d 1569. You might want
17 to particularly look at 1579 to 80. Then, of course,
18 Applied Materials versus Advanced Semiconductor Materials,
19 98 F. 3d 1563, 1567 to 69.

20 And the principle around consonance, just to
21 address the point, since the Court's raised it, the
22 principle around consonance is that the important concept is
23 that the patent, as issued, must have claims that maintain
24 consonance with the original restrictional requirement. And
25 what Applied Materials establishes is that doesn't mean that

1 during prosecution you can't amend the claims, it doesn't
2 mean you can't go in and out of consonance during
3 prosecution. That's okay. It's all right that different
4 claim sets move in and out of these applications.

5 What matters is from measuring from the beginning
6 point, was it an application filed in response to a
7 restriction requirement; and the endpoint, at the end when
8 the claims issue, did they maintain consonance as issued.
9 That's the key thing. And that's what Applied Materials
10 establishes. And that's why I commend both of those
11 decisions to the Court.

12 I think that there are a couple of preliminary
13 points that I want to talk about with respect to Theory
14 4 that Ms. Carson made light of, but I don't think are quite
15 as superficially disposed of as Roche would have the Court
16 believe. First of all, Roche provided no discovery within
17 the time limits for any of these defenses. They were
18 served, as our brief proffered last week showed, written
19 interrogatories requiring answers by the discovery cutoff
20 set by the Court, April 2nd. No responses identified these
21 defenses.

22 Secondly, when Roche served their expert reports
23 four days later on their validity defenses, they had one,
24 two, three, four, five expert reports on
25 obviousness-type/double patenting.

1 Could I have Slide D253 up, please?

2 They had five different experts offer initial
3 expert reports on April 6 who covered ODP. Those are the
4 first five listed: Lowe, Blobel, Harlow, Kellems,
5 Sofocleous. Kadesch didn't discuss anything about ODP in
6 his expert report. All these amended reports, from April to
7 June, and none of them, none of them said anything about
8 this. And the only thing that we got were two sentences in
9 the last report of Kadesch, two sentences in the last report
10 of Kadesch that said the '698 claim 4 was rendered '349
11 claim 7 invalid for double patenting. Not
12 obviousness-type/double patenting, double patenting.

13 THE COURT: But I guess I don't understand here, to
14 this extent. There's much to what you say about Kadesch,
15 I've looked at that. And if they want to say something more
16 about that, fine. But Mr. Suh's argument is entirely -- I
17 mean, he supports it by matters as to which I could take
18 judicial notice, but it's entirely matter of law. A
19 question of judicial estoppel. Ms. Carson's --

20 MR. DAY: As to Theory 3 --

21 THE COURT: Just hear me so you can deal with it.

22 Ms. Carson's argument, though she put on Kadesch,
23 she doesn't really need Kadesch because there's no doubt I
24 can look at the patents and the prosecution history. I do
25 that in Markman. That's for me, however we characterize it,

1 and it's my duty, I think, to do that.

2 So it's not a question of failure to provide
3 evidence. Rather, it is what I make of the evidence. She
4 could still win if I disregard Kadesch and disregard Lowe.
5 Even if they say anything about this, and I'm not clear they
6 do.

7 MR. DAY: No, she couldn't.

8 THE COURT: At least in my mind she could.

9 MR. DAY: No, she couldn't.

10 THE COURT: Why not?

11 MR. DAY: Because this is an -- this is an
12 affirmative defense of Roche.

13 THE COURT: She says, though, that you bear the
14 burden of coming within 121.

15 MR. DAY: 121 is simply a safe harbor to the
16 defense. But it's still a defense of Roche. And Roche
17 still has to mount the defense, and they have to give us
18 notice of the defense, and they have to make disclosure of
19 the defense in discovery, and they have to give us a reason
20 to believe, before trial, that they're going to try this
21 issue to the Court.

22 THE COURT: And you say it doesn't even rise to
23 that level.

24 MR. DAY: That's right. I'm saying there's a
25 waiver here that the Court should pay attention to because

1 of the Court's strict scheduling orders, and what the Court
2 has required the parties to abide by and adhere to in this
3 case. What standard does the Court here set for pretrial
4 disclosure of a defense. That's the issue.

5 And when they don't disclose the defense in their
6 interrogatory responses, and don't disclose the defense in
7 any of their expert reports, they don't disclose the defense
8 in their '282 statement, they don't provide any notice of
9 defense right up until their pretrial brief served on the
10 eve of trial, is that fair notice of a defense that entitles
11 them to raise this? That's the issue I raise.

12 So let me turn to the issue that Ms. Carson raises.

13 Could I have Slide D255.

14 And that issue is, if the Court decides that, okay,
15 well, we'll skate on that, we'll let them get by with that,
16 and now Mr. Day, you tell me, do you have a safe harbor
17 defense that otherwise takes this out.

18 I'm sorry, did I get D255? Is that what this is?

19 Well, let me show you what I want.

20 (Whereupon counsel conferred.)

21 MR. DAY: So this is Section 121 and it's
22 Section -- this is Section 121, verbatim, but I've broken it
23 out logically to help parse the language of the statute so
24 that it's easier to understand what the statute says.

25 The statute says that a patent issuing, and there

1 are two alternatives, on an application with respect to
2 which a requirement for restriction under this section has
3 been made, or, on an application filed as a result of such a
4 requirement -- that's this case, that's what we're dealing
5 with here -- shall not be used as a reference either in the
6 Patent and Trademark Office or in the courts against a
7 divisional application, or against the original application,
8 or any patent issued on either of them, if the divisional is
9 filed before the issuance of the patent on the other
10 application.

11 Could I have 225, please?

12 THE COURT: I'm following.

13 MR. DAY: So there are two requirements. The two
14 requirements are, first of all, that the later-issued patent
15 must arise from an application that was filed as a result of
16 a restriction requirement. That's what Symbol Technologies
17 and Applied Materials applied to.

18 THE COURT: Well, she accepts that, and her parsing
19 of the history is that this --

20 MR. DAY: She wants you to second-guess what
21 happened, right?

22 THE COURT: Second-guess what happened, she says it
23 was a fast shuffle. It didn't happen that way.

24 MR. DAY: No, it happened exactly -- it happened
25 exactly as she said it happened, but that's not a fast

1 shuffle.

2 THE COURT: That's a fair response.

3 MR. DAY: Okay. The way it happened was that
4 Amgen, in response to a restriction requirement, filed an
5 application that contained one single claim that was not in
6 the elected group, just as the patent office requires it to
7 do. It followed the patent office practice and procedure.
8 It filed one claim, it filed a filing fee for one claim, and
9 then consistent with, just as Applied Materials and Symbol
10 Technologies teaches you, it was free to amend that
11 application all the way until the point of final issuance,
12 so long as the claims that issued out of the final
13 application, so long as the claims that issued maintained
14 consonance.

15 THE COURT: And -- I just have to ask a basic
16 question. I understand the word "consonant," and I'll check
17 these cases as to the laws. That means, in other words,
18 that the claims that ultimately issued fall within the
19 original restriction requirement so that they gain the
20 benefit of Section 121.

21 MR. DAY: It means so that they fall outside the
22 elected claims within the original restricted -- restriction
23 requirement. Let me explain. And I think we're probably
24 saying the same thing, but I'm trying to be as precise as I
25 can be.

1 THE COURT: And I'm asking.

2 MR. DAY: Could I have D259, first of all.

3 THE COURT: Well, they're outside in the sense that
4 they're patentably distinct, but they are within the ambit
5 of the original restriction requirement. Does that say it?

6 MR. DAY: Not quite, your Honor. Let me see if I
7 can work on a phrasing that is accurate and clear.

8 We see here the restriction requirement. And this
9 is the patent office restriction requirement that was
10 imposed in the original '298 application. And it defines
11 six groups of claims, and they're numbered there, Roman
12 Numerals. And the patent office went on at the bottom, it
13 says, "Because these inventions are distinct for the reasons
14 given above and have acquired a separate status in the art
15 because of their recognized divergent subject matter
16 restriction for examination purposes as indicated is
17 proper." Amgen, you must elect one of these, choose one.

18 Amgen chose Group II. And as a result of choosing
19 Group II -- could I have D219, please? -- Amgen, on the
20 left, you have the '298 application, the next box to the
21 right is the restriction requirement with the six groups of
22 claims. Amgen chose for the '008 application, the
23 '298 application, it chose Group II claims, Roman
24 Numeral II, above the '008 and it prosecuted those Group II
25 claims.

1 Amgen then filed two applications in response to
2 the restriction requirement, the '179 and the '178, and both
3 of those applications as filed contained one claim. Neither
4 of those claims was within Group II. That's what the law
5 required Amgen to do. That's why there were applications
6 filed in response to a restriction requirement.

7 That was then Amgen's ticket to ride, if you will.
8 From that point forward, Amgen was free to amend and put
9 whatever claims in and out it wanted to, so long as whatever
10 applications ultimately resulted from that, if the patent
11 issued with claims that abided by the restriction
12 requirements on the left, in other words, so long as the
13 applications issued -- let's take a look at the '933 -- so
14 long as there were no claims in the '933 patent that fell
15 within Group II, it was entitled to the protection of
16 121 against any other application filed as a result of that
17 restriction requirement.

18 THE COURT: Let me say it back so I understand it.
19 In other words, to be consonant with means that the claims
20 as issued in the patents resulting from the '179
21 application, had to be claims within Groups I, III, IV, V
22 and VI, and not within Group II.

23 MR. DAY: Precisely.

24 THE COURT: All right.

25 MR. DAY: And as long as those claims are within

1 those nonelected groups, they are consonant with the
2 restriction requirement.

3 So what happened is that Amgen filed two
4 applications. It bought two tickets to ride. It said,
5 We'll take two tickets to ride, thank you. Patent Office --
6 could have taken six, could have taken five, doesn't really
7 matter. Because under Symbol and Applied, it has the
8 freedom, once it buys a ticket to ride, it has the freedom
9 to amend those applications and to divide them or file
10 continuations. And none of the resulting applications, none
11 of the resulting applications will be subject to ODP on any
12 application that issues as a result of that restriction
13 requirement. That's what the law means.

14 And so as long as Amgen maintained consonance, it
15 gets the protection of 121. The '933 maintained consonance,
16 the '422 maintained consonance, the '349 maintained
17 consonance. The '698 did not and the '868 did not. Because
18 the '698 and the '868 both included claims that fell within
19 the scope of Group II. So they don't maintain consonance.

20 Therefore, those claims are not entitled to the
21 statutory safe harbor of 121 protection. However, as the
22 statute goes on to make clear -- could I have 261, please?
23 Forget -- that's all right, never mind.

24 However, the fact -- the statute goes on to say,
25 "The validity of a patent shall not be questioned for

1 failure of the director to require the application to be
2 restricted to one invention." That point's not relevant to
3 the point I want to make so let me simply withdraw it.

4 The fact that Amgen did not maintain consonance
5 with the '698 and the '868 does not mean that it cannot
6 establish that the claims are not subject to
7 obviousness-type/double patenting. And, in fact, during the
8 prosecution, I'll get to this when we address Theory 3, when
9 we get at '868 and '698, in fact, during prosecution the
10 claims were rejected by the examiner for
11 obviousness-type/double patenting and Amgen overcame that
12 rejection which heightens the presumption that these claims
13 are valid.

14 So the point I want to make with respect to Theory
15 4, and I'm happy to close out on that, if I could just come
16 back to, first of all, 225, and I'm going to end with 220.
17 Get that ready, 225.

18 The point I want to make with respect to
19 Section 121 is this Court has already determined as a matter
20 of summary judgment that both the '178 and the '179
21 applications were filed as a result of restriction
22 requirement. That determination has been made in Amgen's
23 summary judgment. Roche is asking you to go back and
24 revisit that judgment, and they're asking you now to
25 determine, no, the '179 application was not filed as a

1 result of a restriction requirement.

2 And the fact that -- when the claims were filed,
3 the fact that when the application was filed, that 120
4 demonstrates that it qualifies for 121 protection. In order
5 to secure the protection, the patents at issue must have
6 maintained consonance. And only those patents get the
7 protection of 121. And that's what Symbol and Applied
8 Materials and Gerber all establish.

9 Let me turn, if I could, to Roche's Theory 3,
10 Mr. Suh's argument, if I could, your Honor.

11 The first point to understand about what Roche
12 calls Theory 3 is, okay, let's clear the air here. Amgen is
13 not arguing that it has a statutory safe harbor protection
14 under 121 reduced claims, instead Amgen's arguing that these
15 claims are patentably distinct, and the patent office has
16 determined on multiple occasions that these claim are
17 patentably distinct. And indeed it expressly determined
18 that when it allowed the claim to issue.

19 Roche is asking the Court to unwind those decisions
20 and to reverse them. And what's the background of this? I
21 want to be clear about some of the factual background that
22 Mr. Suh covered, and some of the factual background that he
23 did not cover. And to do that, it's probably best to just
24 put up a little bit of a time line. And I'm going to put up
25 now -- now we can have D255.

1 And let me just explain this time line, because
2 it's going to set the context for the prosecution history of
3 this '868 and the '698 patents. As you see, the time line
4 goes in sort of a U. This is Mr. Gottfried's idea, so I can
5 give him full credit. We start off on the left, and what
6 you see are the original applications in dark blue. These
7 are the CIPs that were originally filed in December,
8 February, September and ultimately in November 1984.

9 Going down, you see 7/3/86, you see in the yellow
10 box the restriction requirement that we've been talking
11 about. Then you see the '179 application, which is the
12 application that ultimately resulted in the '868 and will
13 result in the '698 applications as well. And you see in the
14 1987 to 1988 time period, just before that red box at the
15 bottom which is the interference proceeding, Amgen was
16 seeking allowance of this application in the patent office.
17 It was seeking process claims in the patent office.

18 And the reason it was seeking process claims, and
19 this is important to understand, is that there was, in
20 addition to the GI case that was pending here in the
21 District of Massachusetts, there was a parallel ITC
22 proceeding in D.C. And in the ITC proceeding, Amgen was
23 seeking to enforce its '008 DNA and host cell claims against
24 the importation by Chugai of recombinant erythropoietin made
25 in Japan and then being shipped into the United States.

1 The ITC held that you cannot stop the importation
2 of product made in Japan because you do not have process
3 claims to the manufacture of erythropoietin. And you do not
4 have product claims to erythropoietin polypeptides or
5 proteins. You only have DNA claims and you only have host
6 cells containing DNA. And neither of those claims give rise
7 to a process claim, which is what is required in order to
8 stop the foreign manufacture and importation of infringement
9 product.

10 So the ITC said your claims, your '008 claims don't
11 extend to, they are patently distinct from and don't cover
12 processes for the production of erythropoietin. And so
13 Amgen was in the patent office before, in this case, the
14 lower left-hand box there, Examiner Tannenholtz, trying to
15 get process issued, because it needed the process claim in
16 order to stop the importation of the infringing product.

17 Examiner Tannenholtz was resistant to allowing the
18 claims because he was applying a law of a case decision
19 called in re Durden on a theory that, well, the claims would
20 have been obvious. Ultimately, he was persuaded that the
21 law, the in re Durden, didn't apply, and that the process
22 claims, that is, the claims using a novel starting material
23 in a process to produce a novel product, was not obvious.
24 And he withdrew his rejection. But when he withdrew his
25 rejection, the claims went into the interference.

1 The patent office in the interference declared
2 three separate interferences. And by rule, they must
3 declare a separate interference for each separately
4 patentable invention. They defined three different counts.
5 Each count had to be patentably distinct. They defined a
6 count to the '008 DNA and host cell claims, they said that's
7 one invention. They defined a count to the process of
8 making EPO glycoproteins, they said that was a separate
9 invention. And they defined a count to EPO glycoproteins,
10 the product themselves. They said that's a third invention.
11 The three interferences were the '096 on the DNA,
12 the '097 on the process, and the '334 on the product.

13 When the third interference was filed, GI filed a
14 brief in the interference. Mr. Suh alluded to this, but I
15 think mischaracterized Amgen's argument about this and what
16 the brief actually said. GI filed a brief and they said, We
17 should combine all these interferences into one. There's
18 really just one invention.

19 This is what GI said. And in their brief they said
20 the interferences should be combined because the counts all
21 define different manifestations of the same invention. The
22 motion was denied. And when the motion was denied, when the
23 third interference was declared, the patent office acting
24 commissioner -- and could I have D228, please? -- the acting
25 commissioner of the Patent and Trademark Office, Jeffrey

1 Samuels, the examiner on the case, Howard Schain, and his
2 supervisor, John Kittle, all signed this document saying
3 while the subject matter of the three interferences is
4 deemed to be patentably distinct, that subject matter is
5 nevertheless related. Each of these inventions were
6 separate. The three interferences were maintained as
7 separate counts. And they denied the motion to combine
8 because the subject matter was patentably distinct.

9 And as I pointed out -- D256, please -- the reason
10 there were three interferences was because under patent
11 office procedures, each count shall define a separate
12 patentable invention. That's what the Manual Patent
13 Examiners -- the MPEP requires. And it's not just the MPEP,
14 but it's also by federal regulation. When there is more
15 than one count, each count shall define a separate
16 patentable invention. That's why there were three
17 interferences.

18 Okay. So the first argument that Mr. Suh made to
19 you, that Amgen said that these were different
20 manifestations of the same invention, well, actually that
21 was a statement made by GI. When GI filed that motion,
22 Amgen opposed the motion and said, Suffice it to say, these
23 are not the same invention, these are separate inventions.

24 Could we have that slide? Could I have D286?

25 This is what Amgen said in response to GI's

1 argument. "Fritsch contends on page 866 of its motion, the
2 interference in terms of subject matter are essentially the
3 same and the interference counts are different
4 manifestations of the same invention. Fritsch has the
5 burden of proof in support of its motion and the allegations
6 made therein. Since Fritsch does not even attempt to supply
7 any arguments or evidence in support of the bare allegation
8 of same invention, it is apparent that it was not a serious
9 contention. Suffice it to say that Lin contends that the
10 two counts are not the same invention." So it's not Amgen
11 that's saying that these are different manifestations of the
12 same invention.

13 There was, in the interference, as Mr. Suh alluded
14 to, another issue. It was the central issue in the
15 interference. And that issue was the priority of
16 inventorship; who was the first to invent here, and what
17 happened in the course of the priority of the inventorship.
18 And here again, I need to step back to set the stage.

19 THE COURT: Is that germane to this? In a way,
20 I've gleaned that from the skirmishing that's going on,
21 presentation to the jury.

22 MR. DAY: It's germane to my rebuttal of Roche's
23 argument.

24 THE COURT: All right. Well, then I'll hear you.

25 MR. DAY: Because Roche is taking snippets from

1 interference briefs out of context and using them to create
2 an impression that Amgen took a position that Amgen did not,
3 and that the patent office reached a result that the patent
4 office did not reach. And I simply want to set the context
5 straight.

6 The issue both in the district court and in the
7 interference was -- of priority inventorship, was defined by
8 Fritsch this way. Fritsch argued, GI argued that they were
9 the first to invent, recognizing that Lin had cloned the
10 gene before them. Their argument was, Well, we conceived of
11 a method of obtaining the gene before Lin back in 1982, and
12 we were diligent in reducing it for practice. And even
13 though we succeeded after Lin, because our conception was
14 earlier in time, we were the first to invent it.

15 So Fritsch's argument was sort of a classic
16 argument that has been applied in many areas of patent law,
17 that the first to invent is the first to conceive followed
18 by diligent reduction to practice. They argued that they
19 were first to conceive back in 1982, and that in '82, '83,
20 '84, they were diligent, and even though they cloned the
21 gene a year after Lin, they were still ahead of him.

22 This court, the district court, rejected that
23 argument and said in the context of DNA it's simultaneous
24 concept reduction to practice. And the Federal Circuit --

25 THE COURT: When you say "this court," that would

1 have been Judge Saris?

2 MR. DAY: Correct, your Honor. I mean the District
3 Course of Massachusetts.

4 THE COURT: But in Judge Saris' case. Go ahead.

5 MR. DAY: And obviously she was sitting as a
6 magistrate at the time under this Court's supervision. I
7 don't mean to suggest your Honor was involved, but I do mean
8 to suggest it was the District of Massachusetts.

9 THE COURT: I just want reference to the opinion,
10 and I understand. Go ahead.

11 MR. DAY: And the Federal Circuit affirmed and
12 established a body of law which I've cited to you in our
13 JMOL about simultaneous conception and reduction to practice
14 and what is required in this area of the law to get to
15 inventorship.

16 So Fritsch, nonetheless, notwithstanding this,
17 Fritsch continued to argue this in the interference.
18 Because this was the -- this was their argument, this is
19 what they were trying to ride. For that reason, Fritsch
20 argued because conception, conception was what he was
21 turning on, Fritsch argued that all of these counts should
22 be determined by his date of his conception because the
23 district court had already found in findings that the patent
24 office was more or less bound by, the district court had
25 already found that Lin cloned the gene first, Lin had

1 expressed the product first, Lin had developed the process
2 for producing the product first, and had shown biological
3 activity. All his dates were first. The only way Fritsch
4 could get ahead of any of those dates was to argue
5 conception as the basis for inventorship.

6 And what the Board of Interferences held in the
7 interferences was they asked Fritsch, Do you concede, at
8 final argument, do you concede that inventorship turns on
9 who got the gene first? And Fritsch made that concession on
10 the basis that, well, conception matters, and if I conceived
11 of it first, I got it. The Board says, Well, that decides
12 it because Lin was first on everything. There's no
13 question. And that's why the interferences were all decided
14 in favor of Lin, because Fritsch could not establish a date
15 of reduction to practice for any of these that was ahead of
16 Lin.

17 Finally, the last thing that comes out of the
18 interferences, and is very important to understand, is that
19 Fritsch had a final argument. They knew they were going to
20 lose on it, on priority, and so they had a final argument.
21 And the final argument was Lin's inventions were obvious.
22 And they challenged the patentability of Lin's claims on the
23 grounds that they were obvious.

24 That's why it's important to look at the entirety
25 of the record in the interference and the entirety of the

1 briefs, because you need to understand what arguments Amgen
2 made with respect to that issue.

3 And if I could have D310, please.

4 Fritsch argued that the process count would have
5 been obvious. And here's what Amgen argued in response.
6 "Furthermore, it was not obvious that in vivo biologically
7 active recombinant human EPO could be made by the claimed
8 process. Until Lin obtained the sequence, Browne used it in
9 expression, and Egrie with Dukes found the product had in
10 vivo biological activity. The process at best was only a
11 wish."

12 So when you put the entire record in context, and
13 you understand what -- and not just isolated snippets woven
14 together in a very well-crafted way to present the
15 appearance of an argument, when you take the entire record
16 in context and understand what happened in the interference
17 proceedings and what arguments were actually made, what they
18 show you is it wasn't Amgen that was arguing that these
19 counts were all different manifestations of the same
20 invention. That wasn't Amgen's argument. Indeed, Amgen
21 argued that these inventions were separate inventions.

22 It wasn't Amgen that argued that once you had the
23 DNA it would be obvious to obtain a process for making a
24 biologically active in vivo erythropoietin. In fact, Amgen
25 argued exactly the opposite in the interference. And so

1 these patents came out of the interference in all three
2 cases with priority assigned to Lin.

3 And the prosecution, if we go back to the time line
4 now, please, and that would be 255, 255, and so prosecution
5 resumed after the interference. And we're looking here at
6 the time line that we were looking at earlier, the bottom.
7 You see the interference is the red bar. When the
8 interference concluded, all of these, the '008 was clear of
9 the interference, the other applications now went back into
10 examination, and in particular the '179 application went
11 back into examination. And there was an ODP rejection
12 issued in that case over the '008 claims. And the examiner
13 said, Okay, you don't have 121 safe harbor protection
14 because these claims are within Group II, and we think
15 they're obvious over the DNA claims.

16 Lin responded to that obviousness rejection. And
17 Lin made three arguments in response to the obviousness
18 rejection. The first argument that Lin made was with
19 respect to the ITC proceeding. He said, Look, the ITC has
20 by virtue of the ITC decision and the Federal Circuit
21 affirmance of that decision, it's already been determined
22 that the '008 claims are patentably distinct from the
23 process claims.

24 Second argument. The interference, in declaring
25 two separate interferences, one for the DNA and one for the

1 process, the board at the patent office has already
2 determined that these are patentably distinct inventions.
3 But three, three, more fundamentally and more importantly,
4 before the interferences were declared, we met and overcame
5 the examiner's rejection for obviousness. And the reason
6 that we overcame the rejection was on the basis of the
7 evidence and the argument that we presented. And the
8 evidence and argument that we presented in the course of
9 that was to show that it would not have been obvious in 1983
10 or '84 to obtain a glycoprotein like EPO whose biological
11 activity in the body, as you just heard from Dr. Kadesch,
12 depends on the sugars. You just heard from Dr. Kadesch on
13 the stand that if you remove a sialic acid --

14 THE COURT: Wait a minute. I'm following, but
15 that -- I have nothing to say about that.

16 MR. DAY: No, this is the argument Amgen made. I'm
17 simply recounting the argument Amgen made to the patent
18 office.

19 THE COURT: All right. Well --

20 MR. DAY: I'm simply saying that Dr. Kadesch just
21 now corroborated the argument.

22 THE COURT: Well, you're saying, Amgen, and I'm
23 going to look at these materials with this in mind, you're
24 saying, and I certainly haven't heard out of your mouth any
25 admission of judicial estoppel.

1 MR. DAY: No.

2 THE COURT: All right. I'm interrupting you, only
3 because --

4 MR. DAY: I will conclude by 4:00.

5 THE COURT: Well, I'm going to cut you off with
6 five minutes and let you conclude sometime. But I'm
7 following it so far, and I just need to plan for tomorrow.

8 So we're changing gears now. Now we're just
9 talking about how the case is going to proceed tomorrow.

10 Tomorrow we will -- you may put on your additional
11 two witnesses and we'll finish with this witness. And then
12 you will rest.

13 Now, in a bifurcated proceeding -- or this isn't a
14 fully bifurcated proceeding -- I do not require you to renew
15 your motion for directed verdict. Under the Rules of Civil
16 Procedure, you will save your rights for judgment not
17 withstanding the verdict if you renew it at the close of all
18 the evidence. Though, again, candidly, as a case management
19 tool, I expect Roche may make a motion for directed verdict
20 on the portion -- on the infringement portion that may be
21 successful in whole or in part.

22 So you don't lose anything if you renew it
23 tomorrow. But you may, though I'm not giving you any oral
24 argument, you have to go right on.

25 MR. DAY: I plan simply to file some papers, your

1 Honor.

2 THE COURT: That's fine. So when that happens, I
3 will say the first part's done, and working the break around
4 it so I don't take a break between your two openings, and I
5 doubt that will happen --

6 THE CLERK: And we have to swear in Francis.

7 THE COURT: Yes. We'll take that at the break. I
8 will give my brief precharge on infringement, and the
9 doctrine of equivalents, then there will be openings.

10 Now, since the burden of proof is on the other foot
11 here, Amgen will open first, then Roche. You need not spend
12 your time in opening, but you may. Amgen will call its
13 first witness, and we'll roll right on.

14 MR. FLEMING: A point of clarification.

15 THE COURT: Yes.

16 MR. FLEMING: Under the '422, is the reverse
17 doctrine of equivalents still available for Roche in this
18 case?

19 THE COURT: No. I've given -- no.

20 MR. FLEMING: It wasn't clear, the reverse doctrine
21 of equivalents.

22 THE COURT: That's interesting now, isn't it,
23 because that's precisely the situation that arose in my
24 original foray jury-waived. I had granted summary judgment
25 as to infringement on the point. In the second trial, I

1 considered but rejected TKT's -- I don't know the answer to
2 that.

3 MR. FLEMING: It would be useful for openings
4 tomorrow.

5 THE COURT: It would be, wouldn't it?

6 MR. FLEMING: We, of course, have interpreted it
7 that we still have it.

8 THE COURT: And I confess to you, Mr. Fleming, I
9 was too quick a reaction. This is a new case, and only
10 Amgen is bound. I don't -- boy, I exhaustively looked at
11 that reverse doctrine of equivalents. I don't think that's
12 much of a hope before the Federal Circuit, but that's not
13 for me to say.

14 MR. DAY: Your Honor, could I be heard on that?

15 THE COURT: Yes.

16 MR. DAY: Just a couple of things. There is
17 woefully little in Roche's expert reports to address this.
18 And, moreover, if they are going to put on a reverse
19 doctrine of equivalents case, then we should be allowed to
20 tell the jury that the product literally infringes.

21 THE COURT: Well, we'll -- they only get to that
22 issue if they find the product literally infringes.

23 MR. DAY: Well, you have found as a matter of
24 summary judgment that the product literally --

25 THE COURT: I have ruled that --

1 MR. DAY: Yes, sir. I stand corrected.

2 THE COURT: -- the product literally infringes.

3 Oh, I see the point.

4 And I do think that follows, Mr. Fleming.

5 MR. FLEMING: No, your Honor, because it's
6 typically done in the alternative. Because the argument is
7 should --

8 THE COURT: But they're not going to be asked.
9 They're not going to be asked on the jury verdict slip
10 whether claim 1 of the '422 literally infringes. No
11 reference is going to be made to that at all. Why? I've
12 ruled on the point and taken it away from them.

13 If you want a box on whether it infringes by the
14 doctrine of equivalents, because as to the others, as to the
15 others -- the way I expect many of these claims to come out,
16 we're going to put both literal and equivalent infringement
17 to the jury. And I have to tell them if it literally
18 infringes, you don't have to answer doctrine of equivalents,
19 leave it blank. If it does not literally infringe, you have
20 to answer doctrine of equivalents.

21 So it does seem to me the logic is I have to tell
22 them, I'll make it as mild as possible, but that it has been
23 determined that as to this one it literally infringes. So
24 you want to think about that and tell me tomorrow.

25 MR. FLEMING: First thing in the morning.

1 The second thing is as to the witnesses, now that
2 Amgen has told us that they actually are putting Dr. Lodish
3 on, which is contrary to what we understood, I understand
4 he's going to be short, but they've given us quite an
5 extensive disclosure about him. Can we get a really
6 meaningful disclosure --

7 THE COURT: I expect people are going to --

8 MR. FLEMING: You have now ordered the exchange be
9 followed in good faith.

10 THE COURT: You're not saying they don't. I wish
11 they would, but my sovereign remedy for all this is the time
12 limits. On Thursday, the 18th of October, this case will go
13 to the jury. That discipline, and it is a necessary
14 discipline in this case, and you're doing fine, is such
15 that --

16 MR. FLEMING: No, I'm sorry, your Honor.
17 Perhaps --

18 THE COURT: No, you made it clear. If they take a
19 lot of time with Lodish, it's their time.

20 MR. FLEMING: No, sir, that's not my point. I beg
21 your pardon, I was not clear. They say they're going to put
22 Dr. Lodish on for invalidity for only a short purpose. They
23 have given us in their written disclosure --

24 THE COURT: A bunch of stuff.

25 MR. FLEMING: He's got a tremendous amount of

1 reports. All we're asking for is a fair disclosure.

2 THE COURT: Yeah, I wish they would but I'm not
3 ordering it.

4 Okay. I miscalculated the time on this segment,
5 which doesn't count against the trial, but since I'm going
6 to entertain more of this on Thursday afternoon, actually I
7 had neglected Amgen's cross-examination of Dr. Kadesch. So
8 Amgen has used up 55 minutes, and Roche has used up one hour
9 and five minutes. Actually it's 55 minutes remaining,
10 Mr. Suh.

11 MR. SUH: Thank you, your Honor. I wanted one last
12 point. After we have submitted all the evidence on double
13 patenting, Roche will present its brief on judicial estoppel
14 as well as --

15 THE COURT: That makes perfect sense. I don't have
16 to decide anything on Thursday afternoon, and at 4:00 on
17 Thursday afternoon it is highly unlikely I'm going to decide
18 anything. And, in fact, I backed into this thinking I had
19 to make this decision before the case went to the jury. I
20 don't think I do. Having taken it away from the jury, it's
21 my determination. It will have the consequences that I
22 determine that it has.

23 I thank you all. We'll recess.

24 MS. CARSON: Just to expand on what Mr. Suh said,
25 we'll offer briefing on all the issues of double patenting.

1 THE COURT: Fine. Nine o'clock tomorrow we'll
2 resume the jury trial. Thank you. We'll recess.

3 THE CLERK: All rise. Court is in recess.

4 (Adjournment.)

5

6

7

C E R T I F I C A T E

8

9

10 We, Donald E. Womack and Cheryl B. Palanchian,
11 Official Court Reporters for the United States District
12 Court for the District of Massachusetts, do hereby certify
13 that the foregoing pages are a true and accurate
14 transcription of our shorthand notes taken in the
15 aforementioned matter to the best of our skill and ability.

16

17

18

19

20

21

DONALD E. WOMACK

22

CHERYL B. PALANCHIAN

Official Court Reporters

23

P.O. Box 51062

Boston, Massachusetts 02205-1062

24

womack@megatran.com

25