

EXHIBIT 22

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF MASSACHUSETTS

Certified Copy

AMGEN, INC.,)	
)	
Plaintiff,)	
)	
vs.)	No. 05-12237
)	
F. HOFFMAN-LA ROCHE LTD., a)	WGY
Swiss Company, ROCHE DIAGNOSTICS)	
GmbH, a German Company, and)	
HOFFMAN-LA ROCHE INC., a)	
New Jersey Corporation,)	
)	
Defendants.)	

The CONFIDENTIAL videotaped Deposition of EUGENE GOLDWASSER, called by the Defendants for examination, taken pursuant to notice, agreement and under the Rules of Civil Procedure for the United States District Courts pertaining to the taking of Depositions, taken before Richard H. Dagdigian, CSR No.084-000035, a notary public within and for the County of Cook, State of Illinois, and a Certified Shorthand reporter of said State, at the offices of Kaye Scholer LLP, Chicago, Illinois, on the 31st day May 2007,

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 decision. 15:17:39

2 A You said 58? 15:17:41

3 Q 58, yes. On the left-hand column, about 15:17:58

4 three lines down, there is a sentence that says, 15:18:00

5 "At the time of the filing", do you see that? 15:18:03

6 A Yes. 15:18:03

7 Q It says, "At the time of the filing of 15:18:05

8 the 195 application, which was in 1985, those of 15:18:10

9 ordinary skill in the art had not yet successfully 15:18:12

10 developed a method of purifying EPO from 15:18:15

11 recombinant sources". Do you see that? 15:18:18

12 A Yes. 15:18:18

13 Q Do you disagree with the Court's 15:18:20

14 assessment here. 15:18:21

15 MR. MADRID: Objection. The question is a 15:18:26

16 dirty trick, so I object on the grounds that it is 15:18:29

17 unfair. 15:18:31

18 We are on page 58 of a 62-page document; 15:18:34

19 to wit, there are many, many exhibits and 15:18:37

20 information, and legal terms. 15:18:40

21 The witness is neither a lawyer nor has 15:18:43

22 he seen all the underlying exhibits and, then, 15:18:45

23 there is a legal issue of filing date. 15:18:48

24 So I object that it calls for speculation 5:18:49

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 as well, and calls for matters that are outside the 15:18:52
2 expert report, so it's leading as well. 15:19:01
3 A Well, with all respect to the Judge, I 15:19:05
4 think that was a mistake. 15:19:11
5 BY MR. SUH: 15:19:13
6 Q You can set that decision aside, Doctor. 5:19:50
7 A I'm sorry? 15:19:51
8 Q You can set that decision aside. I'm 15:19:53
9 going to go back to your expert report. 15:19:56
10 A Okay. 15:20:01
11 Q Doctor, is it your opinion that one of 15:20:38
12 skill in the art looking at the Lin patent 15:20:42
13 specification in 1984, I believe, would have known 15:20:48
14 what the standard was to use in performing the 15:20:53
15 radioimmunoassay detection methods claimed in the 15:20:59
16 349 patent? 15:21:01
17 MR. MADRID: Objection, vague. 15:21:04
18 A Are you referring to a specific paragraph 5:21:06
19 in this report? 15:21:08
20 BY MR. SUH: 15:21:08
21 Q No. 15:21:09
22 A Or is it just a general idea? 15:21:11
23 Q Yes. 15:21:12
24 A Would you please say the question again. 15:21:14

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 Q Sure. Is it your opinion, Doctor, that 15:21:18
2 one of skill in the art reading the Lin patent 15:21:20
3 specification in 1983 would have known what 15:21:25
4 standard to use in performing the radioimmunoassay 15:21:29
5 that is claimed in the 349 patent? 15:21:36
6 MR. MADRID: Objection, misstates the 15:21:37
7 patent, and it's vague and ambiguous. 15:21:41
8 A It is. 15:21:43
9 BY MR. SUH: 15:21:43
10 Q And what would that standard be? 15:21:46
11 A There was an accepted standard in the 15:21:48
12 field, and anyone who was interested could get a 15:21:53
13 sample of it and use it as the reference either to 15:21:59
14 make a secondary standard or a primary standard. 15:22:02
15 Q And what was that accepted standard at 15:22:04
16 that time in 1983? 15:22:05
17 A I think that was the second IRP from the 15:22:09
18 WHO lab. 15:22:10
19 Q And what is the WHO lab? 15:22:13
20 A The World Health Organization Laboratory 15:22:14
21 in England. It's got a complicated acronym. 15:22:28
22 Their objective is to supply people with 15:22:31
23 hormone standards deriving from their success at 15:22:35
24 using insulin some years earlier. 15:22:43

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 Q If you look at paragraph 37 of your 15:22:47
2 expert report, you have a reference to your second 15:22:59
3 IRP. 15:23:01
4 Is that the same standard that you are 15:23:02
5 talking about? 15:23:11
6 A You mean near the bottom the paragraph? 15:23:13
7 Q Yes. 15:23:13
8 A That's what I was talking about, yes. 15:23:17
9 Q Then you have -- second to the last 15:23:21
10 paragraph -- the second to the last sentence of 15:23:24
11 that paragraph says, "The second IRP was not 15:23:26
12 available in unlimited quantities and people needed 15:23:29
13 to prepare their own in-house secondary standards 15:23:32
14 which they calibrated typically in an RIA, and/or a 15:23:36
15 bioassay, against the second IRP". Do you see 15:23:41
16 that? 15:23:41
17 A Yes. 15:23:42
18 Q Can you explain to me how someone would 15:23:44
19 calibrate their standard with the second IRP? 15:23:54
20 A It's a known amount of what you are 15:23:55
21 proposing as a secondary standard, and use the same 15:24:01
22 assay with the IRP as the primary standard and 15:24:06
23 compare them. It's a comparison assay. 15:24:09
24 Q So one would take a known amount of a 15:24:19

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 sample of erythropoietin, and would one run an RIA 15:24:27
2 with that? 15:24:30
3 A It depends on how you wanted to do the 15:24:32
4 assay. You could do it with an RIA. You could do 15:24:34
5 it with animals, you could do it with cultures. 15:24:40
6 Q Now, in paragraph 50 of your report -- 15:25:02
7 A 50? 15:25:04
8 Q 50, 5-0, yes. You have a discussion 15:25:32
9 there about calibration of a second standard to a 15:25:34
10 primary standard, correct? 15:25:36
11 A Yes. 15:25:37
12 Q Okay. It says -- the second sentence 15:26:05
13 says, "Calibration of secondary standards is 15:26:07
14 performed by comparing the activity of the 15:26:09
15 secondary standard with that of an accepted primary 15:26:12
16 standard such as the second IRP or the subsequent 15:26:18
17 reference preparations in the assays in which the 15:26:21
18 secondary standard is going to be used". 15:26:24
19 Do you see that? 15:26:25
20 A I see that. 15:26:28
21 Q And then later on in the paragraph -- 15:27:00
22 it's actually the last sentence of that paragraph 15:27:04
23 on the next page, it says, "In many laboratories, 15:27:10
24 secondary standards calibrated in one kind of assay 15:27:13

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1	are used after calibration in other kinds of	15:27:19
2	assays, but once again, as long as the secondary	15:27:24
3	standard has been accurately calibrated as a dose	15:27:28
4	response curve parallel to that of the primary	15:27:30
5	standard, and has been shown to be stable, the	15:27:34
6	results can be compared from one laboratory to	15:27:37
7	another".	15:27:38
8	Do you see that?	15:27:39
9	A Yes.	15:27:54
10	Q In your experience, have you ever	15:27:56
11	calibrated bile assays based upon a standard that	15:28:02
12	you developed with an RIA?	15:28:13
13	A I think we never did that.	15:28:14
14	Q And why not?	15:28:21
15	A I think -- my impression right now is	15:28:27
16	that I would want to make sure I was using as a	15:28:31
17	standard something which had biological activity.	15:28:34
18	Q That's right, because an RIA does not	15:28:37
19	necessarily detect biological activity, correct?	15:28:40
20	A Right. Could we have a break now,	15:28:43
21	please.	15:28:44
22	MR. SUH: Yes.	15:28:45
23	THE VIDEOGRAPHER: Going off the record at	15:28:47
24	3:25 p.m.	15:28:49

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1	(Whereupon, a short recess	15:28:49
2	was taken.)	15:28:49
3	THE VIDEOGRAPHER: Going back on the record	15:36:38
4	at 3:33 p.m. Please proceed.	15:36:42
5	BY MR. SUH:	15:36:43
6	Q Doctor Goldwasser, before the break, we	15:36:47
7	were referring to this paragraph 50 of your expert	15:36:51
8	report, the last sentence.	15:36:54
9	A All right.	15:36:54
10	Q And it says, "In many laboratories,	15:36:55
11	secondary standards calibrated in one kind of assay	15:36:58
12	are used after calibration in other kinds of	15:37:02
13	assays, but once again, as long as the secondary	15:37:06
14	standard has been accurately calibrated, has a dose	15:37:09
15	response curve parallel to that of the primary	15:37:12
16	standard, and has been shown to be stable, the	15:37:14
17	results can be compared from one laboratory to	15:37:16
18	another".	15:37:17
19	Do you see that?	15:37:18
20	A Yes.	15:37:18
21	Q That's not necessarily true with respect	15:37:19
22	to using a standard for an RIA to calibrate a	15:37:26
23	bioassay, correct?	15:37:31
24	MR. MADRID: To calibrate a what?	15:37:33

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 recombinant EPO, Amgen recombinant EPO in paragraph 15:47:58
2 two? 15:48:01
3 A I have no recollection of what 15:48:03
4 preparations P, L, C and K may be, but I'm telling 15:48:13
5 Patrick Storring what our results were with that as 15:48:17
6 a standard. 15:48:19
7 Q With recombinant EPO as a standard? 15:48:23
8 A Yes. 15:48:26
9 Q Is there currently -- does the NIBSASC 15:48:40
10 currently have an international reference 15:48:43
11 preparation standard for recombinant EPO? 15:48:47
12 A I don't know. I think so, but I can't be 5:48:49
13 sure. 15:48:54
14 Q If one were to look at the claims of the 15:48:56
15 349 patent, if one were to try to understand that, 15:49:03
16 would they understand the radioimmunoassay as 15:49:09
17 claimed in the 349 patent to be using the 15:49:14
18 recombinant standard or the urinary standard of the 15:49:19
19 second IRP? 15:49:20
20 MR. MADRID: Objection, misstates the claim 15:49:23
21 language. 15:49:24
22 A I would have to look at the document to 15:49:27
23 see what they said they used as a standard. 15:49:33
24 BY MR. SUH: 15:49:33

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 Q Okay. Well, Doctor, can you please turn 15:50:10
2 to Exhibit 5, which is the 349 patent, if you 15:50:14
3 could take a look at the claims. 15:50:16
4 A I'm sorry, are you addressing me? 15:50:19
5 Q Yes, Exhibit 5, which is the 349 patent 15:50:22
6 -- take a look at Exhibit 5. 15:50:24
7 A Something 5? 15:50:25
8 Q Exhibit 5. 15:50:26
9 A Exhibit 5. 15:50:27
10 Q Yes, that's the 349 Lin patent. 15:50:30
11 A Oh, okay. 15:50:38
12 Q And again we are looking at the claims, 15:50:40
13 which is on the last page. 15:50:55
14 If one were to try to determine 100 units 5:51:00
15 of erythropoietin for ten to six cells in 48 hours 15:51:04
16 as determined by radioimmunoassay, would one use an 15:51:09
17 RIA that was standardized to a recombinant EPO or 15:51:17
18 an urinary EPO standard? 15:51:20
19 MR. MADRID: Objection, vague, incomplete 15:51:22
20 hypothetical. 15:51:26
21 A If I were doing it, I would prefer to use 5:51:28
22 an internationally accepted standard even though I 15:51:32
23 have grave reservations about it. 15:51:35
24 BY MR. SUH: 15:51:35

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 Q And would that international reference 15:51:37
2 standard be a standard for urinary EPO or 15:51:41
3 recombinant EPO? 15:51:42
4 MR. MADRID: Objection, vague. 15:51:44
5 A A standard for EPO. 15:51:47
6 Q Is the standard -- is the IRP for 15:51:49
7 recombinant EPO the same for a standard for urinary 15:51:53
8 EPO? 15:51:54
9 MR. MADRID: Objection, vague. 15:51:58
10 A The standard using recombinant EPO was 15:52:03
11 standardized against the international reference 15:52:09
12 preparation. 15:52:10
13 BY MR. SUH: 15:52:10
14 Q I see. So the standard that is currently 5:52:12
15 adopted by the WHO for recombinant EPO was 15:52:18
16 calibrated against the second IRP? 15:52:20
17 A I think so. 15:52:31
18 Q Now, going back to Exhibit 14, which is 15:52:34
19 your letter to Doctor Storrington, paragraph four, it 15:52:39
20 says, "Part of the problem could lie in the 15:52:42
21 assumption (p5) of" -- there is a formula there, "A 15:52:50
22 to the one percent, 280 equals 8.0 i.e". Do you 15:52:58
23 see that? 15:52:58
24 A Yes. 15:52:58

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1	Q	What is that?	15:53:01
2	A	A one percent to 80 is the absorption of	15:53:06
3		a solution at 280 nanometers in an ultraviolet	15:53:10
4		spectrophotometer when it has ten milligrams per	15:53:14
5		millimeter in there -- measured absorbent.	15:53:20
6	Q	And why are you saying that part of the	15:53:21
7		problem lies in this assumption of this	15:53:24
8		calculation?	15:53:25
9	A	Because it's an assumption. That would	15:53:28
10		depend on the protein, what the specific absorbents	15:53:31
11		was, whether the A one percent was 8.0 or 8.5 or	15:53:37
12		7.4 or 12. You just can't assume it. You have to	15:53:43
13		measure it.	15:53:44
14	Q	But is this assumption an assumption	15:53:46
15		that's used for radioimmunoassays for	15:53:49
16		erythropoietin?	15:53:52
17	A	No, not unless you want to express the	15:53:56
18		results as a specific activity.	15:54:11
19	Q	I see. And it's because RIA does not	15:54:14
20		measure specific activity?	15:54:17
21	A	It does not.	15:54:17
22	Q	So this is really talking about	15:54:19
23		bioassays?	15:54:21
24	A	Not necessarily --	15:54:22

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 MR. MADRID: Objection, misstates the 15:54:24
2 document. 15:54:26
3 BY MR. SUH: 15:54:26
4 Q What is it referring to? 15:54:27
5 A I don't know. I would have to look at 15:54:29
6 page five of that document, which I don't have. 15:54:42
7 Q The next page, paragraph eight, it says, 15:54:45
8 "The use of a uEPO preparation as a standard for 15:54:49
9 'naturally occurring' EPO I think is not warranted 15:54:54
10 since we do not know that serum EPO is closer to 15:54:57
11 eEPO than to rEPO". 15:55:01
12 Do you see that? 15:55:01
13 A Yes. 15:55:01
14 Q Why is it important to know whether serum 5:55:03
15 EPO is closer to uEPO rather than to rEPO? 15:55:07
16 A I can't answer that. I'm responding to 15:55:10
17 point eight in the original document. 15:55:17
18 My memory doesn't go that far back to let 5:55:21
19 me know what I'm talking about, although I expect 15:55:25
20 that Patrick Storrington would know. 15:55:56
21 Q And in the last -- second to last 15:55:58
22 paragraph it says, "Lastly: This immense effort on 15:56:01
23 your part and by all the collaborating labs 15:56:04
24 revealed that the second IRP is a rotten standard; 15:56:06

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 a point I tried to make back when it was first 15:56:10
2 chosen". Do you see that? 15:56:11
3 A Yes. 15:56:12
4 Q That's what you referred to earlier when 15:56:14
5 you said you had called the second IRP a rotten 15:56:17
6 standard on the record? 15:56:19
7 A Yes. 15:56:25
8 Q So, Doctor, is it your testimony based 15:56:26
9 upon your expert report that despite the fact that 15:56:29
10 you believe that the second IRP is a rotten 15:56:32
11 standard, nonetheless, it would provide guidance as 15:56:38
12 to how you would actually determine units for 15:56:43
13 radioimmunoassay for erythropoietin? 15:56:46
14 MR. MADRID: Objection, lacks foundation, 15:56:48
15 and it's argumentative. You are putting two 15:56:50
16 different things together. 15:56:55
17 A The only answer I can give to that 15:56:58
18 question is, it was only one international 15:57:00
19 reference preparation. If you didn't use that, 15:57:04
20 people wouldn't know what you were talking about. 15:57:09
21 BY MR. SUH: 15:57:09
22 Q Couldn't they make their own standards? 15:57:13
23 A Not primary, no. Well, I suppose they 15:57:18
24 could go back to cobalt chloride. 15:57:22

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 Q Sure. That was -- wasn't that the first 15:57:24
2 standard that you developed? 15:57:26
3 A Yes. 15:57:28
4 Q Do you know whether people were referring 5:57:31
5 to the first IRP standard in 1983? 15:57:37
6 A That was not cobalt. The first IRP, I 15:57:41
7 think, was Standard A -- no, I think it was a 15:57:46
8 urinary preparation. I don't remember. I would 15:57:48
9 have to look that up. 15:57:53
10 Q But do you know whether anyone in 1983 15:57:55
11 was still referring to the first IRP standard? 15:58:02
12 A I don't know whether anyone was still 15:58:05
13 using it. I rather doubt it because the reason for 15:58:07
14 the second one was that there wasn't enough of the 15:58:09
15 first one to go around. 15:58:12
16 But with proper standardization, it 15:58:15
17 didn't make any difference what you referred to. 15:58:16
18 Everyone knew what you were referring to, or what 15:58:20
19 it meant when you gave a result in units or milli 15:58:25
20 units. 15:58:42
21 Q Doctor, is the -- is the reference to a 15:58:47
22 second IRP standard mentioned in the 349 patent? 15:58:52
23 A I don't know. Do you want me to look 15:58:54
24 through the whole patent -- 15:58:56

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1 Q Do you recall? 15:58:57
2 A I don't recall. 15:58:58
3 Q Does the 349 patent have a discussion 15:59:01
4 with respect to calibration from the a secondary 15:59:04
5 standard to a primary standard? 15:59:06
6 MR. MADRID: Objection, vague and ambiguous. :59:11
7 A Again, I'm not committed this to memory. 5:59:14
8 I would have to look for it in the document. 15:59:16
9 Q I'm sorry. Do you recall -- do you 15:59:18
10 recall? 15:59:19
11 A I don't recall. That's what I'm just saying. :59:22
12 I don't have any memory of it. 15:59:28
13 Q Doctor, can you turn to -- going to 15:59:35
14 Exhibit 5, which is the 349 patent -- 15:59:40
15 A I didn't hear what you said before 349. 15:59:43
16 Q I'm sorry. I want you to look at the 349 5:59:48
17 patent which was marked as Exhibit 5. 15:59:51
18 A Yes, I've got that. 15:59:52
19 Q Okay. Do you see on the second page, 16:00:02
20 there is -- on the very top middle, it says "page 16:00:05
21 two"? 16:00:06
22 A Yes. 16:00:06
23 Q And then there is page three, four, five, 6:00:09
24 and it goes on all the way up to page 11. Do you 16:00:14

Goldwasser, Eugene
CONFIDENTIAL

5/31/2007

1	see that?	16:00:15
2	A Yes.	16:00:15
3	Q And then the next page, there is a	16:00:16
4	figure 1?	16:00:17
5	A Yes.	16:00:18
6	Q Okay.	16:00:23
7	Q Can you tell me what figure 1 is?	16:00:29
8	A It's a dose response curve of an RIA or	16:00:37
9	four different runs with a standard curve and three	16:00:48
10	samples.	16:00:51
11	Q Do you know what this particular standard	6:00:53
12	was, this RIA standard human EPO that's being	16:00:57
13	reported here in figure 1?	16:00:58
14	A No, but it's probably in this document.	16:01:00
15	I would have to look for it.	16:01:08
16	Q Have you ever -- have you ever looked in	16:01:12
17	the patent to find the data which was used to	16:01:16
18	generate these dose response curves?	16:01:21
19	A I don't know that the data are in here.	16:01:24
20	As I keep saying, I've not committed this bulky	16:01:27
21	piece of paper to memory.	16:01:33
22	Q Can you spend some time to try to look	16:01:36
23	for me where that data is?	16:01:38
24	A You want to look for the data and the	16:01:40