

EXHIBIT 24

LIVENOTE | **World Service**SM

Worldwide Deposition Reporting & Legal Videography

United States District Court
District Of Massachusetts

Certified Copy

****Confidential Pursuant To Section 5(c) of the
Amended Protective Order****

Deposition

Of

Arnold Joel Berk, M.D.

(Exhibits Have Been Bound Separately)

June 7, 2007

Amgen, Inc.

v.

F. Hoffmann-La Roche, LTD

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

UNITED STATES DISTRICT COURT

DISTRICT OF MASSACHUSETTS

Certified Copy

AMGEN INC.,)	
)	
Plaintiff,)	
)	
vs.)	Civil Action
)	
F. HOFFMANN-LA ROCHE LTD., a Swiss)	No. 05-12237 WGY
Company, ROCHE DIAGNOSTICS GmbH,)	
a German Company, and HOFFMANN-LA)	
ROCHE, INC., a New Jersey)	CONFIDENTIAL
Corporation)	
)	
Defendants.)	
)	

Deposition of ARNOLD J. BERK, M.D., at 2151
Avenue of the Stars, Chateau IX, Los Angeles,
California, 90067, commencing at 9:23 A.M.,
Thursday, June 7, 2007, before Judith Schlusel,
CSR No. 4307.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES OF COUNSEL:

FOR THE PLAINTIFF:

DAY CASEBEER MADRID & BATCHELDER, LLP
BY: DEBORAH E. FISHMAN, ESQ.
20300 Stevens Creek Boulevard
Suite 400
Cupertino, California 95014
p: 408 873-0110 f: 408 873-0220
fishmand@daycasebeer.com

FOR THE DEFENDANTS:

KAYE SCHOLER, LLP
BY: PATRICIA CARSON, ESQ.
GRAHAM PECHENIK, ESQ.
425 Park Avenue
New York, New York 10022
p: 212 836-8000 f: 212 836-8689
pcarson@kayescholer.com

ALSO PRESENT:

JOHN AREL, VIDEOGRAPHER

(This transcript contains material designated "Confidential"
in accordance with the protective order in this case. Where
applicable portions of testimony designated otherwise will
be clearly marked with a parenthetical. Please treat any such
segments of designated testimony in accordance with the
protective order.)

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 document and tell me if you recognize it.

2 A. Well, I recognize that it's minutes from a
3 scientific advisory board meeting, but I -- either I
4 didn't receive these kinds of minutes myself or I
5 didn't pay much attention to them, because I don't
6 recall seeing minutes like this before.

12:10:06

7 Q. Now, on the second page of this document,
8 which ends in the Bates No. 829, there's a heading
9 that says "project summaries" and then one of the
10 entries there is Vector Task Force. Do you see
11 that?

12:10:28

12 A. Yes. Uh-huh.

13 Q. Your name is there, or there is Arnie Berk
14 next to that. Is that you?

12:10:36

15 A. Yes.

16 Q. What was the Vector Task Force?

17 A. That was a group that worked on developing
18 vectors for expressing proteins at high levels in
19 different cell types.

12:10:55

20 Q. And were you involved in developing vectors
21 in -- actually, the date of this document, if you
22 look at it, is June 16 through 17, 1981. Do you see
23 that?

24 A. Yes.

12:11:11

25 Q. Were you involved in developing vectors to

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 be used in mammalian host cell expression in this
2 time frame for Amgen?

3 A. Yes.

4 Q. Did you have personal involvement in it or 12:11:25
5 were you simply advising as a member of the
6 Scientific Advisory Board?

7 A. Well, I met with the group, the small group
8 at Amgen that was working on the development of
9 these mammalian cell expression vectors. I met with 12:11:42
10 them fairly regularly. We discussed how to proceed.
11 But I didn't do the experiments with my own hands.

12 Q. On Bates page ending in 836 under the
13 bottom of the page there's an entry, erythropoietin
14 project leader, Gene Goldwasser. Do you see that? 12:12:13

15 A. Yes.

16 Q. Do you know why Dr. Goldwasser was the
17 project leader for the erythropoietin project?

18 A. Yes. He was one of the world's experts, if
19 not the expert, on human erythropoietin. 12:12:26

20 Q. And were you involved in this time frame
21 with the erythropoietin project?

22 A. Well, as of this date, and I have to check
23 my dates, I don't -- right. So I mean as of this
24 date, the clone for EPO hadn't been obtained yet, 12:12:50
25 but there was a goal of obtaining and expressing

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 human erythropoietin from the very beginning of the
2 founding of the company that was one of our most
3 important, well, goals.

4 Q. And -- 12:13:10

5 A. One of our most important targets.

6 Q. And what vectors were being considered to
7 express erythropoietin?

8 A. Plasmid vectors using transcription control
9 regions from SV40 and polyoma, and we were 12:13:32
10 considering using adenovirus vectors.

11 Q. Would that include SV40 vectors?

12 A. No.

13 Q. Were you considering SV40 vectors?

14 A. Well, again, we used sequences from SV40 12:13:49
15 that are often referred to as SV40 vectors. But
16 when I say adenovirus vector, I mean that we
17 actually constructed a virus particle that has a new
18 gene in it for erythropoietin that will infect cells
19 the way adenovirus normally infects cells and 12:14:06
20 express the genes encoded in the viral DNA.

21 Q. You said that the goal of obtaining
22 expressing human erythropoietin from the very
23 beginning of the founding of the company was one of
24 the most important goals, correct? 12:14:25

25 A. Yes.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. And why was that?

2 A. Well, it was recognized that erythropoietin
3 would be a very good target for a beginning
4 biotechnology company, because it would be useful 12:14:37
5 for the treatment of patients with renal dialysis --
6 with renal failure who are seen by a specialized
7 group of physicians, renologists, and they're
8 treated regularly at dialysis centers, so that it
9 would be a relatively -- it would probably be a very 12:15:01
10 useful therapeutic agent for such patients. There
11 was a large number of them and there was a, as I
12 said, this relatively small group of physicians
13 taking care of them that could be reached with a
14 small sales force. So it was recognized to be a, 12:15:28
15 have many advantages as an initial target for a
16 beginning company.

17 Q. And when did it actually become a project
18 at the company?

19 A. From the very beginning. I mean Fu Kuen 12:15:49
20 Lin was working on trying to clone the EPO gene from
21 the time that he was first hired, as far as I know.
22 That was one of his important projects. And
23 certainly, there were discussions at the Scientific
24 Advisory Board meetings of strategies to take to 12:16:08
25 clone the EPO gene from the very first meetings.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. Were there discussions at the Scientific
2 Advisory Board about concerns as to whether the
3 cloned gene for erythropoietin would have proper
4 glycosylation when produced in mammalian cells? 12:16:27

5 A. Yes.

6 Q. And when do you first recall those
7 discussions?

8 A. From the very beginning.

9 Q. Were there any other mammalian proteins 12:16:38
10 that were under consideration to be cloned at that
11 time period?

12 A. Yes.

13 Q. What were those mammalian proteins?

14 A. Well, some would be the interferons. At 12:16:58
15 that time, I think -- mammalian proteins. I mean we
16 were always trying to think of important new
17 potential products. 1983 was pretty early, and so I
18 remember that there was a distinct list of products,
19 but Amgen became the highest -- EPO became the 12:17:30
20 highest priority quite quickly. I don't recall.

21 Q. You mentioned interferons. Are those also
22 glycoproteins?

23 A. Interferon beta and interferon gamma, I
24 think, are glycoproteins. 12:17:48

25 Q. Was there also a concern at the time, this

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 would be prior to 1983, as to whether the interferon
2 beta or interferon gamma would be properly
3 glycosylated when produced in mammalian cells?

4 A. Well, there was information that the 12:18:08
5 interferons were active when expressed in E.coli and
6 not glycosylated, expressed in E.coli and re-folded
7 in vitro and that they had interferon activity.

8 Q. So based on the expression of interferon in
9 E.coli and the activity of that protein, did you 12:18:44
10 have an expectation that interferon expressed in
11 mammalian cells would also be active?

12 A. There was concern about whether any
13 glycoprotein expressed in mammalian cells would be
14 active, particularly because of the problem of 12:19:07
15 having terminal sialic acid residues on all the
16 carbohydrates. So you could have a situation where
17 a non-glycosylated protein was biologically active
18 in vivo, but the improperly glycosylated form of
19 that protein which didn't have terminal sialic acids 12:19:26
20 would be inactive, and that's what our concern was.

21 Q. So that concern about proper activity of --
22 strike that.

23 So prior to 1983, Amgen had concerns about
24 whether or not interferon, alpha or beta, expressed 12:19:46
25 in mammalian cells would have biological activity,

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 correct?

2 MS. FISHMAN: Objection; calls for
3 speculation. You can answer.

4 THE WITNESS: Yeah. I'm not certain. I 12:19:58
5 wasn't very involved in the work on the interferons.

6 I was more involved in the work on erythropoietin.

7 Q. BY MS. CARSON: Do you recall discussions
8 at the Scientific Advisory Board about concerns as
9 to whether or not interferon expressed in mammalian 12:20:15
10 cells would have biological activity prior to 1983?

11 A. Yes.

12 Q. So based on your own experience, Amgen did
13 have that concern about interferon, whether or not
14 it would be biologically active when expressed in 12:20:34
15 mammalian cells prior to 1983?

16 MS. FISHMAN: Just one second. Same
17 objection. But you can answer.

18 THE WITNESS: So by now I'm not quite
19 certain of what the question is. 12:20:59

20 MS. FISHMAN: Here.

21 THE WITNESS: All right. According to, you
22 know, my recollection, I don't recall specific
23 discussions about the activity of the interferon
24 specifically, but I do, but there was very much 12:21:24
25 concern about the activities of glycoproteins in

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 general.

2 Q. BY MS. CARSON: And at the time it was
3 recognized that interferon was a glycoprotein,
4 correct?

12:21:41

5 A. Some of the interferons.

6 Q. It was also recognized that erythropoietin
7 was a glycoprotein?

8 A. Yes.

9 Q. I'm going to ask the reporter to mark as
10 Berk Exhibit 10 a document bearing Bates stamp
11 numbers AM-ITC 00064700.

12:22:22

12 (Deposition Exhibit No. 10 was marked for
13 identification.)

14 Q. BY MS. CARSON: Dr. Berk, the reporter has
15 placed before you what's been marked as Berk Exhibit
16 10. Do you recognize this document?

12:23:02

17 A. I don't recognize this document.

18 Q. Can you just read through the letter to
19 yourself, please.

12:23:18

20 A. Yes. All right.

21 Q. Do you recall recommending to anybody at
22 Amgen that they obtain the COS-1 cell line from
23 Dr. Yakov Gluzman at the Cold Spring Harbor
24 Laboratory?

12:23:59

25 A. Yes.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. Can you tell me what you recall about that.

2 A. Yes. Again, I was directing this -- I was
3 consulting in the area of mammalian cell expression,

4 and I discussed with Jeff Brown, who wrote this 12:24:15

5 letter, Dr. Jeff Brown, who was the person

6 immediately under Fu Kuen Lin working on mammalian

7 cell expression, and, at Amgen, and we discussed

8 that it would be useful to have COS cells and that

9 we wanted to obtain them. 12:24:39

10 Q. And why did you think that it would be --
11 the date of this document is November 11, 1981. So
12 you had these discussions with Dr. Brown prior to
13 November 1981; is that correct?

14 A. Yes. 12:24:53

15 Q. And why did you think it would be useful to
16 have COS cells for use at Amgen?

17 A. Because they have this ability to replicate
18 introduced plasmid vector DNA resulting in higher
19 levels of the encoded protein expression than in 12:25:18
20 many other cell lines.

21 Q. Now, you said that Jeff Brown was working
22 with Dr. Lin. So Dr. Brown was working on EPO?

23 A. Yes.

24 Q. And were you recommending to Dr. Brown that 12:25:34
25 he use COS cells to express cloned EPO in 1981?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. That was one of the approaches that we were
2 considering.

3 Q. And at the time that you suggested to
4 Dr. Brown that he use COS cells to express EPO, did 12:25:52
5 you have no expectation that COS cells could be used
6 to express active, biologically active EPO?

7 A. Yes, I had reservations.

8 Q. Did you have no expectation that they would
9 work? 12:26:05

10 A. I was very uncertain. I was very anxious
11 to see the results of experiments to test its
12 activity. I did not have certainty or even a
13 reasonable expectation that the produced material
14 would be biologically active. 12:26:25

15 Q. So you suggested to Dr. Brown to use the
16 COS cells, but you didn't have a reasonable
17 expectation that those cells could be used to
18 express biologically active EPO, correct?

19 A. That's -- that's correct. 12:26:44

20 Q. Did you suggest any cell types to Dr. Brown
21 that you thought would actually work?

22 MS. FISHMAN: Objection; mischaracterizes
23 testimony. Lacks foundation. You can answer.

24 THE WITNESS: We didn't know whether, which 12:27:02
25 cell line might work or might not work or whether

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 any cell line would work. This was a way of
2 testing, using COS cells was a way of testing
3 whether, was a way of producing the protein that
4 would allow it to be tested. Before that we didn't 12:27:25
5 know.

6 Q. BY MS. CARSON: The methods for testing the
7 protein -- strike that. You said it would allow it
8 to be tested. How would it be tested?

9 A. It would be initially tested for in vitro 12:27:41
10 assays of erythropoietin activity and then
11 subsequently in vivo assays.

12 Q. What in vitro assays are you talking about?

13 A. I would have to -- I don't recall the
14 details of the in vitro assays. They involved 12:27:58
15 treating cultured cells and looking at the response
16 of cultured cells that are a good readout for what
17 happens inside the body when there is a stimulation
18 of red blood cell production.

19 Q. Were those in vitro assays well known at 12:28:15
20 the time?

21 A. They were known to people studying
22 erythropoietin. They were well described in the
23 literature.

24 Q. What in vivo assays would have needed to be 12:28:29
25 used to test the erythropoietin produced in COS

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 cells?

2 MS. FISHMAN: Objection; lacks foundation.

3 You can answer.

4 THE WITNESS: An assay for red blood cell 12:28:40
5 production inside a living animal.

6 Q. BY MS. CARSON: Were those in vivo assays
7 well known among those that were working in the
8 field at the time?

9 A. Yes. 12:28:53

10 Q. Were those in vivo assays being performed
11 by laboratory technicians?

12 A. At Amgen are you asking or --

13 Q. In anybody's laboratory?

14 A. Yes. They could probably be performed by 12:29:07
15 laboratory technicians.

16 Q. Now, you had suggested COS cells to
17 Dr. Brown for use to express human erythropoietin,
18 correct?

19 A. Yes. 12:29:31

20 Q. And do you recall what other cell types you
21 suggested?

22 A. I suggested 293 cells and potentially HeLa
23 cells.

24 Q. Has Amgen ever expressed, to your 12:30:19
25 knowledge, EPO in 293 cells?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. Yes.

2 Q. Those are human cells?

3 A. Yes.

4 Q. And was the -- when was that? 12:30:31

5 A. It was in this same time frame, early --
6 late 1983, early 1984.

7 Q. Now, based on -- did it happen after they
8 expressed the protein in COS cells?

9 A. It was in the same time frame. I'm not 12:30:48
10 certain.

11 Q. So up until -- is it your opinion that up
12 until the time that Amgen expressed human
13 erythropoietin in 293 cells, there was no reasonable
14 expectation that a biologically active in vivo 12:31:08
15 protein would be produced?

16 MS. FISHMAN: Objection; mischaracterizes
17 testimony. Lacks foundation.

18 THE WITNESS: Again, there was concern that
19 there -- that there might well be specific 12:31:26

20 glycosylation structures required for EPO in vivo
21 biological activity that would be put onto the
22 protein only in the very rare cells that produce
23 erythropoietin at a very low level, and that
24 production of erythropoietin in other cells we 12:31:46
25 expected would give us different kinds of

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 glycosylation and there was concern that that would,
2 that might affect biological activity and also again
3 the concern as to whether we would exceed the
4 capacity of the cell to glycosylate proteins with 12:32:07
5 high levels of expression.

6 Q. Prior to 1983, was it known what cell types
7 were -- prior to 1983, was it known what cell types
8 in the human body produced EPO?

9 A. It was -- there was evidence that EPO was 12:32:26
10 produced in the kidney, but which cell type in the
11 kidney was not clear.

12 Q. Now, did you suggest any kidney cells grown
13 in culture to be used to express the clone to human
14 erythropoietin? 12:32:47

15 A. 293 cells were derived from kidneys, human
16 fetal kidney cells.

17 Q. And it's your opinion even for human 293
18 kidney cells prior to 1983, there was no expectation
19 that you would be able to produce cloned human 12:33:15
20 erythropoietin in those cells that was properly
21 glycosylated and would have in vivo biological
22 activity?

23 MS. FISHMAN: Objection; misstates
24 testimony. Lacks foundation. But you can answer. 12:33:31

25 THE WITNESS: There was concern about

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 whether the glycosylation would be correct in 293
2 cells. There are many cell types in the kidney, and
3 it wasn't determined until rather recently just
4 which type of cell in the kidney was, the 293 cell 12:33:53
5 was derived from. In fact, now we know it was
6 derived from a neuronal cell.

7 Q. In your view, prior to 1983, what were the
8 likely candidates of cells to attempt to express
9 recombinant human erythropoietin? 12:34:13

10 A. Various mammalian cell lines. I mean --.

11 Q. And those various mammalian cell lines
12 include the cell lines that we've been discussing,
13 correct?

14 A. And many others. 12:34:37

15 Q. Would also include CHO cells?

16 A. Yes.

17 Q. And would also include COS cells?

18 A. Yes.

19 Q. Out of those various cell lines, were there 12:34:51
20 any specific cells that were commonly being used by
21 scientists in the laboratory to express cloned
22 recombinant mammalian proteins?

23 A. Well, this was the beginning of that period
24 and COS cells were used; 293 cells were also used. 12:35:13

25 Q. What about CHO cells?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. They weren't, you know, used nearly as
2 widely as COS cells or 293 cells because the COS
3 cells and 293 cells have the advantage of working
4 with the transient transfection assays, short term, 12:35:39
5 easily done; obtaining CHO cells that express high
6 levels of a protein is a much longer process and
7 more difficult, and so it wasn't done as often. And
8 I don't know, I can't think right now back in 1983
9 if I knew of any proteins that were being produced 12:36:00
10 in CHO cells.

11 Q. Now, at the time, prior to 1983, the dhfr
12 minus CHO cells were available, correct?

13 A. Yes.

14 Q. And the concept of co-amplification was 12:36:15
15 also known prior to 1983?

16 A. Yes.

17 Q. Now, the COS cells that -- strike that. Do
18 you recall suggesting the use of CHO cells for
19 expression of recombinant human erythropoietin to 12:37:03
20 Dr. Lin?

21 A. My recollection is that it was Bob Schimkie
22 who first made that suggestion at the Scientific
23 Advisory Board meetings and I agreed with that
24 suggestion. 12:37:16
25 Q. And why did Bob Schimkie suggest the use of

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 CHO cells to Dr. Lin?

2 MS. FISHMAN: Objection; calls for
3 speculation.

4 THE WITNESS: Well, I can't say what Robert 12:37:34
5 Schimkie was thinking, but his lab is the lab that
6 discovered the phenomenon of gene amplification and
7 specifically in CHO cells with amplified -- with
8 amplification of the dhfr gene and exogenously

9 introduced dhfr gene. And it was students from his, 12:37:57
10 post-docs and graduate students from his laboratory
11 that were the ones that made the first applications
12 to co-amplification of a co-integrated gene and the
13 result in higher levels of expression. So he was
14 very familiar with that work. 12:38:14

15 Q. You said that you agreed with his
16 recommendation. Why was that?

17 A. Because it was another possible mammalian
18 cell to try, and the plan was to experiment with
19 several to find the best production system. 12:38:38

20 Q. And that, your agreement that CHO cells
21 would be another possible mammalian cell line to
22 try, that was prior to 1983, right?

23 A. Yes.

24 Q. And at the time that you agreed, did you 12:39:00
25 have no expectation that biologically active human

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 EPO could be produced in CHO cells with in vivo
2 biological activity?

3 A. Well, I hoped that we would be able to find
4 some mammalian cell line that would allow us to 12:39:21
5 produce in vivo biologically active erythropoietin.

6 Q. Did you have any reasonable expectation
7 that it would allow you to produce in vivo
8 biologically active erythropoietin?

9 A. No. We didn't know. We simply didn't 12:39:36
10 know, so we didn't have a reasonable expectation and
11 we were very concerned that the material that was
12 produced in cultured mammalian cells would be, would
13 not be biologically active in vivo or in vitro.

14 Q. So at this time, did you have a reasonable 12:39:55
15 expectation that in vivo biologically active EPO
16 could be made in any cell type?

17 A. No. We did not have a reasonable
18 expectation. It was being tried for the first time
19 and so we didn't know, particularly because of the 12:40:14
20 very high level of glycosylation in erythropoietin.

21 Q. And based on that knowledge of the high
22 level of glycosylation in erythropoietin, did you
23 expect that you would be better off expressing in a
24 mammalian cell line? 12:40:38

25 MS. FISHMAN: Objection; vague and

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 ambiguous.

2 THE WITNESS: Well, we were attempting
3 expression in mammalian cells because that was the
4 best opportunity to have proper glycosylation of the 12:40:53
5 protein, and we had evidence that glycosylation
6 would be important.

7 Q. BY MS. CARSON: And the evidence that
8 glycosylation would be important, what evidence was
9 that? 12:41:14

10 A. Well, that was this earlier work from the
11 Goldwasser's laboratory that showed that removal of
12 the carbohydrates eliminated in vivo biological
13 activity.

14 Q. Were you involved in designing the probe 12:41:45
15 sequences that were used by Dr. Lin to clone the
16 erythropoietin gene?

17 A. You know, I was involved. I participated.
18 I think that the sequences that he chose were not
19 the precise sequences that I had suggested, but the 12:42:06

20 same general area. I mean when we first got the
21 amino acid sequence, I sat down myself and
22 determined the number of different nucleic acid

23 sequence that could encode that protein and proposed
24 a scheme for synthesizing a mixture that would 12:42:30
25 include all of those.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 But that was a relatively obvious thing to
2 do, so other scientists at Amgen did the same thing,
3 including scientists that had more experience with
4 the chemistry of the synthesis of the DNA so that 12:42:44
5 they may have come up with a better scheme.

6 Q. And was that the scheme that succeeded in
7 cloning the erythropoietin gene?

8 A. Yes.

9 MS. FISHMAN: Objection; vague and 12:42:58
10 ambiguous. You've got to let me interpose my
11 objection.

12 THE WITNESS: Sorry.

13 Q. BY MS. CARSON: Is that a yes?

14 A. The question was, were those sequences the 12:43:11
15 ones that were used to clone the EPO gene?

16 Q. No. Was that scheme that you just referred
17 to the approach that was used to ultimately clone
18 the gene by Dr. Lin?

19 A. The approach of using a large mixture of 12:43:26
20 different synthetic oligonucleotides that could
21 encode the determined amino acid sequence from a
22 short portion of erythropoietin was the approach
23 that succeeded. And that was the first time that
24 there was success in using a very large number of, a 12:43:54
25 mixture of probes with a large number of individual

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 sequences.

2 Q. Now, were you personally involved in any of
3 the experiments to clone the erythropoietin gene?

4 A. Yes. I mean I was involved in advising on 12:44:15
5 approaches to clone the gene.

6 Q. Did you oversee any of the experimental
7 work that went into cloning the erythropoietin gene?

8 A. I heard about the work as Dr. Fu Kuen Lin
9 was doing it. I remember that I was the one who 12:44:28
10 suggested that one could screen through potential
11 clones by using oligonucleotides directed against a
12 second set of amino acid sequences from the protein,
13 and that approach was used.

14 Q. Did you ever consider as to whether or not 12:44:52
15 you should be an inventor on the Lin patents?

16 A. No.

17 Q. And why not?

18 A. Because Lin did the work, did the, you
19 know -- he was the one who did it. 12:45:26

20 Q. I'm going to ask the reporter to mark as
21 Berk Exhibit 11 a document bearing Bates stamp
22 Nos. AM-ITC 00138784 through 00138790.

23 (Deposition Exhibit No. 11 was marked for
24 identification.) 12:46:10

25 Q. BY MS. CARSON: Dr. Berk, the reporter has

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 placed before you what's been marked as Berk Exhibit

2 11. Can you tell me if you recognize this document.

3 A. Again, I don't remember seeing notes of

4 Scientific Advisory Board meetings. I may have done 12:46:25

5 so, but I don't recall now. But I do recall the

6 meetings.

7 Q. Now, this is a meeting from January 1982.

8 Correct?

9 A. Yes. January 16, 1982. 12:46:48

10 Q. Actually, I'm confused by this document

11 because then underneath it, it says February 27

12 through 28, scientific advisory board meeting. It's

13 the next one. Okay. I got it.

14 So this meeting happened in January of '81? 12:47:06

15 A. Yes.

16 Q. Under current Amgen project activity, the

17 first project listed is erythropoietin. Do you see

18 that?

19 A. Yes. 12:47:16

20 Q. And there is mention of a team consisting

21 of Japanese scientists and somebody by the name of

22 Fisher at Tulane working together on a human tumor

23 cell line which may produce EPO. Do you see that?

24 A. Yes. 12:47:30

25 Q. Do you recall who Dr. Fisher at Tulane was?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. No.

2 Q. Were you aware of efforts to clone EPO from
3 cDNA produced from mRNA in a tumor cell line?

4 A. Yes. 12:47:49

5 Q. What did you know about those efforts?

6 A. I knew that there was an effort to identify
7 a cell that produced erythropoietin at a level high
8 enough to make it possible to clone a cDNA.

9 Q. What would have been considered high enough 12:48:08
10 to make it possible to clone a cDNA?

11 A. If one succeeded in cloning a cDNA. But
12 the more messenger RNA you have to start with, the
13 easier it is.

14 Q. And do you recall if any cell lines 12:48:23
15 producing sufficient quantities of EPO mRNA were
16 found in this time frame?

17 A. I do not recall that any were found, at
18 least any were found that were available to Amgen.

19 Q. Now, you refer in your expert report -- 12:49:04

20 MS. FISHMAN: Are you done with this
21 document?

22 MS. CARSON: For the time being, yes. Give
23 me a minute. I'm finding where you refer to it.

24 Q. BY MS. CARSON: You refer in your expert 12:50:22
25 report to -- and I'm looking at Page 30.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 MS. FISHMAN: Which exhibit?

2 MS. CARSON: This is Exhibit No. -- your
3 expert report has been marked as Berk 2, I believe.

4 MS. FISHMAN: The responsive or the initial 12:50:43
5 expert report.

6 MS. CARSON: The first one is the
7 responsive one. I think the second one is the
8 actual expert report.

9 THE WITNESS: Right. That's Exhibit 8. 12:50:51

10 Q. BY MS. CARSON: Exhibit 8 is the expert
11 report, thank you. I'm looking on page, it starts
12 at, actually, Page 34. And you talk about, starting
13 at the bottom of Page 23 -- I mean bottom of Page
14 33, "before Dr. Lin's discovery, Genetics Institute 12:51:31
15 pursued multiple expression systems in parallel in
16 order to produce an in vivo biologically active
17 recombinant EPO."

18 The information that's provided in your
19 report about Genetics Institute, did you have 12:51:48
20 personal knowledge of Genetics Institute's efforts
21 to clone EPO in the 1983-1984 time frame?

22 A. I had heard that the Genetics Institute and
23 several other biotechnology companies were working
24 on trying to clone EPO. 12:52:10

25 Q. And that was in 1983-1984?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. Yes. We knew that there were other
2 companies that were working on it. Again, it was a
3 very good target.

4 Q. There is a lot of detailed information 12:52:26
5 about Genetics Institute and what they -- their
6 efforts and what they were doing in your expert
7 report that's been marked as Berk Exhibit 8. Is
8 this based on personal knowledge of what Genetics
9 Institute was doing that you had at the time in 12:52:44
10 '83-'84?

11 MS. FISHMAN: Objection; vague and
12 ambiguous as to "what."

13 Q. BY MS. CARSON: You're welcome to read
14 through what you had to say and tell me if any of 12:52:53
15 this is based on your own personal knowledge.

16 A. Most of this is based on knowledge I've
17 obtained from reading materials that have been
18 published by Genentech or patent documents submitted
19 by Genentech considerably after this period. 12:53:17

20 Q. So this information wasn't based on your
21 recollection of information that you knew in '83 or
22 '84, correct?

23 A. No. I was presenting this information to
24 point out that other groups also were uncertain 12:53:34
25 about the best way to produce biologically active

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 erythropoietin as a demonstration that there was
2 uncertainty as to how to achieve in vivo
3 biologically active erythropoietin.

4 Q. Genetics Institute was also pursuing 12:53:54
5 expression in mammalian cells, correct?

6 A. Yes.

7 Q. So is it your opinion that in 1983-1984
8 time frame, mammalian cell was not an obvious choice
9 of cell line to use to express a cloned mammalian 12:54:44
10 protein?

11 MS. FISHMAN: Objection; mischaracterizes
12 testimony and lacks foundation. You can answer.

13 THE WITNESS: Well, it's my opinion that
14 there was considerable question as to whether it 12:54:59
15 would be possible to express a biologically active
16 erythropoietin in any cultured cell.

17 Q. BY MS. CARSON: But that wasn't my
18 question. My question was whether or not in the
19 1983-1984 period, time frame, whether it's your 12:55:17
20 opinion that mammalian cells were not an obvious
21 choice of host cell to use to express a cloned
22 mammalian protein?

23 A. That they were not an obvious choice?

24 Q. Yes. 12:55:29

25 A. I would say it was a very reasonable choice

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 details of how the assay is performed. I depended
2 on the experts who were doing the assays to tell me
3 whether there was detectable activity.

4 Q. BY MS. CARSON: When you say you relied on 02:16:19
5 the experts who were doing the assays to tell you
6 whether there was detectable activity, what do you
7 mean?

8 A. I mean Dr. Joan Egrie. I had reports from
9 her directly or indirectly about the in vivo 02:16:34
10 biological activity.

11 Q. So those were reports that indicated that
12 Dr. Egrie had obtained biologically active in vivo
13 erythropoietin, correct?

14 A. Yes. You know, except for instances where 02:16:52
15 the material was not biologically active. For
16 example, the erythropoietin that had been
17 deglycosated in vitro.

18 Q. But in reviewing that material, did you
19 obtain an understanding as to how small amount of 02:17:13
20 active material could be detected by the Cotes
21 assay? Let me rephrase that. In reviewing that
22 material, did you obtain an understanding as to how
23 low of an activity material could be detected in
24 that Cotes assay? 02:17:33

25 A. I don't know the limitations of detection

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 in that assay.

2 Q. How can you conclude that one of skill in
3 the art would not have any reasonable expectation of
4 producing biologically active erythropoietin as 02:17:47
5 measured by this assay if you don't know what the
6 lower level of detection of the assay is?

7 MS. FISHMAN: Objection; mischaracterizes
8 testimony. Lacks foundation. You can answer.

9 THE WITNESS: Okay. The concern was 02:18:02
10 whether there would be abnormal glycosylation that
11 would greatly diminish the biological activity of
12 the erythropoietin. And so that was something that
13 could be assayed by this in vivo activity. One
14 could either say yes, one saw activity or if it was, 02:18:23
15 if there was a lower activity, then the lowest level
16 of detection that one did not detect activity.

17 Q. BY MS. CARSON: I understand that it's your
18 testimony that the concern was that there would be
19 abnormal glycosylation that would greatly diminish 02:18:39
20 the biological activity. But my question is, was
21 there expectation that there would be no biological
22 activity of the material that was
23 recombinantly-produced in the mammalian host cells?

24 A. Well, there was not the expectation that 02:18:55
25 there would be no biological activity. We were

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 hoping that there would be biological activity. We
2 simply didn't have any way of being able to predict
3 in advance whether there would be biological
4 activity. 02:19:10

5 Q. So you couldn't predict in advance whether
6 there would be any biological activity whatsoever in
7 this material that was expressed from mammalian host
8 cells; is that correct?

9 MS. FISHMAN: Vague and ambiguous. Sorry. 02:19:28
10 Objection; vague and ambiguous. Do you mean in vivo
11 biological activity?

12 MS. CARSON: In vivo biological activity is
13 what we're talking about. Do you want me to
14 rephrase? 02:19:41

15 THE WITNESS: Yeah. I mean there was an
16 assay for in vivo biological activity that we could
17 apply and we could see if a sample had no activity
18 in that assay or a measurable level of activity in
19 that assay. 02:19:53

20 Q. BY MS. CARSON: And my question is, is it
21 your opinion that there was no expectation that
22 there would be any measurable activity using that
23 assay in recombinant erythropoietin produced in
24 mammalian host cells? 02:20:14

25 A. And I answer again that it's not that we

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 had no expectation that we would produce protein
2 with in vivo biological activity. We certainly
3 hoped that we would detect in vivo biological
4 activity, but there was no basis on which we could 02:20:35
5 say with any reasonable certainty that we would
6 expect biological activity and there were some
7 reasons to believe that we would not. I mean the
8 preponderance of evidence was that it wasn't going
9 to be straightforward to obtain this activity in 02:20:54
10 cultured mammalian cells, but that it might well
11 take a great deal of experimentation to find the
12 proper conditions to get in vivo biological
13 activity.

14 Q. When you say "a great deal of 02:21:07
15 experimentation," what type of experimentation are
16 you referring to?

17 A. Experimenting with production in different
18 cell lines and experimenting with the level of
19 production. 02:21:23

20 Q. Now, you said that it's not that you had --
21 that one would have no expectation that protein with
22 in vivo biological activity would be produced. So
23 there was some expectation that protein with in vivo
24 biological activity would be produced, correct? 02:21:47

25 A. Yes. We hoped that there would be. Yes.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. So there was some expectation that it would
2 be produced, correct?

3 MS. FISHMAN: Objection; mischaracterizes
4 testimony. You can answer. 02:21:58

5 THE WITNESS: Okay. Yes, there was some
6 expectation. If there had been no expectation, then
7 one wouldn't bother to do the experiment. It's work
8 and expense.

9 Q. BY MS. CARSON: Now, you said experimenting 02:22:15
10 with production in different cell lines. What types
11 of cell lines are you referring to?

12 A. Various kinds of cultured mammalian cells
13 and not necessarily only cell lines.

14 Q. And you also referred to experimenting with 02:22:46
15 the level of production. What are you referring to
16 there?

17 A. Well, this gets to the concern that very
18 high level expression might overtax the capacity of
19 the cell to glycosylate the proteins, so that if the 02:23:03
20 proteins were expressed at very high level, they
21 wouldn't be properly glycosylated and wouldn't have
22 in vivo biological activity, but if they had been
23 expressed at lower level, then the same cells might
24 be able to. That was the thinking at the time. 02:23:17

25 Q. The high level expression, what do you

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 consider to be high level expression?

2 MS. FISHMAN: Objection; asked and
3 answered. You can answer.

4 THE WITNESS: Okay. Again, generally, when 02:23:34
5 I consider production of a recombinant protein in
6 any system, in any cell, I consider that I've gotten
7 significant production when I can see a band of that
8 protein on an SDS polyacrylamide gel stained with
9 coomasie blue. So it's C-O-O-M-A-S-I-E, blue. 02:24:01

10 And there' my phone doing it's trick.

11 So generally, when you have about one
12 percent of the level of protein in a single
13 polypeptide chain, you can detect that as a band
14 that stands out on the gel above the other proteins. 02:24:17
15 And so that's just a rough ballpark estimate of what
16 I think of as high level expression.

17 Q. BY MS. CARSON: Now, if you could direct
18 your attention, we had marked the whole series of
19 Amgen's asserted patents in this case, starting with 02:24:42
20 Berk Exhibit 2 and that goes through Berk Exhibit 6.
21 I think that we looked at the claims of the 349
22 patent which is Berk Exhibit 3 and you pointed out
23 that those cells, those claims specify a particular
24 expression level. Correct? 02:25:15

25 A. Expression level, yes, as measured by mass

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 much more less likely to be able to produce it in a
2 particular cell line that you would name. After
3 having made it in COS cells, at least one would know
4 that it is possible to produce in vivo biological 02:39:37
5 activity in cultured cells and so if it worked in
6 COS cells, it might work in other cells. But one
7 could not determine -- one could not predict whether
8 it would work in other mammalian cells until trying
9 it. I think that that's the case for virtually 02:39:52
10 every cell line; even today, one would not know
11 until one had tried it.

12 Q. This unpredictability that we've been
13 talking about or lack of certainty all stem from the
14 variation in the glycosylation on -- let me see if I 02:40:30
15 can find the term that you used -- on distal
16 saccharides?

17 MS. FISHMAN: Objection; lacks foundation.
18 You can answer.

19 THE WITNESS: Well, we're concerned about 02:40:44
20 effects of differences in the oligosaccharides and
21 the polysaccharides attached to the erythropoietin
22 produced in the non-hemologous cell line compared to
23 the normal in vivo hemologous cell that the protein
24 is produced in. We were concerned that those 02:41:10
25 differences would affect biological activity.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. BY MS. CARSON: Now, in terms of the -- in
2 terms of the core oligosaccharides, could you
3 predict that those would likely be the same when the
4 protein EPO was produced in COS cells versus CHO 02:41:30
5 cells?

6 A. Yes, the core oligosaccharides are the same
7 in all vertebrates, I believe, I think in all
8 eukaryotes, but certainly in fungi and humans, the
9 original high mannose sugar or carbohydrate 02:41:52
10 structure that is added initially before it's
11 modified is very similar among all organisms, I
12 believe. But I'm not certain of that. It's
13 certainly very similar among all vertebrates.

14 Q. Is there some term that's applied to 02:42:11
15 describe that universal phenomenon of core
16 oligosaccharides? Like there is the central dogma.
17 Is there something that they use in glycobiology to
18 describe that, the fact that the core
19 oligosaccharides are conserved between vertebrate 02:42:32
20 cells?

21 A. Yes. They're just referred to as the high
22 mannose, and you know, initial core oligosaccharides
23 that are transferred from a particular lipid carrier
24 to the polypeptide chain, I think it's lipoic acid, 02:42:51
25 but I'd have to double check that. But that basic

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 chemistry, that basic enzymology is the same in all
2 eukaryotes.

3 Q. Is there any particular group of scientists
4 who established that the basic enzymology of those 02:43:11
5 core oligosaccharides was shared across vertebrate
6 cells?

7 A. Yeah. What's his name, Kornfeld, Stuart
8 Kornfeld at Washington University was one of the
9 leaders in this area. 02:43:30

10 Q. Was Dr. Kornfeld on the Amgen Scientific
11 Advisory Board?

12 A. No.

13 Q. So now to go back to what my original
14 question was. It was recognized prior to 1983 that 02:43:58
15 all vertebrate cells shared these core
16 oligosaccharides, correct?

17 A. Yes.

18 Q. And so the unpredictability that you've
19 referred to was based on whether or not the 02:44:12
20 olygosaccharides, the sugars outside of the core
21 olygosaccharides would have an impact, correct?

22 A. Well, whether the final structure of the
23 complete glycoprotein would have a significant
24 impact. They're not simply added onto the core high 02:44:31
25 mannose oligosaccharide. Parts of that are removed

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 and then there are additions to it.

2 Q. I'm going to ask the reporter to mark as
3 Berk Exhibit 13 a document bearing Bates stamp
4 Nos. AM-ITC 00347087 through 00347275.

02:46:08

5 (Deposition Exhibit No. 13 was marked for
6 identification.)

7 Q. BY MS. CARSON: Dr. Berk, the reporter has
8 placed before you what's been marked as Berk Exhibit
9 13. This is a series of pages of documents produced
10 by Amgen in this litigation. And the first pages
11 that are 087 through 093, actually, through 094, I
12 believe that you referred to this in your expert
13 report?

02:46:32

14 MS. FISHMAN: Document speaks for itself.
15 You can answer.

02:46:57

16 THE WITNESS: Are you waiting for an answer
17 from me this time?

18 MS. FISHMAN: She is.

19 THE WITNESS: You're waiting for an answer?

02:47:12

20 Q. BY MS. CARSON: Yes.

21 A. I don't recall this specific table. So I'm
22 not sure which -- I believe that I did refer in the
23 report to some of these dates. But I don't recall
24 seeing this particular table.

02:47:33

25 Q. If you could direct your attention to Bates

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 page 00347128 in this document.

2 A. 347128. All right.

3 Q. If you could read through the first

4 paragraph of Page 128 to yourself. It's after the 02:48:19

5 list of people who were in attendance at the

6 meeting.

7 MS. FISHMAN: Can you lay a foundation for

8 this document, this witness?

9 MS. CARSON: I ask him to read it first. 02:50:06

10 THE WITNESS: All right.

11 Q. BY MS. CARSON: Do you know what

12 erythropoietin alpha is?

13 MS. FISHMAN: Objection. First of all, are

14 you asking him about the document or are you asking 02:50:14

15 him aside from the document?

16 Q. BY MS. CARSON: Dr. Berk, if you don't
17 understand the question, please feel free to ask me.

18 MS. FISHMAN: Objection; document speaks
19 for itself and you haven't laid a foundation this 02:50:25

20 witness knows anything about this document.

21 Q. BY MS. CARSON: Do you know what

22 erythropoietin alpha is?

23 A. Erythropoietin alpha and beta were two very

24 closely-related forms of erythropoietin that could 02:50:42

25 be isolated from the human urinary material. There

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 is only a single erythropoietin gene, so they must
2 be due to different modifications of the single
3 polypeptide.

4 Q. And prior to 1983-1984, were scientists 02:51:12
5 aware of two forms of erythropoietin, alpha and
6 beta?

7 MS. FISHMAN: Objection; calls for
8 speculation. You can answer.

9 THE WITNESS: I don't know when the first 02:51:25
10 reports of these two different forms that could be
11 separated by SDS gels was made.

12 Q. BY MS. CARSON: Do you know whether or not
13 erythropoietin -- do you know what the difference is
14 between erythropoietin alpha and erythropoietin 02:51:42
15 beta?

16 A. I don't know what the difference is. It's
17 probably something that's been determined in greater
18 detail subsequently. I think at the time the
19 thinking was that it was due to differences in 02:51:56
20 glycosylation.

21 Q. And was it known that both erythropoietin
22 alpha and erythropoietin beta had biological
23 activity in vivo?

24 MS. FISHMAN: Objection; lacks foundation. 02:52:09
25 You can answer.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 THE WITNESS: I don't know the answer.

2 Q. BY MS. CARSON: Now, if you could direct
3 your attention to the EPO time line that's at the
4 beginning of this document, it starts on Bates page 02:52:52
5 ending in 087.

6 A. Yes.

7 Q. On page ending in 092 -- actually, it
8 starts on page ending in 091.

9 A. Yes. 02:53:21

10 Q. There is an entry next to the last entry on
11 that page that says "human erythropoietin expressed
12 in COS cells." Do you see that?

13 A. Yes.

14 Q. If you could look at Berk Exhibit 1, the 02:53:49
15 demonstrative that was attached.

16 A. Yes.

17 Q. Now, that is -- is that the date that
18 corresponds to your entry on your time line at
19 January 1984, EPO gene expressed in mammalian cells? 02:54:21

20 A. Again, what is the specific question?

21 Q. Well, in this time line document, okay,
22 there is an entry that says January 10 through 17,
23 1984, human EPO expressed in COS cells using human
24 genomic DNA and then it cites a series of support. 02:54:43
25 I'm asking you if that is the date that you're

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 referring to on your demonstrative that was attached
2 to Berk Exhibit 1, the box that says EPO gene
3 expressed in mammalian cells January 1984?

4 A. I don't know if it's referring to this 02:55:05
5 specific experiment. It's certainly in the same
6 time frame. Again, EPO was also expressed in 293
7 cells. But -- it may have been at a similar time
8 frame.

9 Q. So you don't know what you are referring to 02:55:28
10 in Berk Exhibit 1 demonstrative when you say
11 demonstration or -- where you say EPO gene expressed
12 in mammalian cells?

13 MS. FISHMAN: Objection; mischaracterizes
14 testimony. You can answer. 02:55:43

15 THE WITNESS: No. I know that that time
16 period, EPO was expressed in mammalian cells. I
17 haven't reviewed the documents that prove it. You
18 know, I have depended on these earlier documents
19 that recorded that date. I remember it from that 02:56:01
20 period, and so -- and I know that at about the same
21 time, it was expressed both in COS cells and 293
22 cells.

23 Q. BY MS. CARSON: And when you say those
24 earlier documents that recorded that date, footnote 02:56:16
25 No. 4 refers to an interference?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. It references footnote No. 4, yes.

2 Q. And footnote No. 4 refers to an
3 interference decision, correct?

4 A. Well, again, I'm not the lawyer. And that, 02:56:39
5 I would guess that from what is written here, but
6 I'm not exactly certain of the meaning of the term.

7 Q. So you really don't know what information
8 underlies this demonstrative?

9 A. Well, I do. 02:56:51

10 MS. FISHMAN: Objection; mischaracterizes
11 his testimony. You can answer.

12 THE WITNESS: Well, I do because I was
13 there at the time. I remember these events, and so
14 I was happy to accept that there was evidence 02:57:06
15 demonstrating that this was the date of the
16 demonstration.

17 Q. BY MS. CARSON: So you're relying on your
18 personal knowledge for the dates on this time line,
19 correct? 02:57:20

20 MS. FISHMAN: Objection; mischaracterizes
21 testimony.

22 THE WITNESS: No. I mean I'm relying on
23 the dates that were taken from material with the
24 indicated references. But I am relying on the 02:57:40
25 people who gave me those references and those dates

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 that they are correct and that they have been
2 substantiated; that these conclusions or this
3 history has been substantiated.

4 Q. BY MS. CARSON: You're relying on the 02:58:00
5 lawyers for this demonstrative, correct?

6 MS. FISHMAN: Objection; mischaracterizes
7 testimony. You want to take him to his report, it's
8 laid out in the report, Pat. I'm not sure what your
9 questioning here is all about. 02:58:14

10 Q. BY MS. CARSON: You can answer the
11 question, Dr. Berk.

12 A. I'm relying on my recollection of the
13 events and, for the precise dates, I'm relying on
14 the dates that were given to me in materials by a 02:58:39
15 number of different people, all having to do with
16 this case.

17 MS. FISHMAN: Do you want to take a break?

18 MS. CARSON: We can keep going if you like.

19 MS. FISHMAN: Just looks like you wanted to 02:59:10
20 take a break.

21 MS. CARSON: I'm fine. We can continue.

22 Q. BY MS. CARSON: Dr. Berk, you've referred
23 several times to the fact that you were involved
24 with this project from its inception. Correct? 02:59:29

25 A. Yes.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. And do you know when Dr. Lin obtained his
2 first patent relating to his cloning of the
3 erythropoietin gene?

4 A. I would have to look at the patent to 02:59:47
5 determine the date.

6 Q. If you could direct your attention to Berk
7 Exhibit 7.

8 MS. FISHMAN: Are we done with Berk Exhibit
9 13? Pat, can we go off the record. 03:00:35

10 MS. CARSON: Sure.

11 THE VIDEOGRAPHER: We're going off the
12 record at 3:00 P.M.

13 (Brief interruption.)

14 THE VIDEOGRAPHER: We're back on the record 03:01:57
15 at 3:01 P.M.

16 Q. BY MS. CARSON: Before we went off the
17 record, I believe I asked you to look at Berk
18 Exhibit 7, which is the first issued of Dr. Lin's
19 patents relating to his work cloning the EPO gene. 03:02:13
20 Does that refresh your recollection as to when that
21 patent issued?

22 A. Is the date of patent listed at the top
23 equivalent to the date of patent issue?

24 Q. Yes. 03:02:30

25 A. Well, then yes, it refreshes my memory.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. Just my knowledge about what was known at
2 the time and where, where I would expect a second
3 year post-doc to know of the literature.

4 Q. So in your view, you, as one of 04:59:34
5 extraordinary skill in the art, would have chosen
6 mammalian cells, but the rest of the skilled
7 practitioners in the art knowing that they wanted to
8 obtain an in vivo biologically active erythropoietin
9 would not have known to choose mammalian cells; is 04:59:52
10 that correct?

11 MS. FISHMAN: Objection; mischaracterizes
12 testimony.

13 THE WITNESS: It's not that they wouldn't
14 have known to choose mammalian cells or to try 05:00:00
15 mammalian cells. But they thought that it might be
16 possible to get biologically active material from
17 E.coli or yeast, and so they wanted to try those as
18 well, and then find the best source for in vivo
19 biologically active material. 05:00:19

20 Q. BY MS. CARSON: But you knew at the time
21 that it wouldn't be possible to get biologically
22 active material from E.coli or yeast. Is that
23 correct?

24 MS. FISHMAN: Objection; misstates 05:00:31
25 testimony. Lacks foundation.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 THE WITNESS: All right. We knew that
2 there was this report from Goldwasser that
3 deglycosylation of the human urinary EPO eliminated
4 its biological activity. But that was a single 05:00:51
5 report and there was a possibility that the reason
6 for the loss of the in vivo biological activity was
7 due to some other consequence besides the removal of
8 the sugar groups that wasn't known, and so because
9 of that, there was the hope that it might be 05:01:10
10 possible to express in vivo biologically active
11 material from E.coli, so that's why they went ahead
12 with trying to do that. They were never successful.

13 There was also the possibility that the
14 protein produced in yeast might be able to have the 05:01:31
15 carbohydrates removed and have biological activity
16 because the, the result from Goldwasser and Margaret
17 Dordal was again a single result, so it was possible
18 that that conclusion was incorrect.

19 Q. BY MS. CARSON: But isn't it true that the 05:01:57
20 first cell type that Dr. Lin expressed human
21 erythropoietin in was mammalian cell?

22 A. No. It was expressed in E.coli, I believe,
23 first.

24 Q. It was expressed in E.coli first? 05:02:13

25 A. Yes.

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 Q. And it's your view that he expressed it in
2 E.coli because he had the hope that it would be
3 biologically active expressed in E.coli?

4 A. Yes. 05:02:32

5 Q. And what is that based on?

6 A. What is my conclusion based on?

7 Q. Yes.

8 A. I mean it was his goal to get biologically
9 active erythropoietin. And he was therefore trying 05:02:47
10 to express it and, as the head of the team there
11 were attempts to express it in these three very
12 different kinds of host cells in order to see which
13 one of them would give good in vivo biologically
14 active material. 05:03:04

15 So the available results argued that E.coli
16 would not be able to produce biologically active
17 material. But it was possible that the conclusion
18 was wrong and, obviously, if it had been observed,
19 then it would have disproven the other earlier 05:03:22
20 conclusion.

21 MS. CARSON: Why don't we take a
22 five-minute break.

23 THE VIDEOGRAPHER: We're going off the
24 record at 5:04 P.M. 05:13:47

25 (Recess taken.)

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 THE VIDEOGRAPHER: We're back on the record
2 at 5:14 P.M.

3 Q. BY MS. CARSON: Dr. Berk, if you could
4 direct your attention to what was marked as Exhibit 05:14:42
5 13.

6 A. Here we go.

7 Q. And looking at the Bates page, I'm in the
8 section that's entitled EPO time table on the first
9 page. 05:15:38

10 A. Yes.

11 Q. And if you could direct your attention to
12 the page ending in 091, it's the big number at the
13 bottom of the page right-hand side.

14 A. Yes. 05:15:50

15 Q. It indicates on this time table, January 10
16 through 17, 1984, "human EPO expressed in COS cells
17 using human genomic DNA." Do you see that?

18 A. What was the date? January 10th, yes.

19 Q. Yeah. And I think we discussed that entry 05:16:12

20 before. Then if you could turn to the next page,
21 there is an entry at March 1st, 1984 where it says
22 "in vivo biological assay of recombinant human EPO."
23 Do you see that?

24 A. Yes. 05:16:40

25 Q. And then the next entry, and that's dated

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 March 1st, 1984, correct?

2 A. Yes.

3 Q. And I believe that that date is also on
4 your demonstrative time line that was attached to 05:17:00
5 your Responsive Expert Report that's been marked as
6 Berk Exhibit 1?

7 A. Yes. We just marked it, March.

8 Q. Right. So based on these documents
9 produced by Amgen, is it your understanding that the 05:17:21
10 first human EPO that was expressed was actually
11 expressed in COS cells and was determined to be in
12 vivo biologically active by March 1st, 1984?

13 A. According to the dates here. I knew it was
14 in early March. I didn't realize it was March 1st. 05:17:48

15 Q. And then if you look down further on the
16 page, it ends in 092, you'll see a date, May 16,
17 1984.

18 A. Yes.

19 Q. And there it says "human EPO expressed in 05:18:00
20 E.coli." Do you see that?

21 A. Yes.

22 Q. So I believe you testified that Dr. Lin
23 first expressed in E.coli and then changed to COS
24 cells. Do you wish to change your testimony? 05:19:16

25 A. Well, according to this, it does appear

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 that at least the first time it's listed in this
2 table is May 16.

3 Q. And I'm going to ask the reporter to mark
4 as Berk Exhibit 21 a copy of Dr. Lin's testimony 05:19:41
5 taken in this litigation dated March 28, 2007.

6 (Deposition Exhibit No. 21 was marked for
7 identification.)

8 Q. BY MS. CARSON: If you could direct your
9 attention to page -- these are done four per page in 05:20:30
10 this document, so if you could go to Page 50 or the
11 page that has Page 50 on it. And if you could just
12 read through pages 50, 51, 52 and 53 that are all on
13 that one page to yourself.

14 A. All right. All right. 05:23:51

15 Q. Having now read Dr. Lin's testimony, does
16 that indicate to you that in fact the first cell
17 type that Dr. Lin cloned the EPO gene in was a COS
18 cell?

19 A. Well, no. The first cell type in which he 05:24:08
20 expressed the EPO polypeptide was a COS cell. I
21 mean in fact, gene was originally cloned in E.coli,
22 but they didn't make any attempt to test for
23 expression in E.coli apparently.

24 Q. So why would the gene have been cloned in 05:24:24
25 E.coli?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. Well, you just use E.coli cells for doing
2 cloning.

3 Q. That's just to isolate the gene itself?

4 A. Yes. 05:24:33

5 Q. And why do you -- at the time would one use
6 E.coli cells just to isolate the gene?

7 A. All the methods for doing gene isolation
8 depended on using E.coli cells.

9 Q. But the first cell type that Dr. Lin tried 05:24:50
10 to express the gene encoding human erythropoietin
11 was a COS cell, correct?

12 A. Yes. I stand corrected on that from
13 reading this testimony. I thought because there was
14 so much expertise in expressing in E.coli, and it 05:25:08
15 was a straightforward thing to do that they would
16 have done that, but apparently they did the COS cell
17 expression first.

18 Q. It was in the COS cells that they
19 demonstrated that it was in vivo biologically 05:25:21
20 active, correct?

21 A. Correct.

22 Q. And then according to the time line,
23 several months after that, it was, was when Dr. Lin
24 attempted to express the human erythropoietin in 05:25:39
25 E.coli, correct?

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 A. According to the time line. Where did the
2 time line go. Here, yes.

3 Q. That was Exhibit 13.

4 A. It was not until -- we just found it 05:26:00
5 before.

6 Q. I think it's on the page that ends in 092.
7 It's the fourth entry up from the bottom.

8 A. Right. So that was May 16.

9 Q. Okay. So it was actually a couple of 05:26:19
10 months after he knew that he had expressed
11 biologically active erythropoietin in COS cells that
12 he tried to express human erythropoietin in E.coli
13 cells, correct?

14 A. The first expression in COS cells was just 05:26:38
15 detected by radioimmunoassay which wasn't an assay
16 for in vivo biological activity.

17 Q. Right.

18 A. That wasn't done until later. So I'm
19 trying to check the dates. Right. So it says that 05:27:01
20 March 1st was the in vivo biological assay.

21 Q. And then a couple of months after that,
22 Dr. Lin went on to express the human EPO in E.coli,
23 correct?

24 A. Yes. 05:27:13

25 Q. Do you have any understanding why he would

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 have then gone to attempting to express the human
2 erythropoietin in E.coli when he knew he had a
3 biologically active product from COS cells?

4 A. At that time in particular, if it had been 05:27:30
5 possible to express biologically active protein in
6 E.coli, it would have been preferable to expressing
7 it in mammalian cells.

8 Q. And why is that?

9 A. There was much more experience with protein 05:27:44
10 expression in E.coli. The science was much more
11 highly developed. One could make an even higher
12 percentage of the total protein, the protein of
13 interest in E.coli, and then also there was concern

14 at that time about the possible contamination of 05:28:05
15 mammalian cell cultures with human pathogens, and so
16 there was that -- that caused the FDA to scrutinize
17 products made in human cells or mammalian --
18 cultured mammalian cells much more extensively than

19 products made in E.coli. In fact, they were still 05:28:29
20 working out the rules for products made in mammalian
21 cells.

22 Q. It wasn't the uncertainty as to whether or
23 not he could obtain a biologically active protein in
24 mammalian cells that led him to also use E.coli, but 05:28:47
25 it was just the industrial advantages that were

Berk, M.D., Arnold J.
CONFIDENTIAL

6/7/2007

1 known to be associated with E.coli. Isn't that
2 correct?

3 A. Yes. The main reason was to determine if
4 it could have been produced in biologically active 05:29:06
5 form in E.coli, right, for those practical reasons
6 that we discussed. That would have been the reason
7 for making it in E.coli. E.coli would have been
8 much easier to scale up very rapidly, to produce a
9 product sooner if it could have been made in E.coli. 05:29:28

10 Q. Okay. I'm going to ask the reporter to
11 mark as Exhibit 22, Berk Exhibit 22, a letter to me
12 from Deborah Fishman received on June 6, 2007.

13 (Deposition Exhibit No. 22 was marked for
14 identification.) 05:30:26

15 Q. BY MS. CARSON: Dr. Berk, I received this
16 letter with corrections to your May 11 expert
17 report. And did you ask to have these corrections
18 made?

19 A. Yes. 05:30:46

20 Q. If you could turn to paragraph 58.

21 A. In the -- in No. 8 or No. 1.

22 Q. Yeah. In Berk No. 8.

23 A. Paragraph 51?

24 Q. 58. 05:31:27

25 A. Yes.