

# **EXHIBIT 26**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
DISTRICT OF MASSACHUSETTS  
EASTERN DIVISION  
Civil Action No. 09-cv-11813-DPW

-----  
RED BEND LTD., and :  
RED BEND SOFTWARE INC., :  
Plaintiff, :  
vs. :  
GOOGLE INC., :  
Defendant. :  
-----:

DEPOSITION OF:  
STEPHEN A. EDWARDS

TRANSCRIPT of testimony as taken by and  
before PATRICIA A. SANDS, a Shorthand Reporter  
and Notary Public of the States of New York and  
New Jersey, at the offices of BAKER BOTTS LLP,  
30 Rockefeller Plaza, New York, New York, on  
Tuesday, February 9, 2010, commencing at 9:21  
in the forenoon.

2	<p>1 APPEARANCES:</p> <p>2</p> <p>3 BAKER BOTTS LLP 30 Rockefeller Plaza 4 New York, New York 10112 BY: ROBERT C. SCHEINFELD, ESQ. 5 ELIOT D. WILLIAMS, ESQ. For the Plaintiff 6 212 408-2563 robert.scheinfeld@bakerbotts.com</p> <p>7</p> <p>8 BINGHAM McCUTCHEEN LLP 2020 K Street, NW 9 Washington, DC 20006 BY: ROBERT C. BERTIN, ESQ. 10 Emily Bernstein, Paralegal (NY) For the Defendant 11 202 373-6672 r.bertin@bingham.com</p> <p>12</p> <p>13</p> <p>14</p> <p>15 ALSO PRESENT: 16 Daniel McClutchy, Videographer 17 Martin Walker, Google consultant 18 Chester Day, Esq., Google 19 20 21 22 23 24 25</p>	4
3	<p>1</p> <p>2 INDEX</p> <p>3 WITNESS EXAMINATION</p> <p>4 STEPHEN A. EDWARDS</p> <p>5 Mr. Bertin 6, 265</p> <p>6 Mr. Scheinfeld 239</p> <p>7</p> <p>8 EXHIBITS</p> <p>9 NUMBER DESCRIPTION PAGE</p> <p>10 GOOGLE</p> <p>11</p> <p>12 1 Declaration 7</p> <p>13 2 E-mail 17</p> <p>14 3 E-mail string 17</p> <p>15 4 Timesheet 23</p> <p>16 5 Engagement letter 25</p> <p>17 6 '552 patent 81</p> <p>18 7 Chromium projects 164</p> <p>19 8 Blog 164</p> <p>20 9 Code 197</p> <p>21 10 Disassembly 203</p> <p>22 11 Re FOTA 223</p> <p>23 12 '713 patent 229</p> <p>24</p> <p>25</p>	5
2	<p>1 EXHIBITS, continued</p> <p>2 NUMBER DESCRIPTION PAGE</p> <p>3 RED BEND</p> <p>4</p> <p>5 1 Patent application 246</p> <p>6 2 Code file 250</p> <p>7 3 Code notes file 250</p> <p>8</p> <p>9</p> <p>10</p> <p>11</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	4
3	<p>1 STEPHEN A. EDWARDS,</p> <p>2 90 Morningside Drive, #2F New York, New York 10027, 3 having been sworn, was examined and testified as follows:</p> <p>4</p> <p>5</p> <p>6 THE VIDEO OPERATOR: One moment.</p> <p>7 Good morning, my name is Daniel 8 McClutchy of Veritext Corporate Services. 9 The date today is February 9, 2010, and 10 the time is approximately 9:21 a.m. This 11 deposition is being held at the office of 12 Baker Botts, located at 30 Rockefeller 13 Plaza, New York, New York. The caption of 14 this case is Red Bend, LTD, and Red Bend 15 Software, Inc. versus Google, Inc. in the 16 United States District Court, District of 17 Massachusetts, Eastern Division, Civil 18 Action number 09-cv-11813-DPW. 19 The name of the witness is Stephen 20 Edwards. 21 At this time the attorneys will 22 identify themselves and the parties they 23 represent, after which our court reporter, 24 Patricia Sands of Veritext, will swear in 25 the witness and we can proceed.</p>	5

6	<p>1 MR. BERTIN: Robert Bertin for 2 Google, and I'm with Bingham McCutchen. 3 MR. SCHEINFELD: You want to note the 4 other appearances on the record? 5 MR. BERTIN: Yes, everybody can just 6 state their own appearances. 7 MS. BERNSTEIN: Emily Bernstein, 8 paralegal, Bingham McCutchen. 9 MR. WALKER: Martin Walker, expert 10 for Google. 11 MR. DAY: Chester Day, Associate 12 Litigation Counsel for Google. 13 MR. SCHEINFELD: Okay, and I'm Rob 14 Scheinfeld, Baker Botts, for Red Bend 15 Software and Red Bend, LTD. 16 MR. WILLIAMS: Eliot Williams, also 17 of Baker Botts. 18 --- 19 Witness sworn 20 --- 20 DIRECT EXAMINATION 21 BY MR. BERTIN: 22 Q Okay, good morning, Dr. Edwards, 23 thank for attending today's deposition. 24 Have you ever had your deposition taken 25 before?</p>	8	<p>1 familiar to you? 2 A Yes. 3 Q And, ah -- I'm sorry. Okay, and what 4 is this document? 5 A As the title says, "Declaration of 6 Stephen A. Edwards in Support of Plaintiffs' 7 Motion for a Preliminary Injunction Enjoining 8 Google's Infringement". 9 Q Okay. And I want to refer you to the 10 page marked SE39. That's probably about 12 11 pages in or so. 12 A (Referring to document.) Okay. 13 Q And this appears to be between pages 14 SE39 and roughly SE00061, your CV. And I just 15 want to have you confirm that this is a true 16 and accurate and current copy of your CV. 17 A Let's see. It's certainly true and 18 accurate. I can't remember whether I have 19 updated it since November 5th. 20 Q Okay. 21 A Of 2009. There may have been one or 22 two little additions. 23 Q Okay, let's see. And I see from your 24 background it looks like your experience begins 25 around 1990 working with Microsoft; is that</p>
7	<p>1 A I have not had a deposition taken, 2 no. 3 Q Okay. So it's fairly, ah, fairly 4 simple. I'm going to ask you a series of 5 questions, and it's important for you to answer 6 fully and completely, and without gesturing, 7 because the court reporter has to transcribe 8 your verbal response to the, ah, to the 9 questions. 10 If you need a break at any time to use the 11 bathroom or anything else, just let me know and 12 we will go off the record so you can take care 13 of that. I would ask that we not take a break 14 while a question is pending. 15 Does that sound good? 16 A Sure. 17 Q Okay, great. 18 MR. BERTIN: Let's see, I'm going to 19 go ahead and mark your declaration as 20 Google Exhibit 1 and present that to you. 21 THE WITNESS: Okay. 22 (Exhibit 1 marked for 23 identification.) 24 BY MR. BERTIN: 25 Q Okay, Doctor, does this document look</p>	9	<p>1 correct? 2 A Well, let's see. It depends on how 3 you define "experience". I have been 4 programming avidly since probably about 1982 5 or '83. 6 Q Okay. 7 A And was actually getting paid for it 8 as early as probably '84 or '85, something like 9 that. 10 Q Okay. 11 A But, yeah, I would say professional 12 experience starting in 1990 is a fair -- 13 Q Okay. 14 A -- fair assessment. 15 Q Okay. And why is it that the earlier 16 programming experience doesn't appear on here? 17 Is it because you decided to cut it off 18 somewhere? 19 A Yeah. Yeah, exactly. At some point 20 when you're 40 years old it's not really worth 21 talking about what you were doing when you 22 were 12. 23 Q All right, okay. And then in terms 24 of the Synopsis experience, which appears to 25 end around 2001 --</p>

10	<p>1 A Uhm hmm.</p> <p>2 Q Is that the last experience that you</p> <p>3 had working for a corporation?</p> <p>4 A Yes, provided you define Columbia as</p> <p>5 not being a corporation.</p> <p>6 Q Okay. Okay, great. And were you an</p> <p>7 employee of each of the companies listed</p> <p>8 between Microsoft and Synopsys?</p> <p>9 A Ah, let's see. Yes, things like</p> <p>10 Microsoft, Vitesse, Interval and Simplex --</p> <p>11 excuse me, not Simplex, Microsoft, Vitesse and</p> <p>12 Interval, these were each summer internships</p> <p>13 that lasted roughly three months. Simplex I</p> <p>14 was an employee for a year or so, and then</p> <p>15 Synopsys, yes.</p> <p>16 Q Okay, can you describe sort of</p> <p>17 briefly what you did for Synopsys?</p> <p>18 A Yeah, I was part of their advanced</p> <p>19 research group. And we were tasked with</p> <p>20 developing new technology that would eventually</p> <p>21 make its way into products, but we were also</p> <p>22 asked to publish our findings in papers. One</p> <p>23 of the central things they worked on there was</p> <p>24 developing a compiler for the Esterel language</p> <p>25 that somebody else developed, and this compiler</p>	12
11	<p>1 eventually made its way into a product that</p> <p>2 Synopsys sold.</p> <p>3 Q Okay, now Synopsys does a lot of sort</p> <p>4 of computer-aided design.</p> <p>5 A Uhm hmm, yeah, they call themselves</p> <p>6 electronic design automation, or EDA.</p> <p>7 Q Okay. So was your work in the</p> <p>8 context of electronic design automation</p> <p>9 software?</p> <p>10 A Broadly, yes. The compiler, in</p> <p>11 particular, was just concerned with taking</p> <p>12 essentially finite state machine</p> <p>13 specifications, and synthesizing -- finite</p> <p>14 state machine specifications and synthesizing</p> <p>15 software for them. And so this was relevant to</p> <p>16 EDA, but did not involve directly, say,</p> <p>17 transistors or gates.</p> <p>18 Q Okay, so your work did not directly</p> <p>19 involve transistors or gates, although the</p> <p>20 company's work does?</p> <p>21 A That, yeah, that particular work that</p> <p>22 I described. I can't recall, there may have</p> <p>23 been one or two other little projects that were</p> <p>24 more, you know, gate or transistor centric.</p> <p>25 Q Okay. And what is Esterel?</p>	13
	<p>1 MR. SCHEINFELD: Just, I'm not going</p> <p>2 to object to the question, the court</p> <p>3 reporter is not noting the times you're</p> <p>4 saying "okay, okay" and I just want to</p> <p>5 make sure the court reporter is noting</p> <p>6 everything that's said. That's okay.</p> <p>7 (Discussion off the record.)</p> <p>8 A I can't honestly recall. I seem to</p> <p>9 remember writing a few little programs that</p> <p>10 ended up on, say, a Palm PDA or a Zaurus PDA.</p> <p>11 I can't recall necessarily.</p> <p>12 Q Okay. How about GSM software</p> <p>13 functionality, have you written any GSM type</p> <p>14 code?</p> <p>15 A This is GSM as in the cell phone</p> <p>16 network GSM?</p> <p>17 Q Whatever it means to you.</p> <p>18 A Okay, I guess not.</p> <p>19 Q Okay. And how about CDMA software</p> <p>20 code, have you written any?</p> <p>21 MR. SCHEINFELD: Objection,</p> <p>22 ambiguous. No foundation.</p> <p>23 THE WITNESS: No, I have not written</p> <p>24 any CDMA specific.</p> <p>25</p>	

14

1 BY MR. BERTIN:  
2 Q Okay. And how about have you -- have  
3 you written any software for realizing RF,  
4 radio frequency type functionality, RF for  
5 implementing radio frequency functionality in  
6 any devices?  
7 A No.  
8 Q Okay, how about browser and browser  
9 software, have you written any browser  
10 software?  
11 A Broadly construed, yes, I have looked  
12 at parsing HTML. Parsing HTML. I have not  
13 actively participated in a large browser  
14 project.  
15 Q Okay. And what was the point of  
16 parsing the HTML in the application you were  
17 working on?  
18 A I can't recall. Probably extracting  
19 data from a web page.  
20 Q Okay, so I think we've established  
21 that you have not testified before. I take it  
22 you've never been an expert witness before?  
23 A That's correct.  
24 Q Have you ever been retained by a law  
25 firm as a consulting expert in a patent case

15

1 before?  
2 A I have not.  
3 Q Have you ever construed the claims of  
4 a patent before?  
5 A Construed -- sorry, please explain.  
6 Q Are you familiar with the term  
7 "construed" in the context of the patent law?  
8 A No, I am not.  
9 Q Okay. So I take it you haven't been  
10 involved in a claim construction project where  
11 you construe claims; is that correct?  
12 MR. SCHEINFELD: Talking about before  
13 this case? Objection, ambiguous.  
14 THE WITNESS: Okay, so I believe it's  
15 listed on my CV here. I participated in a  
16 patent application, and while the content  
17 in the end, of course, was the  
18 responsibility of the lawyer involved, he  
19 was explaining to me issues about claims  
20 and how they're -- how they typ -- are  
21 typically constructed, and the intentions  
22 behind them.  
23 BY MR. BERTIN:  
24 Q Okay. How about patents  
25 infringement, have you participated in a patent

16

1 infringement analysis?  
2 A No.  
3 Q Okay.  
4 A Aside from the current case, of  
5 course.  
6 Q Okay. Okay, and do you have an  
7 understanding of what the doctrine of  
8 equivalents is in the patent infringement  
9 context, and how it's determined?  
10 A Yes.  
11 Q Okay, and can you explain -- explain  
12 that to me.  
13 A Certainly. It's in my declaration  
14 here. (Referring to document.) This is on  
15 page SE00125, it says:  
16 "My understanding is that a claim is  
17 infringed under the doctrine of equivalents if  
18 the accused system of method contains only  
19 insubstantial changes from the claims'  
20 limitations and/or performs substantially the  
21 same function, in substantially the same way,  
22 to achieve substantially the same result."  
23 Q Okay. Which paragraph are you  
24 reading from?  
25 A This is 21.

17

1 Q Paragraph 21, okay. And how about  
2 the claim construction process. Can you  
3 describe to me the steps involved in construing  
4 the claims of a patent?  
5 A Started with making an estimation of  
6 what a person of -- the sort of a person who is  
7 of ordinary skill in the art would be. And  
8 then from there, looking at certain relevant  
9 terms in the claim and throughout the patent,  
10 and deciding what appropriate interpretations  
11 of those would be to a person of ordinary skill  
12 in the context of the patent.  
13 Q Okay. And did you look at anything  
14 else, aside from the things you mentioned?  
15 A I did not look at anything else, no.  
16 Q Okay.  
17 MR. BERTIN: Okay. Okay, I want to  
18 mark another two exhibits, if that's okay.  
19 (Exhibits 2 and 3 marked for  
20 identification.)  
21 BY MR. BERTIN:  
22 Q Okay, so I want to refer you to what  
23 should be marked Google Exhibit 2.  
24 A Uh hum.  
25 Q And have you seen this document

18	<p>1 before?</p> <p>2 A I have not seen this particular</p> <p>3 sheet, but I recognize the content of it.</p> <p>4 Q Okay. And can you describe it for</p> <p>5 me?</p> <p>6 A This is the initial e-mail I received</p> <p>7 from Rob, inviting me to be an expert witness.</p> <p>8 Q Okay, and is this the first e-mail</p> <p>9 that you can recall getting?</p> <p>10 A Yes.</p> <p>11 Q Regarding the Red Bend versus Google</p> <p>12 litigation?</p> <p>13 A Yes.</p> <p>14 Q Okay. And were you familiar with the</p> <p>15 litigation prior to getting this e-mail?</p> <p>16 A This particular case, no.</p> <p>17 Q Had you ever -- had you ever heard of</p> <p>18 the Baker Botts firm before getting this</p> <p>19 e-mail?</p> <p>20 A I had not.</p> <p>21 Q Okay. Had you ever heard of Red Bend</p> <p>22 Software before getting this e-mail?</p> <p>23 A I had not.</p> <p>24 Q Did you know anyone at Red Bend</p> <p>25 Software prior to getting this e-mail?</p>	20	<p>1 compensation that's mentioned toward the bottom</p> <p>2 of the e-mail, it's a range of 200 to \$250 per</p> <p>3 hour. Is this -- is this your normal billing</p> <p>4 rate?</p> <p>5 MR. SCHEINFELD: Objection.</p> <p>6 No foundation.</p> <p>7 BY MR. BERTIN:</p> <p>8 Q Do you have a -- do you have a normal</p> <p>9 billing rate?</p> <p>10 A I don't have a normal billing rate.</p> <p>11 Q Okay. Okay.</p> <p>12 A I do not.</p> <p>13 Q So is this -- is this the first</p> <p>14 consulting project that you have undertaken as</p> <p>15 a, uhm -- since you've been employed at</p> <p>16 Columbia pursuant to which you are paid by the</p> <p>17 hour?</p> <p>18 MR. SCHEINFELD: Objection.</p> <p>19 No foundation.</p> <p>20 THE WITNESS: No.</p> <p>21 BY MR. BERTIN:</p> <p>22 Q Okay. Can you give me some examples</p> <p>23 of other stuff that you have done where you</p> <p>24 have been paid by the hour, or have -- just</p> <p>25 maybe just identify for me ones that come to</p>
19	<p>1 A No.</p> <p>2 Q Okay. And have you subsequently come</p> <p>3 to learn that you actually know somebody at Red</p> <p>4 Bend Software since getting this e-mail?</p> <p>5 MR. SCHEINFELD: Objection.</p> <p>6 Ambiguous.</p> <p>7 THE WITNESS: Okay.</p> <p>8 MR. BERTIN: Just asking the</p> <p>9 question.</p> <p>10 THE WITNESS: I have met the CEO at</p> <p>11 Baker Botts here. I have not discovered</p> <p>12 that there was somebody who I did not know</p> <p>13 was part of Red Bend who later turned out</p> <p>14 to be part of Red Bend.</p> <p>15 BY MR. BERTIN:</p> <p>16 Q Okay. Okay, great. And who is --</p> <p>17 there was a person mentioned in this e-mail,</p> <p>18 Angelos Keromytis.</p> <p>19 A Yeah, Keromytis.</p> <p>20 Q Keromytis. Who is that?</p> <p>21 A He is a colleague of mine at</p> <p>22 Columbia. He and I started at Columbia in the</p> <p>23 CS department at the same time in 2001, so we</p> <p>24 know each other fairly well.</p> <p>25 Q Okay. And there is a rate of</p>	21	<p>1 mind.</p> <p>2 A Oh, I have done a number of book</p> <p>3 reviews. This was not by the hour, this was by</p> <p>4 the job. I have been working for a financial</p> <p>5 services start-up company doing programming for</p> <p>6 them, some of that has been hourly.</p> <p>7 Q Okay. All right, and then in terms</p> <p>8 of this range, did you reach an agreement with</p> <p>9 Baker &amp; Botts on what your compensation --</p> <p>10 compensation rate would be?</p> <p>11 A Yes.</p> <p>12 Q And what is that?</p> <p>13 A 250 an hour.</p> <p>14 Q Okay. Okay, I want to refer you to</p> <p>15 Google Exhibit 3.</p> <p>16 A (Referring to document.)</p> <p>17 Q The first page of this exhibit is the</p> <p>18 same e-mail that we just looked at.</p> <p>19 A Uh hum.</p> <p>20 Q In terms of content. Would you</p> <p>21 agree?</p> <p>22 A I would agree.</p> <p>23 Q Okay. I want to refer you to SE00012</p> <p>24 also in Google 3.</p> <p>25 A Okay.</p>

22	<p>1 Q And this e-mail purports to be from</p> <p>2 an individual at Baker Botts to you, apparently</p> <p>3 setting up a meeting on November 12th at the</p> <p>4 Baker Botts office; is that correct?</p> <p>5 A Yes.</p> <p>6 Q Do you recall getting this e-mail?</p> <p>7 A Not specifically, but it seems</p> <p>8 consistent.</p> <p>9 Q Okay. It also begins by saying:</p> <p>10 "Hello Professor Edwards, thank you for</p> <p>11 taking the time to speak with us today."</p> <p>12 Do you recall a phone call that you had on</p> <p>13 or around November 9th with this person, Joseph</p> <p>14 Akalski?</p> <p>15 A Akalski.</p> <p>16 Q Thank you.</p> <p>17 A Actually, I don't remember if Joe was</p> <p>18 on the phone. I believe I spoke mostly to Rob.</p> <p>19 Q Okay.</p> <p>20 A But yes, I do recall a phone call.</p> <p>21 Q Okay. About how long were you on the</p> <p>22 phone?</p> <p>23 A I can't recall. It was not very</p> <p>24 long, perhaps a half an hour.</p> <p>25 Q Okay. And did you, in fact, meet</p>	24	<p>1 A That's correct. It's notes on what I</p> <p>2 have done and when.</p> <p>3 Q Okay.</p> <p>4 A Largely for billing purposes.</p> <p>5 Q Okay. So on this timesheet there is</p> <p>6 an entry at the top for Thursday,</p> <p>7 November 12th, and there is an initial meeting</p> <p>8 from 9 to 11.</p> <p>9 A Uh hum.</p> <p>10 Q And does that refresh your</p> <p>11 recollection about whether or not you met with</p> <p>12 Baker Botts on the 12th?</p> <p>13 A Yes.</p> <p>14 Q Of November?</p> <p>15 A That's consistent, yes.</p> <p>16 Q Okay. And did you go to their</p> <p>17 offices on the 12th?</p> <p>18 A Yes.</p> <p>19 Q Okay, and were you engaged at that</p> <p>20 point when you arrived on the 12th?</p> <p>21 A I cannot recall. I presume you're</p> <p>22 referring to an engagement letter that went</p> <p>23 back and forth, but I cannot remember the date</p> <p>24 on which I signed that. It certainly would</p> <p>25 have been discussed at that point, if not</p>
23	<p>1 with Baker Botts on November 12th?</p> <p>2 A I can't recall. There is a time</p> <p>3 sheet that I have kept, I believe you have a</p> <p>4 copy of it, that has details of all of the</p> <p>5 meetings and the hours and so forth.</p> <p>6 MR. BERTIN: Okay. Why don't we go</p> <p>7 ahead and mark the timesheet, as well.</p> <p>8 Mark this Google Exhibit 4.</p> <p>9 (Exhibit 4 marked for</p> <p>10 identification.)</p> <p>11 BY MR. BERTIN:</p> <p>12 Q So I have just presented you with</p> <p>13 Google Exhibit 4, it bears Bates number</p> <p>14 SE00206. Do you recognize this document?</p> <p>15 A Yes, these are the notes that I have</p> <p>16 taken about meetings and activities that I have</p> <p>17 had with Baker Botts.</p> <p>18 Q Okay.</p> <p>19 A In regards to this case.</p> <p>20 Q And the document appears to bear a</p> <p>21 heading "Timesheet"; is that correct?</p> <p>22 A That's right.</p> <p>23 Q Is this essentially your timesheet</p> <p>24 for working as an expert witness in the context</p> <p>25 of the Red Bend versus Google case?</p>	25	<p>1 actually signed.</p> <p>2 Q Okay.</p> <p>3 A I can't -- I can't recall.</p> <p>4 MR. BERTIN: Let's go ahead and get</p> <p>5 the engagement letter marked while we're</p> <p>6 talking. Okay.</p> <p>7 (Exhibit 5 marked for</p> <p>8 identification.)</p> <p>9 BY MR. BERTIN:</p> <p>10 Q Okay, so we've just marked as</p> <p>11 Exhibit 5, Google Exhibit 5, ah, what appears</p> <p>12 to be a letter from Baker Botts to you and</p> <p>13 signed by you, and it bears Bates numbers</p> <p>14 SE00067 and 68.</p> <p>15 So do you recognize this letter?</p> <p>16 A I do.</p> <p>17 Q And do you understand this to be your</p> <p>18 engagement letter?</p> <p>19 A I do.</p> <p>20 Q Okay. And is this something that you</p> <p>21 brought with you in signed form to the meeting,</p> <p>22 or is this something you signed at the initial</p> <p>23 meeting with Baker Botts on November 12th?</p> <p>24 A I can't recall. I probably signed it</p> <p>25 during the meeting, but I could be mistaken.</p>



26	<p>1 Q Okay. And you characterize the work</p> <p>2 that you did on November 12th as "initial</p> <p>3 meeting". Can you characterize roughly what,</p> <p>4 you know, what you did at that meeting?</p> <p>5 A I discussed with the Red Bend counsel</p> <p>6 certain aspects of the case, what might be</p> <p>7 expected of me, how long it might last, what</p> <p>8 might be involved. Just broadly what this</p> <p>9 might all involve.</p> <p>10 Q Okay, sort of the parameters of the</p> <p>11 engagement?</p> <p>12 A Yeah, to get some idea. I asked some</p> <p>13 questions about Red Bend, like what do they do,</p> <p>14 what are their products.</p> <p>15 Q Uh hum.</p> <p>16 A I believe --</p> <p>17 MR. SCHEINFELD: Objection. Just</p> <p>18 caution the witness to answer a question</p> <p>19 if there's one pending.</p> <p>20 BY MR. BERTIN:</p> <p>21 Q Anything else you want to add?</p> <p>22 A No.</p> <p>23 Q And had you ever met in person</p> <p>24 anybody from Baker Botts prior to the meeting</p> <p>25 on the 12th?</p>	28	<p>1 Q Okay. Okay, very good. When was the</p> <p>2 first time you had seen the patent in this</p> <p>3 case?</p> <p>4 A Probably on the 12th of November.</p> <p>5 Q Okay.</p> <p>6 A Again, I can't -- I can't recall.</p> <p>7 Q Okay.</p> <p>8 A Certainly I would have seen it by the</p> <p>9 13th.</p> <p>10 Q Okay, so you would have seen it by</p> <p>11 the 13th, possibly you saw it on the 12th; is</p> <p>12 that correct?</p> <p>13 A Yes.</p> <p>14 Q Okay, and I just want to refer you to</p> <p>15 SE00017.</p> <p>16 A (Referring to document.)</p> <p>17 Q And ask you about this link which</p> <p>18 appears in this e-mail, which is characterized</p> <p>19 in the e-mail as a link to the code for</p> <p>20 Google's Chrome Courgette which is being sent</p> <p>21 to you from Baker Botts.</p> <p>22 Is this the first time you had seen this</p> <p>23 link to Courgette?</p> <p>24 A I can't recall. I may have been</p> <p>25 presented with it earlier on the 12th. This</p>
27	<p>1 A I had not.</p> <p>2 Q Okay. Okay, referring to your</p> <p>3 timesheet, there is another entry dated</p> <p>4 November 13th; is that correct?</p> <p>5 A That's correct.</p> <p>6 Q Okay. And where did this -- did this</p> <p>7 meeting occur at Baker Botts as well?</p> <p>8 A Yes.</p> <p>9 Q Okay. And there are a series of</p> <p>10 e-mails that are dated November 12 that go</p> <p>11 between SE00014 and SE00017. And I just want</p> <p>12 you to verify that you recall either getting or</p> <p>13 sending these e-mails.</p> <p>14 A (Reviewing document.) I don't recall</p> <p>15 these specific ones, but I have no reason to</p> <p>16 doubt that I did.</p> <p>17 Q Okay. And it appears that you had a</p> <p>18 meeting on Friday the 13th with Baker Botts</p> <p>19 starting at around 9:30 from these e-mails; is</p> <p>20 that correct?</p> <p>21 A From these e-mails, yes. Although my</p> <p>22 timesheet mentions that I actually arrived at</p> <p>23 8:30, and the discrepancy came because it was</p> <p>24 much easier to get -- to find the place than I</p> <p>25 had feared it might be.</p>	29	<p>1 e-mail looks like it arrived in the afternoon</p> <p>2 after the meeting. I may have seen it during</p> <p>3 the meeting.</p> <p>4 Q Okay. Do you have a specific</p> <p>5 recollection about receiving --</p> <p>6 A I do not.</p> <p>7 Q -- it during the meeting?</p> <p>8 A I do not.</p> <p>9 Q But you have a definite recollection</p> <p>10 of receiving it at least after the meeting; is</p> <p>11 that correct?</p> <p>12 A Actually no, I don't, but the e-mail,</p> <p>13 which I am sure I would have read, suggests I</p> <p>14 did.</p> <p>15 Q Okay, there is a date and a time</p> <p>16 stamp.</p> <p>17 A Uh hum. Yes.</p> <p>18 Q There at the top. Do you have any</p> <p>19 reason to believe that's not accurate?</p> <p>20 A No, no reason to believe.</p> <p>21 Q Okay.</p> <p>22 A Oh, let me amend that. I did notice</p> <p>23 that the times, the hourly times on, say, the</p> <p>24 first e-mail actually differed from the one on,</p> <p>25 ah, that was on Google Exhibit 2, by an hour or</p>

30

1 something like that. I was trying to account  
2 for that. I'm guessing that it had to do with  
3 the time zones in which the mail was moving  
4 around.  
5 Q Okay.  
6 A But, I --  
7 Q Is that potentially internal to the  
8 Columbia system?  
9 A It could be internal to that, it  
10 could be the program that I used to print out  
11 the dates didn't correct it. I don't make  
12 anything of it.  
13 Q Okay, all right. I noticed the same  
14 sort of discrepancy yesterday, but, ah -- okay.  
15 Can you describe -- well, let's see. So  
16 by the 13th you had seen the patent; is that  
17 correct? The '552 patent?  
18 A Yes.  
19 Q Okay. Okay, and essentially it looks  
20 like you spent the day at Baker Botts; is that  
21 correct?  
22 A That's correct.  
23 Q Okay. And you worked with various  
24 Baker Botts attorneys during the course of the  
25 day I take it?

31

1 A That's correct.  
2 Q All right, and -- okay, I want to  
3 refer you to SE00018.  
4 A (Referring to document.)  
5 Q So this purports to be an e-mail to  
6 you from Baker Botts, and the e-mail states:  
7 "Stephen, I am attaching your expert's  
8 declaration and related exhibits, please review  
9 and contact me to discuss. You can either send  
10 me a signed scanned copy or fax a signed copy  
11 to me at the fax number below. Best regards,  
12 Joe."  
13 Is this -- is this the e-mail pursuant to  
14 which your, your expert declaration was given  
15 to you?  
16 A I believe so.  
17 Q Okay. And was this the first time  
18 you had seen the declaration?  
19 A No. No, that declaration I would  
20 have seen -- I'll ask you a question. Which  
21 declaration are you referring to?  
22 Q Well, I'm referring to the one that  
23 was attached to this e-mail.  
24 A Ah. So the one that was attached to  
25 this e-mail then, yes, the e-mail would have

32

1 been the first time I saw that version.  
2 Q Okay. Are there other versions that  
3 you saw that you were --  
4 A There --  
5 Q -- you wanted clarification on?  
6 A There were a number of drafts  
7 created, and certainly the document went  
8 through a number of edit, editing phases.  
9 So when you say "the declaration", I can't  
10 recall if the one that was attached to this  
11 e-mail is the exactly the one in Exhibit 1,  
12 there may have been some small changes.  
13 Q Okay. Okay, so was -- did the  
14 declaration exist prior to November 13th when  
15 you met with Baker Botts?  
16 MR. SCHEINFELD: Objection.  
17 Ambiguous.  
18 THE WITNESS: Which declaration?  
19 BY MR. BERTIN:  
20 Q Well, your declaration, did it exist  
21 in any form prior to November 13th, or were you  
22 presented with it on the 13th?  
23 A Uhm, let me think. I saw -- I was  
24 presented a draft of the declaration on the  
25 13th, and then it was modified extensively on

33

1 the 13th. And probably in between then, also  
2 to the 17th, and then I believe there were a  
3 few more modifications before it was finally  
4 filed.  
5 Q Okay, so can you -- I mean, it looks  
6 to me like you were, you met the Baker Botts  
7 attorneys on the 12th for the first time, you  
8 had a two-hour meeting. You had a one-day  
9 meeting on the 13th. And then you had a  
10 one-hour checking and signing session on the  
11 expert statement on the 17th. Is that -- is  
12 that correct?  
13 A That's correct.  
14 Q Okay. So maybe you can characterize  
15 what you did on the 13th.  
16 MR. SCHEINFELD: Objection.  
17 Asked and answered.  
18 MR. BERTIN: I think I asked him  
19 about the 12th, but I didn't ask him about  
20 the 13th.  
21 THE WITNESS: Okay, I spent the day  
22 at Baker Botts working with counsel on the  
23 expert statement. Or, excuse me, expert  
24 declaration.  
25

34

1 BY MR. BERTIN:  
2 Q Okay. And did you compile the, ah --  
3 did you compile the source code for Courgette  
4 on the 13th?  
5 A I did not.  
6 Q Did you compile the source code for  
7 Courgette prior to signing the declaration on  
8 the 17th?  
9 A I did not compile it before signing  
10 the declaration.  
11 Q Okay. Did you use the Courgette code  
12 prior to signing the declaration on the 17th?  
13 A What do you mean by "use"?  
14 Q Well, you're an expert on computer  
15 software, maybe you can help me out here.  
16 So if you have a, a source code for a  
17 program, can you use the program in that form  
18 to do anything that it's supposed to do?  
19 A Yes, you can examine the source code  
20 to try to understand its purpose, its function,  
21 and so forth. Could you use a source code  
22 directly to create a patch, say? Probably not.  
23 Q Okay. So, yeah, I'm not looking for  
24 any extra special definition of using software,  
25 I really mean just the basic, you know, the

35

1 basic, ordinary definition of using a program.  
2 A I did not execute the program, I did  
3 not cause it to be run, I did not apply it to a  
4 piece of software to examine a patch.  
5 Q Okay. What steps would you have to  
6 take to use -- to use the software in the sense  
7 that people are familiar with using software?  
8 A There are a variety of steps. The  
9 easiest probably would be to download an  
10 executable distribution of the Chrome browser,  
11 which I believe includes the Courgette tool,  
12 and then run things from the command line to  
13 actually cause the program to be executed.  
14 That would be one way to use it.  
15 Q Okay.  
16 A And that would be one set of steps to  
17 follow. There are others.  
18 Q Okay. Wouldn't you typically want to  
19 compile the program into an object code version  
20 or an executable code version in order to use  
21 it?  
22 A In order to use it? No, most people  
23 would refer not to have to compile a program,  
24 they would much prefer just having the  
25 executable given to them directly.

36

1 Q Okay. Is that how the open source  
2 community typically operates when -- when  
3 source code is published as open source source  
4 code?  
5 MR. SCHEINFELD: Objection.  
6 No foundation.  
7 THE WITNESS: Open source software is  
8 distributed in a variety of forms,  
9 including source, including executable.  
10 BY MR. BERTIN:  
11 Q How about -- how about the Courgette  
12 code?  
13 MR. SCHEINFELD: Objection.  
14 Ambiguous.  
15 THE WITNESS: You're asking how that  
16 is distributed?  
17 BY MR. BERTIN:  
18 Q Well, I'm really just trying to get  
19 at what an ordinary person would do to use the  
20 Courgette code. How would they run it?  
21 A The easiest way would be to download  
22 the executable distribution from the Google  
23 website, install it, and then invoke it from  
24 the command line.  
25 Q Okay, that sounds simple enough.

37

1 A Yeah.  
2 Q And is that something that you did  
3 prior to signing your declaration on the 17th?  
4 A I did not.  
5 Q Okay, why not? Why didn't you -- why  
6 didn't you use it before signing the  
7 declaration and preparing your statement?  
8 A Because I saw a variety of documents,  
9 including the Chromium developer blog, I  
10 believe it was, chromium developer  
11 documentation, blog entries, the source code  
12 for Courgette, comments in the source code --  
13 all of these were consistent with a set of  
14 behavior. At that point, I did not feel it was  
15 necessary to bother to check that the program  
16 behaves as advertised, because everything else  
17 I had seen, including the source, was  
18 consistent with what was written in the  
19 documentation.  
20 Q And when was the first time that you  
21 saw this, the, quote, "source"?  
22 A I believe that was on the 13th, it  
23 may have been the 12th.  
24 Q Okay. And where did you see the  
25 source?

38

1 A I saw it in the Baker Botts -- one of  
2 conference rooms here.

3 Q And in what way did you experience  
4 the source? Was it tangible paper, was it  
5 electronically?

6 A It was displayed on a video monitor  
7 in a wall being brought up by a source code  
8 browser. Or, excuse me, a web browser.

9 Q Okay. And who was in the room at the  
10 time?

11 A I can't recall exactly. I believe  
12 Eliot Williams was there, I believe Joe Akalski  
13 was there. Rob was probably there, I'm not  
14 sure. The set of people in the room changed as  
15 I was examining the source code.

16 Q Okay. Okay, and, ah, who was driving  
17 the presentation of the source code?

18 A It varied. Sometimes it was one of  
19 the attorneys here. Other times I was using  
20 the computer directly, searching through it  
21 myself.

22 Q Okay, when you say searching through  
23 it yourself, can you elaborate what you mean by  
24 that?

25 A The source code consists of many

39

1 separate files that often refer to each other.  
2 And so to answer a question, what does this  
3 piece of code do, often you need to look at  
4 that file and then another file, and then  
5 another file that refers to that first file,  
6 and so forth.

7 Q Does it get a little confusing to try  
8 to track files that refer to files that refer  
9 to files?

10 A Yes, but that's what I've been  
11 trained to do.

12 Q In your training, in your ordinary  
13 experience in, ah, creating software and doing  
14 software design, do you typically, ah -- ah,  
15 analyze code that you're trying to understand  
16 simply by looking at source code and not  
17 actually using it or compiling it in any way?

18 A I don't think I have ever had an  
19 ordinary experience working on source code.  
20 In the experience that I have had, yes,  
21 very frequently I look at source code to try to  
22 understand its behavior. For example, I  
23 frequently grade student assignments, and I do  
24 this by looking at the code, deducing what it's  
25 likely to do, rather than trying to make it

40

1 work on a computer.

2 Q Okay. And who successful is your  
3 sometimes approach of deducing what software is  
4 likely to do by looking at source code?

5 A It depends on the program.

6 Q Why does it depend on the program?

7 A Code can be written in a very  
8 obfuscated manner. There are even contests to  
9 see who can write the most confusing code. And  
10 then other code is written very deliberately,  
11 very clearly, and communicates its intention  
12 very well.

13 I found that the Courgette code, when I  
14 looked at it, fell into the latter category.  
15 The Courgette code.

16 I do have a question, how do you pronounce  
17 that?

18 Q Well, it's a French word, and I  
19 believe it's "core-jshet".

20 A "Core-jshet", okay.

21 Q But that would probably be the French  
22 pronunciation, but we will tolerate a wide  
23 range of pronunciations of Courgette.

24 MR. SCHEINFELD: Appreciate it.  
25 THE WITNESS: You will hear them.

41

1 BY MR. BERTIN:

2 Q Okay. So I gather that sometimes you  
3 would not, according to you, compile or even  
4 use software when trying to understand how it  
5 works; is that correct?

6 A That's correct. Sometimes.

7 Q Okay. And in the instances where  
8 you -- where you would actually use it and  
9 compile it, why would you use it and compile it  
10 in order to understand it?

11 A There could be aspects of the  
12 software that are very difficult to deduce by  
13 looking at it, such as the speed at which it  
14 will run.

15 Q Anything else?

16 A It could be difficult to predict what  
17 a particular piece of software would do on a  
18 very large input dataset.

19 Q Anything else?

20 A No.

21 Q So those are the only reasons that  
22 you would compile or use a program to  
23 understand that you would want to --

24 A That I could think of now.

25 Q Okay. Anything else that you want to

42	<p>1 add to that?</p> <p>2 A I can't think of any now.</p> <p>3 Q Okay. And what is object-oriented</p> <p>4 programming?</p> <p>5 A It is a style of programming language</p> <p>6 and coding that revolves around so called</p> <p>7 object data types.</p> <p>8 The idea is, is one of these objects can</p> <p>9 behave somewhat like a real world object. The</p> <p>10 examples we like to give are things like an</p> <p>11 orange object. An orange might have a color</p> <p>12 attribute, a number of seed objects within it.</p> <p>13 These sorts of concepts are actually embodied</p> <p>14 in programming languages, such as C++, and it</p> <p>15 has been found to simplify the process of</p> <p>16 coding programming problems.</p> <p>17 Q Okay. And there -- in</p> <p>18 object-oriented programming, there are concepts</p> <p>19 of methods and classes and data structures, for</p> <p>20 example; is that correct?</p> <p>21 A That's correct.</p> <p>22 Q And there are concepts of objects</p> <p>23 themselves; right?</p> <p>24 A As I mentioned, yes.</p> <p>25 Q And so how do you, how can you sort</p>	44	<p>1 Q Okay. What is a -- well, how many</p> <p>2 different kinds of data structures are used in</p> <p>3 the Courgette program?</p> <p>4 MR. SCHEINFELD: Objection.</p> <p>5 No foundation.</p> <p>6 THE WITNESS: I did not attempt to</p> <p>7 count.</p> <p>8 BY MR. BERTIN:</p> <p>9 Q Okay.</p> <p>10 A Many.</p> <p>11 Q Does Courgette -- is Courgette an</p> <p>12 object-oriented program?</p> <p>13 A I would say it is written in an</p> <p>14 object-oriented style.</p> <p>15 Q So is it an object-oriented program?</p> <p>16 Why would you -- you don't seem to want to</p> <p>17 say that it is an object-oriented program. If</p> <p>18 not, why not?</p> <p>19 A I have not heard the term used.</p> <p>20 Usually you speak of object-oriented</p> <p>21 programming, or object-oriented languages, but</p> <p>22 to say that a program is object oriented --</p> <p>23 there are aspects of it that are written in an</p> <p>24 object-oriented style, there are aspects of</p> <p>25 Courgette that are written in an</p>
43	<p>1 of, uhm -- how -- how do you see data</p> <p>2 structures or objects within an object-oriented</p> <p>3 program?</p> <p>4 MR. SCHEINFELD: Objection.</p> <p>5 Compound and ambiguous.</p> <p>6 THE WITNESS: What do you mean by</p> <p>7 "see"?</p> <p>8 BY MR. BERTIN:</p> <p>9 Q Well, how would you visualize the</p> <p>10 data structure that's defined by source code in</p> <p>11 an object-oriented programming language?</p> <p>12 A There are many different ways. It</p> <p>13 depends strongly on the particular program and</p> <p>14 how it is written.</p> <p>15 Q Can you just name a few?</p> <p>16 A A standard one is a tree. You have a</p> <p>17 single class that consists of pointers to two</p> <p>18 other objects of the same class, you connect</p> <p>19 all of these together, you get a tree</p> <p>20 structure.</p> <p>21 But this is one of many, many, many sorts</p> <p>22 of data structures that can be embodied in</p> <p>23 these programs. Many, many kinds of data</p> <p>24 structures that could be embodied in these</p> <p>25 programs.</p>	45	<p>1 object-oriented style, there are other aspects</p> <p>2 that are not.</p> <p>3 Q Okay. And is it written in an</p> <p>4 object-oriented language and an object-oriented</p> <p>5 style?</p> <p>6 A It is written in an object-oriented</p> <p>7 language; namely, C++. Parts of it are written</p> <p>8 in an object-oriented style.</p> <p>9 Q And does it use object-oriented data</p> <p>10 structures?</p> <p>11 A I'm not sure if there is such a thing</p> <p>12 as an object-oriented data structure. It uses</p> <p>13 types that are written using the</p> <p>14 object-oriented facilities of C++, and those</p> <p>15 are written in an object-oriented style.</p> <p>16 Q Okay. What is a -- what is a</p> <p>17 debugger?</p> <p>18 A A debugger, in the sense that I think</p> <p>19 of it as, is a program that you lets you</p> <p>20 analyze and control the execution of another</p> <p>21 program.</p> <p>22 So typically if you have a program that is</p> <p>23 behaving in a way you do not understand or do</p> <p>24 not want, you run that under a debugger and</p> <p>25 give it commands, such as "stop here, resume,</p>

46	<p>1 tell me the value of this variable at this 2 point."</p> <p>3 Q Did you use a debugger at any time to 4 understand the Courgette program?</p> <p>5 A Ah, that would -- a debugger was one 6 of the tools that I used to examine the 7 Courgette executable that I later created.</p> <p>8 Q Okay. And why -- why did you use a 9 debugger on an executable that you later 10 created?</p> <p>11 A It's one of many standard tools that 12 can aid in understanding the exact behavior of 13 a program.</p> <p>14 Q And when you say that you "later 15 created", I assume you mean after you signed 16 the declaration on November 17th; is that 17 correct?</p> <p>18 A That's correct.</p> <p>19 Q Okay. Why do programmers use 20 debuggers?</p> <p>21 A As I mentioned, it's one of a variety 22 of programming aids that you can -- that 23 programmers can use to understand the execution 24 of a program.</p> <p>25 Q Okay, does it, in addition -- let me</p>	48	<p>1 a program, which could mean a variety of 2 things, such as does the program terminate, 3 does the program appear to do what it's asked 4 to do. These are all seeing the execution.</p> <p>5 But in some cases you might ask questions 6 like what value has this variable taken, when 7 is this function called. A debugger is one 8 tool that can be used to help answer these 9 questions.</p> <p>10 Q Why would you use -- let's just 11 follow-up on those two points.</p> <p>12 Why would you use a debugger to see what 13 value a variable has taken?</p> <p>14 A Perhaps you're concerned that the 15 code does not behave the way you want it to. 16 It's more typical to use debuggers when you're 17 developing code. And if something goes wrong 18 in the execution, you often want to know the 19 details of why.</p> <p>20 Another very common technique is when 21 you're trying to understand the execution of a 22 program -- excuse me -- of a program you did 23 not write, running it in a debugger, stepping 24 through it slowly to see what code is actually 25 executed, is another technique to try to</p>
47	<p>1 just probe that a little bit.</p> <p>2 Can't you just execute the program to see 3 how it executes?</p> <p>4 A Yes.</p> <p>5 Q So why is a debugger important to 6 help you understand how a program executes?</p> <p>7 A When you say "see how it executes", 8 this could mean a variety of things.</p> <p>9 To merely witness it executing, you can 10 simply execute it. If you want to see more 11 details of how it is executing, a debugger is 12 one possible tool that can you use for the 13 reasons I mentioned earlier.</p> <p>14 Q Okay. Well, the reason I'm asking is 15 that it seems that there would be other uses 16 for a debugger, other than understanding the 17 execution of a program, particularly if you can 18 just execute a program to understand its 19 execution.</p> <p>20 So can you elaborate on your earlier 21 response and let me know why else someone might 22 use a debugger program?</p> <p>23 A Well, the question is what question 24 do you want to answer about the execution of a 25 program. You said broadly see the execution of</p>	49	<p>1 improve program understanding. To try to 2 improve your understanding of a program.</p> <p>3 Q Okay, so on this last point it's 4 possible that you could be looking at a body of 5 source code, some of which is operational, and 6 some of which is not operational, for example, 7 and using a debugger would help you, in your 8 words, see what is, quote, "actually executed"; 9 is that correct?</p> <p>10 A That's correct.</p> <p>11 Q Okay, and you said it's useful for 12 developing code as well?</p> <p>13 A It can be.</p> <p>14 Q Does it give you any insight into the 15 source code itself?</p> <p>16 MR. SCHEINFELD: Objection. 17 Ambiguous.</p> <p>18 THE WITNESS: One way it might do so, 19 it tells you, as you are running the 20 program, where the program counter is 21 within the source code. So you can then 22 relate detailed activity back to its 23 location in the source code.</p> <p>24 BY MR. BERTIN: 25 Q Okay, so just to follow up on that --</p>

50

1 on that point and just elaborate on it a bit,  
2 the program counter would be the spot in the  
3 actual series of instructions, an  
4 identification of the spot in the actual series  
5 of instructions that the machine is running; is  
6 that correct?  
7 A A program counter is more accurately  
8 an entity, typically a collection of flip-flops  
9 that contains a number that refers to the  
10 points in the program that is being executed.  
11 Q Okay. And that's -- I think that's  
12 another way of saying what I said, in fact.  
13 A It's close. I think the distinction  
14 is between the program counter is the point  
15 that's being executed. And the program counter  
16 is the thing that holds the number that  
17 explains where the place is being executed.  
18 Q Okay.  
19 A There's the distinction.  
20 Q Okay. Okay, so it identifies the  
21 precise code that's being executed?  
22 A Right.  
23 Q And doesn't -- doesn't this help you  
24 put a finger in the source code on what is  
25 producing the, the -- the, uhm -- that which is

51

1 executed?  
2 In other words, doesn't a debugger and  
3 this pointer concept allow you to correlate the  
4 source code that's being run, and relate it to  
5 the output of the execution that's occurring?  
6 MR. SCHEINFELD: Objection as to the  
7 form of the question.  
8 MR. BERTIN: And I will -- if, if --  
9 you can either agree with that, or you can  
10 put it into your own words.  
11 MR. SCHEINFELD: I prefer the latter.  
12 THE WITNESS: I think what you're  
13 asking is can a debugger help you find  
14 what in the source is being executed.  
15 BY MR. BERTIN:  
16 Q Yes.  
17 A And use the program, or it examines  
18 the program counter, figures out the  
19 relationship between the program counter and  
20 the source code, and can tell you where in the  
21 source code the instructions being executed  
22 came from.  
23 Q Okay. And does this give you some  
24 insight as to --  
25 A It can.

52

1 Q -- as to the source code?  
2 MR. SCHEINFELD: I caution the  
3 witness to let the questioner finish the  
4 question before you attempt to answer the  
5 question.  
6 Q I was referring to the source --  
7 A Okay.  
8 Q -- code, were you also referring to  
9 the source code?  
10 A Please ask the question again.  
11 Q Okay. Does, does the -- does this  
12 process give you some insight as to the source  
13 code and its effect on program execution?  
14 A It can.  
15 Q You mentioned earlier that a debugger  
16 can be used to help you understand software  
17 that you did not, yourself, write; is that  
18 correct?  
19 A It can be used, yes.  
20 Q And how -- how might you, as an  
21 expert and a programmer, use a debugger to help  
22 you understand source code that you did not  
23 write?  
24 A That is a very complicated ques-- or  
25 an appropriate answer to that is very

53

1 complicated. I actually have a book on my  
2 shelf in my office written by a friend of mine  
3 that is nothing but answering that question.  
4 So there are many, many, many, many  
5 techniques that can be used for using a  
6 debugger to help you understand the operation  
7 of a program.  
8 Q Okay. Might we look at your activity  
9 after November 17th as an expose on how one  
10 might use a debugger to understand source code  
11 that you didn't write?  
12 A I can't remember the details of all  
13 of the techniques I used. There were many of  
14 them. And, furthermore, using the debugger was  
15 not the only technique I used.  
16 Q What other techniques did you use  
17 after November 17th to understand the Courgette  
18 source code?  
19 A Ah, let's see. There were a number  
20 of debugging flags and code in the Courgette  
21 source code clearly designed to explain what  
22 the program was doing. I ran it in that mode,  
23 and observed the output.  
24 Ah, let's see. I tried a variety of tools  
25 that would examine the source code, and the

54

1 relationship of the functions among -- within  
2 it.  
3 Let's see. I may have inserted my own  
4 debugging statements, at this point I can't  
5 recall. I believe I used this gprof tool at  
6 one point that can report the functions that  
7 were actually executed and the frequency at  
8 which they were executed.  
9 Let's see. I have some notes here about  
10 kcachegrind. I can't recall using that, but I  
11 wouldn't be surprised.  
12 Q Okay. So you were referring to  
13 Google Exhibit 4 from time to time in --  
14 A Yes.  
15 Q -- response to this question; is that  
16 right?  
17 A Yes.  
18 Q And that's your timesheet?  
19 A Yes.  
20 Q Can you give me some -- I just want  
21 to follow up on all of that. Can you give me  
22 some examples of the debugging flags in  
23 Courgette?  
24 A Like many programs, I believe it has  
25 a so-called log level setting.

55

1 Q Uh hum.  
2 A This is a number. And depending on  
3 the setting, the program prints out more or  
4 less information about what it's doing. This  
5 is typically left in there by the programmer to  
6 help him or her develop the code.  
7 Q Okay, so even the programmer would  
8 use a debugger to help understand his or her  
9 own code?  
10 A Very likely.  
11 Q Okay. You mentioned running in a  
12 mode to observe the output. What output were  
13 you trying to observe?  
14 A Where are you reading this?  
15 Q Well, this is -- I'm reading my own  
16 notes on what you said earlier.  
17 A Ah, okay. Yes, one of the things I  
18 checked after the 17th was whether, if I ran  
19 the Courgette tool according to the  
20 instructions that were in the source code in  
21 the developer documentation, that it would  
22 actually produce patch files and allow me to  
23 reproduce new versions of programs. Basically  
24 I was trying to check whether it worked as  
25 advertised.

56

1 Q Uh hum.  
2 A And I found that it did.  
3 Q Okay. What types of things did you  
4 look at, what output did you observe?  
5 A I would probably have to check the  
6 Chromium developer documentation to remind me  
7 of exactly the series of steps. But broadly,  
8 the source code came with two sample executable  
9 files, and I went through a series of steps  
10 where I gave Courgette those two files, asked  
11 it to create a small patch file, and then told  
12 it to look at the original file and the patch  
13 file, and synthesize the new file, and then  
14 verify that the new file it produced was byte  
15 for byte identical as the original new file.  
16 Q Okay. So, I mean that basically what  
17 you described there is using the code to  
18 generate a patch on two files, and then  
19 implementing the patch or applying the patch  
20 and then determining whether it produced the  
21 updated code; is that --  
22 A I was --  
23 Q -- a fair summary?  
24 A I was following the instructions in  
25 the source code and the developer documentation

57

1 that was telling me how to create and apply  
2 patches, and I just wanted to verify that the  
3 code worked the way the documentation said it  
4 did. Suggesting that nothing had gone wrong in  
5 the compilation process, nothing had -- I  
6 hadn't downloaded something incorrectly, that  
7 it actually worked as advertised.  
8 Q Okay. Okay, I'm just trying to  
9 summarize this, and maybe just tell me if this  
10 is a fair summary.  
11 You compiled and used the program on the  
12 default files presented in order to verify that  
13 it was working; is that correct?  
14 A That's correct.  
15 Q Okay.  
16 MR. BERTIN: Okay, why don't we go  
17 about another five minutes and then take a  
18 break?  
19 MR. SCHEINFELD: That's fine.  
20 BY MR. BERTIN:  
21 Q Let's see. Why don't we go back to  
22 your declaration.  
23 A Okay.  
24 Q And I want to --  
25 A This is Exhibit 1.



58

1 Q Google Exhibit 1.  
2 A (Referring to document.)  
3 Q And I want to refer you to  
4 paragraph 23. And, let's see, the third  
5 sentence of this paragraph reads:  
6 "Those Internet users, such as software  
7 developers, would simply download the published  
8 files and compile them into an executable."  
9 And it says, it goes on:  
10 "The documentation and comments in the  
11 files describe how to make use of the tool."  
12 Do you see that?  
13 A Yes.  
14 Q And what -- what software are you  
15 referring to here?  
16 A Well, the whole paragraph talks about  
17 the Courgette source code published by Google  
18 at this big long URL. And so -- and throughout  
19 the rest of that paragraph I'm referring to  
20 taking that software --  
21 Q Okay.  
22 A -- downloading it and running it.  
23 Q Okay. So I guess my question is if  
24 Internet users, such as software developers,  
25 can simply download and, ah, download published

59

1 files and compile them into an executable, how  
2 come you did not do that prior to signing your  
3 declaration?  
4 MR. SCHEINFELD: Objection.  
5 Asked and answered.  
6 THE WITNESS: I didn't think it was  
7 necessary that early on, because there  
8 were a multitude of consistent documents,  
9 reports, files and so forth, all pointing  
10 to that the Courgette tool behaves in a  
11 particular way. And if all of those  
12 documents were correct, what a particular  
13 piece of source code, another piece of  
14 software actually did, probably wouldn't  
15 matter.  
16 BY MR. BERTIN:  
17 Q Did you view your role at this time  
18 on the 17th as someone who was being asked to  
19 precisely state how the software worked, or did  
20 you imagine a different goal for yourself?  
21 MR. SCHEINFELD: Objection.  
22 Ambiguous, compound.  
23 THE WITNESS: State it again, was I?  
24 MR. BERTIN: Can you read back the  
25 question.

60

1 (The question is read back by the  
2 court reporter.)  
3 THE WITNESS: I imagined a different  
4 goal.  
5 BY MR. BERTIN:  
6 Q A different goal or role?  
7 A Role.  
8 Q Role. Okay, so you imagined a  
9 different role.  
10 What role did you imagine?  
11 A Well, I was being asked to write a  
12 declaration. And this was arguments, you know,  
13 as the title says in support of plaintiffs  
14 motion for a preliminary injunction.  
15 So at that point, I was not being asked  
16 questions like what does line 37 do in this  
17 file. And it did not seem relevant to me at  
18 that point.  
19 Q Okay. Do you think there is an  
20 element of precision between analyzing the  
21 Courgette software and the patent?  
22 MR. SCHEINFELD: Objection.  
23 Ambiguous.  
24 THE WITNESS: What do you mean by an  
25 "element of precision"?

61

1 BY MR. BERTIN:  
2 Q Well, like, for example, what matters  
3 what's actually in the program that was  
4 actually being run --  
5 For example, it matters what's actually in  
6 the Courgette program and how it actually  
7 works?  
8 A I'm not sure.  
9 Q And why are you not sure?  
10 A Part of this case, from what I  
11 understand, involves the Courgette source code  
12 published on the web, but that is certainly not  
13 the only thing on which it depends. So, for  
14 example, the Courgette source code does many  
15 things that probably aren't related or aren't  
16 directly relevant to the infringement claims,  
17 such as printing out error messages.  
18 So there are plenty of details that I  
19 don't consider relevant. And knowing what  
20 every single line of the Courgette source code  
21 does also doesn't seem to be necessary.  
22 Q Did you feel rushed when you were  
23 preparing the declaration to get it done on  
24 time, or was there some time pressure to  
25 getting it done?

<p style="text-align: right;">62</p> <p>1 A Not particularly. I'm accustomed to 2 some things need to be done in months, other 3 things need to be done in days. This, it was 4 clear that they preferred it to be done in 5 days, and this is why we spent the whole day. 6 Q And just to be clear on your earlier 7 answer: In your view, there were two aspects, 8 at least two aspects of the case: One where 9 less precision was required, and one where more 10 precision was required; is that correct? 11 MR. SCHEINFELD: Objection. 12 Mischaracterization of the prior 13 testimony. 14 THE WITNESS: No, that's not what I 15 meant at all. 16 BY MR. BERTIN: 17 Q Okay. What is the best -- what is 18 the best way to determine with precision the 19 relevant aspects of Courgette and how it 20 operates? 21 A Probably by examining many aspects of 22 what's going on. The source code is one, 23 documentation is another, there are a variety 24 of other documents. To me, the best way to 25 understand is to consult many sources, combine</p>	<p style="text-align: right;">64</p> <p>1 Exhibit 1. 2 A (Referring to document.) 3 Q Okay. And I'm just going to read 4 this paragraph into the record, then I want to 5 ask you some questions about it. 6 So the paragraph reads: 7 "The published source code currently 8 supports generation of difference results for 9 Microsoft Windows executables. However, the 10 code is written such that it is easily 11 adaptable to processing executable files for 12 other platforms, such as those found in mobile 13 devices." 14 And do you recall this paragraph of your 15 declaration? 16 A I do. 17 Q And what is the basis for your 18 statement here that the code is easily 19 adaptable to processing executable files for 20 other platforms? 21 A When I was looking at the code in 22 preparing this statement, I found a number of 23 places in the code where it was very clear that 24 the programmer had later intended for different 25 backends to be put in. So at the moment as I</p>
<p style="text-align: right;">63</p> <p>1 them, make sure they are consistent. 2 Q When analyzing the software for 3 Courgette and in doing a rigorous, reliable 4 analysis, wouldn't you want to spend a lot of 5 time with the source code itself and compile it 6 and actually use it like you did after the 7 17th? 8 A Not necessarily. I consider this, I 9 consider detail examination of the executable, 10 which is what I was doing after the 17th, as 11 just additional evidence trying to demonstrate 12 something. 13 MR. BERTIN: Okay, I'm done for now, 14 we can take a break. 15 THE VIDEO OPERATOR: Going off the 16 record, the time is 10:43, this ends 17 tape 1. 18 (Recess.) 19 THE VIDEO OPERATOR: We are back on 20 the record, the time is 10:56. This is 21 tape number 2. 22 BY MR. BERTIN: 23 Q Okay. Okay, Doctor Edwards, I want 24 to refer you to paragraph 24 of your 25 declaration, which is marked as Google</p>	<p style="text-align: right;">65</p> <p>1 write, there is one for Windows executables, 2 but the code is written in a very modular way 3 such that the stuff that is Windows specific 4 could be added to and made ARM specific, MIPS 5 specific. MIPS specific. More jargon. 6 Q Okay, so it's your testimony that 7 right now there are no other backends, in other 8 words, other than a Microsoft Windows 9 executable backend? 10 A I cannot say definitively. From the 11 code that I downloaded, it would have been 12 about November 25th, it appeared that there was 13 only that one backend in the code. I cannot 14 say whether there are more. 15 Q Okay, so on November 25th it appeared 16 that there was only one backend? 17 A It appeared that on the website only 18 one backend was available. 19 Q Okay. Now on the 17th when you 20 actually signed this declaration, did you -- 21 were you in a position to state definitively 22 how many backends were in the program? 23 A There was at least one. In fact, 24 there may have been more on that date that 25 could have been hiding in other files that I</p>

66	<p>1 did not examine. I do not know this to be 2 true.</p> <p>3 Q Okay, but whether or not there were 4 more than one and whether or not some might be 5 hidden was not important for purposes of 6 paragraph 24 and your declaration on 7 November 17th; is that correct?</p> <p>8 A In paragraph 24, I was commenting on 9 the modular -- the modularity of the program 10 that I saw, and reasonably clear programmer 11 intent that there be other modules.</p> <p>12 Q Why do you refer to a module being a 13 backend in this context?</p> <p>14 A This is a compiler term. Typically a 15 backend refers to the part of the code that 16 deals with very low level details of file 17 formats and processors and so forth. The 18 corresponding code in the Courgette source 19 recognizes the format Windows executables, 20 recognizes Intel x86 instructions.</p> <p>21 Q And when did you realize that it 22 recognized x86 instructions?</p> <p>23 A I can't recall.</p> <p>24 Q Was it after November 17th?</p> <p>25 A I can't recall.</p>	68	<p>1 produces patch files. When I say "takes", it 2 means the program must read it, must try to 3 interpret the contents of that, of those files; 4 and, therefore, some part of the program must 5 be concerned with those details.</p> <p>6 Q Okay. And as far as -- as far as you 7 know, Courgette and its backend does not 8 recognize bytes from anything other than a 9 Windows executable; is that correct?</p> <p>10 A The code that I have seen so far does 11 not appear to.</p> <p>12 Q Okay, so you could only use Courgette 13 in its current form to handle updates to 14 Windows executables; is that correct?</p> <p>15 MR. SCHEINFELD: Objection. 16 Mischaracterizes his testimony.</p> <p>17 THE WITNESS: In the forms that I am 18 familiar with, it appears that's the only 19 thing -- that's the only type of file that 20 can be applied to.</p> <p>21 BY MR. BERTIN:</p> <p>22 Q Okay, and prior to the 17th had you 23 tried to use Courgette to generate updates on 24 anything other than a Windows executable?</p> <p>25 A Prior to the 17th I did not use</p>
67	<p>1 Q Okay. Does paragraph 24 say anything 2 about x86?</p> <p>3 A It's implicit when I say Microsoft 4 Windows.</p> <p>5 Q Uhm -- okay. Okay, so when you say 6 "backend", you're talking about the portion of 7 a program that deals with the lowest level 8 details? What do you mean by that, can you 9 elaborate?</p> <p>10 A I have mentioned this before. Here 11 I'm referring to the parts of the program in 12 Courgette that directly deal with the stream of 13 bytes in the files that it is reading, that it 14 is comparing. And what I have seen, those are 15 specific to, as I write, Windows executable 16 files. And there is a particular file format, 17 it's documented.</p> <p>18 Q Okay, and why -- why does it deal 19 with these streams of bytes at the lowest 20 level?</p> <p>21 A Something must.</p> <p>22 Q Something must in order to -- to do 23 what?</p> <p>24 A The Courgette program takes pairs of 25 Windows executables, compares them, and</p>	69	<p>1 Courgette at all.</p> <p>2 Q And after the 17th did you use 3 Courgette to try to create updates on any other 4 kind of executable?</p> <p>5 A I did not.</p> <p>6 Q Did you see anything in the Courgette 7 documentation that you testified about earlier 8 on the adaptability of Courgette?</p> <p>9 MR. SCHEINFELD: Objection, vague.</p> <p>10 THE WITNESS: I can't recall exactly 11 which documents I testified about earlier, 12 and I can't recall whether those had 13 references specifically to adaptability.</p> <p>14 BY MR. BERTIN:</p> <p>15 Q So you don't recall seeing any Google 16 documents that you relied on for purposes of 17 this declaration that refer to adaptability?</p> <p>18 MR. SCHEINFELD: Objection, vague.</p> <p>19 THE WITNESS: The source code itself, 20 which I presume you could consider it 21 Google document, suggests itself that it 22 could be adapted.</p> <p>23 BY MR. BERTIN:</p> <p>24 Q How specific is Courgette to the x86 25 instruction set to Windows executables?</p>

70	<p>1 A Not especially. I think the -- like</p> <p>2 it was something like one or two lines that</p> <p>3 were purely x86 specific.</p> <p>4 Q Okay, so your testimony is that there</p> <p>5 is only one or two lines of source code that</p> <p>6 are x86 specific; correct?</p> <p>7 MR. SCHEINFELD: Objection.</p> <p>8 Mischaracterizes testimony.</p> <p>9 THE WITNESS: I only recall seeing</p> <p>10 one or two that screamed out to me this is</p> <p>11 very specific to the x86.</p> <p>12 BY MR. BERTIN:</p> <p>13 Q Okay, and is that -- is your</p> <p>14 recollection for this question limited to prior</p> <p>15 to November 17th, or is that up until today's</p> <p>16 date?</p> <p>17 A That's up until today's date.</p> <p>18 Q Okay. And -- and how about the</p> <p>19 specificity of Courgette to Windows executable</p> <p>20 formats, how specific is it to a Windows</p> <p>21 executable format?</p> <p>22 MR. SCHEINFELD: Objection, vague.</p> <p>23 THE WITNESS: Parts of the code that</p> <p>24 I have seen are specific to Windows</p> <p>25 executables formats. Much of it, what I</p>	72	<p>1 process I actually didn't observe.</p> <p>2 BY MR. BERTIN:</p> <p>3 Q Okay, so you don't know when during</p> <p>4 the process Courgette looks at a Windows and</p> <p>5 x86 specific executable?</p> <p>6 A No, I did not attempt to determine</p> <p>7 exactly when during the execution process,</p> <p>8 whether it's the first 25 percent or the last</p> <p>9 25 percent, say, that it was happening.</p> <p>10 I did identify roughly what was calling</p> <p>11 what in this Courgette source code, and</p> <p>12 identified the points at which it actually was</p> <p>13 reading these executable file formats. But</p> <p>14 whether you would call that early in the</p> <p>15 process or late in the process, I'm not sure</p> <p>16 how to answer.</p> <p>17 Q What types of file formats are</p> <p>18 involved in programs resident on mobile</p> <p>19 devices?</p> <p>20 MR. SCHEINFELD: Objection, vague.</p> <p>21 THE WITNESS: I believe there are</p> <p>22 many. There are file formats that would</p> <p>23 closely resemble the Windows executable</p> <p>24 format, there are others that aren't. The</p> <p>25 rest is conjecture. The answer is many.</p>
71	<p>1 would consider the interesting part of it,</p> <p>2 is not specific.</p> <p>3 BY MR. BERTIN:</p> <p>4 Q Well, why -- why are the parts that</p> <p>5 are specific to it specific to it, to get it to</p> <p>6 work?</p> <p>7 A This gets back to the backend issue</p> <p>8 we were talking about earlier. To understand</p> <p>9 the Windows executable file, you need to have</p> <p>10 some program or you need to have some code that</p> <p>11 specifically tries to understand it. And there</p> <p>12 is such code in the Courgette source -- there</p> <p>13 is such code in the Courgette source that I</p> <p>14 have seen.</p> <p>15 Q Okay. And notwithstanding the fact</p> <p>16 that you're referring to it as a, quote,</p> <p>17 "backend", isn't it the case that the software</p> <p>18 needs to understand the format at the beginning</p> <p>19 of the process, or at least closer to the</p> <p>20 beginning of the back?</p> <p>21 MR. SCHEINFELD: Objection, vague.</p> <p>22 THE WITNESS: It needs to understand</p> <p>23 the format when it is reading the file for</p> <p>24 the first time. Whether that happens</p> <p>25 early in the process or late in the</p>	73	<p>1 BY MR. BERTIN:</p> <p>2 Q So there -- in your opinion, there</p> <p>3 would be many different formats?</p> <p>4 A Potentially.</p> <p>5 Q Potentially, okay. And are you an</p> <p>6 expert on what each of those different formats</p> <p>7 are for any particular mobile device, for</p> <p>8 example, a GSM compliant device?</p> <p>9 MR. SCHEINFELD: Objection, vague.</p> <p>10 THE WITNESS: I wouldn't consider</p> <p>11 myself an expert on any of these formats.</p> <p>12 I'm sure if I wanted to understand one, I</p> <p>13 could.</p> <p>14 BY MR. BERTIN:</p> <p>15 Q Have you undertaken to do so prior to</p> <p>16 today?</p> <p>17 A There have been many times when I</p> <p>18 have undertaken, you know, for a variety of</p> <p>19 reasons, to understand any particular file</p> <p>20 format. I have not undertaken, as a part of</p> <p>21 this action, understanding anything besides the</p> <p>22 Windows -- the Microsoft Windows executable</p> <p>23 format.</p> <p>24 Q Can you list for me other file</p> <p>25 formats of programs that are found on a GSM</p>

<p style="text-align: right;">74</p> <p>1 device?</p> <p>2 A I would be speculating. I would</p> <p>3 prefer not to guess.</p> <p>4 Q Okay. Can you -- can you estimate</p> <p>5 how many different file formats there might be</p> <p>6 on a GSM device?</p> <p>7 A It would be a guess, and not a very</p> <p>8 informed one. Part of the problem is that</p> <p>9 there are many, many, many different kinds of</p> <p>10 GSM devices. And each of which probably has,</p> <p>11 uses a different subset of possible file</p> <p>12 formats.</p> <p>13 Q Might there be different chip sets</p> <p>14 within different mobile devices that implement</p> <p>15 GSM?</p> <p>16 A I would expect so.</p> <p>17 Q And might there be instruction sets</p> <p>18 that are unique to each different chip set</p> <p>19 within a GSM mobile device?</p> <p>20 A Rarely would you find an instruction</p> <p>21 set unique to a specific chip set. Generally</p> <p>22 you find a class of chip sets that would</p> <p>23 implement one instruction set, another class</p> <p>24 that would implement a different instruction</p> <p>25 set.</p>	<p style="text-align: right;">76</p> <p>1 BY MR. BERTIN:</p> <p>2 Q And what, what, ah -- what</p> <p>3 specifically is it looking for within the</p> <p>4 Windows executable format?</p> <p>5 A The series of bytes that eventually</p> <p>6 become the executable itself, or the run time</p> <p>7 image. There are, ah -- there is relocation</p> <p>8 information in there that it appears to be</p> <p>9 observing. I believe the file format has, is</p> <p>10 broken into a series of regions or sections,</p> <p>11 and I believe it treats each region</p> <p>12 individually.</p> <p>13 Q Anything else?</p> <p>14 A That's all I can think of.</p> <p>15 Q Does Courgette work on every version</p> <p>16 of a Microsoft Windows executable, or does it</p> <p>17 only work on some Microsoft Windows</p> <p>18 executables?</p> <p>19 A I don't know what constitutes all</p> <p>20 Windows executables. There may indeed be</p> <p>21 certain file formats that it does not support.</p> <p>22 I did not explore that issue in great detail.</p> <p>23 Q Did you explore it in any detail?</p> <p>24 A I went so far as to look up</p> <p>25 documentation on the particular file format</p>
<p style="text-align: right;">75</p> <p>1 Q Have you generated any kind of list</p> <p>2 of all of the different instruction sets that</p> <p>3 are out there for mobile devices?</p> <p>4 A I have not generated such a list.</p> <p>5 Q What within the Windows executable</p> <p>6 does Courgette use to facilitate processing the</p> <p>7 executable?</p> <p>8 MR. SCHEINFELD: Objection.</p> <p>9 Ambiguous.</p> <p>10 THE WITNESS: Many possible answers,</p> <p>11 including every single byte in the file.</p> <p>12 BY MR. BERTIN:</p> <p>13 Q Every single byte in the Cour -- in</p> <p>14 the Windows executable file?</p> <p>15 A Yes, is considered at some point by</p> <p>16 Courgette. Or I have reason to believe every</p> <p>17 one is considered.</p> <p>18 Q So Courgette is designed to analyze</p> <p>19 every bit of a Windows executable; is that</p> <p>20 correct?</p> <p>21 MR. SCHEINFELD: Objection, vague.</p> <p>22 THE WITNESS: No. Parts of</p> <p>23 Courgette -- parts of the Courgette code</p> <p>24 are designed to analyze a Windows</p> <p>25 executable, other parts are not.</p>	<p style="text-align: right;">77</p> <p>1 that it, the source code claimed it supported.</p> <p>2 Q And what is that particular file</p> <p>3 format?</p> <p>4 A I can't recall the name.</p> <p>5 Q Is that a Windows executable format?</p> <p>6 A Well, there are -- there are a</p> <p>7 variety of Windows executable formats. And</p> <p>8 which flavor was specifically supported by</p> <p>9 Courgette, I can't recall. I would have to see</p> <p>10 the source code.</p> <p>11 Q Okay, but just to characterize what</p> <p>12 you do recall as you sit here today, you recall</p> <p>13 that Courgette specifies a particular Windows</p> <p>14 executable file format, and at the same time</p> <p>15 there are several different Windows executable</p> <p>16 file formats; is that correct?</p> <p>17 A I wouldn't use the word "specify", I</p> <p>18 would say the embodiment that I saw accepts a</p> <p>19 particular file format.</p> <p>20 Q Okay, just to go back to your earlier</p> <p>21 language, I believe you said that there was a</p> <p>22 flavor that was specifically supported by</p> <p>23 Courgette, although you couldn't remember</p> <p>24 which, quote, "flavor" of that, that was?</p> <p>25 Okay, any, how many --</p>

78

1 A That's correct.  
2 Q How many -- is it a spumoni type  
3 thing, there are three flavors, or are there  
4 you know, 20 flavors, or do you have any sense  
5 of how many flavors we are talking about here  
6 within the Windows executable family?  
7 A To continue your analogy, it's  
8 probably like a melted ice cream shop where  
9 there were many distinct flavors and they've  
10 blurred together. And exactly which set of  
11 flavors or collection of ice cream that  
12 Courgette could consume wasn't a hundred  
13 percent clear.  
14 Q Okay. Okay, so at least -- at least  
15 in your mind it's somewhat ambiguous as to the,  
16 as to what the program supports?  
17 A Yes.  
18 Q And you haven't taken steps to  
19 precisely match what it supports to the  
20 different -- the different flavors of Windows  
21 executable that might be out there?  
22 A Yes, I have not considered that. I  
23 did not consider it relevant.  
24 Q Okay. Have you -- have you tried to  
25 run the program on any formats other than the

79

1 formats that Courgette states specifically are  
2 supported?  
3 A I have not attempted to.  
4 MR. BERTIN: Okay. Can we just take  
5 a one-minute break here?  
6 THE VIDEO OPERATOR: Going off the  
7 record, the time is 11:21.  
8 (Off the record.)  
9 THE VIDEO OPERATOR: One moment.  
10 We're back on the record, the time is  
11 11:25.  
12 BY MR. BERTIN:  
13 Q Okay, let's see. I would like to  
14 refer you, again within your declaration, to  
15 paragraph -- paragraph 18.  
16 A (Referring to document.)  
17 Q And so paragraph 18 reads:  
18 "Counsel for Red Bend has asked me to  
19 offer my views on how one of ordinary skill in  
20 the art would have understood certain terms and  
21 phrases recited in the relevant claims. My  
22 view about the meaning of these terms and  
23 phrases are set forth in the claim construction  
24 tables (Exhibit A.)"  
25 Do you see that?

80

1 A Yes. You've left out "in 1998", but  
2 yes.  
3 Q Okay, thank you. And my question is  
4 what did you refer to when conducting the  
5 particular exercise of creating Exhibit A?  
6 A Primarily the patent, the '552  
7 patent. I had also seen the patent history  
8 interaction with the patent office. We  
9 consulted a dictionary at one point. And, of  
10 course, I was relying on my history and  
11 knowledge of certain terms.  
12 Q Did -- with respect to the patent  
13 itself, when did you first read the patent?  
14 A I can't recall exactly, but I had  
15 certainly read it by November 13th.  
16 Q Okay, and how about the prosecution  
17 history, how did you -- did you -- did you read  
18 the prosecution history?  
19 A I have looked through it a number of  
20 times. I probably skipped a few words, but,  
21 yes, I have seen the prosecution history.  
22 Q Did you, ah, did you receive a copy  
23 of it prior to signing the declaration on the  
24 17th?  
25 A Yes.

81

1 Q And when did you receive a copy of  
2 it?  
3 A I can't recall, but I certainly had  
4 it by the 13th.  
5 Q Okay. And when you read it, you  
6 know, how did you read it? Was it on the  
7 screen again, like certain other things or?  
8 A I had a packet of paper in front of  
9 me and looked through it.  
10 Q Okay. By yourself?  
11 A I believe Red Bend counsel was  
12 present at the time.  
13 Q Okay. Okay, and who compiled the  
14 definitions in Exhibit A? Was this you or  
15 counsel for Red Bend, or some other -- some  
16 combination?  
17 A Every one of the definitions in  
18 Exhibit A in the end are my words. Some of  
19 them were -- versions of them were originally  
20 proposed in some cases by counsel for Red Bend.  
21 MR. BERTIN: Okay, let's go ahead and  
22 mark the '552 patent as the next exhibit.  
23 (Exhibit 6 marked for  
24 identification.)  
25

82

1 BY MR. BERTIN:  
2 Q Okay, I want to refer you to the '552  
3 patent. And, in particular, the page that's  
4 marked -- well, we'll call it column 2 of the  
5 patent. It's also Bates stamped SE00080.  
6 A Okay.  
7 Q And there is something in this column  
8 that appears under the heading "Glossary".  
9 Do you see that?  
10 A Yes.  
11 Q What is your understanding of the  
12 glossary?  
13 A It appears that the person who wrote  
14 the patent is trying to clarify the use of  
15 certain terms that might have multiple  
16 interpretations.  
17 Q Okay. And are there any  
18 discrepancies between the glossary and your --  
19 the table that's appended to your declaration  
20 as Exhibit A?  
21 A Well, yes, of course. Many of the  
22 words are the same, many are different. Are  
23 you asking whether there is any substantial  
24 difference in any of the definitions? What are  
25 you asking?

83

1 Q I'm asking if there are any  
2 differences.  
3 A Well, of course. Some definitions  
4 have more words, other definitions have fewer  
5 words. In many cases I was trying to  
6 understand what was meant by the glossary, and  
7 phrase it perhaps slightly differently. I  
8 didn't necessarily always like the English  
9 involved.  
10 Do I believe that there is any substantial  
11 difference in the meaning? Not particularly.  
12 Q Okay. Why -- why did you deviate in  
13 your Exhibit A from the glossary in the patent?  
14 A In certain cases I thought the  
15 glossary definition was perhaps insufficient.  
16 And the way that the words were being used  
17 throughout the patent, it could be explained a  
18 little bit more clearly. I guess to figure out  
19 all of these differences, I would have to step  
20 through carefully and make arguments for, okay,  
21 why did I choose this word, why did I choose  
22 that word. I'm not sure if I could give you  
23 that level of detail.  
24 Q Where you -- where you deviated from  
25 the glossary, did you attempt to look at other

84

1 areas in the patent where a word was used, and  
2 insert some language from these other parts in  
3 your definition?  
4 MR. SCHEINFELD: Objection, vague.  
5 THE WITNESS: I can't recall taking  
6 language from elsewhere in the patent and  
7 putting them into these, you know, into  
8 these definitions.  
9 BY MR. BERTIN:  
10 Q Can you look at the very first  
11 definition of "data table".  
12 A Yes.  
13 Q Okay, do you see the second sentence  
14 there?  
15 A In the patent or in my construction?  
16 Q In your table, not the one that's set  
17 forth in the patent.  
18 A Yes.  
19 MR. SCHEINFELD: I'm sorry, which  
20 one? Oh, I'll read the transcript, I'm  
21 sorry.  
22 THE WITNESS: Okay.  
23 Q So I'm now referring to the second  
24 sentence of your definition, Dr. Edwards, of  
25 "data table". It reads: "An executable

85

1 program is one example of a data table."  
2 Do you see that?  
3 A Yes, I see that.  
4 Q Okay, do you see that language "an  
5 executable program is one example of a data  
6 table" anywhere in the glossary?  
7 A (Reviewing document.) Let me look.  
8 So I am assuming the glossary starts on  
9 column 2, line 30, and continues until the next  
10 subheading, which is column 3, line 21. And  
11 yes, it appears that around line 61, I write  
12 "as an example, a data table can be an  
13 executable program, either as a loaded program  
14 in machine memory or as an executable file."  
15 Q Okay, so -- so you would characterize  
16 that sentence as one that comes from the  
17 glossary, because it appears between column 2,  
18 line 30, and column 3, line 20; is that  
19 correct?  
20 A Yes. And there is a question, since  
21 that paragraph is not part of the specific  
22 lists that were clearly definitions, but this  
23 is additional information that the author  
24 apparently felt should be included in the  
25 glossary section.

86	<p>1 Q How about your definition of "compact 2 difference results", is that based on the 3 glossary? 4 A (Reviewing document.) No, that 5 phrase does not appear to appear in the 6 glossary. 7 Q And why did you choose to construe 8 that term? 9 A Let me check. It features 10 prominently in the claim language of the 11 patent. 12 Q So sometimes you looked outside of 13 the glossary for the meaning of terms that 14 appear in your table? 15 A That's correct. 16 Q Okay. 17 A And certainly throughout the patent. 18 Q Okay. How about the term "invariant 19 reference", why was that important for you to 20 construe? 21 A (Reviewing document.) Because that 22 phrase appears in many claims and throughout 23 the patent. 24 Q And did you construe that term with 25 reference to the glossary?</p>	88	<p>1 declaration. 2 A Yes, so this column 10, lines 10 3 through 15, was some of the primary wording 4 that I used to create a definition for 5 "invariant references". 6 Q Okay, I'm just going to read this 7 into the record and then ask you about it. So 8 this portion, if I start from the first full 9 sentence beginning at column 10, line 9, it 10 says: 11 "It is accordingly an object of the 12 invention to give rise to a situation where 13 modifications of this kind will be modified to 14 invariant references with the obvious 15 consequence that they are not reflected in the 16 difference result, thereby keeping the latter 17 relatively compact." 18 So I guess I will ask you first, what does 19 this -- why did you find this portion of the 20 patent significant in terms of defining 21 "invariant references"? 22 A The claim language refers to 23 "invariant references" many times, and points 24 out that it is using invariant references. 25 Here is, in column 10, is an example of terms</p>
87	<p>1 A (Reviewing document.) Which term are 2 we referring to now? I'm sorry. 3 Q That's okay. "Invariant reference". 4 A "Invariant reference". Actually I 5 did not construe that term, I construed 6 "invariant references". And, in part, the 7 definition of that comes from the glossary in 8 that it refers to "references". 9 But the notion of invariance does not 10 appear to be in the glossary itself, but 11 appears in many places throughout the patent. 12 Q Okay. You've identified one place in 13 particular; is that correct? 14 A I can't recall. Are you referring to 15 use in the claims? 16 Q I'm actually referring to your 17 Exhibit A, and your definition of "references" 18 where you have identified some support in the 19 patent for -- apparently some support for your 20 definition; is that correct? 21 MR. WILLIAMS: Just to clarify, 22 Robert: Did you say "references" or 23 "invariant references"? 24 Q I'm referring to the term "invariant 25 references" on page 2 of Exhibit A of your</p>	89	<p>1 that attempt to explain what invariant 2 references are and, in particular, why you 3 would be interested in them. 4 Q Okay. And what is your understanding 5 of the role of invariant references? 6 MR. SCHEINFELD: Objection, vague. 7 BY MR. BERTIN: 8 Q Within the claims. 9 A The claims specifically refer to 10 invariant references as part of the invention. 11 That's my understanding. It depends on the 12 claim. 13 Q Okay. What is the -- the section I 14 read mentions an obvious consequence here. 15 What is that all about? 16 A Someone of ordinary skill in the art 17 would understand that any binary difference 18 utility, when encountering identical data, 19 would recognize it as such, and essentially not 20 have to say anything about it; thereby keeping 21 the difference result relatively compact, as in 22 the words of the patent. 23 Q Okay, so is it your testimony that 24 this patent uses invariant references like 25 every binary difference utility that came</p>



90

1 before it?

2 A Not at all.

3 MR. SCHEINFELD: Objection, vague.

4 THE WITNESS: Not at all.

5 BY MR. BERTIN:

6 Q Well, then, how is -- if your answer

7 is "not at all", then how is it that the

8 invariant references are used, according to

9 this patent, to differentiate other difference

10 techniques in the prior art?

11 MR. SCHEINFELD: Objection.

12 No foundation, ambiguous.

13 THE WITNESS: It seems that invariant

14 references are a key component of the

15 invention, and is stated in the claims and

16 in the preferred embodiment. And as

17 suggested by the claims, techniques that

18 have not considered invariant references,

19 it would be different.

20 BY MR. BERTIN:

21 Q Okay. Just prior to the portion that

22 you cite, the patent appears to explain

23 invariant references. And I just want to ask

24 you to read column 10, line 3 to column 10,

25 line 15, and just let me know if you agree with

91

1 this.

2 A (Reviewing document.)

3 Q While you're doing that, I will state

4 that this section begins "Before proceeding any

5 further, it should be noted", and then it goes

6 on to talk about conventional file difference

7 techniques --

8 A Okay.

9 Q -- and invariant references.

10 A So say again, you would like me to

11 start reading it before proceeding any further,

12 and continuing to --

13 MR. SCHEINFELD: Line 15.

14 MR. BERTIN: Of column 10.

15 A Okay, "Before proceeding any

16 further --"

17 MR. SCHEINFELD: I don't think he is

18 saying read it out loud. Just read it to

19 yourself.

20 THE WITNESS: Oh, read it to myself.

21 BY MR. BERTIN:

22 Q You can read it out loud if you want,

23 or you can read it to yourself.

24 A Okay.

25 Q It's up to you.

92

1 A Okay. (Reviewing document.) Okay,

2 and say again your, the hypothesis you have

3 about this text.

4 Q I want to know if you agree with

5 this.

6 A Okay. State again what you're asking

7 me whether I agree with.

8 Q With this section of the patent, this

9 section of the description.

10 A (Reviewing document.) So, broadly,

11 yes, my understanding of it is that

12 conventional file difference utilities would

13 reflect changes in the file. And yes, it's a

14 fair assessment of file difference utilities.

15 Q So at one place in here, around

16 line 7, it says:

17 "Those versed in the art will readily

18 appreciate that according to the invention it

19 is desired to neutralize this change."

20 So a couple of questions on this. Do you

21 consider yourself someone versed in the art?

22 A I presume that the art that it is

23 referring to is the scope of the patent, which

24 is mentioned, column 1, field of the invention,

25 "Generally Updating Computer Programs". And

93

1 so, yes, I consider myself versed in that art.

2 Q Okay. What are they -- can you

3 explain to me what they are referring to when

4 they refer to neutralizing this change in the

5 passage I just had you read? And you can feel

6 free to use the figures of the patent, and

7 anything at your disposal to explain this to

8 me.

9 A Broadly it is saying the more

10 differences that can be predicted

11 automatically, somehow, the fewer you will have

12 to communicate. Let's see. Let me try it

13 again.

14 The more differences can you predict, the

15 fewer differences you will have to communicate,

16 and that will lead to a compact difference

17 result.

18 Q Okay. I think I understand that.

19 Can you give me an example of differences

20 that you can predict?

21 A One of --

22 Q And you can refer to the patent

23 again, or this passage if you would like.

24 A One of the ideas in the patent is

25 that if a large segment of data is inserted and

94	<p>1 there are concrete references to things past  2 that data, those earlier references need to be  3 adjusted by the amount of data that was  4 inserted.</p> <p>5 If you understand that is the cause of  6 those changes, you can represent that change  7 much more compactly than a series of  8 instructions like change 5 to 7, change 9 to 11  9 sort of thing. And this is a -- I consider  10 this one of the key ideas in the patent.</p> <p>11 Q Okay. So what if a small -- what if  12 a small segment of instructions is inserted at  13 the beginning of a program, might that cause  14 changes due to the insertion that can be  15 predicted according to the patent?</p> <p>16 A Let me think about that.</p> <p>17 MR. SCHEINFELD: Let me, as well, I  18 would like to have the question read back.  19 (The pending question was read back  20 by the reporter.)</p> <p>21 A Yes, many changes that could be  22 caused by such an insertion would be predicted  23 by the invention described in the patent.</p> <p>24 Q And when changes are predicted, are  25 they quote, "neutralized"? And, again, my</p>	96	<p>1 BY MR. BERTIN:  2 Q Okay. You've defined in your table  3 the term "reference entry"; is that correct?  4 A That is correct.  5 Q And what is a reference entry?  6 A I'm sorry, can you repeat the  7 question.  8 Q What is a reference entry?  9 A Following my definition, an  10 addressable unit containing data includes a  11 reference.  12 Q Okay, and can you give me some  13 examples of a reference entry from the patent?  14 A From the patent? It will take me a  15 moment to find it.  16 MR. SCHEINFELD: I'm sorry, are you  17 directing the witness to look throughout  18 the patent?  19 MR. BERTIN: Yes.  20 A (Reviewing document.) So one  21 instance appears in column 10, line 62, it's  22 "Create a translation table L1 between entry  23 reference in P1". Or, excuse me, "between  24 entry references in P1."  25 Q Okay. How about column 1, between</p>
95	<p>1 question is in the context of the passage that  2 we've just read.</p> <p>3 A Yes, this, by predicting a change,  4 you can essentially undo it, so that a less  5 wise algorithm, such as a standard binary  6 difference utility, would not see them.</p> <p>7 Q Okay. Okay, so -- and is it your  8 understanding that invariant references are  9 used to neutralize predictable changes?</p> <p>10 MR. SCHEINFELD: Objection.  11 Vague, ambiguous. Lacking context.  12 THE WITNESS: Could you read it back  13 to me, repeat it.  14 (The pending question was read back  15 by the reporter.)  16 THE WITNESS: In some cases, yes.  17 BY MR. BERTIN:  18 Q Are invariant references used to  19 neutralize predictable changes when they are  20 due to insert/delete modifications?  21 MR. SCHEINFELD: Same objection.  22 THE WITNESS: Within the same, within  23 the context of the patent and the  24 invention described there, yes, that --  25 those are examples of changes.</p>	97	<p>1 line 62 and 67.  2 MR. SCHEINFELD: Question?  3 MR. BERTIN: I'm just referring him  4 to this part of the --  5 A Column 1, you said 62 between --  6 Q 62 and 67, roughly.  7 MR. SCHEINFELD: I'm sorry, is there  8 a pending question?  9 A So I have located the text.  10 Q Okay, so the question is: Does  11 this -- is this a portion of the document that  12 has a bearing on the definition of "reference  13 entry"?  14 A It's giving a suggestion of what they  15 mean by "references". The way that this is  16 written strikes me as being fairly informal, so  17 yes and no.  18 Q Well, give me the yes and the no.  19 A Yes, it refers -- it uses the word  20 "entries", and it doesn't explain what it means  21 by "entries" before that. And it uses the word  22 reference to data and possibly others, referred  23 to collectively as "reference entries".  24 So this is giving us examples of things  25 that could be reference entries, but it's not a</p>

98	<p>1 definition.</p> <p>2 Q Okay. The glossary defines "entry",</p> <p>3 but you do not. Any reason why you didn't?</p> <p>4 A It's a sufficiently simple word, and</p> <p>5 the more interesting question is when it's</p> <p>6 combined with "entry", what's that referring</p> <p>7 to.</p> <p>8 Q When what's combined with "entry"?</p> <p>9 A "Reference entry" is more interesting</p> <p>10 than "entry" by itself.</p> <p>11 Q And why is that?</p> <p>12 A It's used -- it's more specific. Or</p> <p>13 it has the -- the definition of "entry" in the</p> <p>14 glossary, is straightforward, broad. I didn't</p> <p>15 feel a need to repeat it.</p> <p>16 Q Are reference entries affected by</p> <p>17 insert/delete modifications in predictable ways</p> <p>18 that can be neutralized, according to the</p> <p>19 patent?</p> <p>20 A No. Merely the act of inserting or</p> <p>21 deleting won't modify reference entries in this</p> <p>22 sense. However, modifications to the source</p> <p>23 code, that would then go through a compilation</p> <p>24 process, will produce different reference</p> <p>25 entries that would be predicted, in many cases,</p>	100	<p>1 entry, within the meaning of the patent, can be</p> <p>2 a source code instruction?</p> <p>3 A Say it again? A reference entry</p> <p>4 within the scope of the instruction -- within</p> <p>5 the scope of the patent -- I'm sorry, I forgot.</p> <p>6 Q We can read back the question.</p> <p>7 A Please do.</p> <p>8 (The pending question was read back</p> <p>9 by the reporter.)</p> <p>10 MR. SCHEINFELD: Objection.</p> <p>11 Asked and answered.</p> <p>12 THE WITNESS: No. The definition I</p> <p>13 have here, addressable unit containing</p> <p>14 data includes a reference. And here,</p> <p>15 reference is very specifically an address</p> <p>16 or a number used to compute an address.</p> <p>17 BY MR. BERTIN:</p> <p>18 Q Okay, I don't think that answers my</p> <p>19 question.</p> <p>20 A Oh. I do not.</p> <p>21 Q I'm really looking for --</p> <p>22 A Okay.</p> <p>23 Q -- a binary yes or no, whether</p> <p>24 reference entry excludes source code</p> <p>25 instruction?</p>
99	<p>1 by the invention. So it is not accurate to say</p> <p>2 that insertions change reference entries.</p> <p>3 Q Are you saying that a reference entry</p> <p>4 could be a source code instruction, according</p> <p>5 to the patent?</p> <p>6 A No.</p> <p>7 Q I want to refer you to column 2, line</p> <p>8 63 through 65, and I will just read this into</p> <p>9 the record. This is part of the glossary, I</p> <p>10 will represent.</p> <p>11 "In this example, entries are individual</p> <p>12 machine instructions of the program for the</p> <p>13 individual data elements used by the program."</p> <p>14 Do you see that?</p> <p>15 A Yes.</p> <p>16 Q So, in this example, an entry is</p> <p>17 defined as including machine instructions. I</p> <p>18 don't see the concept of an instruction</p> <p>19 anywhere in your glossary, nor do I see a</p> <p>20 definition for "entry". Why is that?</p> <p>21 A I already explained why I omitted</p> <p>22 "entry". Instructions are mentioned very</p> <p>23 rarely throughout the patent, I did not feel it</p> <p>24 was necessary to define the term.</p> <p>25 Q Do you believe that a reference</p>	101	<p>1 MR. SCHEINFELD: Objection.</p> <p>2 Asked and answered, and he gave you a</p> <p>3 binary answer. I'm looking at the</p> <p>4 transcript.</p> <p>5 THE WITNESS: Source code generally</p> <p>6 is not an address or a number. Or</p> <p>7 generally not considered as such, so no.</p> <p>8 BY MR. BERTIN:</p> <p>9 Q Okay, so does a reference entry refer</p> <p>10 to -- or can a reference entry refer to an</p> <p>11 instruction?</p> <p>12 A (Reviewing document.) It could --</p> <p>13 reference entry could refer to an instruction.</p> <p>14 Q Okay, and doesn't a reference entry</p> <p>15 refer to an instruction in column 1 between</p> <p>16 lines 62 and 67 where we just looked?</p> <p>17 A (Reviewing document.) It's a</p> <p>18 complicated question, because of the way that</p> <p>19 the language in the patent is using the word</p> <p>20 "instruction".</p> <p>21 In that parag -- in that sentence, it</p> <p>22 appears to be blurring the distinction between</p> <p>23 source code instructions and machine language</p> <p>24 instructions.</p> <p>25 Q Does a jump instruction exist in all</p>

102

1 source code and machine code?  
2 A Not always.  
3 Q Can a jump instruction exist in both  
4 source code and machine code?  
5 A There are many machine -- types of  
6 machine code that have it, many types of source  
7 code that has it, many types of source code  
8 that do not have it.  
9 Q And is it your opinion that the '552  
10 patent intends for jump instructions to exist  
11 in machine code?  
12 A I believe that the '552 patent  
13 assumes that jump instructions may exist within  
14 machine code, but that that is not necessarily  
15 its only interpretation.  
16 Q Is a -- is a jump instruction in  
17 machine code format a reference entry,  
18 according to your definition?  
19 A Provided you interpret the  
20 instruction as including the destination for  
21 the reference. So there are some jump  
22 instructions that I would say do contain  
23 reference entries, there are other jump  
24 instructions that do not. That would not.  
25 Q So where a jump instruction includes

103

1 a reference and address where the program  
2 should jump to in machine code format, would  
3 that be considered a reference entry?  
4 A Yes, that would be considered a  
5 reference entry.  
6 Q Can you list for me the x86  
7 instructions that would be considered  
8 reference, or that you consider reference  
9 entries?  
10 A No, I could not. The x86 instruction  
11 set is vast. I do not know every instruction  
12 in that instruction set. It's actually  
13 somewhat ill-defined what exactly that  
14 instruction set is. Since different processors  
15 have different, implements different subsets on  
16 it, I would not be able to answer that question  
17 without looking at a reference manual.  
18 Q When you say that the number is vast,  
19 how many -- how vast is it? I mean, can you  
20 estimate how many x86 instructions you would  
21 consider reference entries?  
22 A It depends on how you want to count  
23 instructions. You could count them using just  
24 the opcodes, using the symbolic names that are  
25 usually used to refer to groups of them. And

104

1 that's probably in the hundreds.  
2 You could consider opcodes in addressing  
3 modes, which are different ways that those  
4 opcodes could be used, that would easily be in  
5 the thousands.  
6 Then you could enumerate all of the  
7 numbers that would be interpreted by an x86 as  
8 instructions, and that would probably be in the  
9 billions.  
10 Q Well, I'm not going to ask you to  
11 recite a billion instructions.  
12 A Thank you.  
13 Q No worry there. But -- but people  
14 do, all the time, obviously, write x86 programs  
15 using a variety of different instructions that  
16 include reference addresses that you would  
17 consider reference entries; is that correct?  
18 MR. SCHEINFELD: Objection, vague.  
19 THE WITNESS: There are certainly x86  
20 programs that include instructions that I  
21 would consider to be reference entries.  
22 BY MR. BERTIN:  
23 Q Okay, so if I have a short program  
24 that has lots of reference entries, and for  
25 purposes of my example, let's take the

105

1 "instruction" definition of "reference  
2 entries", where the reference entry is an  
3 instruction that includes a reference address,  
4 and into this program at the beginning I insert  
5 a bunch of lines of programming code with the  
6 result that in the updated program, where I  
7 have inserted the instructions, the reference  
8 addresses associated with each of the reference  
9 entries change in a predictable way --  
10 MR. SCHEINFELD: Objection.  
11 Incomplete hypothetical and vague.  
12 MR. BERTIN: I'm not done.  
13 BY MR. BERTIN:  
14 Q -- how does the patent teach  
15 addressing the changes to those reference  
16 entries due solely to the insertion of code in  
17 the updated program?  
18 MR. SCHEINFELD: Same objection.  
19 THE WITNESS: Wow.  
20 MR. SCHEINFELD: Can we have the  
21 question read back, please. Going back to  
22 "okay".  
23 (The pending question was read back  
24 by the reporter.)  
25 MR. BERTIN: I'll tell you what, I'll

106	<p>1 just rephrase the question.</p> <p>2 BY MR. BERTIN:</p> <p>3 Q If I have an updated program where</p> <p>4 the only changes to it are a few lines of</p> <p>5 additional code at the beginning, and several</p> <p>6 reference entries changing because the</p> <p>7 reference address changes, how does</p> <p>8 Courgette -- pardon me -- how does the patent</p> <p>9 neutralize those changes in the references?</p> <p>10 MR. SCHEINFELD: Objection.</p> <p>11 Incomplete hypothetical and vague.</p> <p>12 THE WITNESS: In the scenario you</p> <p>13 describe, it can be the case that</p> <p>14 reference entries will change in the</p> <p>15 compiled code.</p> <p>16 Now part of your question is</p> <p>17 confusing in that you're talking about</p> <p>18 inserting lines of code, which suggest to</p> <p>19 me source files. But then referring to</p> <p>20 changes in reference entries which, by my</p> <p>21 definitions, are in the object code. And</p> <p>22 so to talk about one causing the other is</p> <p>23 complicated, because of the compilation</p> <p>24 flow involved.</p> <p>25 Secondly, the reference entries that</p>	108	<p>1 A Well, first let's be clear about what</p> <p>2 we say when you're saying "the patent".</p> <p>3 So there are the claims of the patent,</p> <p>4 which suggest one thing. And then there is the</p> <p>5 preferred embodiment, which suggests something</p> <p>6 with much more detail.</p> <p>7 Which one do you mean?</p> <p>8 Q Well, let's begin with the section</p> <p>9 we've been talking about, column 10, lines 3 to</p> <p>10 15.</p> <p>11 A (Reviewing document.) So I read that</p> <p>12 section of text as saying we're going to try to</p> <p>13 neutralize these changes. But it does not, at</p> <p>14 that point, explain in detail how it's going to</p> <p>15 do that.</p> <p>16 Q What are, quote, "these changes" that</p> <p>17 are being neutralized in this portion?</p> <p>18 A So I believe that the description</p> <p>19 starts -- oh, let's see. No, it actually</p> <p>20 starts column 9, around line 25, 26 or so,</p> <p>21 where it's describing, or it begins to describe</p> <p>22 the example in Figure 2 of the patent. And so</p> <p>23 it actually enumerates many changes, including</p> <p>24 deletions, insertions.</p> <p>25 Q Isn't Figure 2 another example, and</p>
107	<p>1 you get even in a small program may be due</p> <p>2 to internal references or references to</p> <p>3 things outside. This all gets</p> <p>4 complicated.</p> <p>5 And then you're asking, if I</p> <p>6 understand correctly, how the patent</p> <p>7 teaches how it neutralizes those changes.</p> <p>8 The process is complicated. And to</p> <p>9 really answer the question precisely, I</p> <p>10 would probably have to go through many of</p> <p>11 the steps of the patent.</p> <p>12 So we can -- we can do that if you</p> <p>13 want, but I'm not sure if that's what</p> <p>14 you're asking me to do.</p> <p>15 BY MR. BERTIN:</p> <p>16 Q Well, what I'm -- what I'm really</p> <p>17 asking you is if there is an old and a new</p> <p>18 program, and the only differences between the</p> <p>19 two -- in a machine code -- between the two is</p> <p>20 address differences, how does the patent teach</p> <p>21 neutralizing those address differences when</p> <p>22 they are due to insert/delete modifications?</p> <p>23 And you can refer to the patent, or I can</p> <p>24 refer you to the patent where this is</p> <p>25 described.</p>	109	<p>1 doesn't the passage that we've been referring</p> <p>2 to begin by saying "Before proceeding any</p> <p>3 further?"</p> <p>4 A Where are you seeing "Before</p> <p>5 proceeding any further"?</p> <p>6 Q Column 10, line 3.</p> <p>7 MR. SCHEINFELD: Objection.</p> <p>8 THE WITNESS: Yes --</p> <p>9 MR. SCHEINFELD: Compound question.</p> <p>10 I just want the question, if I can have</p> <p>11 it, please.</p> <p>12 THE WITNESS: Well, let's see --</p> <p>13 MR. SCHEINFELD: Wait, I'm sorry.</p> <p>14 Could the court reporter read back</p> <p>15 the question that's pending.</p> <p>16 (The pending question was read back</p> <p>17 by the reporter.)</p> <p>18 MR. SCHEINFELD: Objection, compound.</p> <p>19 MR. BERTIN: I'll take your answer.</p> <p>20 THE WITNESS: Okay, so at this point</p> <p>21 it's saying well, if we were to stop at</p> <p>22 this point and run a conventional file</p> <p>23 difference utility on the two files that</p> <p>24 were -- on the two examples that we are</p> <p>25 talking about in Figure 2, many changes</p>

110

1 would appear there.  
 2 And then it's saying okay, our goal  
 3 is to try to neutralize the effects of  
 4 some of these changes. And then proceeds  
 5 in great detail to go through all of that.  
 6 I'm thinking now would be a good time  
 7 to take a break. Can we do that now?  
 8 MR. SCHEINFELD: I think we have to.  
 9 MR. BERTIN: Yes.  
 10 THE VIDEO OPERATOR: Going off the  
 11 record, the time is 12:21. This ends tape  
 12 number 2.  
 13 (Lunch recess.)  
 14 THE VIDEO OPERATOR: One moment.  
 15 We're back on the record. The time  
 16 is 1:10. This is tape number 3.  
 17 BY MR. BERTIN:  
 18 Q Okay, Dr. Edwards, before we broke  
 19 for lunch we were talking about Exhibit A to  
 20 your declaration and -- which is a table of  
 21 claim terms.  
 22 And I want to refer you again to  
 23 "Invariant references" on page 2 of Exhibit A.  
 24 A (Referring to document.)  
 25 Q And your proposed definition is:

111

1 "References that are the same."  
 2 Is that correct?  
 3 A That's correct.  
 4 Q And what does that mean, what is "the  
 5 same"?  
 6 A To references. I was hoping that  
 7 "same" would be a sufficiently well understood  
 8 word that wasn't worth discussing more.  
 9 Q Uhm, so the word "invariant" suggests  
 10 to you "the same"?  
 11 A Yes.  
 12 Q Okay, and you've -- have you provided  
 13 a definition from Random House Dictionary to  
 14 that effect?  
 15 A That "same" and "invariant" are the  
 16 same, no.  
 17 Q Okay, so, where -- where does this  
 18 come from?  
 19 A Oh, you asked my opinion of whether  
 20 "invariant" and "same" were similar, the same.  
 21 I'm running out of words here.  
 22 Q Okay. So, well, the word "same" is  
 23 not found in your definition?  
 24 A That's right.  
 25 Q That's correct? So --

112

1 MR. SCHEINFELD: I'm sorry.  
 2 Q You know, so, I'm -- that the claim  
 3 could have read "identical references" if it  
 4 wanted to convey "the same"; isn't that  
 5 correct?  
 6 MR. SCHEINFELD: Objection to the  
 7 form.  
 8 THE WITNESS: The claim? I don't  
 9 know what you're referring.  
 10 BY MR. BERTIN:  
 11 Q Well, the claim language that we're  
 12 referring to, or that you've referred to here,  
 13 "invariant references."  
 14 The word "invariant" could be replaced  
 15 with "identical" to convey the word "same";  
 16 isn't that correct?  
 17 MR. SCHEINFELD: Objection to the  
 18 form.  
 19 THE WITNESS: I think that conveys a  
 20 slightly, has a slightly different  
 21 connotation.  
 22 BY MR. BERTIN:  
 23 Q And what's the difference in  
 24 connotation between "identical" and "invariant"  
 25 there?

113

1 A The notion of "invariant" carries  
 2 with it the idea of time and the potential for  
 3 modification over time. Whereas "identical"  
 4 just means two things that, when compared,  
 5 result in no differences. I'm thinking of the  
 6 differences between identical twins and variant  
 7 weight.  
 8 Q In the context of the patent I doubt  
 9 you will find identical twins, but what is it  
 10 that are the same that you're referring to in  
 11 your definition?  
 12 A References --  
 13 MR. SCHEINFELD: Objection to the  
 14 form of the question.  
 15 THE WITNESS: References. This is  
 16 the definition that I have: References  
 17 that are the same.  
 18 BY MR. BERTIN:  
 19 Q Okay. All right, so, anything --  
 20 anything else that you want to add to the  
 21 context of that?  
 22 For example, you've referred to column 10,  
 23 lines 10 to 15. Anything in there that  
 24 informed your definition?  
 25 MR. SCHEINFELD: Of "invariant" or

114	<p>1 "invariant references"?</p> <p>2 MR. BERTIN: Invariant references.</p> <p>3 MR. SCHEINFELD: Objection.</p> <p>4 THE WITNESS: The phrase "invariant</p> <p>5 references" is used repeatedly in the</p> <p>6 patent. And whether it's intended to</p> <p>7 convey exactly, exactly the same thing</p> <p>8 each time is not clear and, hence, my</p> <p>9 choice of a relatively broad definition.</p> <p>10 BY MR. BERTIN:</p> <p>11 Q Okay. The part, the part that you</p> <p>12 type -- that you recite, column 10, lines 10 to</p> <p>13 15, states that:</p> <p>14 "Modifications of this kind will be</p> <p>15 modified to invariant references with the</p> <p>16 obvious consequence that they are not reflected</p> <p>17 in the difference result, thereby keeping the</p> <p>18 latter relatively compact."</p> <p>19 Is it your understanding that invariant</p> <p>20 references are used to make modifications to</p> <p>21 keep the difference result compact, as stated</p> <p>22 here and cited by you?</p> <p>23 A I would not say that invariant</p> <p>24 references are used to make the difference</p> <p>25 result small, but the notion of references</p>	116	<p>1 to insertions and deletions "will be modified</p> <p>2 to invariant references."</p> <p>3 So that is not saying that the invariant</p> <p>4 references would be modified.</p> <p>5 Q No, but what I said was that it</p> <p>6 teaches modifying to invariant references.</p> <p>7 A Yes.</p> <p>8 Q And then it goes on --</p> <p>9 A Things --</p> <p>10 Q I'm sorry.</p> <p>11 A -- will be modified to invariant</p> <p>12 references.</p> <p>13 Q Okay. And then it goes on in the</p> <p>14 part that you cite and rely on, to say:</p> <p>15 "With the obvious consequence that they</p> <p>16 are not reflected in the difference result,</p> <p>17 thereby keeping the latter relatively compact."</p> <p>18 A (Referring to document.)</p> <p>19 Q Do you see that?</p> <p>20 A Yes, I see the terms. I'm sorry,</p> <p>21 what was the question?</p> <p>22 Q So that the question here is: Isn't</p> <p>23 that the obvious consequence of modifying to</p> <p>24 invariant references; namely, that they will</p> <p>25 not be reflected, the references will not be</p>
115	<p>1 being invariant is integral to the broad idea</p> <p>2 of the patent, both in the claims and the</p> <p>3 preferred embodiment, to keep the difference</p> <p>4 result compact. But it --</p> <p>5 Q The patent teaches making</p> <p>6 modifications --</p> <p>7 MR. SCHEINFELD: I'm sorry, were you</p> <p>8 done with your answer?</p> <p>9 THE WITNESS: Yeah.</p> <p>10 MR. SCHEINFELD: Sorry.</p> <p>11 BY MR. BERTIN:</p> <p>12 Q The patent teaches making, quote,</p> <p>13 "modifications" to invariant references; is</p> <p>14 that correct?</p> <p>15 A (Reviewing document.) I don't agree</p> <p>16 with that. I don't see where you -- where you</p> <p>17 find that.</p> <p>18 Q I'm referring to the part that you</p> <p>19 have identified specifically to define the term</p> <p>20 "invariant references", that's column 10, lines</p> <p>21 10 to 15.</p> <p>22 A So I read this as: "Modifications of</p> <p>23 this kind", and --</p> <p>24 Q Keep going.</p> <p>25 A And I believe that "this kind" refers</p>	117	<p>1 reflected in the difference result, thereby</p> <p>2 keeping the latter relatively compact?</p> <p>3 MR. SCHEINFELD: Objection.</p> <p>4 Ambiguous.</p> <p>5 THE WITNESS: That is the text of</p> <p>6 what's being said. Although now that I</p> <p>7 think of it in the greater context of the</p> <p>8 patent, in fact, that's fairly abstract</p> <p>9 and imprecise. That there -- that that</p> <p>10 statement is so short and does not</p> <p>11 consider many, many -- the many, many</p> <p>12 details of the preferred embodiment of the</p> <p>13 invention that I think that actually skips</p> <p>14 important issues.</p> <p>15 BY MR. BERTIN:</p> <p>16 Q Are you saying you're no longer happy</p> <p>17 with your reliance on this portion of the</p> <p>18 patent for the definition of "invariant</p> <p>19 reference"?</p> <p>20 MR. SCHEINFELD: Objection to form.</p> <p>21 THE WITNESS: No, I'm still happy</p> <p>22 with my definition. This is an instance I</p> <p>23 cited this particular text as being an</p> <p>24 example of where it talks about invariant</p> <p>25 references, and communicates the notion of</p>

118

1       them being the same. I'm not saying that  
2       this is the definitive definition, or when  
3       taken by itself.  
4   BY MR. BERTIN:  
5       Q   Okay, so if I -- if I replace the  
6       words in this section, "invariant references",  
7       with your definition, "references that are the  
8       same," it will read: "Will be modified to  
9       references that are the same with the obvious  
10       consequence that they are not reflected in the  
11       difference result, thereby keeping the latter  
12       relatively compact."  
13       And I take it you're satisfied with that  
14       definition and its consistency with this aspect  
15       of the patent or -- with this aspect of the  
16       patent?  
17       A   (Reviewing document.) Let me think.  
18       I guess I'm not happy with a -- would not  
19       be happy with a change like that, because the  
20       definition I give is broad, deliberately so,  
21       and I give examples. I try to relate it to  
22       something that's already known, but I'm  
23       probably omitting some details. And so just  
24       changing that phrase to "modified to references  
25       that are the same" somehow doesn't quite convey

119

1       the same idea that that paragraph or that  
2       sentence seems to be communicating.  
3       Q   So you don't like -- you're not  
4       satisfied with your definition of "invariant  
5       references" as "references that are the same"?  
6       MR. SCHEINFELD: Objection. Asked  
7       and answered and mischaracterizes the  
8       witness's testimony.  
9       THE WITNESS: I'm still happy with my  
10       definition. Any definition is incomplete,  
11       including those in dictionaries.  
12   BY MR. BERTIN:  
13       Q   That's an awfully philosophical  
14       answer.  
15       A   You're asking a philosophical  
16       question.  
17       Q   You mentioned earlier that  
18       "invariant" to you connotes time, or changes  
19       over time. How have you captured that in your  
20       five word definition?  
21       A   I would say "invariant references"  
22       have that connotation or can have that  
23       connotation, but do not necessarily so. So my  
24       definition covers that interpretation, but does  
25       not single it out as being the only one.

120

1       Q   Is there any example of your  
2       definition capturing the concept of time, or a  
3       change over time? How would you account for  
4       that aspect of the definition or should -- of  
5       "invariant" in your own words, or should we  
6       just ignore that aspect of the definition?  
7       A   One way that things could be the same  
8       is over time; therefore, the word "same" can be  
9       used to talk about -- can be used in the  
10       context of when you're talking about changes  
11       over time. But I considered talking just about  
12       changes over time too narrow.  
13       Q   Okay, let's go to your declaration  
14       and paragraph 12.  
15       A   (Referring to document.)  
16       Q   So here you talked about: "For a  
17       program to run on a computer, its source code  
18       must be converted, 'compiled,' into an  
19       executable program (or 'object code')."  
20       What -- what is an executable program?  
21       A   Well, one definition is a program  
22       that can run on a computer. One particular  
23       example of that is just a sequence of numbers  
24       that can be interpreted by the processor on  
25       a -- on a machine in order to perform some

121

1       series of actions.  
2       Q   Okay, so that both of them require  
3       the computer or the processor to be able to  
4       execute them; correct?  
5       A   When one says "executable", the  
6       implication is executable by a processor, so,  
7       yes.  
8       Q   Okay. Just a little bit further  
9       down, the third sentence in paragraph 12 says:  
10       "A reference could be the address of  
11       another instruction in a, quote, 'jump'  
12       instruction that directs the processor to begin  
13       running instructions at another location."  
14       Is this an example of a machine code jump  
15       instruction?  
16       A   Yes.  
17       Q   Is this jump instruction an example  
18       of a "reference entry" within the meaning of  
19       the patent and your definitions?  
20       A   Yes, a jump, in machine language,  
21       jump instruction could be a reference entry.  
22       Q   Okay. And what are you trying to  
23       capture here in this sentence?  
24       MR. SCHEINFELD: Objection.  
25       Ambiguous.



122	<p>1 THE WITNESS: The distinction between</p> <p>2 source code and executables, the sorts of</p> <p>3 things you would expect to find in these</p> <p>4 executables, and what sorts of references</p> <p>5 you might find.</p> <p>6 BY MR. BERTIN:</p> <p>7 Q With respect to the latter, what type</p> <p>8 of references you might find, can you elaborate</p> <p>9 on the different types that you might find</p> <p>10 within the context of the '552 patent?</p> <p>11 A The '552 patent speaks almost not at</p> <p>12 all about what sort of instructions might</p> <p>13 contain references.</p> <p>14 For the most part it says: "Instructions</p> <p>15 may contain references and we will consider</p> <p>16 these references," but does not try to narrow</p> <p>17 down what sorts of instructions absolutely do</p> <p>18 or do not.</p> <p>19 I -- (Reviewing document.) I remember</p> <p>20 earlier it gives some examples of that.</p> <p>21 (Reviewing document.)</p> <p>22 Yeah, at the bottom of column 1, line 64:</p> <p>23 "Those entries that jump, jump on</p> <p>24 condition, call functions, reference to data</p> <p>25 and possibly others (referred to, collectively,</p>	124	<p>1 you would interpret trivially as an address, or</p> <p>2 you would have to take that number, apply some</p> <p>3 function to it, do some computation on it to</p> <p>4 obtain the intended -- the intended address.</p> <p>5 Q Would a relative address be an</p> <p>6 example of a number used to compute an address?</p> <p>7 A Yes, a relative address would be a</p> <p>8 number used to compute another address.</p> <p>9 Q And would an absolute address be a,</p> <p>10 be an actual address?</p> <p>11 MR. SCHEINFELD: Objection, vague.</p> <p>12 THE WITNESS: Generally, although</p> <p>13 depending on what kind of file in which</p> <p>14 you are looking, that may or may not be</p> <p>15 true. That may actually be a number used</p> <p>16 to compute another address.</p> <p>17 BY MR. BERTIN:</p> <p>18 Q Okay, so depending on how it's</p> <p>19 implemented, it could fit either of these</p> <p>20 definitions if it's absolute?</p> <p>21 A That's correct.</p> <p>22 Q And how about an indirect address, is</p> <p>23 an indirect address the type of address where a</p> <p>24 number is used to compute an address within the</p> <p>25 meaning of the '552 patent?</p>
123	<p>1 as reference entries)."</p> <p>2 So the patent gives examples, but does not</p> <p>3 attempt to limit what those, what these entries</p> <p>4 with references could be.</p> <p>5 Q Okay. So in other words they could</p> <p>6 be types of instructions other than those</p> <p>7 listed that you just read?</p> <p>8 A Yes.</p> <p>9 Q Okay. How about different addressing</p> <p>10 modes, direct versus indirect addressing, is</p> <p>11 that contemplated by the '552 patent?</p> <p>12 A I don't believe the '552 patent has a</p> <p>13 reference to addressing modes anywhere in it.</p> <p>14 And I don't see why it would need to.</p> <p>15 Q Just to refer you to the glossary for</p> <p>16 a moment and the definition of "reference".</p> <p>17 A (Referring to document.)</p> <p>18 Q In the glossary definition of</p> <p>19 reference at column 42 -- pardon me, column 2,</p> <p>20 lines 42 to 46, the patent indicates:</p> <p>21 "A reference can be either an address or a</p> <p>22 number used to compute an address."</p> <p>23 What's the difference between those two</p> <p>24 types of references?</p> <p>25 A As it says, it could be a number that</p>	125	<p>1 MR. SCHEINFELD: Objection.</p> <p>2 No foundation.</p> <p>3 MR. BERTIN: Again, I'm referring to</p> <p>4 the glossary --</p> <p>5 THE WITNESS: I guess --</p> <p>6 MR. BERTIN: -- definition.</p> <p>7 MR. SCHEINFELD: Let him finish,</p> <p>8 please.</p> <p>9 THE WITNESS: I guess I don't have a</p> <p>10 crisp idea in my mind what an indirect</p> <p>11 address is. Can you be more precise?</p> <p>12 BY MR. BERTIN:</p> <p>13 Q Well, I guess I would put the</p> <p>14 question back on you and ask you, as an expert</p> <p>15 in the field of compilers and software, what</p> <p>16 the -- what your definition of "indirect</p> <p>17 address" is?</p> <p>18 A So when I hear "indirect address",</p> <p>19 nothing immediately springs to mind, except</p> <p>20 indirect addressing modes. Now that may be</p> <p>21 slightly different from what you have in mind,</p> <p>22 but indirect addressing is typically when you</p> <p>23 refer to a register whose contents are meant to</p> <p>24 be interpreted as an address. So when you</p> <p>25 speak of an indirect address, that may be one</p>

126	<p>1 interpretation.</p> <p>2 Another one might possibly be a handle,</p> <p>3 which I have not heard referred to as an</p> <p>4 indirect address before, but could be thought</p> <p>5 of such. A handle is an address that refers to</p> <p>6 memory that itself has an address.</p> <p>7 But none of those match up perfectly in my</p> <p>8 mind with "indirect address".</p> <p>9 Q What type of address or addressing</p> <p>10 mode are you referring to in the last sentence</p> <p>11 of paragraph 12?</p> <p>12 A In the last paragraph of sentence 12</p> <p>13 I am hinting at an absolute address.</p> <p>14 Q Okay, and what -- what -- how do we</p> <p>15 know it's an absolute address?</p> <p>16 A So I write: "A reference could be</p> <p>17 the address of another instruction in a 'jump'</p> <p>18 instruction."</p> <p>19 And implicit in that is the notion that</p> <p>20 the address is within the instruction itself,</p> <p>21 and my intention with those was to refer to an</p> <p>22 absolute address.</p> <p>23 Q What is "the address of another</p> <p>24 instruction at a 'jump' instruction"? I'm not</p> <p>25 sure what that means. I'm just trying to</p>	128	<p>1 code?</p> <p>2 A No, because in paragraph 13 I'm</p> <p>3 distinguishing changes in the source code that</p> <p>4 manifest themselves as changes in the machine</p> <p>5 code.</p> <p>6 So the insertion I'm talking about is</p> <p>7 changing the source code. Whereas the</p> <p>8 insertion, the insertions that the patent</p> <p>9 considers are felt only in the object -- are</p> <p>10 seen only in the object code.</p> <p>11 Q Isn't paragraph 13 an example of an</p> <p>12 insertion to the source code which is felt in</p> <p>13 the object code?</p> <p>14 A Yes.</p> <p>15 Q And the insertion in the source code</p> <p>16 results in a modification of the object code;</p> <p>17 is that correct?</p> <p>18 A In the context of paragraph 13, yes.</p> <p>19 Q And paragraph 15, you state that:</p> <p>20 "The inventor of the '552 patent</p> <p>21 recognized that 'the relatively large size of</p> <p>22 the difference result stems from the</p> <p>23 alterations of reference in reference entries</p> <p>24 as a result of other newly inserted entries</p> <p>25 (and/or entries that were deleted)."</p>
127	<p>1 understand your definition.</p> <p>2 A So here a jump instruction consists</p> <p>3 of a series of numbers that instruct a</p> <p>4 processor to send control elsewhere. A typical</p> <p>5 encoding of that consists of some number of</p> <p>6 bits that say "jump", and then another number</p> <p>7 of bits intended to be interpreted as a number</p> <p>8 that should be taken as the new value for the</p> <p>9 program counter.</p> <p>10 Q Okay. In paragraph 13 in your</p> <p>11 declaration you state that:</p> <p>12 "Minor source code changes, such as the</p> <p>13 addition of a single line, can translate into</p> <p>14 the addition of many machine code instructions</p> <p>15 in the object code."</p> <p>16 And you go on to state:</p> <p>17 "The addition of instructions in the</p> <p>18 object code shifts all the code after that</p> <p>19 point, meaning that references to this code</p> <p>20 would have to change (because they would be at</p> <p>21 a new address)."</p> <p>22 Do you see that?</p> <p>23 A Yes.</p> <p>24 Q Is this an example of a, of an insert</p> <p>25 operation changing reference entries in object</p>	129	<p>1 Do you still agree with that?</p> <p>2 A (Referring to document.) Yes, I</p> <p>3 still agree.</p> <p>4 Q And do you agree that, as you state</p> <p>5 in the last sentence there, that: "He</p> <p>6 recognized that changed references typically</p> <p>7 accounted for a large fraction of identified</p> <p>8 differences, which produced needlessly large</p> <p>9 diffs.?" Diffs, D-I-F-F-S.</p> <p>10 A Yes, I agree.</p> <p>11 Q Okay. In paragraph 16 you talk</p> <p>12 about: "Prior to comparing an old and new</p> <p>13 program generating a diff," on a modified old</p> <p>14 and a modified new program.</p> <p>15 What are the modified old and modified new</p> <p>16 programs?</p> <p>17 A Programs that have been modified from</p> <p>18 their respective originals.</p> <p>19 Q Can you identify them in the figures</p> <p>20 of the patent?</p> <p>21 A (Reviewing document.) So P1, which</p> <p>22 is labeled as 40 in Figure 2A is the old</p> <p>23 program.</p> <p>24 (Reviewing document.) So P1 Double Prime,</p> <p>25 labeled 100 in Figure 2A, and P1 Prime in 140</p>

130	<p>1 are both modified versions of the old program.  2 (Reviewing document.) I don't believe the  3 figures depict modified -- directly depict  4 modified versions of the new program, although  5 the text speaks of it.  6 Q I just want -- I want to refer you to  7 Figure 1 of the patent.  8 A (Referring to document.)  9 Q And aren't the old program and the  10 new program depicted as P1 and P2 and,  11 respectively, elements 201 and 203 in Figure 1?  12 A Yes, those are another depiction of  13 the old and new programs.  14 Q Okay. And do you see element P1  15 Prime in Figure 1?  16 A Yes, this is number 208.  17 Q Yes, isn't that the modified old  18 program?  19 MR. SCHEINFELD: Objection, vague.  20 THE WITNESS: It is "a" modified old  21 program, not "the" modified old program.  22 BY MR. BERTIN:  23 Q Is it the modified old program on  24 which the diff is run?  25 A Let me consult the patent.</p>	132	<p>1 difference", there are a number of  2 difference results depicted in this  3 figure.  4 BY MR. BERTIN:  5 Q Isn't D2 the difference result that  6 is sent to the client computer, as shown in  7 Figure 1 as element 210, and the box is labeled  8 D2?  9 A Yes, that is true.  10 Q Isn't that the difference result?  11 MR. SCHEINFELD: Objection, vague.  12 THE WITNESS: Again, there are many  13 differences that are computed in the  14 algorithm. This particular one is the one  15 that is sent from server to client as  16 number 210, labeled D2.  17 BY MR. BERTIN:  18 Q Isn't element D2 in Figure 1 the  19 compact difference result as you've construed  20 it in Exhibit A to your declaration?  21 A (Reviewing document.) No, because in  22 my definition I just refer to compact  23 difference result being one of a smaller size  24 compared to a conventional difference result.  25 Q For your opinions, do you analyze and</p>
131	<p>1 (Reviewing document.) No, because D1, labeled  2 206 in that figure, is also a difference  3 result. And in that diagram it is comparing P1  4 Double Prime and P2 Double Prime.  5 Q I think you indicated earlier, or  6 testified earlier that the patent does not  7 disclose the modified new program, but I would  8 direct you to Figure 1 and the element labeled  9 P, Prime 2, also element 209, and ask you if  10 that is the modified new program?  11 MR. SCHEINFELD: Objection.  12 Mischaracterizes the witnesses's  13 prior testimony. And vague.  14 THE WITNESS: Earlier I -- earlier I  15 stated that I did not see in Figure 2 a  16 drawing corresponding to modified new  17 program. Again, I consider 204 and 209 in  18 Figure 1A to be examples of modified new  19 programs.  20 BY MR. BERTIN:  21 Q Okay, and isn't the modified program  22 P Prime 2 the modified new program on which the  23 difference is determined?  24 MR. SCHEINFELD: Objection, vague.  25 THE WITNESS: There is no "the</p>	133	<p>1 compare boxes P1 Double Prime, P2 Double Prime  2 and D1 to allegedly infringing products?  3 MR. SCHEINFELD: Objection, vague.  4 THE WITNESS: No, I followed the  5 language of particular claims when  6 considering infringement.  7 BY MR. BERTIN:  8 Q Okay, and you consider the difference  9 result, D1, to be a compact difference result  10 that -- according to your definition?  11 A Yes, I do.  12 Q Okay. And how is D1 smaller in size  13 as compared to a conventional difference result  14 obtained by using techniques in existence prior  15 to the invention of the patent in suit? And  16 I'm quoting your definition.  17 A P1 Double Prime and P2 Double Prime  18 are simplified versions of the old and new  19 program.  20 In this figure, according to the preferred  21 embodiment of the invention, the references in  22 these two representations have been zeroed out;  23 thus, changes in them would not be visible.  24 Thus, to look at the difference result in D1,  25 and compare it to a hypothetical difference</p>

134

1 result directly between P1 and P2, which is the  
 2 notion that I tried to get across in my  
 3 definition of compact difference result, I  
 4 would expect D1 to be smaller.  
 5 THE WITNESS: If this is a convenient  
 6 time, I would like to ask for a short  
 7 break.  
 8 MR. BERTIN: Okay, that's fine. Five  
 9 minutes, ten minutes?  
 10 THE WITNESS: Yeah.  
 11 THE VIDEO OPERATOR: Going off the  
 12 record, the time is 1:54.  
 13 (Off the record.)  
 14 THE VIDEO OPERATOR: One moment.  
 15 We're back on the record, the time is  
 16 2:05. This is tape number 4.  
 17 BY MR. BERTIN:  
 18 Q Okay, Dr. Edwards, I invite you to  
 19 identify anywhere in the '552 patent where  
 20 the -- where the table, D1, is identified as  
 21 the difference result.  
 22 A I would have to read through the  
 23 whole thing, but my recollection is, is that D1  
 24 was never specifically referred to as the  
 25 difference results in the patent.

135

1 Q Okay. And your analysis does not, as  
 2 I understand it, rely on D1 being the, quote,  
 3 "compact difference results" under your  
 4 definition; is that correct?  
 5 A Which analysis?  
 6 Q Your analysis of alleged  
 7 infringement.  
 8 A Ah, when I was considering alleged  
 9 infringement, I did not -- I'm not looking to  
 10 match up the preferred embodiment exactly. So,  
 11 no.  
 12 Q Okay. So you're not looking for a  
 13 correspondence between the written description  
 14 of the patent in any way, and the accused  
 15 product for purposes of your infringement  
 16 analysis?  
 17 A I was looking at the claims, and then  
 18 also using the teachings of the preferred  
 19 embodiment to put the claims in context.  
 20 Q You did analyze Claim 42 in your  
 21 infringement analysis.  
 22 Does the compact difference result  
 23 referred to in Claim 42 cover D1 being a  
 24 compact difference result?  
 25 MR. SCHEINFELD: Objection, vague.

136

1 THE WITNESS: I was comparing the  
 2 claims with my understanding of the  
 3 Courgette code, and its behavior and its  
 4 description and so forth. I was not  
 5 trying to figure out whether the preferred  
 6 embodiment described in the patent  
 7 infringes.  
 8 BY MR. BERTIN:  
 9 Q Well, when you interpret claims, you  
 10 typically will read them on either the prior  
 11 art, if you're doing an invalidity analysis, or  
 12 the accused product, if you're doing an  
 13 infringement analysis, or the patent, if you're  
 14 trying to interpret the patent. And so I'm  
 15 asking you to do latter.  
 16 And I'm asking you whether your definition  
 17 of "compact difference result" in Claim 42  
 18 covers D1 in Figure 1 as a compact difference  
 19 result?  
 20 MR. SCHEINFELD: Objection.  
 21 Vague and mischaracterizes the law.  
 22 THE WITNESS: I guess I'm confused by  
 23 the question once again. Phrase it again.  
 24 BY MR. BERTIN:  
 25 Q I'm asking you if the -- if Claim 42

137

1 and the compact difference result produced,  
 2 covers generating the result, D1, which you've  
 3 indicated is a compact difference result?  
 4 MR. SCHEINFELD: Objection, vague.  
 5 THE WITNESS: Whether the claim  
 6 covers D1 being a compact difference  
 7 result?  
 8 BY MR. BERTIN:  
 9 Q Yes.  
 10 A Let me read Claim 42. (Reviewing  
 11 document.) So if I understand the question,  
 12 you're asking for me to consider part of the  
 13 embodiment, in particular on figure P2, P1 and  
 14 P2, which are labeled 201 and 203, and P1  
 15 Double Prime, P2 Double Prime, labeled 202 and  
 16 204, and D1, labeled 206, whether that subset  
 17 of the preferred embodiment infringe, would  
 18 infringe on Claim 42? Is that what you're  
 19 asking?  
 20 Q That's what I'm asking, yes.  
 21 A Okay. Under my construction, yes.  
 22 Q So --  
 23 A I would say it does.  
 24 Q Okay. So what element -- under your  
 25 constructions, what elements are modified old

138	<p>1 and modified new program? Referring to</p> <p>2 Figure 1.</p> <p>3 A In the setting that I just described,</p> <p>4 P1 Double Prime and P2 Double Prime would be</p> <p>5 the modified old and new if you were to</p> <p>6 consider D1 the compact difference result.</p> <p>7 Q And just to be clear, you do consider</p> <p>8 D1 a compact difference result?</p> <p>9 A Yes, I consider it a compact</p> <p>10 difference result.</p> <p>11 Q Under your definitions?</p> <p>12 A That's what I understood.</p> <p>13 Q Okay. And you consider P1 Double</p> <p>14 Prime and P2 Double Prime to be the modified</p> <p>15 old and new programs, respectively, required by</p> <p>16 Claim 42?</p> <p>17 A When you are considering just that</p> <p>18 subset of the preferred embodiment, yes, those</p> <p>19 are modified old and new programs.</p> <p>20 Q Okay. What is the -- what is the</p> <p>21 significance of, ah, small letter "c" in</p> <p>22 Figure 1?</p> <p>23 A I will have to consult the patent.</p> <p>24 Q But without consulting the patent,</p> <p>25 the rest of the patent, can you tell me the</p>	140	<p>1 would be fine.</p> <p>2 A (Reviewing document.) It begins to</p> <p>3 describe the modifications for creating P1</p> <p>4 Double Prime and P2 Double Prime starting in</p> <p>5 column 10, line 51. So it speaks of adding</p> <p>6 label marks and replacing references and</p> <p>7 entries with some fixed values.</p> <p>8 Q I'm sorry, where are you looking at?</p> <p>9 A Column 10, line -- starting at</p> <p>10 line 51. That's element (a).</p> <p>11 Q Okay, so the modifications are</p> <p>12 created, as described there, by replacing the</p> <p>13 values?</p> <p>14 A No, it's describing a series of</p> <p>15 actions, including placing labels and adding</p> <p>16 this and replacing that, each of which I</p> <p>17 consider a modification.</p> <p>18 Q Okay, so what parts of the program</p> <p>19 remained the same?</p> <p>20 A In the modifications that it suggests</p> <p>21 for P1 Double Prime and P2 Double Prime it is</p> <p>22 not explicitly stated, but it is implied in</p> <p>23 part by the figures that the remaining contents</p> <p>24 of the entries are not modified.</p> <p>25 Q Okay, so -- okay. What parts are</p>
139	<p>1 significance of the letters that you're looking</p> <p>2 at on page -- Figure 1, the small lowercase</p> <p>3 letters?</p> <p>4 MR. SCHEINFELD: Objection, vague.</p> <p>5 THE WITNESS: They look like they are</p> <p>6 referring to -- or they are used to</p> <p>7 distinguish steps described for the</p> <p>8 algorithm later. So it looks like they</p> <p>9 have as much meaning as the numbers, and</p> <p>10 that they are merely labeling things so</p> <p>11 that the text can refer to them.</p> <p>12 BY MR. BERTIN:</p> <p>13 Q And on what are you relying for your</p> <p>14 assertion that P1 Double Prime and P2 Double</p> <p>15 Prime are modified old and new programs?</p> <p>16 A The text of the patent and the</p> <p>17 figures. For example, the drawing of P1 Double</p> <p>18 Prime is right next to the drawing of P1, and</p> <p>19 clearly a number of modifications have been</p> <p>20 made.</p> <p>21 Q What -- how does the patent describe</p> <p>22 making modifications?</p> <p>23 A Making which modifications? Those</p> <p>24 modifications?</p> <p>25 Q Those modifications, to start with,</p>	141	<p>1 modified?</p> <p>2 A It describes them as modifying the</p> <p>3 reference, or the references in the reference</p> <p>4 entries and then also adding these label marks.</p> <p>5 Q Okay. And -- and is there anything</p> <p>6 different about, ah, different modifications</p> <p>7 described in the patent?</p> <p>8 In other words, P1 and P2, the generation</p> <p>9 of P1 and P2, is that -- are they done in a</p> <p>10 similar fashion or are those modifications made</p> <p>11 differently?</p> <p>12 MR. SCHEINFELD: Objection, vague.</p> <p>13 THE WITNESS: I don't think you mean</p> <p>14 P1 and P2. These are the original</p> <p>15 programs -- or the original and new</p> <p>16 programs.</p> <p>17 BY MR. BERTIN:</p> <p>18 Q You're correct.</p> <p>19 A Are you referring to P1 Double Prime?</p> <p>20 Q Yes, I'm referring -- you just</p> <p>21 referred to P1 Double Prime and P2 Double</p> <p>22 Prime, and I spoke incorrectly. I meant to</p> <p>23 refer to P1 Prime and P2 Prime.</p> <p>24 A Yes, in the text of the patent, this</p> <p>25 is column 10, line 59, it says:</p>

142	<p>1 "Although not shown Figure 2, P2 Double 2 Prime is generated in a similar manner." 3 Suggesting that the kind of modifications 4 are similar, but that the modifications 5 themselves are not identical. 6 Q Okay. Can you find anywhere in the 7 patent where D1 is referred to as a difference 8 result, or have you identified anywhere in the 9 patent where D1 is referred to as a difference 10 result? 11 A (Reviewing document.) The closest is 12 at the top of column 11. It describes: 13 "P1 Double Prime and P2 Double Prime are 14 compared, giving rise to difference table D1 15 using file difference utilities of the kind 16 specified above." 17 So it does not use the phrase "difference 18 result", it instead calls it a "difference 19 table", but it is clear that D1 is derived 20 using so-called "file difference utilities of 21 the kind specified above." And I believe 22 that's referring to the prior art binary 23 difference utilities. 24 Q So I'm confused, because your 25 definition of compact difference result states:</p>	144	<p>1 MR. SCHEINFELD: Okay. 2 BY MR. BERTIN: 3 Q Okay, so I want to refer you to 4 paragraph 16. 5 A This is in my declaration? 6 Q This is in your declaration, yes. 7 A (Referring to document.) 8 Q So the last sentence of this reads: 9 "The modified old and new programs are 10 then compared, producing a difference that no 11 longer depends on references, because 12 references in both programs were rendered 13 invariant, making this result file many times 14 smaller than one generated by techniques 15 available prior to the methods disclosed in the 16 '552 patent." 17 And -- okay. And I guess I want to refer 18 you to column 12, line 11. 19 A (Referring to document.) 20 Q And it's 11 through 14, and ask you 21 if this is the description in the patent that 22 corresponds to your sentence in paragraph 16? 23 A (Reviewing document.) 24 MR. SCHEINFELD: Objection, vague. 25 THE WITNESS: This text in the patent</p>
143	<p>1 "A difference result of a smaller size as 2 compared to a conventional difference result 3 obtained by using techniques in existence prior 4 to the invention of the patent in suit." 5 But what you've just described as D1, 6 which is nowhere referred to in the patent as a 7 "difference result", and apparently it only 8 uses difference utilities of the kind found in 9 the prior art. 10 So how do you reconcile that? 11 MR. SCHEINFELD: Objection, vague. 12 THE WITNESS: So to derive D1, the 13 patent does not say just use existing file 14 difference utilities, it has this 15 modification step before it. And the 16 patent does not refer to it as a compact 17 difference result. Yet, by my definition 18 of "compact difference result" it does 19 fall under that. 20 BY MR. BERTIN: 21 Q We will have to agree to disagree on 22 this, because I just don't see it. 23 MR. SCHEINFELD: There is no 24 outstanding question, or is there? 25 MR. BERTIN: No, there is not.</p>	145	<p>1 is another place where modified old and 2 new programs are being created, but it is 3 not to say that this is the only place 4 that such things are being created. 5 Additional modifications are happening. 6 BY MR. BERTIN: 7 Q Okay, and then, just to be clear, 8 step e in Figure 1 is shown as a precursor, if 9 you will, to Elements P Prime 1 and P Prime 2 10 of Figure 1; is that correct? 11 A Yes, so that the lowercase labels 12 that you mentioned earlier appear to be 13 referring to steps in this example, so -- and, 14 yes, step e is describing how P1 is used, along 15 with other things, to build P1 Prime and 16 similarly for P2 Prime. 17 Q Okay. And again for clarification, 18 in column 12, lines, let's see, 29 and 30, the 19 patent indicates f, small f): 20 "Having generated P Prime 1 and P Prime 2, 21 the final difference result D2 is generated." 22 And is it your understanding that D2, 23 shown in Figure 1, is the final difference 24 result generated from P1 and P2 as described in 25 the passage I just read and as indicated in</p>

146	<p>1 Figure 1?</p> <p>2 A I believe that the text and the</p> <p>3 figures suggest that D2 is generated from P1</p> <p>4 Prime, P2 Prime, as well as D1.</p> <p>5 Q Yes, I think that's correct.</p> <p>6 The patent goes on to indicate, starting</p> <p>7 again, column 12, line 30, immediately after</p> <p>8 the sentence I just read:</p> <p>9 "To this end, D1 is analyzed to determine</p> <p>10 the position of program fragments copied from</p> <p>11 P1 to P2."</p> <p>12 And, ah -- and, ah -- is this your</p> <p>13 understanding of what D1 is used for in terms</p> <p>14 of generating D2 in step f?</p> <p>15 A It's my understanding that that is</p> <p>16 perhaps part of what D1 is being used for, to</p> <p>17 generate D2. There may be other uses.</p> <p>18 Q Okay. And in your paragraph 17 you</p> <p>19 talk about the generation of a final difference</p> <p>20 result between the modified old and modified</p> <p>21 new programs.</p> <p>22 Can you identify both the final difference</p> <p>23 results and the modified old and modified new</p> <p>24 programs that you're referring to in paragraph</p> <p>25 17 of your declaration?</p>	148	<p>1 neutralizing changes. And you indicate:</p> <p>2 "Since corresponding reference entries are</p> <p>3 assigned corresponding labels, changes in the</p> <p>4 reference (or target) of a reference entry due</p> <p>5 solely to insert and delete, deletions" --</p> <p>6 "insertions and deletions will not be included</p> <p>7 in the difference result."</p> <p>8 Is that correct?</p> <p>9 MR. SCHEINFELD: Objection, vague.</p> <p>10 THE WITNESS: That's what I wrote.</p> <p>11 Now that I think of it, "will not be" is</p> <p>12 not quite precise. It should be something</p> <p>13 like "will not be included as a standard</p> <p>14 difference in the difference result."</p> <p>15 Somehow the information that those things</p> <p>16 are changing is making it into the</p> <p>17 difference result.</p> <p>18 BY MR. BERTIN:</p> <p>19 Q I'm sorry, if there is something</p> <p>20 making it into the difference result that you</p> <p>21 state is not in the difference result, could</p> <p>22 you please tell me where that is?</p> <p>23 MR. SCHEINFELD: Objection, vague.</p> <p>24 THE WITNESS: So in paragraph 17 what</p> <p>25 I'm trying to do is convey the notion of</p>
147	<p>1 A Identify them where?</p> <p>2 Q Identify them in Figure 1, if you</p> <p>3 wouldn't mind, please.</p> <p>4 A (Reviewing document.) Okay, so the</p> <p>5 result of D2 is the final difference result.</p> <p>6 The modified old and new programs are entering</p> <p>7 that comparison in a variety of ways. So the</p> <p>8 effect of P1 Prime and P2 Prime are directly</p> <p>9 felt by D2. The P1 Double Prime and P2 Double</p> <p>10 Prime modified programs are felt, let's see,</p> <p>11 through the creation of D1, which instructs the</p> <p>12 creation of D2, as well as through this</p> <p>13 creation of L2, which feeds, modifies, helps to</p> <p>14 modify P2 Prime.</p> <p>15 Which -- I'm sorry, I probably -- back up</p> <p>16 a little bit more, tell me what I was saying.</p> <p>17 (A portion of the answer was read back</p> <p>18 by the reporter.)</p> <p>19 Oh, it's probably as well as the creation</p> <p>20 of LS, which affects the creation of P2 Prime.</p> <p>21 Q Okay, and then at the end of</p> <p>22 paragraph 17 you have a sentence that captures,</p> <p>23 appears to capture some of the points that are</p> <p>24 variously described in the patent as an obvious</p> <p>25 consequence of using this technique and</p>	149	<p>1 once you have assigned reference entries</p> <p>2 to the assigned corresponding labels, the</p> <p>3 effect that that has to the program is</p> <p>4 represented in a very small way. The</p> <p>5 difference result.</p> <p>6 So I was -- when I wrote this</p> <p>7 originally, I was oversimplifying the</p> <p>8 problem and just say, "will not be</p> <p>9 included in the difference result" when,</p> <p>10 in fact, what I should have said was "will</p> <p>11 have a much smaller presence in the</p> <p>12 difference result."</p> <p>13 BY MR. BERTIN:</p> <p>14 Q So you no longer agree with your last</p> <p>15 sentence of paragraph 17, is that what you are</p> <p>16 now testifying?</p> <p>17 A I am thinking that it's, perhaps, a</p> <p>18 bit of an oversimplification if read directly.</p> <p>19 Q Okay, and when that same language,</p> <p>20 almost verbatim, appears in the patent at</p> <p>21 column 10, lines 10 through -- well, lines 12</p> <p>22 through 15, are you saying that the patent is</p> <p>23 incorrect there?</p> <p>24 A That sentence, going from lines 10 to</p> <p>25 15, is attempting to, in many respects,</p>

150

1 summarize the entire invention in a sentence.  
 2 And I believe it is also simplifying, to a  
 3 certain extent, or oversimplifying to a certain  
 4 extent. I believe it still communicates the  
 5 core idea of the patent pretty clearly.  
 6 Q Are you saying it's erroneous?  
 7 MR. SCHEINFELD: Objection.  
 8 Asked and answered and  
 9 mischaracterizing the witness's testimony.  
 10 THE WITNESS: Not erroneous.  
 11 MR. BERTIN: Okay, why don't we take  
 12 a five-minute break.  
 13 THE VIDEO OPERATOR: Going off the  
 14 record, the time is 2:38.  
 15 (Recess.)  
 16 THE VIDEO OPERATOR: One moment.  
 17 We're back on the record. The time  
 18 is 2:52.  
 19 BY MR. BERTIN:  
 20 Q Okay, uhm, Dr. Edwards, what is  
 21 enablement in the context of patent law?  
 22 A If I understand correctly, and I  
 23 certainly don't have a law degree, it means  
 24 when one party makes it particularly easy for  
 25 another party to infringe. I presume that's

151

1 roughly it.  
 2 Q Okay, so enablement relates to how  
 3 easy it is to infringe a patent.  
 4 Is that what you're saying?  
 5 A No, it's more about the act of making  
 6 it easy for others to infringe a patent, if I  
 7 understand.  
 8 Q Okay. Did you consider the  
 9 enablement doctrine when coming up with your  
 10 definitions for the claim terms?  
 11 MR. SCHEINFELD: Objection.  
 12 Lacks foundation. Ambiguous.  
 13 THE WITNESS: When coming up with the  
 14 claim terms, I would have to say no.  
 15 BY MR. BERTIN:  
 16 Q Okay, do you consider a label mark a  
 17 reference?  
 18 A So, by "label mark", I am presuming  
 19 you mean the marks labeled 101 through 105 in  
 20 Figure 2A, for example. And no, those are not  
 21 references.  
 22 Q So label marks are not references?  
 23 MR. SCHEINFELD: Objection.  
 24 Ambiguous and incomplete  
 25 hypothetical.

152

1 THE WITNESS: Which label marks do  
 2 you mean?  
 3 BY MR. BERTIN:  
 4 Q The label marks described in the  
 5 patent.  
 6 A No, I do not consider the label marks  
 7 described in the patent by themselves to be  
 8 references.  
 9 Q And I take it for the same reason,  
 10 then, you do not consider label marks to be  
 11 invariant references?  
 12 MR. SCHEINFELD: Same objection.  
 13 Ambiguous, incomplete hypothetical.  
 14 THE WITNESS: No, if label marks are  
 15 not references they would not be invariant  
 16 references.  
 17 BY MR. BERTIN:  
 18 Q And I want to refer you to Figure 2B  
 19 and element 160, which is D2.  
 20 Do you see that?  
 21 A Yes.  
 22 Q What is element 161, which is labeled  
 23 "Inserted and Replaced Contents"?  
 24 A The patent does not describe its  
 25 construction in great detail. My understanding

153

1 in the preferred embodiment is that it contains  
 2 segments of code, or segments of data that need  
 3 to be copied back, inserted, or otherwise  
 4 placed into the old program to create the new  
 5 program.  
 6 Q Isn't 161 the differences reflected  
 7 between the old and the new program as  
 8 communicated in the difference result?  
 9 A They are part of the differences.  
 10 They are not by themselves sufficient to  
 11 reconstruct the program, but they are  
 12 differences.  
 13 Q And how about the part of 161 --  
 14 pardon me -- of final D2, immediately above  
 15 161?  
 16 A What about them?  
 17 Q What is it? Just characterize it,  
 18 please.  
 19 A A series of instructions of the form,  
 20 or it could be changing, replacement,  
 21 insertion, deletion, another set of differences  
 22 between the old and the new program. Or  
 23 another partial characterization of the  
 24 differences.  
 25 Q So that the top part is a partial



154	<p>1 characterization of the differences and --</p> <p>2 let's just take the first command there, "C,</p> <p>3 5".</p> <p>4 Wouldn't that refer to copying the first</p> <p>5 five bytes, for example, of the old program?</p> <p>6 MR. SCHEINFELD: Objection.</p> <p>7 Ambiguous.</p> <p>8 THE WITNESS: I believe it refers</p> <p>9 copying the first five entries, which are</p> <p>10 not necessarily bytes.</p> <p>11 BY MR. BERTIN:</p> <p>12 Q So it refers to copying the first</p> <p>13 five entries from the old program; is that</p> <p>14 correct?</p> <p>15 A Yes.</p> <p>16 Q Okay, and the next entry is "R, 1".</p> <p>17 Do you see that?</p> <p>18 A Yes.</p> <p>19 Q And so it's saying replace,</p> <p>20 effectively, the next entry with something</p> <p>21 found in 161 below; is that correct?</p> <p>22 A I believe that's correct.</p> <p>23 Q Okay. So if we are interpreting D2</p> <p>24 correctly, then, the actual contents that are</p> <p>25 different between the old and the new program</p>	156	<p>1 the actual differences, which are reflected in</p> <p>2 161 associated with D2; isn't that correct?</p> <p>3 A That's part of what's missing. If</p> <p>4 you also notice C,6, beginning in D1, turned</p> <p>5 into C,5 in D2, followed by R,1, by R comma 1</p> <p>6 in D2.</p> <p>7 And so, in fact, the effect -- excuse me,</p> <p>8 the presence of a reference -- let's see. The</p> <p>9 presence of a reference in that first block</p> <p>10 caused C,6 to split in C,5 and R,1.</p> <p>11 So it is not fair to say that the stuff</p> <p>12 that changed is only in 161, it's a more</p> <p>13 complicated relationship than that.</p> <p>14 Q But the actual, the actual bits that</p> <p>15 changed --</p> <p>16 A No.</p> <p>17 Q -- between the old and the new</p> <p>18 program are reflected in 161, as I understand</p> <p>19 it, and then the section -- and then the top</p> <p>20 part that includes the copy "5 replace 1" are</p> <p>21 the instructions in the difference result to</p> <p>22 either refer to the old program for some</p> <p>23 instructions or refer to 161 for the actual</p> <p>24 differences; isn't that correct?</p> <p>25 A No, because one of the central ideas</p>
155	<p>1 are reflected in 161, associated with D2.</p> <p>2 And what's above it is really</p> <p>3 characterizations of how to use that data</p> <p>4 together with an old program in order to</p> <p>5 generate the new program; is that correct?</p> <p>6 MR. SCHEINFELD: Objection.</p> <p>7 Ambiguous.</p> <p>8 THE WITNESS: I would consider that</p> <p>9 oversimplification.</p> <p>10 BY MR. BERTIN:</p> <p>11 Q Lawyers do that.</p> <p>12 A So do people who write patents.</p> <p>13 Q But if you're going to quibble with</p> <p>14 that definition, then tell me how you quibble</p> <p>15 with it.</p> <p>16 A Taken as a whole, D2 is used in the</p> <p>17 preferred embodiment to reconstruct the new</p> <p>18 program given the old program.</p> <p>19 Q And D1 is not?</p> <p>20 A D1 does not have sufficient</p> <p>21 information in it to construct -- reconstruct</p> <p>22 the new program from the old program. The</p> <p>23 additional information, D2, is needed plus, in</p> <p>24 fact, some modifications along the way.</p> <p>25 Q Okay. And what's missing from D1 is</p>	157	<p>1 of the patent is to change these references.</p> <p>2 So these are genuine changes from the old to</p> <p>3 the new program. If you look byte by byte,</p> <p>4 number by number, you will find these changes.</p> <p>5 However, most of those changes are not</p> <p>6 represented explicitly in this block, 161.</p> <p>7 So no, not all of the changes, the actual</p> <p>8 changes in the contents of the program is</p> <p>9 hiding in 161. They are also implied very</p> <p>10 broadly by the top of D2, plus the old program</p> <p>11 itself.</p> <p>12 Q So that the top of D2 is what leads</p> <p>13 to predictions of references that are affected</p> <p>14 by insert and delete modifications?</p> <p>15 A In part. The old program is also</p> <p>16 considered.</p> <p>17 Q And then the references that change</p> <p>18 due to insert/delete modifications are not</p> <p>19 included in 161; is that correct?</p> <p>20 A Many of the references that change do</p> <p>21 not have an explicit manifestation in 161.</p> <p>22 Certain changed references might.</p> <p>23 Q Do you address that anywhere in your</p> <p>24 declaration, the so-called "certain changed</p> <p>25 references"?</p>

158

1 A No, that's a -- I consider that a  
2 subtle detail of the preferred embodiment and  
3 it is not part of the claims.  
4 Q Can you show me where in D1 you would  
5 find byte differences corresponding to a, to an  
6 insert operation?  
7 MR. SCHEINFELD: Objection, vague.  
8 THE WITNESS: Byte differences due to  
9 an insert operation. There are insert  
10 operations referred to in D1.  
11 BY MR. BERTIN:  
12 Q Right, so -- I agree. So if you look  
13 at D1, there is an insert operation as the  
14 second element of this chart, and it's "I,3",  
15 which I take it means "insert three bytes". So  
16 I'm asking you: Where do you find those three  
17 bytes within D1?  
18 MR. SCHEINFELD: Objection, vague.  
19 THE WITNESS: I agree that that means  
20 insert three entries, not necessarily  
21 bytes.  
22 BY MR. BERTIN:  
23 Q Uh hum.  
24 A And I agree that D1, as it's drawn in  
25 Figure 2, does not explicitly say which bytes

159

1 are changing or what those new bytes might be.  
2 Q So that the actual bytes are not  
3 included in D1. The actual bytes that are  
4 different or entries that are different are not  
5 actually included in D1 --  
6 MR. SCHEINFELD: Objection.  
7 BY MR. BERTIN:  
8 Q -- for an insert operation.  
9 MR. SCHEINFELD: Objection.  
10 Vague, compound.  
11 THE WITNESS: They are not in this  
12 drawing.  
13 BY MR. BERTIN:  
14 Q And this drawing is, to be clear,  
15 Figure 2B; is that correct?  
16 A Yes, 2B, yes. Number 120.  
17 Q Is that a subtlety or is that an  
18 important difference between D1 and D2?  
19 MR. SCHEINFELD: Objection, vague.  
20 THE WITNESS: I think that's a  
21 subtlety. In all cases these figures are  
22 drawn to expose what the author considered  
23 the most relevant details and suppress  
24 subtle unimportant ones.  
25

160

1 BY MR. BERTIN:  
2 Q And if you have any support for the  
3 idea that the bytes for an insert are found in  
4 the patent anywhere for D1, now is the time to  
5 find it.  
6 A (Reviewing document.) At the top of  
7 column 11, it says:  
8 "P1 Double Prime and P2 Double Prime are  
9 compared giving rise to difference table D1  
10 using file difference utilities of the kind  
11 specified above."  
12 My understanding is that these file  
13 difference utilities would, in fact, report  
14 bytes that had to be inserted.  
15 Q Can you identify any place where it  
16 actually says that they are created or --  
17 A No.  
18 Q -- anything is done with them?  
19 A I believe the expression is inherent.  
20 Q Why do you think they are shown in D2  
21 and not in D1?  
22 A (Reviewing document.) I don't know.  
23 Q Is there anything else that you can  
24 identify that -- that you want to identify?  
25 A No.

161

1 Q Okay, let's go to Exhibit C to your  
2 declaration.  
3 A (Referring to document.)  
4 Q Okay, what is Exhibit C?  
5 A This is the infringement chart.  
6 Q Okay, and who prepared this chart?  
7 A Who prepared this chart?  
8 Q Yes.  
9 A I did, with the assistance of Red  
10 Bend counsel.  
11 Q Okay, and across the top of the chart  
12 there are three columns and three headings  
13 corresponding. One is "Claim 42", the middle  
14 column is headed "Infringement Analysis", and  
15 the right column is headed "Infringement by  
16 Software Developers using Courgette Code."  
17 Do you see that?  
18 A Yes.  
19 Q Who are the software developers using  
20 that Courgette code that you relied on for  
21 purposes of preparing column 3?  
22 A Let me check. (Reviewing document.)  
23 These are the ones I name in paragraph 23,  
24 or apply in paragraph 23, because the Courgette  
25 source code was posted on the web and marked as

162

1 open source. That, plus seeing a number of  
 2 blog entries and e-mails that went across, it  
 3 seems likely that users outside of Google were  
 4 also using the Courgette code. And so  
 5 counselors asked me to consider whether they  
 6 would be infringing.  
 7 Q Okay, but you did not identify any  
 8 specific software developer using Courgette  
 9 code, nor were you, at the time that you did  
 10 your declaration, a software developer using  
 11 Courgette code; is that correct?  
 12 MR. SCHEINFELD: Objection, compound.  
 13 THE WITNESS: Let me answer the  
 14 first. I have seen e-mails that strongly  
 15 suggest that there are others using the  
 16 Courgette code. Could these e-mails be  
 17 fabrications or lies on their part? It's  
 18 possible. I have no reason to believe  
 19 that.  
 20 BY MR. BERTIN:  
 21 Q Did you see any of these e-mails  
 22 prior to making your declaration?  
 23 A No, I did not.  
 24 Q And did you, yourself, use the  
 25 software prior to making your declaration on

163

1 November 17th?  
 2 A I did not.  
 3 Q And have you produced any e-mails  
 4 that you're relying on --  
 5 A Have I?  
 6 Q -- for your current testimony?  
 7 A Have I produced -- I'm not sure where  
 8 they came from, they were shown to me by Red  
 9 Bend counsel and I believe they've been given  
 10 to you as well.  
 11 MR. BERTIN: To the extent they  
 12 weren't produced --  
 13 MR. SCHEINFELD: They've been  
 14 produced.  
 15 MR. BERTIN: Okay.  
 16 BY MR. BERTIN:  
 17 Q Okay, the -- in column 2 you refer  
 18 almost immediately to the chromium developer  
 19 documentation, hereinafter CDD.  
 20 What is that document?  
 21 A It was a document that was shown to  
 22 me by Red Bend counsel at this URL. It talks  
 23 about the Courgette tool, what its intentions  
 24 are and what it's used for.  
 25 Q Okay.

164

1 MR. BERTIN: I'm going to mark two  
 2 additional exhibits.  
 3 (Exhibits 7 and 8 marked for  
 4 identification.)  
 5 BY MR. BERTIN:  
 6 Q So first we've marked two exhibits,  
 7 Google 7 and Google Exhibit 8. Google  
 8 Exhibit 7 bears Bates number GOOG-00027268, and  
 9 Google 8 bears Bates number GOOG-00026259 and  
 10 both are multi-page documents.  
 11 Referring to Google Exhibit 7, is this the  
 12 document that you're referring to in the top  
 13 central box on page 1 of Exhibit C?  
 14 A It is.  
 15 Q And is this a document that you  
 16 relied upon for making your infringement  
 17 determination?  
 18 A This is one of the documents I relied  
 19 on.  
 20 Q Okay. And this -- this is a, roughly  
 21 a four-page document; is that correct?  
 22 A The way it's been printed out here,  
 23 yes.  
 24 Q Okay. And is it -- well, let me  
 25 point to, also, Google Exhibit 8.

165

1 Is this another document that you relied  
 2 upon?  
 3 A (Reviewing document.) I can't recall  
 4 whether I have seen this document or not. I  
 5 would guess yes, but I don't have any specific  
 6 recollection.  
 7 Q And with respect to Google Exhibit 7,  
 8 does this document perfectly capture the  
 9 operations of the Courgette code?  
 10 MR. SCHEINFELD: Objection, vague.  
 11 THE WITNESS: I'm not quite sure what  
 12 you mean by "perfectly".  
 13 BY MR. BERTIN:  
 14 Q Well, does it capture all of the  
 15 relevant aspects of the Courgette program where  
 16 the patent is concerned?  
 17 MR. SCHEINFELD: Objection.  
 18 No foundation, vague.  
 19 THE WITNESS: It has a very large  
 20 number of comments in it that are, that  
 21 appear to be directly relevant to the '552  
 22 patent.  
 23 BY MR. BERTIN:  
 24 Q In the second row of your chart there  
 25 it says: "Each data table, including reference

166	<p>1 entries that contain reference, that refer to  2 other entries in the data table."  3 And you write in the infringement  4 analysis:  5 "The compiled code is full of internal  6 references where some instruction or data  7 contains the address or offset of another  8 instruction or data."  9 Is that correct?  10 MR. SCHEINFELD: I'm sorry, the  11 witness was not following you. He's  12 reading from --  13 A Yeah, sure. So what you read sounds  14 like a fair assessment, a fair reading of what  15 is written on the chart, yes.  16 BY MR. BERTIN:  17 Q Okay. Can you describe the types of  18 addresses that are actually used by Courgette?  19 A So in the source code there are  20 probably a variety of definitions for addresses  21 and labels and things. I can't recall exactly  22 the full set of exactly what the source code  23 considers a reference and what it does not.  24 Q Okay, so you don't know what the  25 Courgette source code considers a reference and</p>	168	<p>1 in the windows executable file format that  2 actually has explicit information: There is a  3 reference here. There is a reference here.  4 And part of what Courgette does very early  5 in the processing is extract those from the  6 executable file.  7 I believe it also identifies a second form  8 of reference by stepping through the executable  9 code looking for bytes that are likely to be  10 part of instructions that have relative  11 addresses in them.  12 Only those two come to mind.  13 Q Okay, and then it goes on to element  14 A here and the claim says:  15 "Generating a modified old data using at  16 least said old data table."  17 Do you see that?  18 A Yes.  19 Q In terms of your infringement  20 analysis, what are you reading that element on  21 within Courgette in this table?  22 A Well, as I write in column 2, I'm  23 quoting this developer documentation. This is  24 on the second page of that document, it says:  25 "Courgette transforms the program into a</p>
167	<p>1 what it does not?  2 MR. SCHEINFELD: Objection to the  3 form of the question.  4 THE WITNESS: I feel I have a pretty  5 good understanding of many of the forms  6 that it understands, but that's not  7 necessarily all.  8 BY MR. BERTIN:  9 Q Well, can you characterize the types  10 of references that Courgette is equipped to  11 parse and handle as part of its operation?  12 A Are you asking me to characterize  13 them?  14 Q Ah --  15 A Describe that. I didn't quite  16 understand the beginning of the question.  17 Q Well, I am asking if you could.  18 A Ah, can I?  19 Q Yes.  20 A I can characterize some of them.  21 Q Okay, well, why don't you  22 characterize the ones that you can.  23 A Okay. There are two that I recall.  24 One form of reference seemed to be coming from  25 the object file itself. There was relocation</p>	169	<p>1 primitive assembly language and does the  2 diffing at the assembly level."  3 Q Okay. So, so far on page 1 you  4 haven't yet referred to the actual Courgette  5 source code; is that correct -- in the table?  6 A I'm basing my infringement analysis  7 on the assumption that this developer  8 documentation describes what the source code  9 does, or when executed what it does.  10 So when I had written this declaration, I  11 had looked at the source code somewhat, not in  12 great detail, and what I found was the  13 statements in the developer documentation were  14 consistent with what the Courgette software was  15 doing.  16 Q But at the time that you prepared  17 this chart that we're now looking at, you  18 obviously had not compare, compiled or used the  19 Courgette code; is that correct?  20 A That is correct.  21 Q Okay. And when you say, or when you  22 quote this document saying, "does the diffing  23 at the assembly level," what diffing are you  24 referring to?  25 A Well, whatever the developer</p>

170	<p>1 documentation was referring to. Let me look  2 through the document. (Reviewing document.)  3 So immediately after that paragraph it  4 writes, "server", and then has a series of  5 instructions that I take as being what should  6 be executed on the server. And one of those  7 instructions says:  8 "ASM diff equals bsdiff of ASM old and ASM  9 new, adjusted."  10 Q Just to be clear, you're referring to  11 Google Exhibit 7; is that correct?  12 A That's correct.  13 Q And which, which -- and where exactly  14 in this document?  15 A And so this is at the bottom of the  16 page numbered 27269.  17 Q Um hum.  18 A And then right at the beginning of  19 27270.  20 Q Okay. So this is -- how does this  21 tell you what's going on inside of Courgette?  22 There are very few words that even appear in  23 this development document that you're using to  24 describe this function.  25 MR. SCHEINFELD: Objection, vague.</p>	172	<p>1 Q Okay, and so if transforms is  2 tantamount to modifying, I guess, "transforms  3 the program into a primitive assembly  4 language," completes your impression and your  5 chart here that the modified old date table  6 equals or is the primitive assembly language  7 that's apparently transformed here; is that  8 correct?  9 A No. From what I understand, the  10 infringement question is: Can I find the  11 limitations of the claims in the suspected  12 infringing object?  13 And my understanding is, for something to  14 infringe Claim 42 part A, and of course you  15 need additional consideration, all I need to  16 show was that Courgette at some point generated  17 a modified version of the old program.  18 Q Okay, well, where is the modified  19 version of the old program? That's what I'm  20 asking you. If you're generating it in step a,  21 and that's what you're looking for, where is  22 the modified old program or data table? Just  23 point it out.  24 A Okay.  25 Q You have got language in this box,</p>
171	<p>1 BY MR. BERTIN:  2 Q How did this give you some certainty  3 that there was infringement and that this  4 element was met?  5 A Claim 42, part A, says: "Generating  6 a modified old date table utilizing at least  7 said old data table."  8 So the question I asked myself was: Is  9 there something that suggests that Courgette  10 takes the old executable and modifies it at  11 some point?  12 Q Okay, and it modifies, according to  13 your reading, you're saying that it's creating  14 a primitive assembly language, and that that's  15 modifying the old data table; is that correct?  16 A No, as soon as I saw "transforms" in  17 the text, I considered that equivalent to  18 modifying.  19 Q Okay. So as soon as you saw  20 "transforms" in the text of this five-page  21 document you immediately thought that that  22 meant creating a modified old data table within  23 the meaning of the '522 patent?  24 A I thought "transforms" is tantamount  25 to modifying.</p>	173	<p>1 tell me where it is.  2 A So one possible interpretation is the  3 primitive assembly language, but that's not the  4 only interpretation. Or that doesn't  5 necessarily need to be the only interpretation.  6 Courgette makes a variety of modifications  7 to the old program. And from what I  8 understand, for infringement, it is sufficient  9 if any of those constitutes a modified old data  10 table, or is equivalent to a modified old data  11 table.  12 Q In your declaration I only see one  13 thing that could be the modified old data  14 table. Maybe I'm missing something, but the  15 only thing that appears here is "a primitive  16 assembly language version of a program."  17 If there's something else that's here,  18 tell me, but that's the only see I see that  19 you've identified in this chart.  20 A Oh, I see. My understanding was to  21 show infringement all I had to do was find a  22 modified data table. And so one candidate  23 modified data table is indeed this primitive  24 assembly language.  25 Q Okay. And that's the only one that</p>

174

1 you identified in this declaration; is that  
2 correct?  
3 A It was not necessary to identify any  
4 others. Finding one, I was told, was enough.  
5 Q Okay, well, this is the one that  
6 you're relying on for purposes of your  
7 infringement analysis; is that correct?  
8 A That's correct.  
9 Q If there are any others, please tell  
10 me what they are.  
11 A A wide number of modifications are  
12 made, including this primitive assembly  
13 language. And even that, from my reading of  
14 the Courgette source code, is actually somewhat  
15 broad, in that there are many iterations of  
16 this.  
17 And so you're asking me to say, okay, at  
18 exactly what point in the execution of this  
19 program is the modified data table in  
20 existence. And, you know, implying that it  
21 didn't exist before then and might not exist  
22 after that, I find that an ill posed question.  
23 Q I'm not implying anything. The code  
24 has been in existence and available to the  
25 public to easily compile and use, according to

175

1 your own testimony, since July.  
2 A Okay.  
3 Q It's February. And you were engaged  
4 in November. If you have any basis for  
5 comparing element (a) of Claim 42 to anything  
6 Courgette, please tell me now what it is, other  
7 than this "primitive assembly language".  
8 MR. SCHEINFELD: Objection.  
9 Asked and answered, and  
10 argumentative.  
11 THE WITNESS: There is a primitive  
12 assembly language representation. There  
13 are additional tables generated from that  
14 assembly language representation. Those  
15 tables are then modified in a variety of  
16 ways and other tables along the side are  
17 also generated.  
18 My feeling is that any of those could  
19 be characterized as a modified old data  
20 table.  
21 BY MR. BERTIN:  
22 Q So -- so you are identifying the  
23 primitive assembly language and any tables that  
24 are derived from the primitive assembly  
25 language; is that correct -- if I understood

176

1 you correctly?  
2 A Those would potentially qualify as  
3 modified data tables.  
4 Q Okay. Anything else that would  
5 potentially qualify, other than the primitive  
6 assembly language and tables that are derived  
7 from the primitive assembly language?  
8 A There are many data structures that  
9 Courgette builds as part of its execution that  
10 come from the old data table and, in my mind,  
11 represent modifications thereof.  
12 Q Okay, but you haven't identified any  
13 of those in your declaration or anything that  
14 you produced prior to this deposition; is that  
15 correct?  
16 A I only identified one in the  
17 declaration, as I understood that one was  
18 enough.  
19 MR. SCHEINFELD: I mean, you have the  
20 source code.  
21 MR. BERTIN: Okay.  
22 MR. SCHEINFELD: It's there in front  
23 of him.  
24 BY MR. BERTIN:  
25 Q Okay, so element (b) of Claim 42 --

177

1 A Uh hum. (Referring to document.)  
2 Q Element (b) talks about generating a  
3 modified new data table utilizing at least said  
4 new data table. And you identify similar  
5 language from the Courgette developer document;  
6 is that correct?  
7 A That's correct.  
8 Q Okay, so am I correct in stating that  
9 you have identified element (b) is met again by  
10 primitive assembly language that Courgette  
11 creates from, this time, a new -- the, ah, the  
12 new program; is that correct?  
13 A Yes. So, again, all I was looking  
14 for, one -- all I was looking for was one such  
15 modified new data table. And from my reading  
16 of the developer documentation, the primitive  
17 assembly language generated from the new  
18 executable satisfied, ah, fell into that part  
19 of the claim.  
20 Q So the primitive assembly language is  
21 the modified new data table?  
22 A Could be the modified new data table.  
23 Q Okay, and even though it could be,  
24 you haven't identified anything else that could  
25 also be, this is the only thing you've

178	<p>1 identified in your declaration or before your 2 deposition?</p> <p>3 A I was told one was enough.</p> <p>4 MR. BERTIN: We need to change the 5 tape.</p> <p>6 THE VIDEO OPERATOR: Going off the 7 record, the time is 3:38. This ends 8 tape 4. 9 (Recess.)</p> <p>10 THE VIDEO OPERATOR: One moment. 11 We're back on the record, the time is 12 3:57. This is tape number 5.</p> <p>13 BY MR. BERTIN:</p> <p>14 Q Okay, I want to move on to the chart. 15 There is a -- that's part of element (b), it 16 says: 17 "Said modified old data table and modified 18 new data table having at least the following 19 characteristics." And then there are two blank 20 entries in that row. 21 And then just below that there is a 22 Romanette 1 in the left-most column. And this 23 element, it appears that you have compared in 24 the second and third columns, to that claim 25 element.</p>	180	<p>1 is somehow how this method is copying one set 2 of references to the new data table. Let's 3 see. (Reviewing document.) Yeah, so it's 4 going from the old data table to the new data 5 table.</p> <p>6 And so my belief was that, at that point, 7 it was making those references invariant as 8 required by the language.</p> <p>9 Q Okay, I -- I can't find anywhere in 10 your infringement analysis where you talk about 11 most versus all or anything else references, 12 nor do I find anything at all that talks about 13 delete/insert modifications.</p> <p>14 Why did you not address those portions of 15 this claim element?</p> <p>16 MR. SCHEINFELD: Objection. No 17 foundation, vague. And mischaracterizes 18 his chart and testimony. Lacks foundation 19 is another way to say it.</p> <p>20 BY MR. BERTIN:</p> <p>21 Q Well, you have plenty of opportunity 22 to give me the foundation.</p> <p>23 A So a number of these terms, such as 24 "due to delete/insert modifications" it is 25 implicit somehow these delete/insert</p>
179	<p>1 And there is a -- the element begins: 2 "Substantially, each reference in an entry 3 in said old data table that is different than 4 the corresponding entry in said new data table 5 due to insert/delete modifications --" 6 Actually it's delete slash insert 7 modifications. 8 "-- that form part of the transition 9 between said old data table and new data table 10 are reflected as invariant references." And it 11 goes on. 12 My question is: Where in column 2 do you 13 account for the language that I just read, 14 beginning with, "Substantially, each 15 reference"?</p> <p>16 A Okay. So, I interpret 17 "Substantially, each reference" to mean, 18 somehow, most references. And I was 19 observing -- this is now referring to source 20 code that I found on the Courgette website and 21 looked at. This method, default assigned 22 index, is going through and doing something to 23 each reference. Assigning indices to labels -- 24 (Reviewing document.) 25 And then the "invariant references" part</p>	181	<p>1 modifications have occurred already. And then 2 this code was assumed to be playing with those 3 references.</p> <p>4 Do you have additional questions about 5 that?</p> <p>6 Q Well, I heard you use the words 7 "implicit" and "the code is assumed to be 8 playing with", but I would like you to point to 9 something that you said or something that you 10 can find that says anything at all about 11 delete/insert modifications and doing anything 12 based on them.</p> <p>13 A (Reviewing document.) So these 14 references referred to in the second column are 15 coming from -- are discussing, both in the old 16 data table and the new data table. It is 17 assumed by the discussion of Courgette and 18 where it was meant to be used, that there were 19 insert/delete modifications. And the patent 20 points out that such insert/delete 21 modifications modify references.</p> <p>22 So it then follows that the references 23 that are being addressed by this code are quite 24 likely to have come from insert and delete 25 modifications.</p>

182	<p>1 Q Yeah, I still haven't heard you</p> <p>2 identify any code within Courgette, or anything</p> <p>3 that you've seen anywhere for that matter, that</p> <p>4 looks for delete/insert modifications and then</p> <p>5 makes any modifications based on delete/insert</p> <p>6 modifications in any way.</p> <p>7 But I would be happy for you to point me</p> <p>8 to exactly where you see that or where you have</p> <p>9 said that somewhere.</p> <p>10 MR. SCHEINFELD: Objection, vague.</p> <p>11 THE WITNESS: I felt, when reading</p> <p>12 the text of Claim 42 that said, "new data</p> <p>13 table due to insert modifications", it</p> <p>14 wasn't really necessary.</p> <p>15 BY MR. BERTIN:</p> <p>16 Q Okay, so, if I understand you</p> <p>17 correctly, this part of the claim is not</p> <p>18 necessary. Is that what you're saying?</p> <p>19 A No.</p> <p>20 MR. SCHEINFELD: No -- sorry.</p> <p>21 Objection, vague.</p> <p>22 THE WITNESS: That the additional</p> <p>23 constraint, saying "due to delete/insert</p> <p>24 modifications" wasn't really a constraint,</p> <p>25 as all such modifications were likely due</p>	184	<p>1 the other claims for that matter on the</p> <p>2 Courgette product, you treat this language as</p> <p>3 unnecessary?</p> <p>4 MR. SCHEINFELD: Objection.</p> <p>5 Mischaracterizes his testimony and</p> <p>6 the declaration.</p> <p>7 THE WITNESS: I felt the statement</p> <p>8 and that additional mention in Claim 42</p> <p>9 was a bit like saying, "Dogs with four</p> <p>10 legs." If you just said "dogs", we would</p> <p>11 know what you're referring to because all</p> <p>12 of them do happen to have four legs.</p> <p>13 And so, similarly, the difference due</p> <p>14 to delete/insert modifications, well,</p> <p>15 almost all of them are due to delete and</p> <p>16 insert modifications.</p> <p>17 BY MR. BERTIN:</p> <p>18 Q How do you account for the patent</p> <p>19 saying, quote, "The present invention is based</p> <p>20 on the observation that the relatively large</p> <p>21 size of the difference result stems from the</p> <p>22 alteration of reference in reference entries as</p> <p>23 a result of other newly inserted entries and/or</p> <p>24 entries that were deleted"?</p> <p>25 And this is in column 3, lines 31 through</p>
183	<p>1 to delete/insert. The embodiment doesn't</p> <p>2 particularly distinguish and I saw no</p> <p>3 particular need to mention that.</p> <p>4 BY MR. BERTIN:</p> <p>5 Q Okay, and yet in paragraph 17 of the</p> <p>6 declaration, your concluding remarks on the</p> <p>7 patent itself, in the very last sentence, you</p> <p>8 conclusively state:</p> <p>9 "Since corresponding reference entries are</p> <p>10 assigned corresponding labels, changes in the</p> <p>11 reference or target of a reference entry due</p> <p>12 solely to insert and delete modifications will</p> <p>13 not be included in the difference result."</p> <p>14 That's the whole point of the patent;</p> <p>15 isn't it?</p> <p>16 MR. SCHEINFELD: Objection, vague.</p> <p>17 THE WITNESS: Well, no. We've had</p> <p>18 this discussion earlier, that that is a</p> <p>19 point of the patent, but not the whole</p> <p>20 point.</p> <p>21 BY MR. BERTIN:</p> <p>22 Q Well, why did you think to mention</p> <p>23 it, but not only mention it, but include it</p> <p>24 prominently in your discussion of the patent,</p> <p>25 and yet when it comes to applying Claim 42, and</p>	185	<p>1 35.</p> <p>2 A (Reviewing document.) So, again, I</p> <p>3 find that language a bit of an over</p> <p>4 simplification, because it's actually not</p> <p>5 mathematically possible, what it's describing,</p> <p>6 but if taken as part of the behavior, instead</p> <p>7 of a complete and entire description of</p> <p>8 behavior, it is -- it is accurate.</p> <p>9 Q So are you --</p> <p>10 MR. SCHEINFELD: I'm sorry. Were you</p> <p>11 done?</p> <p>12 THE WITNESS: Yes.</p> <p>13 BY MR. BERTIN:</p> <p>14 Q Are you disagreeing with the</p> <p>15 inventor? Because the inventor seems to pretty</p> <p>16 clearly state that his invention is based on</p> <p>17 this very thing that you have ignored in the</p> <p>18 claims.</p> <p>19 MR. SCHEINFELD: Objection.</p> <p>20 Mischaracterizing the document and</p> <p>21 his testimony.</p> <p>22 THE WITNESS: I'm not ignoring that</p> <p>23 part of the claim. And did I think the</p> <p>24 inventor was wrong when this was written</p> <p>25 into the patent? No. Would I have</p>



186	<p>1 written it a little bit differently?</p> <p>2 Perhaps. Do I think it's misleading? No.</p> <p>3 BY MR. BERTIN:</p> <p>4 Q Isn't there another possibility that</p> <p>5 this is the essence of the invention? I mean,</p> <p>6 when the inventor comes out and says, "the</p> <p>7 invention is based on this," should that be a</p> <p>8 signal that maybe this is an important part of</p> <p>9 the patent?</p> <p>10 A Well, and I do agree that this</p> <p>11 observation about the big differences usually</p> <p>12 coming from these reference changes is one of</p> <p>13 the central ideas of the patent.</p> <p>14 Q Okay. And the patent continues on in</p> <p>15 column 3, and it says:</p> <p>16 "On the basis of this observation, the</p> <p>17 invention aims at generating a modified old</p> <p>18 program and a modified new program wherein the</p> <p>19 difference in references in corresponding</p> <p>20 entries in said old and new programs, as</p> <p>21 explained above, will be reflected as invariant</p> <p>22 entries in the modified old and new programs.</p> <p>23 The net effect is that the invariant reference</p> <p>24 entries between the modified old program and</p> <p>25 the modified new program will not appear in the</p>	188	<p>1 ensure the index lists of many long common</p> <p>2 substrings."</p> <p>3 And so, to me that is equivalent to trying</p> <p>4 to identify invariant references. So what I</p> <p>5 probably should have done is include some of</p> <p>6 that text in that box under "Infringement</p> <p>7 Analysis" as well.</p> <p>8 Q Okay, I still haven't heard you</p> <p>9 identify anything relating to insert and delete</p> <p>10 modifications and doing anything based on</p> <p>11 insert and delete modifications.</p> <p>12 And I believe you testified earlier that</p> <p>13 it wasn't the language, you didn't believe it</p> <p>14 was necessary.</p> <p>15 A Um hum.</p> <p>16 Q But if you think it is necessary,</p> <p>17 then please identify where Courgette is doing</p> <p>18 this.</p> <p>19 MR. SCHEINFELD: Objection to the</p> <p>20 extent it mischaracterizes the witness's</p> <p>21 testimony.</p> <p>22 THE WITNESS: Again, I believe saying</p> <p>23 "delete/insert modifications" explicitly</p> <p>24 is not necessary there, since it is</p> <p>25 implicit somehow.</p>
187	<p>1 difference result, thereby reducing its size as</p> <p>2 compared to a conventional difference result</p> <p>3 obtained by hitherto known techniques."</p> <p>4 Again, this is all referring to</p> <p>5 insert/delete modifications and how to handle</p> <p>6 references that change as a result. How much</p> <p>7 prominence did you give this language in your</p> <p>8 analysis?</p> <p>9 A So, this notion of invariant</p> <p>10 reference entries, and the effect of</p> <p>11 essentially hiding them from traditional diffs,</p> <p>12 I do agree is central to the patent. So in</p> <p>13 this infringement analysis, I went looking for</p> <p>14 something that looked like it was making</p> <p>15 references invariant.</p> <p>16 Now, honestly, the contents of my</p> <p>17 infringement analysis in that particular box is</p> <p>18 not very precise. And it's -- that's probably</p> <p>19 not the best argument for it at the time. This</p> <p>20 was one discussion of it.</p> <p>21 And the Chrome developer documentation,</p> <p>22 which I had consulted, it's at the top of the</p> <p>23 page 27270, it said:</p> <p>24 "Addresses in the two symbol tables are</p> <p>25 matched on their statistical properties which</p>	189	<p>1 However, again, in the Courgette</p> <p>2 developer documentation it actually says:</p> <p>3 "When you add a few lines of code,</p> <p>4 for example, a range check to</p> <p>5 prevent buffer overrun, all the subsequent</p> <p>6 code gets moved to make room for the new</p> <p>7 instructions."</p> <p>8 And then it goes on to say:</p> <p>9 "The compiled code is full of</p> <p>10 internal references where some instruction</p> <p>11 or datum contains the address of another</p> <p>12 instruction or datum."</p> <p>13 So based on also looking at the</p> <p>14 developer documentation, it seemed clear</p> <p>15 to me that the developer of Courgette had</p> <p>16 also recognized that these insert/delete</p> <p>17 modifications changed things, and to sort</p> <p>18 of, somehow, counteract those changes, to</p> <p>19 predict those changes, would greatly</p> <p>20 reduce the size of the diff.</p> <p>21 BY MR. BERTIN:</p> <p>22 Q So where -- where in Courgette is the</p> <p>23 developer actually recognizing insert and</p> <p>24 delete modifications and then treating them as</p> <p>25 a class in any way different than anything</p>

190	<p>1 else?</p> <p>2 MR. SCHEINFELD: Objection.</p> <p>3 Vague and mischaracterizes his</p> <p>4 testimony.</p> <p>5 THE WITNESS: My understanding is</p> <p>6 that it is sufficient to infringe if --</p> <p>7 for, in this case, the references that</p> <p>8 arise due to delete/insert modifications</p> <p>9 are considered. So provided you consider</p> <p>10 them, you infringe.</p> <p>11 BY MR. BERTIN:</p> <p>12 Q All right, are you saying that</p> <p>13 Courgette does not include in the difference</p> <p>14 result references that are changed due to</p> <p>15 insert/delete modifications?</p> <p>16 MR. SCHEINFELD: Objection, vague.</p> <p>17 THE WITNESS: Can you read that back</p> <p>18 to me.</p> <p>19 (The pending question was read back</p> <p>20 by the reporter.)</p> <p>21 MR. SCHEINFELD: And I will add</p> <p>22 incomplete hypothetical. No foundation.</p> <p>23 THE WITNESS: I do not believe that,</p> <p>24 no.</p> <p>25 MR. BERTIN: I just want to note for</p>	192	<p>1 program --</p> <p>2 Q Yes.</p> <p>3 A -- at the bottom of that?</p> <p>4 My understanding of the claim is that a</p> <p>5 step in the program must involve taking a</p> <p>6 modified version of the old program, a modified</p> <p>7 version of the new program, and from the</p> <p>8 observation of those two, produce some</p> <p>9 difference result.</p> <p>10 And so what I looked for in the Courgette</p> <p>11 developer documentation was whether I can</p> <p>12 identify a modified new data table and a</p> <p>13 modified old data table being passed to</p> <p>14 something that was generating a difference</p> <p>15 result.</p> <p>16 And I found that at the bottom of this</p> <p>17 page in Exhibit 7, labeled 272269, where it was</p> <p>18 giving the instructions for the server. And</p> <p>19 near the end -- and that's actually the</p> <p>20 beginning of the next page, bsdiff, which is</p> <p>21 explained earlier in this document as being a</p> <p>22 difference utility, has been given a modified</p> <p>23 version of the old program and a modified</p> <p>24 version of the new program.</p> <p>25 Q Okay, and so your testimony is that</p>
191	<p>1 the record that -- I'm sorry.</p> <p>2 I just want to note for the record</p> <p>3 that counsel for Red Bend also identified</p> <p>4 on page 6 of its motion for preliminary</p> <p>5 injunction the very important quotations</p> <p>6 that I just read into the record from</p> <p>7 column 3 of the patent at lines 31 through</p> <p>8 46, quoted in full.</p> <p>9 BY MR. BERTIN:</p> <p>10 Q Have you read the motion for</p> <p>11 preliminary injunction?</p> <p>12 A I believe I have.</p> <p>13 Q Okay, in terms of -- well, we'll come</p> <p>14 back to this element. I want to continue on to</p> <p>15 element (c) for the time being.</p> <p>16 A (Referring to document.)</p> <p>17 Q "Generating said compact difference</p> <p>18 result utilizing said modified new data table</p> <p>19 and modified old data table."</p> <p>20 And -- and you, in the chart, read this on</p> <p>21 the assembly language in some way; is that</p> <p>22 correct? Maybe you can elaborate on what</p> <p>23 you've done here.</p> <p>24 A You're referring to the paragraphs</p> <p>25 that quoting Courgette transforms the</p>	193	<p>1 the language of the claim generating said</p> <p>2 compact difference result utilizing at least</p> <p>3 said modified new data table and modified old</p> <p>4 data table is bsdiff generating difference</p> <p>5 result from the assembly language version, or</p> <p>6 assembly level version of the old and the new</p> <p>7 programs; is that correct?</p> <p>8 A Not quite. In the Courgette</p> <p>9 developer documentation it mentions this adjust</p> <p>10 method that also looks at the new and the old</p> <p>11 to produce the new adjusted, and that's</p> <p>12 actually what's fed to bsdiff.</p> <p>13 Q Okay. So, it's an adjust -- for the</p> <p>14 new program at least, there is an adjusted</p> <p>15 version of the assembly level representation;</p> <p>16 is that correct?</p> <p>17 A That's correct.</p> <p>18 Q And then element (d), you read on the</p> <p>19 transmission of the output of bsdiff, in</p> <p>20 effect; is that correct?</p> <p>21 MR. SCHEINFELD: I'm sorry, can I</p> <p>22 have that question read back?</p> <p>23 (The pending question was read back</p> <p>24 by the reporter.)</p> <p>25 MR. SCHEINFELD: Just so the record</p>

194	<p>1 is clear, we are talking about a new claim 2 now, 43, I believe. 3 MR. BERTIN: Oh, oh, I'm sorry. 4 THE WITNESS: Yes. 5 MR. BERTIN: Did I bleed into the 6 next thing? 7 THE WITNESS: Yeah, so it's odd that 8 the patent uses D, but also clearly marks 9 it as 43. 10 MR. BERTIN: Well, you're correct. 11 Sorry about that. 12 BY MR. BERTIN: 13 Q To be clear, element (d) is part of 14 Claim 43, and for some reason in your chart I 15 don't see any of the typical preamble language 16 that would be associated with a dependent 17 claim, Claim 43. 18 A Yes. 19 MR. SCHEINFELD: Is there a question 20 pending? I'm sorry. 21 MR. BERTIN: So I guess, just to 22 clarify the record, really, it appears 23 that page 4 of Exhibit C begins a new 24 claim, 43, but for whatever reason the 25 preamble of Claim 43 was omitted.</p>	196	<p>1 that the compact difference result is output by 2 the bsdiff portion of Courgette? 3 A If I remember in the source code, 4 effectively it is transmitted directly from 5 bsdiff. In practice, there are probably a 6 number of copies made in-between, but not any 7 material difference. In any case, it's clear 8 that the output is derived in some sense, 9 perhaps very simply, from the output of bsdiff. 10 Q Okay. Going back to page 3 of 11 Exhibit C and the top central box. 12 A (Referring to document.) I'm sorry, 13 which page of which? 14 Q Page 3 of Exhibit C to your 15 declaration. 16 A (Referring to document.) 17 Q You appear to refer to program "m" 18 and program "p", and AssignOne of 19 adjustment_method.cc. 20 And as far as we can tell, there is no 21 source code actually used by Courgette that 22 refers to a program "m" or "p", or that 23 embodies a method AssignOne of 24 adjustment_method.cc. 25 So the question is: Is that a mistake,</p>
195	<p>1 BY MR. BERTIN: 2 Q And I guess that the question -- I 3 would ask the witness to just confirm that 4 that's the case. 5 A That looks -- that looks correct, we 6 did not copy it. On the other hand, as soon as 7 you said, "said compact difference result," it 8 begs the question of, well, what was said. 9 Well, clearly it was what's described above. 10 But, yeah, I consider that a typo. 11 Q Okay. And to be clear, element (d) 12 you believe is met by Courgette's output of a 13 difference result from the bsdiff portion of 14 the program; is that correct? 15 A Actually, in this analysis, I don't 16 particularly link it directly to the output of 17 bsdiff. 18 Q I believe you testified a moment ago 19 about element (c) and you mentioned bsdiff; is 20 that correct? 21 A That is correct. It is clear that it 22 runs bsdiff. 23 Q And so I agree with you that bsdiff 24 does not appear in your chart on page 4. So 25 I'm really asking you whether your testimony is</p>	197	<p>1 are these mistakes in your analysis? 2 A To answer that I would want to 3 consult the source code to do that. I'm pretty 4 sure I saw these names and these file names and 5 copied them down directly at one point. 6 Q And how about the method AssignOne of 7 adjustment_method.cc, as you sit here today do 8 you know if this is correct that Courgette 9 actually runs that method? 10 A Let's see. (Reviewing document.) So 11 to answer that, I would want to consult the 12 notes that I had taken as I was -- 13 Q Okay. 14 A -- watching the program run. 15 Q Are these what you produced today or 16 the stuff that you produced earlier on? 17 A The stuff that I produced earlier on, 18 I would have it. The stuff that I produced 19 today was that, plus some additional stuff not 20 relevant to that in particular. 21 MR. BERTIN: Okay. Let's go ahead 22 and mark an additional exhibit. 23 (Exhibit 9 marked for 24 identification.) 25 MR. SCHEINFELD: Just, while the</p>

198	<p>1 witness is looking at the code, we haven't 2 designated any portion of this transcript 3 as confidential, or highly confidential or 4 attorneys' eyes only. I'm just wondering 5 what category, if any, you would like to 6 classify this transcript. 7 MR. BERTIN: Yeah, I think so far, as 8 least from our perspective, nothing has 9 been confidential, because the source code 10 is open source. 11 MR. SCHEINFELD: We agree. 12 MR. BERTIN: But if you think that 13 there's something that should be 14 confidential, we would be happy to talk 15 about it. Counselor, do you have a 16 different view? 17 MR. SCHEINFELD: Nothing at the 18 moment. 19 MR. BERTIN: Nothing yet. We can 20 both reserve the right to designate 21 portions. And there may be some stuff 22 coming at the end that arguably could be 23 considered confidential. 24 MR. SCHEINFELD: Okay. 25 MR. BERTIN: But again, not a big</p>	200	<p>1 something is actually used or not; is that 2 correct? 3 A That's correct. And, in fact, I'm 4 going to concede this point now. I now know, 5 after detailed looking at the Courgette code, 6 that most likely this adjustment_method file is 7 not used in the standard distribution. That 8 the code is effectively dead. But instead, I 9 believe it's adjustment_method_2 that's 10 actually being used. 11 Q Okay, and so the declaration is wrong 12 to the extent that you relied on method 13 AssignOne, because that's not used; is that 14 correct? 15 MR. SCHEINFELD: Objection. 16 Mischaracterizes the testimony and 17 vague. 18 MR. BERTIN: I will take your answer. 19 THE WITNESS: Okay. Now that 20 particular box I don't consider a very 21 good argument for infringement. 22 BY MR. BERTIN: 23 Q Okay. Okay, and did you discover 24 this mistake just sitting here now, or is this 25 something that you discovered in the work that</p>
199	<p>1 volume. 2 A So in my notes I have evidence that 3 this program, assembly program is, file 4 assembly_program.cc does exist. I downloaded 5 it, found it had 371 lines. 6 Q You're looking at the -- 7 A On the front page here. 8 Q On the front page of what we have 9 marked Google 9. And where, which method are 10 you referring to? 11 A Well, the first question would be, I 12 mentioned two -- I mentioned a file, or two 13 files, in this infringement analysis. 14 Assembly_program.cc and adjustment_method.cc. 15 And so a first question is whether those 16 files exist, or did I get that wrong, and both 17 of those files are listed here on the front. 18 The numbers to the left are the number of lines 19 that were counted in each one of them, and both 20 of them are present in the source code. 21 Q Okay. Well, I mean, now you 22 testified earlier that source code can include 23 portions that are never actually used in an 24 executable program. And just from looking at 25 the source code, you may not know whether</p>	201	<p>1 you did after November 17th? 2 A That this declaration was wrong in 3 this box, I just discovered now. Some of the 4 evidence that would lead it to be wrong, I 5 definitely discovered after November 17th. 6 Q Okay, and so did referring to your 7 notes in Exhibit 9 help you make that 8 determination? 9 A In part, yes. 10 Q And can you explain how? 11 A Okay. So on the fourth page, this is 12 SE0004, roughly ten lines from the bottom, 13 there is a line -- let's see. "1125/230009: 14 INFO: adjustment_method_2.cc." 15 Q Okay. 16 A And I'm recalling now that there were 17 two, at least two so-called adjustment methods 18 in the Courgette source code. And only one of 19 them, adjustment_method_2, was enabled by 20 default. 21 Q Okay. 22 A And now I realize that the code that 23 I cited in the infringement analysis referred 24 to adjustment_method_1, or just 25 adjustment_method, which in all likelihood was</p>

202	<p>1 not used.</p> <p>2 Q Okay. And in terms of reading this</p> <p>3 document, there are several dates that appear</p> <p>4 on the document, including 11/25. And it</p> <p>5 appears from this document that you ran</p> <p>6 Courgette for the first time on 11/25,</p> <p>7 November 25th.</p> <p>8 Can you comment on that and on the date</p> <p>9 that appear in this document?</p> <p>10 A So by "this document" you're</p> <p>11 referring to the Google Exhibit 9.</p> <p>12 Q Yeah.</p> <p>13 A And when I look at Exhibit 4, which</p> <p>14 is my timesheet, I have the first comments</p> <p>15 about examining the Courgette code on</p> <p>16 November 25th. And, in fact, I have not</p> <p>17 previously noticed that the 1125 number at the</p> <p>18 beginning of these lines is a date, but that</p> <p>19 seems a reasonable interpretation of it.</p> <p>20 That particular output was generated</p> <p>21 directly by the Courgette program, and I did</p> <p>22 not attempt to understand every single</p> <p>23 character produced.</p> <p>24 Q Okay.</p> <p>25 A But it's -- certainly that section of</p>	204	<p>1 was distributed with Courgette. I may have</p> <p>2 looked at it. I'm not sure that I did.</p> <p>3 Q Okay. Is this --</p> <p>4 A Do you know the -- do you know the</p> <p>5 original file name of this?</p> <p>6 Q I don't, but this was something that</p> <p>7 was produced along with the documents that you</p> <p>8 relied upon.</p> <p>9 A Okay.</p> <p>10 Q Pursuant to the subpoena.</p> <p>11 A Uh hum.</p> <p>12 Q Do you know who generated this</p> <p>13 document?</p> <p>14 A I don't, but I probably did.</p> <p>15 Q Okay.</p> <p>16 A Again, I can't recall with certainty</p> <p>17 generating this particular document.</p> <p>18 Q Okay.</p> <p>19 A But I'm not going to argue with you</p> <p>20 about it.</p> <p>21 Q Okay, well, that's fine. Would it</p> <p>22 help you to refer to your timesheet, Google</p> <p>23 Exhibit 4, to determine whether or not you</p> <p>24 created it?</p> <p>25 A No, because I don't have comments in</p>
203	<p>1 the document I had created on November 25th.</p> <p>2 MR. BERTIN: Okay. I'll tell you</p> <p>3 what, why don't we do a five-minute break</p> <p>4 or so, and I want to check my notes and</p> <p>5 then maybe we can do a final push and be</p> <p>6 done.</p> <p>7 MR. SCHEINFELD: Okay.</p> <p>8 THE VIDEO OPERATOR: Going off the</p> <p>9 record, the time is 4:40.</p> <p>10 (Recess.)</p> <p>11 THE VIDEO OPERATOR: One moment.</p> <p>12 We're back on the record, the time is</p> <p>13 4:54. This is tape 6.</p> <p>14 MR. BERTIN: Okay, I want to mark a</p> <p>15 new exhibit.</p> <p>16 (Exhibit 10 marked for</p> <p>17 identification.)</p> <p>18 MR. BERTIN: This is Google</p> <p>19 Exhibit 10, it bears Bates numbers SE02490</p> <p>20 to SE02509.</p> <p>21 BY MR. BERTIN:</p> <p>22 Q And I'm going to ask you,</p> <p>23 Dr. Edwards, what is this document?</p> <p>24 A I am not sure. So it looks like it's</p> <p>25 a disassembly of one of the sample files that</p>	205	<p>1 my timesheet at the level of I created this</p> <p>2 file, I created that file. I may very well</p> <p>3 have generated this at one point during my</p> <p>4 analysis.</p> <p>5 Q Okay, what do you think this document</p> <p>6 is?</p> <p>7 A My best guess is that this is the</p> <p>8 output from a variant of objdump,</p> <p>9 O-B-J-D-U-M-P, that in my notes -- (Reviewing</p> <p>10 document.) Ah, yes. On Exhibit 9, this is the</p> <p>11 bottom -- this is page 00008, I have notes that</p> <p>12 I installed a variant of this objdump utility.</p> <p>13 And in all likelihood this file was generated</p> <p>14 by that utility.</p> <p>15 Q Okay, and the document you referred</p> <p>16 to to figure that out is your notes document</p> <p>17 that we were just looking at?</p> <p>18 A That's correct, Exhibit 9, Google</p> <p>19 Exhibit 9.</p> <p>20 Q What is objdump? By the way, can the</p> <p>21 court reporter spell that?</p> <p>22 A I spelled it. It's a standard</p> <p>23 program on Unix machines, primarily, that dumps</p> <p>24 the contents of object files and will report</p> <p>25 various information about them.</p>

<p style="text-align: right;">206</p> <p>1 Q Okay. And when it dumps them, does 2 it disassemble them at the same time or in some 3 way assign mnemonic codes to them? 4 A It can. 5 Q Okay. 6 A And in certain settings it does do a 7 form of disassembly and that's what I suspect 8 Exhibit 10 is. In other form -- sorry. It can 9 disassemble programs. If you ask it to, it 10 will. In other modes it just reports numbers 11 or statistics. 12 Q Okay. And does it appear that you or 13 somebody asked it to disassemble? 14 A Yes, this document looks like a 15 disassembly. 16 Q Okay, and how can you tell? 17 A My familiarity with x86 instruction 18 codes, things like "mov" and "ret" and "test" 19 and "push" are all parts of x86 assembly 20 language. The fact that it says "Disassembly" 21 at the top is also a strong hint. 22 Q Okay. At the top left-hand corner of 23 Google 10 appears setup1.exe. So is it your 24 understanding that this is a disassembled 25 version of setup1.exe?</p>	<p style="text-align: right;">208</p> <p>1 taking the contents of the ecx register, adding 2 4 to it, and moving it into eax -- or, 3 actually, it might be the reverse. One is the 4 source, one is the destination. I can never 5 remember which. 6 Q Okay, so the idea is that you're 7 moving data from memory into a register? 8 A Or vice versa. 9 Q Or vice versa. And the address of 10 the data in memory is determined by the value 11 in the ecx register plus 4; is that correct? 12 A I believe that's the correct -- I 13 believe that's the correct interpretation of 14 the addressing for this instruction. 15 Q Okay. And is this, is this a -- in 16 terms of the reference to memory, is this a 17 relative or an absolute addressing example? 18 A I would say this is register 19 relative. So the address is computed through 20 both a constant, the 4, and a variable, the 21 contents of the register. 22 Q Okay, so it's relative and not 23 absolute; is that correct? 24 A Yes. 25 Q Okay. And would this also be an</p>
<p style="text-align: right;">207</p> <p>1 A I expect that's the case. 2 Q And setup1.exe is the program that's 3 included within Courgette to use as the old 4 program within a test case to see if Courgette 5 is working, for example; is that correct? 6 A It's the old file. I never tried to 7 execute it, but I presume that's what it is. 8 Q Okay. And, and what is its purpose? 9 A What is the purpose of setup1.exe? 10 Q Yeah. 11 A It's presence in the Courgette source 12 tree is mostly one, I imagine, to be used as a 13 demonstration of whether Courgette operates or 14 not. So a test case. 15 Q Okay, let's look at the very -- well, 16 let's look at the line near the top that, going 17 from left to right, reads, "401000:", and then 18 "8b 41 04, and then "mov", M-O-V, followed by 19 "0x4" and a bunch of other stuff. 20 A I know the line you mean. 21 Q Okay. What is going on here, what is 22 "mov"? 23 A Most likely this instruct -- this is 24 an x86 machine instruction that is saying move 25 some data in memory whose address is given by</p>	<p style="text-align: right;">209</p> <p>1 example of an indirect address? 2 A Yes, if I remember, indirect usually 3 refers to using a register along the way. 4 Q What determines whether you add 4 or 5 some other number like 8 or 2 or 12 or 36? 6 A It's presence as the third byte in 7 that line. So it's the 04 after 8b 41, I 8 expect. 9 Q Okay. And the 8b 41 04, is that a 10 machine code? 11 A Yes. 12 Q Okay, and does the machine code 13 correspond to the move instruction we just 14 looked at? 15 A I believe so. 16 Q Okay, and then what is the "401000:"? 17 A So that is an address for that 18 instruction. I actually don't know how to 19 interpret the output of objdump, whether that 20 is really truly the only address it could end 21 up in memory, or whether that is one possible 22 address. 23 To me, the interesting part are the least 24 significant digits, which are just telling me 25 how far along we are in the file.</p>

210	<p>1 Q Okay, so to that point, the machine 2 code 8b 41 and 04 would appear to occupy three 3 byte address positions; is that correct? 4 A That's correct. 5 Q And then just beneath 401000, the 6 next entry is 401003 and there is another 7 machine code instruction, "c3"; is that 8 correct? 9 A Yes. 10 Q And the increment, you find the 11 increment 3 to be interesting or significant 12 because it's the next, it's the next one in the 13 series after the three bytes; is that correct? 14 A It's essentially reminding me that 15 three bytes were used in the first instruction 16 there. The first instruction was three bytes 17 long, so that the next one starts at an address 18 ending in 3. 19 Q And then the next several 20 instructions are one byte long each? 21 A Yes. 22 Q Okay. What is -- what is the mov 23 instruction doing at the address 401010? 24 A It's probably moving that long 25 constant, 4c4814 into the register eax.</p>	212	<p>1 that number. And at this point I can't tell. 2 Q Okay. Are the two "mov" instructions 3 that we've just looked at examples of reference 4 entries? 5 A Let me reconsider my definition. 6 (Reviewing document.) Yes, by my definition 7 both of those instructions are reference 8 entries. 9 Q Okay. Do you see any other reference 10 entries on page SE02490? 11 A The "je" instruction in line labeled 12 401a -- excuse me, 40101a. 13 Q Okay. 14 A Also, there is a "call". This is at 15 the line starting with 40102c. 16 Q How about the one, the "call" that 17 precedes that one at 40101d? 18 A Probably not, but that's subtle. 19 Embedded somewhere in that up code is something 20 that's telling it to use the register "dx" 21 instead of say "bx" or "cx". 22 So, in fact, there is deeply in there a 23 number that is being used to compute an address 24 eventually. So I'm not sure whether that 25 should or should not fall under the</p>
211	<p>1 Q Okay, do you know that for certain or 2 is it possible that it could be doing something 3 else? 4 A It's possible that it could be doing 5 something else. 6 Q Is the possibly something else, or 7 another possibility moving a value at the 8 address indicated by 0x4c4814 into or out of 9 the register eax? 10 A Yes. Come to think of it, I'm seeing 11 the dollar signs on later instructions. So 12 it's probably not moving the literal value, it 13 probably is reading from memory. 14 However, I question whether 4c4814 is 15 actually the ultimate address from which it 16 will be fetching eventually. 17 Q Okay, and why is that? 18 A We are looking at the disassembly of 19 an object file. We are not looking at the 20 contents of a running machine, we are not 21 looking at the contents of memory of a running 22 machine. 23 Q Um hum. 24 A So, in fact, the loader, or whatever 25 program decides to execute this, may change</p>	213	<p>1 classification of reference entry. 2 Q Okay, what is the significance of the 3 star in front of %eax? 4 A I suspect that means -- I'm not sure. 5 My best guess is it means fetch the address in 6 the memory whose address is edx and jump to it. 7 Q When you say edx, do you mean eax? 8 A In 401029? 9 Q I'm sorry, I was looking at 40101d. 10 A Ah. Oh, okay, I'm sorry. Yes, in 11 that one it's probably look at the contents of 12 register eax, treat that as an address, fetch 13 another address from that data, and then call 14 the function at that address. 15 Q Okay. And how about the "add" 16 instruction just below it at 40101f, is that a 17 reference entry? 18 A It could potentially be. It's hard 19 to say in isolation whether that's being used 20 as an address or not. 21 Q What is -- 22 A No, I -- 23 Q Go ahead. 24 A I'm sorry. Yes, that is a reference 25 entry, because esp almost certainly contains an</p>

<p style="text-align: right;">214</p> <p>1 address. We are adding something to that  2 address. So the 4 is a reference in that  3 sense, and that makes 40101f a reference entry.  4 Q Okay. And what is the significance  5 of the dollar sign there in front of 0x4?  6 A I believe that indicates the 4 is a  7 constant to be added directly.  8 Q Okay, as opposed to an address or  9 something else?  10 A Yes, as -- as opposed to an address  11 or something else. Well, as opposed to telling  12 the processor to go and fetch something from  13 location 4 to then add to esp, that's not what  14 I believe it is doing.  15 Q Okay. How about the next instruction  16 at 401022, the "mov" instruction there, is that  17 a reference entry?  18 A I'm going to say probably not.  19 However, again, something subtle is happening  20 within the bits of this instruction. It is  21 saying move esi to eax, mov the data pointed to  22 by esi to eax, but within the coding of that  23 instruction it is distinguishing between that  24 and, I'm sure there is another variant that  25 says move esi to ebx. So probably not, but</p>	<p style="text-align: right;">216</p> <p>1 Q Okay, and then how about the one  2 right after that, the add instruction?  3 A Yes, for the same reason I said for  4 40101f. Esp almost certainly holds an address,  5 and the 4 is being added to it to create  6 another address.  7 Q Okay. Any others on this page?  8 A None that come to mind.  9 Q Okay. Okay, so at least as far as  10 this page is concerned, there are several  11 different types of instructions that -- that  12 could or should be considered reference  13 entries; is that right?  14 A That's right.  15 Q What is je instruction at 40101a?  16 A That's probably "jump if equal", and  17 so it probably looks at the contents of the  18 status register that was calculated, perhaps by  19 the "mov" instruction immediately before it,  20 I'm not sure. And it's probably giving us --  21 yes. The 06 is probably a relative address.  22 So that is a reference. So this is.  23 Q Okay. Okay, and then would you,  24 would you characterize the je instruction as a  25 conditional jump?</p>
<p style="text-align: right;">215</p> <p>1 maybe.  2 Q Okay, how about the next "mov"  3 instruction?  4 A I say that is a reference entry. The  5 8 is being used to compute an address. The 0x8  6 is being used to compute an address.  7 Q Okay. And then how about the "mov"  8 instruction right after that?  9 A Probably not, for the same reason I  10 mentioned in 401022, there are bits within the  11 op codes, within the bytes, that represent the  12 instruction that are describing which registers  13 to use. And in some sense, what a register is  14 is a form of address.  15 Q Okay, and how about the one right  16 below it, the call *%edx at 029?  17 A Yeah, similar case as before,  18 probably not. But again, there is something  19 that's choosing which register to use that  20 could be a -- could be construed as a  21 reference.  22 Q Okay. And then I believe you said  23 earlier that 40102c is a reference?  24 A That is correct. I believe that to  25 be a reference entry.</p>	<p style="text-align: right;">217</p> <p>1 A Yes.  2 Q Are there other examples of  3 conditional jumps?  4 A There typically are many. For  5 example, there might be "jump not equal".  6 Q Okay, and are there still other  7 flavors or types of conditional jumps?  8 A Many, many more.  9 Q Okay. Okay, uhm, so in terms of  10 Courgette, have you determined which reference  11 entries Courgette handles and which it does  12 not?  13 MR. SCHEINFELD: Objection, vague.  14 THE WITNESS: Not entirely. I have  15 some suspicions. I would not be  16 comfortable saying it absolutely finds  17 these and absolutely does not find those.  18 BY MR. BERTIN:  19 Q Okay. Is the answer -- well, is this  20 something that you looked into at all prior to  21 the 17th of November?  22 A I did not consider that detail before  23 November 17th, no.  24 Q Okay, and could you determine that  25 detail from the Courgette code itself?</p>



218

1 A Yes.  
2 Q Okay, but, to date anyway, you have  
3 not done so; is that correct?  
4 MR. SCHEINFELD: Objection.  
5 Mischaracterizes testimony.  
6 THE WITNESS: To date I have done  
7 some analysis of the code. I have not  
8 specifically tried to answer that  
9 particular question.  
10 BY MR. BERTIN:  
11 Q Okay. So just to be specific on this  
12 point, you don't know which reference entries  
13 Courgette handles within the x86 instruction  
14 set and which it does not; is that correct?  
15 MR. SCHEINFELD: Objection, vague.  
16 THE WITNESS: I don't know exactly,  
17 in that if I were to -- I don't know  
18 exactly. I have strong suspicions, but if  
19 I picked a particular one, I think it's  
20 entirely possible that you, that somebody  
21 could convince me that, no, it does not  
22 check that.  
23 BY MR. BERTIN:  
24 Q Well, we're very interested in your  
25 strong suspicions, so what are they?

219

1 A From my recollection of the source  
2 code, one of the things Courgette does to begin  
3 with is look at relocation information in the  
4 Windows executable. It is my understanding  
5 that these are addresses that have to be  
6 updated when the program is relocated, which  
7 would be one form of reference entries.  
8 I believe also Courgette scans the object  
9 code looking for bytes that are likely to start  
10 branch instructions, other instructions that  
11 directly refer to memory. And I believe it  
12 also captures those references as well.  
13 Q Okay, on what do you base your belief  
14 that it also captures those references as well?  
15 A I remember a single line in the  
16 Courgette source code with a comment above it  
17 saying something like, "are we at a branch,"  
18 "are we at a conditional instruction".  
19 Q Okay. Have you done any kind of  
20 rigorous analysis, though, of reference entries  
21 and determining how many Courgette handles  
22 versus how many it doesn't handle?  
23 MR. SCHEINFELD: Objection, vague.  
24 THE WITNESS: I have not tried to  
25 answer that specific question. I have

220

1 seen substantial evidence in the source  
2 code, in comments, in the developer blog,  
3 and others that one of the goals is to try  
4 to capture as many references as possible.  
5 BY MR. BERTIN:  
6 Q But you don't know as of -- well,  
7 could you say, as a percentage, how many it  
8 handles versus how many it does not handle?  
9 MR. SCHEINFELD: Objection, vague.  
10 THE WITNESS: Definitely not a  
11 percentage. Of course, it's also a strong  
12 function of the program you submit to it.  
13 And so, to answer that question with a  
14 concrete number, I am sure you could find  
15 a program that had exhibited more than  
16 that number or less than that number. So  
17 there is no -- there is no simple answer  
18 like 70 percent. Any such answer is  
19 wrong.  
20 BY MR. BERTIN:  
21 Q I suppose it depends on what the  
22 percentage is; right? I mean, if it's a  
23 percentage of instructions within, you know,  
24 thousands of various programs, I agree. You  
25 could also look at it as a percentage of the

221

1 x86 instruction set.  
2 Out of the instructions within the x86  
3 instruction set that would be considered  
4 reference entries, what percentage does  
5 Courgette handle versus what percent it does  
6 not and that would be a more -- that's a  
7 knowable figure?  
8 A I disagree for the reasons we just  
9 discussed earlier. For that to be well  
10 defined, you have to tell me what all of the  
11 x86 instructions are. And this is subject to  
12 argument.  
13 Q And you, I understand from your  
14 background, that you have written programs that  
15 parse; is that correct?  
16 A Yes.  
17 Q And programs are nothing more than  
18 discrete, ah, than instructions to a processor  
19 to do discreet tasks; is that right?  
20 A Programs include instructions to a  
21 processor -- instructions to perform concrete  
22 tasks. But programs usually consist of many  
23 more things.  
24 Q But Courgette would have to have a  
25 discrete of set of instructions that it

222	<p>1 operates on in order to parse them out; is that 2 correct? 3 It's not just going to magically handle 4 reference entries unless some programmer sat 5 down and said, okay, now handle this reference 6 entry as part of the program; isn't that 7 correct? 8 MR. SCHEINFELD: Objection, vague. 9 THE WITNESS: No. What is in 10 Courgette are a series of rules for 11 identifying references in a program. How 12 those rules behave on different programs 13 can be predicted. 14 BY MR. BERTIN: 15 Q So you could have, but did not, 16 examine those rules to determine what reference 17 entries Courgette operates on and what 18 reference entries it does not; correct? 19 A I examined that in part. I know that 20 my knowledge of it is incomplete. 21 Q When Courgette does not recognize a 22 reference entry as being a reference entry, how 23 does it treat the reference entry? 24 A Your question doesn't quite make 25 sense, because you're saying it is a reference</p>	224	<p>1 BY MR. BERTIN: 2 Q And I just ask the witness if he's 3 ever seen this document before? 4 A I don't recall, but I suspect I did. 5 Q Okay. So I just want to refer you to 6 page -- well, the first page of this and the 7 last paragraph. 8 A (Referring to document.) 9 Q And it says: "The performance of 10 FOTA -- of the FOTA solution," and FOTA, do you 11 know and what that refers to? 12 A I'm following the definition of the 13 beginning of this document, Firmware 14 Over-The-Air. 15 Q Okay, and where you just read at the 16 top of the page it goes on to say, "Updating of 17 Mobile Phones." 18 Is it your understanding that FOTA refers 19 to a technology for updating mobile phones? 20 MR. SCHEINFELD: Objection to the 21 question. It's vague and incomplete. 22 THE WITNESS: It looks like a primary 23 intent of FOTA is meant for mobile phones, 24 but there may be other applications. This 25 is the first time I've seen the acronym.</p>
223	<p>1 entry, but then it isn't, but then it is. 2 I think you're asking if something should 3 be considered a reference entry and Courgette 4 does not treat it as such, how does it treat 5 it? 6 Q If you would like to answer that 7 question I will take your answer to that 8 question. 9 A Okay. My understanding is that it 10 would treat the data in the mismatched 11 reference entry as raw bytes. And the process 12 in Courgette is complicated, but eventually the 13 effect, if that reference entry had changed, 14 will finally make its way into the final 15 difference result. 16 Q Okay. 17 MR. BERTIN: Okay, let me quickly 18 mark a couple of more references and I 19 will try to move through these quickly. 20 (Exhibit 11 marked for 21 identification.) 22 MR. BERTIN: Okay, so we've just 23 marked and I have handed the witness 24 Google Exhibit 11, which is a multi-page 25 document beginning at RedBend0002931.</p>	225	<p>1 BY MR. BERTIN: 2 Q Okay. This document suggests in the 3 last paragraph that there are -- that the 4 performance of the FOTA update is determined, 5 in large part, by the underlying technology 6 used to generate and install updates. And then 7 it goes on to talk about memory requirements 8 and bill of materials and bandwidth demands and 9 reliability and development process, user 10 satisfaction, etcetera. 11 And I guess my question is: Do you have 12 any expert knowledge on such FOTA update 13 technology and, sort of, all of these specific 14 factors and how they would affect designing a 15 FOTA update solution? 16 MR. SCHEINFELD: Objection, leading. 17 THE WITNESS: I'm positive there are 18 many aspects of selling FOTA solutions 19 that I'm not particularly expert or 20 familiar with. I do believe that I 21 understand the key technical constraints 22 on the problem. 23 BY MR. BERTIN: 24 Q And what are the key technical 25 constraints?</p>

226

1 A As it says here, the underlying  
2 technology to generate and install updates and  
3 memory requirements are one, bandwidth demands  
4 are another. Those are, I would probably  
5 consider the two mains ones. Primarily  
6 bandwidth demands.  
7 Q On page 3 it goes on, under  
8 "Reliability", the second paragraph under  
9 "Reliability", on the third page of this  
10 exhibit, to say, "Given the limited memory of  
11 mobile handsets, it is not possible --"  
12 MR. SCHEINFELD: I'm sorry, I'm not  
13 with you.  
14 A Which page?  
15 Q So, RedBend 2935. And there is --  
16 there are two headings on the page, and I'm  
17 referring to text under the second one,  
18 "Reliability".  
19 And I'm looking at the first two sentences  
20 of the second paragraph under "Reliability".  
21 Do you see that?  
22 A Yes.  
23 Q I will just read that for the record,  
24 it says:  
25 "Given the limited memory of mobile

227

1 handsets, it is not possible to build the new  
2 version side-by-side with the existing version.  
3 Instead, updating must occur in place."  
4 What is -- is this an important aspect of  
5 FOTA technology as well?  
6 MR. SCHEINFELD: Objection.  
7 No foundation.  
8 THE WITNESS: I can't evaluate that.  
9 BY MR. BERTIN:  
10 Q But do you have any understanding of  
11 what the problem is that they're describing  
12 here?  
13 A I understand the problem that they  
14 are describing. What I can't speak on is how  
15 crucial that is to someone potentially buying a  
16 FOTA solution.  
17 Q Okay, but does your expertise lie in  
18 this particular area?  
19 MR. SCHEINFELD: Objection, vague.  
20 BY MR. BERTIN:  
21 Q In terms of advising people on FOTA  
22 solutions?  
23 A I would say no. I do not advise  
24 people and have not advised people on FOTA  
25 solutions.

228

1 Q Okay. Okay, I just want to go back  
2 to Google 4 for a moment, which is your  
3 timesheet.  
4 A Yes.  
5 Q And I just want to refer to your  
6 entries on December 6th. And, in particular,  
7 you indicate with respect to the time period  
8 4:15 to 5:45 -- hopefully that's p.m.  
9 "Figured out enough about the PE file  
10 format to understand what the disassembler is  
11 doing to it."  
12 And I guess my question is that it's not  
13 the first time you figured out enough about the  
14 PE file format to understand what the  
15 disassembler is doing to it?  
16 A I believe my comment is correct, yes.  
17 Q So, prior to this you didn't --  
18 anyway I'm not going to repeat it a third time.  
19 Okay.  
20 Then the next question on this is toward  
21 the bottom, on February 1 and February 2 you  
22 mention the '713 patent.  
23 A Yes.  
24 Q And I guess my question is -- maybe  
25 you just describe the stuff that you did on

229

1 February 1 and 2 with respect to the '713  
2 patent?  
3 A Well, I can't recall the rest of the  
4 digits in the number on the '713 patent, but it  
5 was the one I believe you had produced and  
6 brought to the attention of counsel for Red  
7 Bend, who then passed it to me and they asked  
8 me to read it.  
9 MR. BERTIN: Okay. We'll go ahead  
10 and mark that as the next exhibit.  
11 (Exhibit 12 marked for  
12 identification.)  
13 BY MR. BERTIN:  
14 Q Okay, we've just marked Google 12 and  
15 this should afford you the rest of the digits  
16 associated with the '713 patent.  
17 Is this, is this what -- is Google 12 what  
18 you recall as the '713 patent?  
19 A It is.  
20 Q Okay, uhm -- okay, and this, on its  
21 face, appears to be a patent that issued on  
22 what date?  
23 A I'm assuming the date of patent is  
24 the issue date, January 2nd, 1996.  
25 Q Okay. And have you done any analysis

230

1 of this patent and its relationship to the '552  
 2 Red Bend patent?  
 3 A I have read it through, tried to  
 4 understand what it's describing, what sorts of  
 5 things it teaches, what things it teaches away  
 6 from.  
 7 Q Have you done any kind of claim chart  
 8 or any other kind of invalidity analysis  
 9 comparing the '713 patent to the '552 patent?  
 10 MR. SCHEINFELD: Objection, vague.  
 11 THE WITNESS: I talked about it at  
 12 length with counsel for Red Bend. We did  
 13 not create a document like the declaration  
 14 with any of these grids or anything. It's  
 15 not been that formal.  
 16 BY MR. BERTIN:  
 17 Q Okay. Care to share any of the  
 18 conversations with your counsel about the '713  
 19 patent?  
 20 A I would say the most pertinent is  
 21 they have not asked me to undertake an  
 22 invalidity analysis of the '552 patent with  
 23 respect to the '713 patent.  
 24 Q Okay.  
 25 A The obvious question comes up, would

231

1 this invalidate '552?  
 2 Q Right.  
 3 A And at this point I do not have a  
 4 definitive answer and certainly have not  
 5 created document around this.  
 6 Q Okay. I notice in your expert report  
 7 you've indicated, or reserved the right to  
 8 comment on invalidity if it's raised by Google.  
 9 Indeed, do you have that recollection?  
 10 A I remember putting that in the  
 11 report, yes.  
 12 Q And so is your understanding that  
 13 your role will be to comment on invalidity at  
 14 that time, or that you will be instructed to  
 15 undertake an invalidity or validity analysis at  
 16 that time?  
 17 A My understanding is that it is a  
 18 possibility, but not a foregone conclusion.  
 19 MR. BERTIN: Okay. Hang on just a  
 20 moment. I want to collect thoughts and  
 21 see if we're done.  
 22 THE VIDEO OPERATOR: Go off the  
 23 record?  
 24 MR. BERTIN: Why don't we stay on the  
 25 record just for a minute.

232

1 Okay, Dr. Edwards, I'm pleased to  
 2 report that at least I'm done, but your  
 3 counsel has indicated he has some  
 4 questions for you.  
 5 MR. SCHEINFELD: I do, I'm just going  
 6 to wait for documents.  
 7 MR. BERTIN: You guys want to go off  
 8 the record for a bit?  
 9 THE WITNESS: Let's take a break.  
 10 THE VIDEO OPERATOR: Going off the  
 11 record, the time is 5:43.  
 12 (Recess.)  
 13 THE VIDEO OPERATOR: One moment.  
 14 We're back on the record, the time is  
 15 6:03. This is tape 7.  
 16 MR. SCHEINFELD: Thank you very much,  
 17 Dr. Edwards, I have a few questions for  
 18 you.  
 19 \* \* \*  
 20 This marks the beginning of the  
 21 transcript marked Confidential Attorneys'  
 22 Eyes Only.  
 23 \* \* \*  
 24  
 25

233

JURAT

1 I, \_\_\_\_\_,  
 2 do hereby certify that I have read the  
 3 foregoing transcript of my testimony taken on  
 4 \_\_\_\_\_, 2010, and have signed it subject  
 5 to the following changes:  
 6  
 7

PAGE LINE	CHANGE	REASON
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

Sworn and subscribed to before me on this  
 \_\_\_\_\_ day of \_\_\_\_\_, 2010

CERTIFICATE

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I, PATRICIA A. SANDS, a Shorthand Reporter and Notary Public of the States of New York and New Jersey, do hereby certify that prior to the commencement of the examination the witness was sworn by me to testify the truth, the whole truth and nothing but the truth.

I do further certify that the foregoing is a true and accurate transcript of the testimony as taken stenographically by and before me at the time, place, and on the date hereinbefore set forth.

I do further certify that I am neither of counsel nor attorney for any party in this action, and that I am not interested in the event nor outcome of this litigation.

\_\_\_\_\_  
New York certificate No.: 01SA4974309  
New Jersey certificate No.: 2109345

<b>A</b>			
<b>able</b> 103:16 121:3	<b>additional</b> 63:11	<b>adjustment_metho...</b>	<b>alterations</b> 128:23
<b>absolute</b> 124:9,20	85:23 106:5 145:5	200:9 201:19	<b>ambiguous</b> 13:22
126:13,15,22	155:23 164:2	<b>adjustment_metho...</b>	15:13 19:6 32:17
208:17,23	172:15 175:13	201:14	36:14 43:5 49:17
<b>absolutely</b> 122:17	181:4 182:22 184:8	<b>advanced</b> 10:18	59:22 60:23 75:9
217:16,17	197:19,22	<b>advertised</b> 37:16	78:15 90:12 95:11
<b>abstract</b> 117:8	<b>additions</b> 8:22	55:25 57:7	117:4 121:25
<b>accepts</b> 77:18	<b>address</b> 100:15,16	<b>advise</b> 227:23	151:12,24 152:13
<b>account</b> 30:1 120:3	101:6 103:1 105:3	<b>advised</b> 227:24	154:7 155:7
179:13 184:18	106:7 107:20,21	<b>advising</b> 227:21	<b>amend</b> 29:22
<b>accounted</b> 129:7	121:10 123:21,22	<b>affect</b> 225:14	<b>amount</b> 94:3
<b>accurate</b> 8:16,18	124:1,4,5,6,7,8,9,10	<b>afford</b> 229:15	<b>analogy</b> 78:7
29:19 99:1 185:8	124:16,22,23,23,24	<b>afternoon</b> 29:1	<b>analysis</b> 16:1 63:4
234:11	125:11,17,18,24,25	<b>ago</b> 195:18	135:1,5,6,16,21
<b>accurately</b> 50:7	126:4,5,6,8,9,13,15	<b>agree</b> 21:21,22 51:9	136:11,13 161:14
<b>accused</b> 16:18 135:14	126:17,20,22,23	90:25 92:4,7 115:15	166:4 168:20 169:6
136:12	127:21 157:23	129:1,3,4,10 143:21	174:7 180:10 187:8
<b>accustomed</b> 62:1	166:7 180:14	149:14 158:12,19	187:13,17 188:7
<b>achieve</b> 16:22	189:11 207:25	158:24 186:10	195:15 197:1
<b>acronym</b> 224:25	208:9,19 209:1,17	187:12 195:23	199:13 201:23
<b>act</b> 98:20 151:5	209:20,22 210:3,17	198:11 220:24	205:4 218:7 219:20
<b>action</b> 1:3 5:18 73:21	210:23 211:8,15	<b>agreement</b> 21:8	229:25 230:8,22
234:18	212:23 213:5,6,12	<b>ah</b> 7:3,8 8:3 10:9	231:15
<b>actions</b> 121:1 140:15	213:13,14,20 214:1	25:11 29:25 30:14	<b>analyze</b> 39:15 45:20
<b>actively</b> 14:13	214:2,8,10 215:5,6	31:24 34:2 38:16	75:18,24 132:25
<b>activities</b> 23:16	215:14 216:4,6,21	39:13,14,14 46:5	135:20
<b>activity</b> 49:22 53:8	<b>addressable</b> 96:10	53:19,24 55:17	<b>analyzed</b> 146:9
<b>actual</b> 50:3,4 124:10	100:13	58:25 76:2,7 80:22	<b>analyzing</b> 60:20 63:2
154:24 156:1,14,14	<b>addressed</b> 181:23	135:8 138:21 141:6	<b>and/or</b> 16:20 128:25
156:23 157:7 159:2	<b>addresses</b> 104:16	146:12,12 167:14	184:23
159:3 169:4	105:8 166:18,20	167:18 177:11,18	<b>Angelos</b> 19:18
<b>adaptability</b> 69:8,13	168:11 187:24	205:10 213:10	<b>answer</b> 7:5 26:18
69:17	219:5	221:18	39:2 47:24 48:8
<b>adaptable</b> 64:11,19	<b>addressing</b> 104:2	<b>ahead</b> 7:19 23:7 25:4	52:4,25 62:7 72:16
<b>adapted</b> 69:22	105:15 123:9,10,13	81:21 197:21	72:25 90:6 101:3
<b>add</b> 26:21 42:1	125:20,22 126:9	213:23 229:9	103:16 107:9
113:20 189:3	208:14,17	<b>aid</b> 46:12	109:19 115:8
190:21 209:4	<b>adjust</b> 193:9,13	<b>aids</b> 46:22	119:14 147:17
213:15 214:13	<b>adjusted</b> 94:3 170:9	<b>aims</b> 186:17	162:13 197:2,11
216:2	193:11,14	<b>Akalski</b> 22:14,15	200:18 217:19
<b>added</b> 65:4 214:7	<b>adjustment</b> 201:17	38:12	218:8 219:25
216:5	<b>adjustment_method</b>	<b>algorithm</b> 95:5	220:13,17,18 223:6
<b>adding</b> 140:5,15	200:6 201:25	132:14 139:8	223:7 231:4
141:4 208:1 214:1	<b>adjustment_metho...</b>	<b>algorithms</b> 12:19	<b>answered</b> 33:17 59:5
<b>addition</b> 46:25	196:19,24 197:7	<b>alleged</b> 135:6,8	100:11 101:2 119:7
127:13,14,17	199:14	<b>allegedly</b> 133:2	150:8 175:9
	<b>adjustment_metho...</b>	<b>allow</b> 51:3 55:22	<b>answering</b> 53:3
	201:24	<b>alteration</b> 184:22	<b>answers</b> 75:10

100:18	<b>argumentative</b>	92:14 166:14	57:21 59:24 60:1
<b>anybody</b> 26:24	175:10	<b>assign</b> 206:3	63:19 71:7,20 77:20
<b>anyway</b> 218:2 228:18	<b>arguments</b> 60:12	<b>assigned</b> 148:3 149:1	79:10 94:18,19
<b>apparently</b> 22:2	83:20	149:2 179:21	95:12,14 100:6,8
85:24 87:19 143:7	<b>ARM</b> 65:4	183:10	105:21,21,23
172:7	<b>arrived</b> 24:20 27:22	<b>Assigning</b> 179:23	109:14,16 110:15
<b>appear</b> 9:16 48:3	29:1	<b>assignments</b> 39:23	125:14 134:15
68:11 86:5,5,14	<b>art</b> 17:7 79:20 89:16	<b>AssignOne</b> 196:18,23	147:15,17 150:17
87:10 110:1 145:12	90:10 92:17,21,22	197:6 200:13	153:3 178:11
165:21 170:22	93:1 136:11 142:22	<b>assistance</b> 161:9	190:17,19 191:14
186:25 195:24	143:9	<b>Associate</b> 6:11	193:22,23 196:10
196:17 202:3,9	<b>aside</b> 16:4 17:14	<b>associated</b> 105:8	203:12 228:1
206:12 210:2	<b>asked</b> 10:22 26:12	155:1 156:2 194:16	232:14
<b>appearances</b> 6:4,6	33:17,18 48:3 56:10	229:16	<b>backend</b> 65:9,13,16
<b>appeared</b> 65:12,15	59:5,18 60:11,15	<b>assume</b> 12:6 46:15	65:18 66:13,15 67:6
65:17	79:18 100:11 101:2	<b>assumed</b> 181:2,7,17	68:7 71:7,17
<b>appears</b> 8:13 9:24	111:19 119:6 150:8	<b>assumes</b> 102:13	<b>backends</b> 64:25 65:7
23:20 25:11 27:17	162:5 171:8 175:9	<b>assuming</b> 85:8	65:22
28:18 68:18 76:8	206:13 229:7	229:23	<b>background</b> 8:24
82:8,13 85:11,17	230:21	<b>assumption</b> 169:7	221:14
86:22 87:11 90:22	<b>asking</b> 19:8 36:15	<b>attached</b> 31:23,24	<b>Baker</b> 1:17 2:3 5:12
96:21 101:22	47:14 51:13 82:23	32:10	6:14,17 18:18 19:11
147:23 149:20	82:25 83:1 92:6	<b>attaching</b> 31:7	21:9 22:2,4 23:1,17
173:15 178:23	107:5,14,17 119:15	<b>attempt</b> 44:6 52:4	24:12 25:12,23
194:22 202:5	136:15,16,25	72:6 83:25 89:1	26:24 27:7,18 28:21
206:23 229:21	137:12,19,20	123:3 202:22	30:20,24 31:6 32:15
<b>appended</b> 82:19	158:16 167:12,17	<b>attempted</b> 79:3	33:6,22 38:1
<b>application</b> 4:5 12:10	172:20 174:17	<b>attempting</b> 149:25	<b>bandwidth</b> 225:8
14:16 15:16	195:25 223:2	<b>attending</b> 6:23	226:3,6
<b>applications</b> 12:11	<b>ASM</b> 170:8,8,8	<b>attention</b> 229:6	<b>base</b> 219:13
224:24	<b>aspect</b> 118:14,15	<b>attorney</b> 234:17	<b>based</b> 12:5 86:2
<b>applied</b> 68:20	120:4,6 227:4	<b>attorneys</b> 5:21 30:24	181:12 182:5
<b>apply</b> 35:3 57:1	<b>aspects</b> 26:6 41:11	33:7 38:19 198:4	184:19 185:16
124:2 161:24	44:23,24 45:1 62:7	232:21	186:7 188:10
<b>applying</b> 56:19	62:8,19,21 165:15	<b>attribute</b> 42:12	189:13
183:25	225:18	<b>author</b> 85:23 159:22	<b>basic</b> 34:25 35:1
<b>appreciate</b> 40:24	<b>assembly</b> 169:1,2,23	<b>automatically</b> 93:11	<b>basically</b> 55:23 56:16
92:18	171:14 172:3,6	<b>automation</b> 11:6,8	<b>basing</b> 169:6
<b>approach</b> 40:3	173:3,16,24 174:12	<b>available</b> 65:18	<b>basis</b> 64:17 175:4
<b>appropriate</b> 17:10	175:7,12,14,23,24	144:15 174:24	186:16
52:25	176:6,7 177:10,17	<b>avidly</b> 9:4	<b>Bates</b> 23:13 25:13
<b>approximately</b> 5:10	177:20 191:21	<b>awfully</b> 119:13	82:5 164:8,9 203:19
<b>area</b> 227:18	193:5,6,15 199:3	<b>a.m</b> 5:10	<b>bathroom</b> 7:11
<b>areas</b> 84:1	206:19		<b>bear</b> 23:20
<b>arguably</b> 198:22	<b>assembly_progra...</b>	<b>B</b>	<b>bearing</b> 97:12
<b>argue</b> 204:19	199:4,14	<b>b</b> 3:8 4:1 176:25	<b>bears</b> 23:13 25:13
<b>argument</b> 187:19	<b>assertion</b> 139:14	177:2,9 178:15	164:8,9 203:19
200:21 221:12	<b>assessment</b> 9:14	<b>back</b> 24:23 49:22	<b>beginning</b> 71:18,20

88:9 94:13 105:4 106:5 156:4 167:16 170:18 179:14 192:20 202:18 223:25 224:13 232:20 <b>begins</b> 8:24 22:9 91:4 108:21 140:2 179:1 194:23 <b>begs</b> 195:8 <b>behave</b> 42:9 48:15 222:12 <b>behaves</b> 37:16 59:10 <b>behaving</b> 45:23 <b>behavior</b> 37:14 39:22 46:12 136:3 185:6,8 <b>belief</b> 180:6 219:13 <b>believe</b> 15:14 22:18 23:3 26:16 29:19,20 31:16 33:2 35:11 37:10,22 38:11,12 40:19 54:5,24 72:21 75:16 76:9,11 77:21 81:11 83:10 99:25 102:12 108:18 115:25 123:12 130:2 142:21 146:2 150:2,4 154:8,22 160:19 162:18 163:9 168:7 188:12 188:13,22 190:23 191:12 194:2 195:12,18 200:9 208:12,13 209:15 214:6,14 215:22,24 219:8,11 225:20 228:16 229:5 <b>Bend</b> 1:5,6 4:3 5:14 5:14 6:14,15 18:11 18:21,24 19:4,13,14 23:25 26:5,13 79:18 81:11,15,20 161:10 163:9,22 191:3 229:7 230:2,12 <b>beneath</b> 210:5 <b>Bernstein</b> 2:10 6:7,7 <b>Berry</b> 12:2 <b>Bertin</b> 2:9 3:5 6:1,1,5	6:21 7:18,24 14:1 15:23 17:17,21 19:8 19:15 20:7,21 23:6 23:11 25:4,9 26:20 32:19 33:18 34:1 36:10,17 41:1 43:8 44:8 49:24 51:8,15 57:16,20 59:16,24 60:5 61:1 62:16 63:13,22 68:21 69:14,23 70:12 71:3 72:2 73:1,14 75:12 76:1 79:4,12 81:21 82:1 84:9 89:7 90:5 90:20 91:14,21 95:17 96:1,19 97:3 100:17 101:8 104:22 105:12,13 105:25 106:2 107:15 109:19 110:9,17 112:10,22 113:18 114:2,10 115:11 117:15 118:4 119:12 122:6 124:17 125:3,6,12 130:22 131:20 132:4,17 133:7 134:8,17 136:8,24 137:8 139:12 141:17 143:20,25 144:2 145:6 148:18 149:13 150:11,19 151:15 152:3,17 154:11 155:10 158:11,22 159:7,13 160:1 162:20 163:11,15,16 164:1 164:5 165:13,23 166:16 167:8 171:1 175:21 176:21,24 178:4,13 180:20 182:15 183:4,21 184:17 185:13 186:3 189:21 190:11,25 191:9 194:3,5,10,12,21 195:1 197:21 198:7 198:12,19,25	200:18,22 203:2,14 203:18,21 217:18 218:10,23 220:5,20 222:14 223:17,22 224:1 225:1,23 227:9,20 229:9,13 230:16 231:19,24 232:7 <b>best</b> 31:11 62:17,18 62:24 187:19 205:7 213:5 <b>big</b> 58:18 186:11 198:25 <b>bill</b> 225:8 <b>billing</b> 20:3,9,10 24:4 <b>billion</b> 104:11 <b>billions</b> 104:9 <b>binary</b> 89:17,25 95:5 100:23 101:3 142:22 <b>Bingham</b> 2:8 6:2,8 <b>bit</b> 47:1 50:1 75:19 83:18 121:8 147:16 149:18 184:9 185:3 186:1 232:8 <b>bits</b> 127:6,7 156:14 214:20 215:10 <b>blank</b> 178:19 <b>bleed</b> 194:5 <b>block</b> 156:9 157:6 <b>blog</b> 3:19 37:9,11 162:2 220:2 <b>blurred</b> 78:10 <b>blurring</b> 101:22 <b>body</b> 49:4 <b>book</b> 21:2 53:1 <b>bother</b> 37:15 <b>bottom</b> 20:1 122:22 170:15 192:3,16 201:12 205:11 228:21 <b>Botts</b> 1:17 2:3 5:12 6:14,17 18:18 19:11 21:9 22:2,4 23:1,17 24:12 25:12,23 26:24 27:7,18 28:21 30:20,24 31:6 32:15 33:6,22 38:1	<b>box</b> 132:7 164:13 172:25 187:17 188:6 196:11 200:20 201:3 <b>boxes</b> 133:1 <b>branch</b> 219:10,17 <b>break</b> 7:10,13 57:18 63:14 79:5 110:7 134:7 150:12 203:3 232:9 <b>briefly</b> 10:17 <b>broad</b> 98:14 114:9 115:1 118:20 174:15 <b>broadly</b> 11:10 14:11 26:8 47:25 56:7 92:10 93:9 157:10 <b>broke</b> 110:18 <b>broken</b> 76:10 <b>brought</b> 25:21 38:7 229:6 <b>browser</b> 14:8,8,9,13 35:10 38:8,8 <b>bsdif</b> 170:8 192:20 193:4,12,19 195:13 195:17,19,22,23 196:2,5,9 <b>buffer</b> 189:5 <b>build</b> 145:15 227:1 <b>builds</b> 176:9 <b>bunch</b> 105:5 207:19 <b>buying</b> 227:15 <b>bx</b> 212:21 <b>byte</b> 56:14,15 75:11 75:13 157:3,3 158:5 158:8 209:6 210:3 210:20 <b>bytes</b> 67:13,19 68:8 76:5 154:5,10 158:15,17,21,25 159:1,2,3 160:3,14 168:9 210:13,15,16 215:11 219:9 223:11
<b>C</b>			
<b>c</b> 2:1,4,9 42:14 45:7 45:14 138:21 154:2			



161:1,4 164:13 191:15 194:23 195:19 196:11,14 234:1,1 <b>calculated</b> 216:18 <b>call</b> 11:5 22:12,20 72:14 82:4 122:24 212:14,16 213:13 215:16 <b>called</b> 42:6 48:7 <b>calling</b> 72:10 <b>calls</b> 142:18 <b>candidate</b> 173:22 <b>caption</b> 5:13 <b>capture</b> 121:23 147:23 165:8,14 220:4 <b>captured</b> 119:19 <b>captures</b> 147:22 219:12,14 <b>capturing</b> 120:2 <b>care</b> 7:12 230:17 <b>carefully</b> 83:20 <b>carries</b> 113:1 <b>case</b> 5:14 14:25 15:13 16:4 18:16 23:19,25 26:6 28:3 61:10 62:8 71:17 106:13 190:7 195:4 196:7 207:1,4,14 215:17 <b>cases</b> 48:5 81:20 83:5 83:14 95:16 98:25 159:21 <b>category</b> 40:14 198:5 <b>cause</b> 35:3,13 94:5,13 <b>caused</b> 94:22 156:10 <b>causing</b> 106:22 <b>caution</b> 26:18 52:2 <b>CDD</b> 163:19 <b>CDMA</b> 13:19,24 <b>cell</b> 13:15 <b>central</b> 10:23 156:25 164:13 186:13 187:12 196:11 <b>centric</b> 11:24 <b>CEO</b> 19:10 <b>certain</b> 17:8 26:6 76:21 79:20 80:11	81:7 82:15 83:14 150:3,3 157:22,24 206:6 211:1 <b>certainly</b> 8:17 16:13 24:24 28:8 32:7 61:12 80:15 81:3 86:17 104:19 150:23 202:25 213:25 216:4 231:4 <b>certainty</b> 171:2 204:16 <b>certificate</b> 234:23,24 <b>certify</b> 233:3 234:5 234:10,16 <b>change</b> 92:19 93:4 94:6,8,8 95:3 99:2 105:9 106:14 118:19 120:3 127:20 157:1,17,20 178:4 187:6 211:25 233:7 <b>changed</b> 12:12 38:14 129:6 156:12,15 157:22,24 189:17 190:14 223:13 <b>changes</b> 16:19 32:12 92:13 94:6,14,21,24 95:9,19,25 105:15 106:4,7,9,20 107:7 108:13,16,23 109:25 110:4 119:18 120:10,12 127:12 128:3,4 133:23 148:1,3 157:2,4,5,7,8 183:10 186:12 189:18,19 233:6 <b>changing</b> 106:6 118:24 127:25 128:7 148:16 153:20 159:1 <b>character</b> 202:23 <b>characteristics</b> 178:19 <b>characterization</b> 153:23 154:1 <b>characterizations</b> 155:3	<b>characterize</b> 26:1,3 33:14 77:11 85:15 153:17 167:9,12,20 167:22 216:24 <b>characterized</b> 28:18 175:19 <b>chart</b> 158:14 161:5,6 161:7,11 165:24 166:15 169:17 172:5 173:19 178:14 180:18 191:20 194:14 195:24 230:7 <b>check</b> 37:15 55:24 56:5 86:9 161:22 189:4 203:4 218:22 <b>checked</b> 55:18 <b>checking</b> 33:10 <b>Chester</b> 2:18 6:11 <b>chip</b> 12:14 74:13,18 74:21,22 <b>choice</b> 114:9 <b>choose</b> 83:21,21 86:7 <b>choosing</b> 215:19 <b>Chrome</b> 28:20 35:10 187:21 <b>chromium</b> 3:18 37:9 37:10 56:6 163:18 <b>circuit</b> 12:14 <b>cite</b> 90:22 116:14 <b>cited</b> 114:22 117:23 201:23 <b>Civil</b> 1:3 5:17 <b>claim</b> 15:10 16:16 17:2,9 79:23 86:10 88:22 89:12 110:21 112:2,8,11 135:20 135:23 136:17,25 137:5,10,18 138:16 151:10,14 161:13 168:14 171:5 172:14 175:5 176:25 177:19 178:24 180:15 182:12,17 183:25 184:8 185:23 192:4 193:1 194:1,14,17 194:17,24,25 230:7	<b>claimed</b> 77:1 <b>claims</b> 15:3,11,19 16:19 17:4 61:16 79:21 86:22 87:15 89:8,9 90:15,17 108:3 115:2 133:5 135:17,19 136:2,9 158:3 172:11 184:1 185:18 <b>clarification</b> 32:5 145:17 <b>clarify</b> 82:14 87:21 194:22 <b>class</b> 43:17,18 74:22 74:23 189:25 <b>classes</b> 42:19 <b>classification</b> 213:1 <b>classify</b> 198:6 <b>clear</b> 62:4,6 64:23 66:10 78:13 108:1 114:8 138:7 142:19 145:7 159:14 170:10 189:14 194:1,13 195:11,21 196:7 <b>clearly</b> 40:11 53:21 83:18 85:22 139:19 150:5 185:16 194:8 195:9 <b>client</b> 132:6,15 <b>close</b> 50:13 <b>closely</b> 72:23 <b>closer</b> 71:19 <b>closest</b> 142:11 <b>code</b> 3:20 4:6,7 13:14 13:20 28:19 34:3,6 34:11,16,19,21 35:19,20 36:3,4,12 36:20 37:11,12 38:7 38:15,17,25 39:3,15 39:16,19,21,24 40:4 40:7,9,10,13,15 43:10 48:15,17,24 49:5,12,15,21,23 50:21,24 51:4,20,21 52:1,8,9,13,22 53:10,18,20,21,25 55:6,9,20 56:8,17
--	---	--	--

56:21,25 57:3 58:17 59:13 61:11,14,20 62:22 63:5 64:7,10 64:18,21,23 65:2,11 65:13 66:15,18 68:10 69:19 70:5,23 71:10,12,13 72:11 75:23 77:1,10 98:23 99:4 100:2,24 101:5 101:23 102:1,1,4,4 102:6,7,7,11,14,17 103:2 105:5,16 106:5,15,18,21 107:19 120:17,19 121:14 122:2 127:12,14,15,18,18 127:19 128:1,3,5,7 128:10,12,13,15,16 136:3 153:2 161:16 161:20,25 162:4,9 162:11,16 165:9 166:5,19,22,25 168:9 169:5,8,11,19 174:14,23 176:20 179:20 181:2,7,23 182:2 189:3,6,9 196:3,21 197:3 198:1,9 199:20,22 199:25 200:5,8 201:18,22 202:15 209:10,12 210:2,7 212:19 217:25 218:7 219:2,9,16 220:2 <b>codes</b> 206:3,18 215:11 <b>coding</b> 42:6,16 214:22 <b>colleague</b> 19:21 <b>collect</b> 231:20 <b>collection</b> 50:8 78:11 <b>collectively</b> 97:23 122:25 <b>color</b> 42:11 <b>Columbia</b> 10:4 19:22 19:22 20:16 30:8 <b>column</b> 82:4,7 85:9 85:10,17,18 88:2,9	88:25 90:24,24 91:14 92:24 96:21 96:25 97:5 99:7 101:15 108:9,20 109:6 113:22 114:12 115:20 122:22 123:19,19 140:5,9 141:25 142:12 144:18 145:18 146:7 149:21 160:7 161:14,15,21 163:17 168:22 178:22 179:12 181:14 184:25 186:15 191:7 <b>columns</b> 161:12 178:24 <b>combination</b> 81:16 <b>combine</b> 62:25 <b>combined</b> 98:6,8 <b>come</b> 19:2 20:25 59:2 111:18 168:12 176:10 181:24 191:13 211:10 216:8 <b>comes</b> 85:16 87:7 183:25 186:6 230:25 <b>comfortable</b> 217:16 <b>coming</b> 151:9,13 167:24 181:15 186:12 198:22 <b>comma</b> 156:5 <b>command</b> 35:12 36:24 154:2 <b>commands</b> 45:25 <b>commencement</b> 234:6 <b>commencing</b> 1:19 <b>comment</b> 202:8 219:16 228:16 231:8,13 <b>commenting</b> 66:8 <b>comments</b> 37:12 58:10 165:20 202:14 204:25 220:2	<b>common</b> 48:20 188:1 <b>communicate</b> 93:12 93:15 <b>communicated</b> 153:8 <b>communicates</b> 40:11 117:25 150:4 <b>communicating</b> 119:2 <b>community</b> 36:2 <b>compact</b> 86:1 88:17 89:21 93:16 114:18 114:21 115:4 116:17 117:2 118:12 132:19,22 133:9 134:3 135:3 135:22,24 136:17 136:18 137:1,3,6 138:6,8,9 142:25 143:16,18 191:17 193:2 195:7 196:1 <b>compactly</b> 94:7 <b>companies</b> 10:7 <b>company</b> 12:5 21:5 <b>company's</b> 11:20 <b>compare</b> 133:1,25 169:18 <b>compared</b> 113:4 132:24 133:13 142:14 143:2 144:10 160:9 178:23 187:2 <b>compares</b> 67:25 <b>comparing</b> 67:14 129:12 131:3 136:1 175:5 230:9 <b>comparison</b> 147:7 <b>compensation</b> 20:1 21:9,10 <b>compilation</b> 57:5 98:23 106:23 <b>compile</b> 34:2,3,6,9 35:19,23 41:3,9,9 41:22 58:8 59:1 63:5 174:25 <b>compiled</b> 57:11 81:13 106:15 120:18 166:5 169:18 189:9 <b>compiler</b> 10:24,25	11:10 12:16 66:14 <b>compilers</b> 125:15 <b>compiling</b> 39:17 <b>complete</b> 185:7 <b>completely</b> 7:6 <b>completes</b> 172:4 <b>compliant</b> 73:8 <b>complicated</b> 52:24 53:1 101:18 106:23 107:4,8 156:13 223:12 <b>component</b> 90:14 <b>compound</b> 43:5 59:22 109:9,18 159:10 162:12 <b>computation</b> 124:3 <b>compute</b> 100:16 123:22 124:6,8,16 124:24 212:23 215:5,6 <b>computed</b> 132:13 208:19 <b>computer</b> 34:14 38:20 40:1 92:25 120:17,22 121:3 132:6 <b>computer-aided</b> 11:4 <b>concede</b> 200:4 <b>concept</b> 51:3 99:18 120:2 <b>concepts</b> 42:13,18,22 <b>concerned</b> 11:11 12:13 48:14 68:5 165:16 216:10 <b>concluding</b> 183:6 <b>conclusion</b> 231:18 <b>conclusively</b> 183:8 <b>concrete</b> 94:1 220:14 221:21 <b>condition</b> 122:24 <b>conditional</b> 216:25 217:3,7 219:18 <b>conducting</b> 80:4 <b>conference</b> 38:2 <b>confidential</b> 198:3,3 198:9,14,23 232:21 <b>confirm</b> 8:15 195:3 <b>confused</b> 136:22
---	--	---	--

<p>142:24  <b>confusing</b> 39:7 40:9  106:17  <b>conjecture</b> 72:25  <b>connect</b> 43:18  <b>connotation</b> 112:21  112:24 119:22,23  <b>connotes</b> 119:18  <b>consequence</b> 88:15  89:14 114:16  116:15,23 118:10  147:25  <b>consider</b> 61:19 63:8,9  69:20 71:1 73:10  78:23 92:21 93:1  94:9 103:8,21 104:2  104:17,21 117:11  122:15 131:17  133:8 137:12 138:6  138:7,9,13 140:17  151:8,16 152:6,10  155:8 158:1 162:5  190:9 195:10  200:20 217:22  226:5  <b>consideration</b> 172:15  <b>considered</b> 75:15,17  78:22 90:18 101:7  103:3,4,7 120:11  157:16 159:22  171:17 190:9  198:23 216:12  221:3 223:3  <b>considering</b> 133:6  135:8 138:17  <b>considers</b> 128:9  166:23,25  <b>consist</b> 221:22  <b>consistency</b> 118:14  <b>consistent</b> 22:8 24:15  37:13,18 59:8 63:1  169:14  <b>consists</b> 38:25 43:17  127:2,5  <b>constant</b> 208:20  210:25 214:7  <b>constitutes</b> 76:19  173:9</p>	<p><b>constraint</b> 182:23,24  <b>constraints</b> 225:21  225:25  <b>construct</b> 155:21  <b>constructed</b> 15:21  <b>construction</b> 15:10  17:2 79:23 84:15  137:21 152:25  <b>constructions</b> 137:25  <b>construe</b> 15:11 86:7  86:20,24 87:5  <b>construed</b> 14:11 15:3  15:5,7 87:5 132:19  215:20  <b>construing</b> 17:3  <b>consult</b> 62:25 130:25  138:23 197:3,11  <b>consultant</b> 2:17  <b>consulted</b> 80:9  187:22  <b>consulting</b> 14:25  20:14 138:24  <b>consume</b> 78:12  <b>contact</b> 31:9  <b>contain</b> 102:22  122:13,15 166:1  <b>containing</b> 96:10  100:13  <b>contains</b> 16:18 50:9  153:1 166:7 189:11  213:25  <b>contemplated</b> 123:11  <b>content</b> 15:16 18:3  21:20  <b>contents</b> 68:3 125:23  140:23 152:23  154:24 157:8  187:16 205:24  208:1,21 211:20,21  213:11 216:17  <b>contests</b> 40:8  <b>context</b> 11:8 15:7  16:9 17:12 23:24  66:13 95:1,11,23  113:8,21 117:7  120:10 122:10  128:18 135:19  150:21</p>	<p><b>continue</b> 78:7 191:14  <b>continued</b> 4:1  <b>continues</b> 85:9  186:14  <b>continuing</b> 91:12  <b>control</b> 45:20 127:4  <b>convenient</b> 134:5  <b>conventional</b> 91:6  92:12 109:22  132:24 133:13  143:2 187:2  <b>conversations</b> 230:18  <b>converted</b> 120:18  <b>convey</b> 112:4,15  114:7 118:25  148:25  <b>conveys</b> 112:19  <b>convince</b> 218:21  <b>copied</b> 146:10 153:3  197:5  <b>copies</b> 196:6  <b>copy</b> 8:16 23:4 31:10  31:10 80:22 81:1  156:20 195:6  <b>copying</b> 154:4,9,12  180:1  <b>core</b> 150:5  <b>core-jshet</b> 40:19,20  <b>corner</b> 206:22  <b>Corporate</b> 5:8  <b>corporation</b> 10:3,5  <b>correct</b> 9:1 14:23  15:11 22:4 23:21  24:1 27:4,5,20  28:12 29:11 30:11  30:17,21,22 31:1  33:12,13 41:5,6  42:20,21 46:17,18  49:9,10 50:6 52:18  57:13,14 59:12  62:10 66:7 68:9,14  70:6 75:20 77:16  78:1 85:19 86:15  87:13,20 96:3,4  104:17 111:2,3,25  112:5,16 115:14  121:4 124:21  128:17 135:4</p>	<p>141:18 145:10  146:5 148:8 154:14  154:21,22 155:5  156:2,24 157:19  159:15 162:11  164:21 166:9 169:5  169:19,20 170:11  170:12 171:15  172:8 174:2,7,8  175:25 176:15  177:6,7,8,12 191:22  193:7,16,17,20  194:10 195:5,14,20  195:21 197:8 200:2  200:3,14 205:18  207:5 208:11,12,13  208:23 210:3,4,8,13  215:24 218:3,14  221:15 222:2,7,18  228:16  <b>correctly</b> 107:6  150:22 154:24  176:1 182:17  <b>correlate</b> 51:3  <b>correspond</b> 209:13  <b>correspondence</b>  135:13  <b>corresponding</b> 66:18  131:16 148:2,3  149:2 158:5 161:13  179:4 183:9,10  186:19  <b>corresponds</b> 144:22  <b>counsel</b> 6:12 26:5  33:22 79:18 81:11  81:15,20 161:10  163:9,22 191:3  229:6 230:12,18  232:3 234:17  <b>Counselor</b> 198:15  <b>counselors</b> 162:5  <b>count</b> 44:7 103:22,23  <b>counted</b> 199:19  <b>counter</b> 49:20 50:2,7  50:14,15 51:18,19  127:9  <b>counteract</b> 189:18  <b>couple</b> 92:20 223:18</p>
---	---	---	---

<b>Cour</b> 75:13	<b>cream</b> 78:8,11	179:9 180:2,4,4	82:19 88:1 110:20
<b>Courgette</b> 28:20,23	<b>create</b> 34:22 56:11	181:16,16 182:12	120:13 127:11
34:3,7,11 35:11	57:1 69:3 88:4	191:18,19 192:12	132:20 144:5,6
36:11,20 37:12	96:22 153:4 216:5	192:13 193:3,4	146:25 157:24
40:13,15,23 44:3,11	230:13	207:25 208:7,10	161:2 162:10,22,25
44:11,25 46:4,7	<b>created</b> 32:7 46:7,10	213:13 214:21	169:10 173:12
53:17,20 54:23	46:15 140:12 145:2	223:10	174:1 176:13,17
55:19 56:10 58:17	145:4 160:16 203:1	<b>dataset</b> 41:18	178:1 183:6 184:6
59:10 60:21 61:6,11	204:24 205:1,2	<b>date</b> 5:9 24:23 29:15	196:15 200:11
61:14,20 62:19 63:3	231:5	65:24 70:16,17	201:2 230:13
66:18 67:12,24 68:7	<b>creates</b> 177:11	171:6 172:5 202:8	<b>deduce</b> 41:12
68:12,23 69:1,3,6,8	<b>creating</b> 12:15 39:13	202:18 218:2,6	<b>deducing</b> 39:24 40:3
69:24 70:19 71:12	80:5 140:3 171:13	229:22,23,24	<b>deep</b> 12:18
71:13 72:4,11 75:6	171:22	234:13	<b>deeply</b> 212:22
75:16,18,23,23	<b>creation</b> 147:11,12	<b>dated</b> 27:3,10	<b>default</b> 57:12 179:21
76:15 77:9,13,23	147:13,19,20	<b>dates</b> 30:11 202:3	201:20
78:12 79:1 106:8	<b>crisp</b> 125:10	<b>datum</b> 189:11,12	<b>Defendant</b> 1:10 2:10
136:3 161:16,20,24	<b>crucial</b> 227:15	<b>day</b> 2:18 6:11,11	<b>define</b> 9:3 10:4 99:24
162:4,8,11,16	<b>CS</b> 19:23	30:20,25 33:21 62:5	115:19
163:23 165:9,15	<b>current</b> 8:16 16:4	233:22	<b>defined</b> 43:10 96:2
166:18,25 167:10	68:13 163:6	<b>days</b> 62:3,5	99:17 221:10
168:4,21,25 169:4	<b>currently</b> 64:7	<b>DC</b> 2:9	<b>defines</b> 98:2
169:14,19 170:21	<b>cut</b> 9:17	<b>dead</b> 200:8	<b>defining</b> 88:20
171:9 172:16 173:6	<b>CV</b> 8:14,16 15:15	<b>deal</b> 67:12,18	<b>definite</b> 29:9
174:14 175:6 176:9	<b>cx</b> 212:21	<b>deals</b> 66:16 67:7	<b>definitely</b> 201:5
177:5,10 179:20	<b>C,5</b> 156:5,10	<b>debugger</b> 45:17,18	220:10
181:17 182:2 184:2	<b>C,6</b> 156:4,10	45:24 46:3,5,9 47:5	<b>definition</b> 34:24 35:1
188:17 189:1,15,22	<b>c3</b> 210:7	47:11,16,22 48:7,12	83:15 84:3,11,24
190:13 191:25		48:23 49:7 51:2,13	86:1 87:7,17,20
192:10 193:8 196:2	<b>D</b>	52:15,21 53:6,10,14	88:4 96:9 97:12
196:21 197:8 200:5	<b>d</b> 2:5 3:2 5:1,1 193:18	55:8	98:1,13 99:20
201:18 202:6,15,21	194:8,13 195:11	<b>debuggers</b> 46:20	100:12 102:18
204:1 207:3,4,11,13	<b>Daniel</b> 2:16 5:7	48:16	105:1 110:25
217:10,11,25	<b>data</b> 14:19 42:7,19	<b>debugging</b> 53:20	111:13,23 113:11
218:13 219:2,8,16	43:1,10,22,23 44:2	54:4,22	113:16,24 114:9
219:21 221:5,24	45:9,12 84:11,25	<b>December</b> 228:6	117:18,22 118:2,7
222:10,17,21 223:3	85:1,5,12 89:18	<b>decided</b> 9:17	118:14,20 119:4,10
223:12	93:25 94:2,3 96:10	<b>decides</b> 211:25	119:10,20,24 120:2
<b>Courgette's</b> 195:12	97:22 99:13 100:14	<b>deciding</b> 17:10	120:4,6,21 123:16
<b>course</b> 15:17 16:5	122:24 153:2 155:3	<b>declaration</b> 3:12 7:19	123:18 125:6,16
30:24 80:10 82:21	165:25 166:2,6,8	8:5 16:13 31:8,14	127:1 132:22
83:3 172:14 220:11	168:15,16 171:7,15	31:18,19,21 32:9,14	133:10,16 134:3
<b>court</b> 1:2 5:16,23 7:7	171:22 172:22	32:18,20,24 33:24	135:4 136:16
13:2,5 60:2 109:14	173:9,10,13,22,23	34:7,10,12 37:3,7	142:25 143:17
205:21	174:19 175:19	46:16 57:22 59:3	155:14 212:5,6
<b>cover</b> 135:23	176:3,8,10 177:3,4	60:12 61:23 63:25	224:12
<b>covers</b> 119:24 136:18	177:15,21,22	64:15 65:20 66:6	<b>definitions</b> 81:14,17
137:2,6	178:17,18 179:3,4,9	69:17 79:14 80:23	82:24 83:3,4 84:8

85:22 106:21 121:19 124:20 138:11 151:10 166:20 <b>definitive</b> 118:2 231:4 <b>definitively</b> 65:10,21 <b>degree</b> 150:23 <b>delete</b> 148:5 157:14 179:6 181:24 183:12 184:15 188:9,11 189:24 <b>deleted</b> 128:25 184:24 <b>delete/insert</b> 180:13 180:24,25 181:11 182:4,5,23 183:1 184:14 188:23 190:8 <b>deleting</b> 98:21 <b>deletion</b> 153:21 <b>deletions</b> 108:24 116:1 148:5,6 <b>deliberately</b> 40:10 118:20 <b>demands</b> 225:8 226:3 226:6 <b>demonstrate</b> 63:11 <b>demonstration</b> 207:13 <b>department</b> 19:23 <b>depend</b> 40:6 <b>dependent</b> 194:16 <b>depending</b> 55:2 124:13,18 <b>depends</b> 9:2 40:5 43:13 61:13 89:11 103:22 144:11 220:21 <b>depict</b> 130:3,3 <b>depicted</b> 130:10 132:2 <b>depiction</b> 130:12 <b>deposition</b> 1:8 5:11 6:23,24 7:1 176:14 178:2 <b>derive</b> 143:12 <b>derived</b> 142:19	175:24 176:6 196:8 <b>describe</b> 10:16 17:3 18:4 30:15 58:11 106:13 108:21 139:21 140:3 152:24 166:17 167:15 170:24 228:25 <b>described</b> 11:22 56:17 94:23 95:24 107:25 136:6 138:3 139:7 140:12 141:7 143:5 145:24 147:24 152:4,7 195:9 <b>describes</b> 141:2 142:12 169:8 <b>describing</b> 108:21 140:14 145:14 185:5 215:12 227:11,14 230:4 <b>description</b> 3:9 4:2 92:9 108:18 135:13 136:4 144:21 185:7 <b>design</b> 11:4,6,8 12:14 12:14 39:14 <b>designate</b> 198:20 <b>designated</b> 198:2 <b>designed</b> 53:21 75:18 75:24 <b>designing</b> 225:14 <b>desired</b> 92:19 <b>destination</b> 102:20 208:4 <b>detail</b> 63:9 76:22,23 83:23 108:6,14 110:5 152:25 158:2 169:12 217:22,25 <b>detailed</b> 49:22 200:5 <b>details</b> 23:4 47:11 48:19 53:12 61:18 66:16 67:8 68:5 117:12 118:23 159:23 <b>determination</b> 164:17 201:8 <b>determine</b> 62:18 72:6 146:9 204:23	217:24 222:16 <b>determined</b> 16:9 131:23 208:10 217:10 225:4 <b>determines</b> 209:4 <b>determining</b> 56:20 219:21 <b>develop</b> 55:6 <b>developed</b> 10:25 12:1 <b>developer</b> 37:9,10 55:21 56:6,25 162:8 162:10 163:18 168:23 169:7,13,25 177:5,16 187:21 189:2,14,15,23 192:11 193:9 220:2 <b>developers</b> 58:7,24 161:16,19 <b>developing</b> 10:20,24 48:17 49:12 <b>development</b> 170:23 225:9 <b>deviate</b> 83:12 <b>deviated</b> 83:24 <b>device</b> 73:7,8 74:1,6 74:19 <b>devices</b> 12:25 14:6 64:13 72:19 74:10 74:14 75:3 <b>devising</b> 12:18 <b>diagram</b> 131:3 <b>dictionaries</b> 119:11 <b>dictionary</b> 80:9 111:13 <b>diff</b> 129:13 130:24 170:8 189:20 <b>differed</b> 29:24 <b>difference</b> 64:8 82:24 83:11 86:2 88:16 89:17,21,25 90:9 91:6 92:12,14 93:16 95:6 109:23 112:23 114:17,21,24 115:3 116:16 117:1 118:11 123:23 128:22 131:2,23 132:1,2,5,10,19,23 132:24 133:8,9,13	133:24,25 134:3,21 134:25 135:3,22,24 136:17,18 137:1,3,6 138:6,8,10 142:7,9 142:14,15,17,18,20 142:23,25 143:1,2,7 143:8,14,17,18 144:10 145:21,23 146:19,22 147:5 148:7,14,14,17,20 148:21 149:5,9,12 153:8 156:21 159:18 160:9,10,13 183:13 184:13,21 186:19 187:1,2 190:13 191:17 192:9,14,22 193:2,4 195:7,13 196:1,7 223:15 <b>differences</b> 83:2,19 93:10,14,15,19 107:18,20,21 113:5 113:6 129:8 132:13 153:6,9,12,21,24 154:1 156:1,24 158:5,8 186:11 <b>different</b> 43:12 44:2 59:20 60:3,6,9 64:24 73:3,6 74:5,9 74:11,13,14,18,24 75:2 77:15 78:20,20 82:22 90:19 98:24 103:14,15,15 104:3 104:15 112:20 122:9 123:9 125:21 141:6,6 154:25 159:4,4 179:3 189:25 198:16 216:11 222:12 <b>differentiate</b> 90:9 <b>differently</b> 83:7 141:11 186:1 <b>difficult</b> 41:12,16 <b>diffing</b> 169:2,22,23 <b>diffs</b> 129:9,9 187:11 <b>digits</b> 209:24 229:4 229:15 <b>direct</b> 6:20 123:10
---	--	---	---

131:8 <b>directing</b> 96:17 <b>directly</b> 11:16,18 34:22 35:25 38:20 61:16 67:12 130:3 134:1 147:8 149:18 165:21 195:16 196:4 197:5 202:21 214:7 219:11 <b>directs</b> 121:12 <b>disagree</b> 143:21 221:8 <b>disagreeing</b> 185:14 <b>disassemble</b> 206:2,9 206:13 <b>disassembled</b> 206:24 <b>disassembler</b> 228:10 228:15 <b>disassembly</b> 3:21 203:25 206:7,15,20 211:18 <b>disclose</b> 131:7 <b>disclosed</b> 144:15 <b>discover</b> 200:23 <b>discovered</b> 19:11 200:25 201:3,5 <b>discreet</b> 221:19 <b>discrepancies</b> 82:18 <b>discrepancy</b> 27:23 30:14 <b>discrete</b> 221:18,25 <b>discuss</b> 31:9 <b>discussed</b> 24:25 26:5 221:9 <b>discussing</b> 111:8 181:15 <b>discussion</b> 13:7 181:17 183:18,24 187:20 <b>displayed</b> 38:6 <b>disposal</b> 93:7 <b>distinct</b> 78:9 <b>distinction</b> 50:13,19 101:22 122:1 <b>distinguish</b> 139:7 183:2 <b>distinguishing</b> 128:3 214:23	<b>distributed</b> 36:8,16 204:1 <b>distribution</b> 35:10 36:22 200:7 <b>District</b> 1:2,2 5:16,16 <b>Division</b> 1:3 5:17 <b>Doctor</b> 7:25 63:23 <b>doctrine</b> 16:7,17 151:9 <b>document</b> 7:25 8:4 8:12 16:14 17:25 21:16 23:14,20 27:14 28:16 31:4 32:7 58:2 64:2 69:21 79:16 85:7 86:4,21 87:1 91:2 92:1,10 96:20 97:11 101:12,17 108:11 110:24 115:15 116:18 118:17 120:15 122:19,21 123:17 129:2,21,24 130:2,8 131:1 132:21 137:11 140:2 142:11 144:7 144:19,23 147:4 160:6,22 161:3,22 163:20,21 164:12 164:15,21 165:1,3,4 165:8 168:24 169:22 170:2,2,14 170:23 171:21 177:1,5 179:24 180:3 181:13 185:2 185:20 191:16 192:21 196:12,16 197:10 202:3,4,5,9 202:10 203:1,23 204:13,17 205:5,10 205:15,16 206:14 212:6 223:25 224:3 224:8,13 225:2 230:13 231:5 <b>documentation</b> 37:11 37:19 55:21 56:6,25 57:3 58:10 62:23 69:7 76:25 163:19 168:23 169:8,13	170:1 177:16 187:21 189:2,14 192:11 193:9 <b>documented</b> 67:17 <b>documents</b> 37:8 59:8 59:12 62:24 69:11 69:16 164:10,18 204:7 232:6 <b>dogs</b> 184:9,10 <b>doing</b> 9:21 21:5 39:13 53:22 55:4 63:3,10 91:3 136:11 136:12 169:15 179:22 181:11 188:10,17 210:23 211:2,4 214:14 228:11,15 <b>dollar</b> 211:11 214:5 <b>Double</b> 129:24 131:4 131:4 133:1,1,17,17 137:15,15 138:4,4 138:13,14 139:14 139:14,17 140:4,4 140:21,21 141:19 141:21,21 142:1,13 142:13 147:9,9 160:8,8 <b>doubt</b> 27:16 113:8 <b>download</b> 35:9 36:21 58:7,25,25 <b>downloaded</b> 57:6 65:11 199:4 <b>downloading</b> 58:22 <b>Dr</b> 6:22 84:24 110:18 134:18 150:20 203:23 232:1,17 <b>draft</b> 32:24 <b>drafts</b> 32:6 <b>drawing</b> 131:16 139:17,18 159:12 159:14 <b>drawn</b> 158:24 159:22 <b>Drive</b> 5:2 <b>driving</b> 38:16 <b>due</b> 94:14 95:20 105:16 107:1,22 148:4 157:18 158:8 179:5 180:24	182:13,23,25 183:11 184:13,15 190:8,14 <b>dumps</b> 205:23 206:1 <b>dx</b> 212:20 <b>D-I-F-F-S</b> 129:9 <b>D1</b> 131:1 133:2,9,12 133:24 134:4,20,23 135:2,23 136:18 137:2,6,16 138:6,8 142:7,9,14,19 143:5 143:12 146:4,9,13 146:16 147:11 155:19,20,25 156:4 158:4,10,13,17,24 159:3,5,18 160:4,9 160:21 <b>D2</b> 132:5,8,16,18 145:21,22 146:3,14 146:17 147:5,9,12 152:19 153:14 154:23 155:1,16,23 156:2,5,6 157:10,12 159:18 160:20
<b>E</b>			
e 2:1,1 3:2,8 4:1 5:1,1 5:1 145:8,14 234:1 234:1 <b>earlier</b> 9:15 28:25 47:13,20 52:15 55:16 62:6 69:7,11 71:8 77:20 94:2 119:17 122:20 131:5,6,14,14 145:12 183:18 188:12 192:21 197:16,17 199:22 215:23 221:9 <b>early</b> 9:8 59:7 71:25 72:14 168:4 <b>easier</b> 27:24 <b>easiest</b> 35:9 36:21 <b>easily</b> 64:10,18 104:4 174:25 <b>Eastern</b> 1:3 5:17 <b>easy</b> 150:24 151:3,6 <b>eax</b> 208:2 210:25			

<p>211:9 213:3,7,12 214:21,22 <b>ebx</b> 214:25 <b>ecx</b> 208:1,11 <b>EDA</b> 11:6,16 <b>edit</b> 32:8 <b>editing</b> 32:8 <b>Edwards</b> 1:9 3:4 5:20 6:22 8:6 22:10 63:23 84:24 110:18 134:18 150:20 203:23 232:1,17 <b>edx</b> 213:6,7 215:16 <b>effect</b> 52:13 111:14 147:8 149:3 156:7 186:23 187:10 193:20 223:13 <b>effectively</b> 154:20 196:4 200:8 <b>effects</b> 110:3 <b>either</b> 27:12 31:9 51:9 85:13 123:21 124:19 136:10 156:22 <b>elaborate</b> 38:23 47:20 50:1 67:9 122:8 191:22 <b>electronic</b> 11:6,8 <b>electronically</b> 38:5 <b>element</b> 60:20,25 130:14 131:8,9 132:7,18 137:24 140:10 152:19,22 158:14 168:13,20 171:4 175:5 176:25 177:2,9 178:15,23 178:25 179:1 180:15 191:14,15 193:18 194:13 195:11,19 <b>elements</b> 99:13 130:11 137:25 145:9 <b>Eliot</b> 2:5 6:16 38:12 <b>Embedded</b> 212:19 <b>embodied</b> 42:13 43:22,24 <b>embodies</b> 196:23</p>	<p><b>embodiment</b> 77:18 90:16 108:5 115:3 117:12 133:21 135:10,19 136:6 137:13,17 138:18 153:1 155:17 158:2 183:1 <b>Emily</b> 2:10 6:7 <b>employed</b> 20:15 <b>employee</b> 10:7,14 <b>enabled</b> 201:19 <b>enablement</b> 150:21 151:2,9 <b>encoding</b> 127:5 <b>encountering</b> 89:18 <b>ended</b> 13:10 <b>ends</b> 63:16 110:11 178:7 <b>engaged</b> 24:19 175:3 <b>engagement</b> 3:16 24:22 25:5,18 26:11 <b>English</b> 83:8 <b>Enjoining</b> 8:7 <b>ensure</b> 188:1 <b>entering</b> 147:6 <b>entire</b> 150:1 185:7 <b>entirely</b> 217:14 218:20 <b>entity</b> 50:8 <b>entries</b> 37:11 97:20 97:21,23,25 98:16 98:21,25 99:2,11 102:23 103:9,21 104:17,21,24 105:2 105:9,16 106:6,14 106:20,25 122:23 123:1,3 127:25 128:23,24,25 140:7 140:24 141:4 148:2 149:1 154:9,13 158:20 159:4 162:2 166:1,2 178:20 183:9 184:22,23,24 186:20,22,24 187:10 212:4,8,10 216:13 217:11 218:12 219:7,20 221:4 222:4,17,18</p>	<p>228:6 <b>entry</b> 24:6 27:3 96:3 96:5,8,13,22,24 97:13 98:2,6,8,9,10 98:13 99:3,16,20,22 100:1,3,24 101:9,10 101:13,14 102:17 103:3,5 105:2 121:18,21 148:4 154:16,20 179:2,4 183:11 210:6 213:1 213:17,25 214:3,17 215:4,25 222:6,22 222:22,23 223:1,3 223:11,13 <b>enumerate</b> 104:6 <b>enumerates</b> 108:23 <b>equal</b> 216:16 217:5 <b>equals</b> 170:8 172:6 <b>equipped</b> 167:10 <b>equivalent</b> 171:17 173:10 188:3 <b>equivalents</b> 16:8,17 <b>erroneous</b> 150:6,10 <b>error</b> 61:17 <b>esi</b> 214:21,22,25 <b>esp</b> 213:25 214:13 216:4 <b>especially</b> 70:1 <b>Esq</b> 2:4,5,9,18 <b>essence</b> 186:5 <b>essentially</b> 11:12 23:23 30:19 89:19 95:4 187:11 210:14 <b>established</b> 14:20 <b>Esterel</b> 10:24 11:25 12:16 <b>estimate</b> 74:4 103:20 <b>estimation</b> 17:5 <b>etcetera</b> 225:10 <b>evaluate</b> 227:8 <b>event</b> 234:19 <b>eventually</b> 10:20 11:1 12:4 76:5 211:16 212:24 223:12 <b>everybody</b> 6:5 <b>evidence</b> 63:11 199:2 201:4 220:1</p>	<p><b>exact</b> 46:12 <b>exactly</b> 9:19 32:11 38:11 56:7 69:10 72:7 78:10 80:14 103:13 114:7,7 135:10 166:21,22 170:13 174:18 182:8 218:16,18 <b>examination</b> 3:3 6:20 63:9 234:6 <b>examine</b> 34:19 35:4 46:6 53:25 66:1 222:16 <b>examined</b> 5:3 222:19 <b>examines</b> 51:17 <b>examining</b> 38:15 62:21 202:15 <b>example</b> 39:22 42:20 49:6 61:2,5,14 73:8 85:1,5,12 88:25 93:19 99:11,16 104:25 108:22,25 113:22 117:24 120:1,23 121:14,17 124:6 127:24 128:11 139:17 145:13 151:20 154:5 189:4 207:5 208:17 209:1 217:5 <b>examples</b> 20:22 42:10 54:22 95:25 96:13 97:24 109:24 118:21 122:20 123:2 131:18 212:3 217:2 <b>excludes</b> 100:24 <b>excuse</b> 10:11 33:23 38:8 48:22 96:23 156:7 212:12 <b>executable</b> 35:10,20 35:25 36:9,22 46:7 46:9 56:8 58:8 59:1 63:9 64:11,19 65:9 67:15 68:9,24 69:4 70:19,21 71:9 72:5 72:13,23 73:22 75:5 75:7,14,19,25 76:4 76:6,16 77:5,7,14</p>
--	---	--	---

77:15 78:6,21 84:25 85:5,13,14 120:19 120:20 121:5,6 168:1,6,8 171:10 177:18 199:24 219:4	102:3,10,13 174:21 174:21 199:4,16 <b>existence</b> 133:14 143:3 174:20,24 <b>existing</b> 143:13 227:2 <b>expect</b> 74:16 122:3 134:4 207:1 209:8 <b>expected</b> 26:7 <b>experience</b> 8:24 9:3 9:12,16,24 10:2 12:24 38:3 39:13,19 39:20 <b>expert</b> 6:9 14:22,25 18:7 23:24 31:14 33:11,23,23 34:14 52:21 73:6,11 125:14 225:12,19 231:6 <b>expertise</b> 227:17 <b>expert's</b> 31:7 <b>explain</b> 15:5 16:11,11 53:21 89:1 90:22 93:3,7 97:20 108:14 201:10 <b>explained</b> 83:17 99:21 186:21 192:21 <b>explaining</b> 15:19 <b>explains</b> 50:17 <b>explicit</b> 157:21 168:2 <b>explicitly</b> 140:22 157:6 158:25 188:23 <b>explore</b> 76:22,23 <b>expose</b> 53:9 159:22 <b>expression</b> 160:19 <b>extensively</b> 32:25 <b>extent</b> 150:3,4 163:11 188:20 200:12 <b>extra</b> 34:24 <b>extract</b> 168:5 <b>extracting</b> 14:18 <b>eyes</b> 198:4 232:22 <b>e-mail</b> 3:13,14 18:6,8 18:15,19,22,25 19:4 19:17 20:2 21:18 22:1,6 28:18,19 29:1,12,24 31:5,6	31:13,23,25,25 32:11 <b>e-mails</b> 27:10,13,19 27:21 162:2,14,16 162:21 163:3	<b>felt</b> 85:24 128:9,12 147:9,10 182:11 184:7 <b>fetch</b> 213:5,12 214:12 <b>fetching</b> 211:16 <b>fewer</b> 83:4 93:11,15 <b>field</b> 92:24 125:15 <b>figure</b> 83:18 108:22 108:25 109:25 129:22,25 130:7,11 130:15 131:2,8,15 131:18 132:3,7,18 133:20 136:5,18 137:13 138:2,22 139:2 142:1 145:8 145:10,23 146:1 147:2 151:20 152:18 158:25 159:15 205:16 221:7 <b>figured</b> 228:9,13 <b>figures</b> 51:18 93:6 129:19 130:3 139:17 140:23 146:3 159:21 <b>file</b> 4:6,7 39:4,4,5,5 56:11,12,13,13,14 56:15 60:17 66:16 67:16 68:19 71:9,23 72:13,17,22 73:19 73:24 74:5,11 75:11 75:14 76:9,21,25 77:2,14,16,19 85:14 91:6 92:12,13,14 109:22 124:13 142:15,20 143:13 144:13 160:10,12 167:25 168:1,6 197:4 199:3,12 200:6 204:5 205:2,2 205:13 207:6 209:25 211:19 228:9,14 <b>filed</b> 33:4 <b>files</b> 39:1,8,8,9 55:22 56:9,10,18 57:12 58:8,11 59:1,9 64:11,19 65:25
<b>F</b>			
<b>f</b> 145:19,19 146:14 234:1 <b>fabrications</b> 162:17 <b>face</b> 229:21 <b>facilitate</b> 75:6 <b>facilities</b> 45:14 <b>fact</b> 22:25 50:12 65:23 71:15 117:8 149:10 155:24 156:7 160:13 200:3 202:16 206:20 211:24 212:22 <b>factors</b> 225:14 <b>fair</b> 9:12,14 56:23 57:10 92:14 156:11 166:14,14 <b>fairly</b> 7:3,3 19:24 97:16 117:8 <b>fall</b> 143:19 212:25 <b>familiar</b> 8:1 15:6 18:14 35:7 68:18 225:20 <b>familiarity</b> 206:17 <b>family</b> 78:6 <b>far</b> 68:6,6,10 76:24 169:3 196:20 198:7 209:25 216:9 <b>fashion</b> 141:10 <b>fast</b> 12:22 <b>fax</b> 31:10,11 <b>feared</b> 27:25 <b>features</b> 86:9 <b>February</b> 1:19 5:9 175:3 228:21,21 229:1 <b>fed</b> 193:12 <b>feeds</b> 147:13 <b>feel</b> 37:14 61:22 93:5 98:15 99:23 167:4 <b>feeling</b> 175:18 <b>fell</b> 40:14 177:18			



67:13,16 68:1,3 106:19 109:23 199:13,16,17 203:25 205:24 <b>final</b> 145:21,23 146:19,22 147:5 153:14 203:5 223:14 <b>finally</b> 33:3 223:14 <b>financial</b> 21:4 <b>find</b> 27:24 51:13 74:20,22 88:19 96:15 113:9 115:17 122:3,5,8,9 142:6 157:4 158:5,16 160:5 172:10 173:21 174:22 180:9,12 181:10 185:3 210:10 217:17 220:14 <b>Finding</b> 174:4 <b>findings</b> 10:22 <b>finds</b> 217:16 <b>fine</b> 57:19 134:8 140:1 204:21 <b>finger</b> 50:24 <b>finish</b> 52:3 125:7 <b>finite</b> 11:12,13 <b>firm</b> 14:25 18:18 <b>Firmware</b> 224:13 <b>first</b> 18:8 20:13 21:17 28:2,22 29:24 31:17 32:1 33:7 37:20 39:5 71:24 72:8 80:13 84:10 88:8,18 108:1 154:2,4,9,12 156:9 162:14 164:6 199:11,15 202:6,14 210:15,16 224:6,25 226:19 228:13 <b>fit</b> 124:19 <b>five</b> 57:17 119:20 134:8 154:5,9,13 <b>five-minute</b> 150:12 203:3 <b>five-page</b> 171:20 <b>fixed</b> 140:7 <b>flags</b> 53:20 54:22	<b>flavor</b> 77:8,22,24 <b>flavors</b> 78:3,4,5,9,11 78:20 217:7 <b>flip-flops</b> 50:8 <b>flow</b> 106:24 <b>follow</b> 35:17 49:25 54:21 <b>followed</b> 133:4 156:5 207:18 <b>following</b> 56:24 96:9 166:11 178:18 224:12 233:6 <b>follows</b> 5:3 181:22 <b>follow-up</b> 48:11 <b>foregoing</b> 233:4 234:10 <b>foregone</b> 231:18 <b>forenoon</b> 1:20 <b>forgot</b> 100:5 <b>form</b> 25:21 32:21 34:17 51:7 68:13 112:7,18 113:14 117:20 153:19 167:3,24 168:7 179:8 206:7,8 215:14 219:7 <b>formal</b> 230:15 <b>format</b> 66:19 67:16 70:21 71:18,23 72:24 73:20,23 76:4 76:9,25 77:3,5,14 77:19 102:17 103:2 168:1 228:10,14 <b>formats</b> 66:17 70:20 70:25 72:13,17,22 73:3,6,11,25 74:5 74:12 76:21 77:7,16 78:25 79:1 <b>forms</b> 36:8 68:17 167:5 <b>forth</b> 23:5 24:23 34:21 39:6 59:9 66:17 79:23 84:17 136:4 234:14 <b>FOTA</b> 3:22 224:10 224:10,10,18,23 225:4,12,15,18 227:5,16,21,24	<b>found</b> 40:13 42:15 56:2 64:12,22 73:25 111:23 143:8 154:21 160:3 169:12 179:20 192:16 199:5 <b>foundation</b> 13:22 20:6,19 36:6 44:5 90:12 125:2 151:12 165:18 180:17,18 180:22 190:22 227:7 <b>four</b> 184:9,12 <b>fourth</b> 201:11 <b>four-page</b> 164:21 <b>fraction</b> 129:7 <b>fragments</b> 146:10 <b>France</b> 12:2 <b>free</b> 93:6 <b>French</b> 40:18,21 <b>frequency</b> 14:4,5 54:7 <b>frequently</b> 39:21,23 <b>Friday</b> 27:18 <b>friend</b> 53:2 <b>front</b> 81:8 176:22 199:7,8,17 213:3 214:5 <b>full</b> 88:8 166:5,22 189:9 191:8 <b>fully</b> 7:6 <b>function</b> 16:21 34:20 48:7 124:3 170:24 213:14 220:12 <b>functionality</b> 13:13 14:4,5 <b>functions</b> 54:1,6 122:24 <b>further</b> 91:5,11,16 109:3,5 121:8 234:10,16 <b>furthermore</b> 53:14	92:25 101:5,7 124:12 <b>generate</b> 56:18 68:23 146:17 155:5 225:6 226:2 <b>generated</b> 75:1,4 142:2 144:14 145:20,21,24 146:3 172:16 175:13,17 177:17 202:20 204:12 205:3,13 <b>generating</b> 129:13 137:2 146:14 168:15 171:5 172:20 177:2 186:17 191:17 192:14 193:1,4 204:17 <b>generation</b> 64:8 141:8 146:19 <b>genuine</b> 157:2 <b>gesturing</b> 7:6 <b>getting</b> 9:7 18:9,15 18:18,22,25 19:4 22:6 27:12 61:25 <b>give</b> 20:22 42:10 45:25 49:14 51:23 52:12 54:20,21 83:22 88:12 93:19 96:12 97:18 118:20 118:21 171:2 180:22 187:7 <b>given</b> 31:14 35:25 155:18 163:9 192:22 207:25 226:10,25 <b>gives</b> 122:20 123:2 <b>giving</b> 97:14,24 142:14 160:9 192:18 216:20 <b>glossary</b> 82:8,12,18 83:6,13,15,25 85:6 85:8,17,25 86:3,6 86:13,25 87:7,10 98:2,14 99:9,19 123:15,18 125:4 <b>go</b> 7:12,19 23:6 24:16 25:4 27:10 57:16,21
---	--	---	--

---

**G**


---

**gate** 11:24  
**gates** 11:17,19  
**gather** 41:2  
**generally** 74:21

77:20 81:21 98:23 107:10 110:5 120:13 127:16 161:1 197:21 213:23 214:12 228:1 229:9 231:22 232:7 <b>goal</b> 59:20 60:4,6 110:2 <b>goals</b> 220:3 <b>goes</b> 48:17 58:9 91:5 116:8,13 146:6 168:13 179:11 189:8 224:16 225:7 226:7 <b>going</b> 7:4,18 13:1 62:22 63:15 64:3 79:6 88:6 104:10 105:21 108:12,14 110:10 115:24 134:11 149:24 150:13 155:13 164:1 170:21 178:6 179:22 180:4 196:10 200:4 203:8 203:22 204:19 207:16,21 214:18 222:3 228:18 232:5 232:10 <b>good</b> 5:7 6:22 7:15 28:1 110:6 167:5 200:21 <b>Google</b> 1:9 2:17,18 3:10 5:15 6:2,10,12 7:20 17:23 18:11 21:15,24 23:8,13,25 25:11 29:25 36:22 54:13 58:1,17 63:25 69:15,21 162:3 164:7,7,7,9,11,25 165:7 170:11 199:9 202:11 203:18 204:22 205:18 206:23 223:24 228:2 229:14,17 231:8 <b>Google's</b> 8:8 28:20 <b>GOOG-00026259</b>	164:9 <b>GOOG-00027268</b> 164:8 <b>gprof</b> 54:5 <b>grade</b> 39:23 <b>great</b> 7:17 10:6 19:16 76:22 110:5 152:25 169:12 <b>greater</b> 117:7 <b>greatly</b> 189:19 <b>grids</b> 230:14 <b>group</b> 10:19 <b>groups</b> 103:25 <b>GSM</b> 13:12,13,15,16 73:8,25 74:6,10,15 74:19 <b>GTrard</b> 12:2 <b>guess</b> 13:18 58:23 74:3,7 83:18 88:18 118:18 125:5,9,13 136:22 144:17 165:5 172:2 194:21 195:2 205:7 213:5 225:11 228:12,24 <b>guessing</b> 30:2 <b>guys</b> 232:7	118:18,19 119:9 182:7 198:14 <b>hard</b> 213:18 <b>headed</b> 161:14,15 <b>heading</b> 23:21 82:8 <b>headings</b> 161:12 226:16 <b>hear</b> 40:25 125:18 <b>heard</b> 18:17,21 44:19 126:3 181:6 182:1 188:8 <b>held</b> 5:11 <b>Hello</b> 22:10 <b>help</b> 34:15 47:6 48:8 49:7 50:23 51:13 52:16,21 53:6 55:6 55:8 201:7 204:22 <b>helps</b> 147:13 <b>hereinafter</b> 163:19 <b>hereinbefore</b> 234:13 <b>hidden</b> 66:5 <b>hiding</b> 65:25 157:9 187:11 <b>highly</b> 198:3 <b>hint</b> 206:21 <b>hinting</b> 126:13 <b>history</b> 80:7,10,17,18 80:21 <b>hitherto</b> 187:3 <b>hmm</b> 10:1 11:5 <b>holds</b> 50:16 216:4 <b>honestly</b> 13:8 187:16 <b>hopefully</b> 228:8 <b>hoping</b> 111:6 <b>hour</b> 20:3,17,24 21:3 21:13 22:24 29:25 <b>hourly</b> 21:6 29:23 <b>hours</b> 23:5 <b>House</b> 111:13 <b>HTML</b> 14:12,12,16 <b>hum</b> 17:24 21:19 24:9 26:15 29:17 55:1 56:1 158:23 170:17 177:1 188:15 204:11 211:23 <b>hundred</b> 78:12 <b>hundreds</b> 104:1	<b>hypothesis</b> 92:2 <b>hypothetical</b> 105:11 106:11 133:25 151:25 152:13 190:22
	<b>H</b>	<b>I</b>	
	<b>H</b> 3:8 4:1 5:1 <b>half</b> 22:24 <b>hand</b> 195:6 <b>handed</b> 223:23 <b>handle</b> 68:13 126:2,5 167:11 187:5 219:22 220:8 221:5 222:3,5 <b>handles</b> 217:11 218:13 219:21 220:8 <b>handsets</b> 226:11 227:1 <b>hand-held</b> 12:24 <b>Hang</b> 231:19 <b>happen</b> 184:12 <b>happening</b> 72:9 145:5 214:19 <b>happens</b> 71:24 <b>happy</b> 117:16,21	<b>ice</b> 78:8,11 <b>idea</b> 12:5 26:12 42:8 113:2 115:1 119:1 125:10 150:5 160:3 208:6 <b>ideas</b> 93:24 94:10 156:25 186:13 <b>identical</b> 56:15 89:18 112:3,15,24 113:3,6 113:9 142:5 <b>identification</b> 7:23 17:20 23:10 25:8 50:4 81:24 164:4 197:24 203:17 223:21 229:12 <b>identified</b> 72:12 87:12,18 115:19 129:7 134:20 142:8 173:19 174:1 176:12,16 177:9,24 178:1 191:3 <b>identifies</b> 50:20 168:7 <b>identify</b> 5:22 20:25 72:10 129:19 134:19 146:22 147:1,2 160:15,24 160:24 162:7 174:3 177:4 182:2 188:4,9 188:17 192:12 <b>identifying</b> 175:22 222:11 <b>ignore</b> 120:6 <b>ignored</b> 185:17 <b>ignoring</b> 185:22 <b>ill</b> 174:22 <b>ill-defined</b> 103:13 <b>image</b> 76:7 <b>imagine</b> 59:20 60:10 207:12 <b>imagined</b> 60:3,8 <b>immediately</b> 125:19	

146:7 153:14 163:18 170:3 171:21 216:19 <b>implement</b> 74:14,23 74:24 <b>implemented</b> 124:19 <b>implementing</b> 14:5 56:19 <b>implements</b> 103:15 <b>implication</b> 121:6 <b>implicit</b> 67:3 126:19 180:25 181:7 188:25 <b>implied</b> 140:22 157:9 <b>implying</b> 174:20,23 <b>important</b> 7:5 47:5 66:5 86:19 117:14 159:18 186:8 191:5 227:4 <b>imprecise</b> 117:9 <b>impression</b> 172:4 <b>improve</b> 49:1,2 <b>include</b> 104:16,20 183:23 188:5 190:13 199:22 221:20 <b>included</b> 85:24 148:6 148:13 149:9 157:19 159:3,5 183:13 207:3 <b>includes</b> 35:11 96:10 100:14 102:25 105:3 156:20 <b>including</b> 36:9,9 37:9 37:17 75:11 99:17 102:20 108:23 119:11 140:15 165:25 174:12 202:4 <b>incomplete</b> 105:11 106:11 119:10 151:24 152:13 190:22 222:20 224:21 <b>incorrect</b> 149:23 <b>incorrectly</b> 57:6 141:22 <b>increment</b> 210:10,11	<b>index</b> 179:22 188:1 <b>indicate</b> 146:6 148:1 228:7 <b>indicated</b> 131:5 137:3 145:25 211:8 231:7 232:3 <b>indicates</b> 123:20 145:19 214:6 <b>indices</b> 179:23 <b>indirect</b> 123:10 124:22,23 125:10 125:16,18,20,22,25 126:4,8 209:1,2 <b>individual</b> 22:2 99:11 99:13 <b>individually</b> 76:12 <b>INFO</b> 201:14 <b>informal</b> 97:16 <b>information</b> 55:4 76:8 85:23 148:15 155:21,23 168:2 205:25 219:3 <b>informed</b> 74:8 113:24 <b>infringe</b> 137:17,18 150:25 151:3,6 172:14 190:6,10 <b>infringed</b> 16:17 <b>infringement</b> 8:8 15:25 16:1,8 61:16 133:6 135:7,9,15,21 136:13 161:5,14,15 164:16 166:3 168:19 169:6 171:3 172:10 173:8,21 174:7 180:10 187:13,17 188:6 199:13 200:21 201:23 <b>infringes</b> 136:7 <b>infringing</b> 133:2 162:6 172:12 <b>inherent</b> 160:19 <b>initial</b> 18:6 24:7 25:22 26:2 <b>injunction</b> 8:7 60:14 191:5,11 <b>input</b> 41:18	<b>insert</b> 84:2 105:4 127:24 148:5 157:14 158:6,9,9,13 158:15,20 159:8 160:3 179:6 181:24 182:13 183:12 184:16 188:9,11 189:23 <b>inserted</b> 54:3 93:25 94:4,12 105:7 128:24 152:23 153:3 160:14 184:23 <b>inserting</b> 98:20 106:18 <b>insertion</b> 94:14,22 105:16 128:6,8,12 128:15 153:21 <b>insertions</b> 99:2 108:24 116:1 128:8 148:6 <b>insert/delete</b> 95:20 98:17 107:22 157:18 179:5 181:19,20 187:5 189:16 190:15 <b>inside</b> 170:21 <b>insight</b> 49:14 51:24 52:12 <b>install</b> 36:23 225:6 226:2 <b>installed</b> 205:12 <b>instance</b> 96:21 117:22 <b>instances</b> 41:7 <b>instruct</b> 127:3 207:23 <b>instructed</b> 231:14 <b>instruction</b> 69:25 74:17,20,23,24 75:2 99:4,18 100:2,4,25 101:11,13,15,20,25 102:3,16,20,25 103:10,11,12,14 105:1,3 121:11,12 121:15,17,21 126:17,18,20,24,24 127:2 166:6,8 189:10,12 206:17	207:24 208:14 209:13,18 210:7,15 210:16,23 212:11 213:16 214:15,16 214:20,23 215:3,8 215:12 216:2,15,19 216:24 218:13 219:18 221:1,3 <b>instructions</b> 50:3,5 51:21 55:20 56:24 66:20,22 94:8,12 99:12,17,22 101:23 101:24 102:10,13 102:22,24 103:7,20 103:23 104:8,11,15 104:20 105:7 121:13 122:12,14 122:17 123:6 127:14,17 153:19 156:21,23 168:10 170:5,7 189:7 192:18 210:20 211:11 212:2,7 216:11 219:10,10 220:23 221:2,11,18 221:20,21,25 <b>instructs</b> 147:11 <b>insubstantial</b> 16:19 <b>insufficient</b> 83:15 <b>integral</b> 115:1 <b>Integrated</b> 12:14 <b>Intel</b> 66:20 <b>intended</b> 64:24 114:6 124:4,4 127:7 <b>intends</b> 102:10 <b>intent</b> 66:11 224:23 <b>intention</b> 40:11 126:21 <b>intentions</b> 15:21 163:23 <b>interaction</b> 80:8 <b>interested</b> 89:3 218:24 234:18 <b>interesting</b> 71:1 98:5 98:9 209:23 210:11 <b>internal</b> 30:7,9 107:2 166:5 189:10 <b>Internet</b> 58:6,24
---	--	---	---

<p><b>internships</b> 10:12</p> <p><b>interpret</b> 68:3 102:19 124:1 136:9,14 179:16 209:19</p> <p><b>interpretation</b> 102:15 119:24 126:1 173:2,4,5 202:19 208:13</p> <p><b>interpretations</b> 17:10 82:16</p> <p><b>interpreted</b> 104:7 120:24 125:24 127:7</p> <p><b>interpreting</b> 154:23</p> <p><b>Interval</b> 10:10,12</p> <p><b>invalidate</b> 231:1</p> <p><b>invalidity</b> 136:11 230:8,22 231:8,13 231:15</p> <p><b>invariance</b> 87:9</p> <p><b>invariant</b> 86:18 87:3 87:4,6,23,24 88:5 88:14,21,23,24 89:1 89:5,10,24 90:8,13 90:18,23 91:9 95:8 95:18 110:23 111:9 111:15,20 112:13 112:14,24 113:1,25 114:1,2,4,15,19,23 115:1,13,20 116:2,3 116:6,11,24 117:18 117:24 118:6 119:4 119:18,21 120:5 144:13 152:11,15 179:10,25 180:7 186:21,23 187:9,15 188:4</p> <p><b>invention</b> 88:12 89:10 90:15 92:18 92:24 94:23 95:24 99:1 117:13 133:15 133:21 143:4 150:1 184:19 185:16 186:5,7,17</p> <p><b>inventor</b> 128:20 185:15,15,24 186:6</p> <p><b>invite</b> 134:18</p> <p><b>inviting</b> 18:7</p>	<p><b>invoke</b> 36:23</p> <p><b>involve</b> 11:16,19 26:9 192:5</p> <p><b>involved</b> 12:15 15:10 15:18 17:3 26:8 72:18 83:9 106:24</p> <p><b>involves</b> 61:11</p> <p><b>in-between</b> 196:6</p> <p><b>isolation</b> 213:19</p> <p><b>issue</b> 71:7 76:22 229:24</p> <p><b>issued</b> 229:21</p> <p><b>issues</b> 15:19 117:14</p> <p><b>iterations</b> 174:15</p> <p><b>I,3</b> 158:14</p> <hr/> <p style="text-align: center;"><b>J</b></p> <hr/> <p><b>January</b> 229:24</p> <p><b>jargon</b> 65:5</p> <p><b>je</b> 212:11 216:15,24</p> <p><b>Jersey</b> 1:17 234:5,24</p> <p><b>job</b> 21:4</p> <p><b>Joe</b> 22:17 31:12 38:12</p> <p><b>Joseph</b> 22:13</p> <p><b>July</b> 175:1</p> <p><b>jump</b> 101:25 102:3 102:10,13,16,21,23 102:25 103:2 121:11,14,17,20,21 122:23,23 126:17 126:24 127:2,6 213:6 216:16,25 217:5</p> <p><b>jumps</b> 217:3,7</p> <p><b>JURAT</b> 233:1</p> <hr/> <p style="text-align: center;"><b>K</b></p> <hr/> <p><b>K</b> 2:8</p> <p><b>kcachegrind</b> 54:10</p> <p><b>keep</b> 114:21 115:3,24</p> <p><b>keeping</b> 88:16 89:20 114:17 116:17 117:2 118:11</p> <p><b>kept</b> 23:3</p> <p><b>Keromytis</b> 19:18,19 19:20</p> <p><b>key</b> 90:14 94:10</p>	<p>225:21,24</p> <p><b>kind</b> 69:4 75:1 88:13 114:14 115:23,25 124:13 142:3,15,21 143:8 160:10 219:19 230:7,8</p> <p><b>kinds</b> 43:23 44:2 74:9</p> <p><b>know</b> 7:11 11:24 18:24 19:3,12,24 26:4 34:25 47:21 48:18 60:12 66:1 68:7 72:3 73:18 76:19 78:4 81:6 84:7 90:25 92:4 103:11 112:2,9 126:15 160:22 166:24 174:20 184:11 197:8 199:25 200:4 204:4 204:4,12 207:20 209:18 211:1 218:12,16,17 220:6 220:23 222:19 224:11</p> <p><b>knowable</b> 221:7</p> <p><b>knowing</b> 61:19</p> <p><b>knowledge</b> 80:11 222:20 225:12</p> <p><b>known</b> 118:22 187:3</p> <hr/> <p style="text-align: center;"><b>L</b></p> <hr/> <p><b>label</b> 140:6 141:4 151:16,18,22 152:1 152:4,6,10,14</p> <p><b>labeled</b> 129:22,25 131:1,8 132:7,16 137:14,15,16 151:19 152:22 192:17 212:11</p> <p><b>labeling</b> 139:10</p> <p><b>labels</b> 140:15 145:11 148:3 149:2 166:21 179:23 183:10</p> <p><b>Lacking</b> 95:11</p> <p><b>Lacks</b> 151:12 180:18</p> <p><b>language</b> 10:24 12:1 12:6,7,8,17 42:5 43:11 45:4,7 77:21</p>	<p>84:2,6 85:4 86:10 88:22 101:19,23 112:11 121:20 133:5 149:19 169:1 171:14 172:4,6,25 173:3,16,24 174:13 175:7,12,14,23,25 176:6,7 177:5,10,17 177:20 179:13 180:8 184:2 185:3 187:7 188:13 191:21 193:1,5 194:15 206:20</p> <p><b>languages</b> 42:14 44:21</p> <p><b>large</b> 14:13 41:18 93:25 128:21 129:7 129:8 165:19 184:20 225:5</p> <p><b>Largely</b> 24:4</p> <p><b>lasted</b> 10:13</p> <p><b>late</b> 71:25 72:15</p> <p><b>law</b> 14:24 15:7 136:21 150:21,23</p> <p><b>lawyer</b> 15:18</p> <p><b>Lawyers</b> 155:11</p> <p><b>lead</b> 93:16 201:4</p> <p><b>leading</b> 225:16</p> <p><b>leads</b> 157:12</p> <p><b>learn</b> 19:3</p> <p><b>left</b> 55:5 80:1 199:18 207:17</p> <p><b>left-hand</b> 206:22</p> <p><b>left-most</b> 178:22</p> <p><b>legs</b> 184:10,12</p> <p><b>length</b> 230:12</p> <p><b>letter</b> 3:16 24:22 25:5 25:12,15,18 138:21</p> <p><b>letters</b> 139:1,3</p> <p><b>let's</b> 7:18 8:17,23 9:2 10:9 25:4 30:15 48:10 53:19,24 54:3 54:9 57:21 58:4 79:13 81:21 93:12 104:25 108:1,8,19 109:12 120:13 145:18 147:10 154:2 156:8 161:1</p>
--	--	---	---

180:2 197:10,21 201:13 207:15,16 232:9 <b>level</b> 12:18 54:25 66:16 67:7,20 83:23 169:2,23 193:6,15 205:1 <b>lie</b> 227:17 <b>lies</b> 162:17 <b>likelihood</b> 201:25 205:13 <b>limit</b> 123:3 <b>limitations</b> 16:20 172:11 <b>limited</b> 70:14 226:10 226:25 <b>line</b> 35:12 36:24 60:16 61:20 85:9,10 85:11,18,18 88:9 90:24,25 91:13 92:16 96:21 97:1 99:7 108:20 109:6 122:22 127:13 140:5,9,10 141:25 144:18 146:7 201:13 207:16,20 209:7 212:11,15 219:15 233:7 <b>lines</b> 70:2,5 88:2 101:16 105:5 106:4 106:18 108:9 113:23 114:12 115:20 123:20 145:18 149:21,21 149:24 184:25 189:3 191:7 199:5 199:18 201:12 202:18 <b>link</b> 28:17,19,23 195:16 <b>list</b> 73:24 75:1,4 103:6 <b>listed</b> 10:7 15:15 123:7 199:17 <b>lists</b> 85:22 188:1 <b>literal</b> 211:12 <b>litigation</b> 6:12 18:12 18:15 234:19	<b>little</b> 8:22 11:23 13:9 39:7 47:1 83:18 121:8 147:16 186:1 <b>LLP</b> 1:17 2:3,8 <b>loaded</b> 85:13 <b>loader</b> 211:24 <b>located</b> 5:12 97:9 <b>location</b> 49:23 121:13 214:13 <b>log</b> 54:25 <b>long</b> 22:21,24 26:7 58:18 188:1 210:17 210:20,24 <b>longer</b> 117:16 144:11 149:14 <b>look</b> 7:25 17:13,15 39:3,21 53:8 56:4 56:12 76:24 83:25 84:10 85:7 96:17 133:24 139:5 157:3 158:12 170:1 202:13 207:15,16 213:11 219:3 220:25 <b>looked</b> 14:11 21:18 40:14 80:19 81:9 86:12 101:16 169:11 179:21 187:14 192:10 204:2 209:14 212:3 217:20 <b>looking</b> 17:8 34:23 39:16,24 40:4 41:13 49:4 64:21 76:3 100:21 101:3 103:17 124:14 135:9,12,17 139:1 140:8 168:9 169:17 172:21 177:13,14 187:13 189:13 198:1 199:6,24 200:5 205:17 211:18,19,21 213:9 219:9 226:19 <b>looks</b> 8:24 29:1 30:19 33:5 72:4 139:8 182:4 193:10 195:5 195:5 203:24	206:14 216:17 224:22 <b>lot</b> 11:3 63:4 <b>lots</b> 104:24 <b>loud</b> 91:18,22 <b>low</b> 66:16 <b>lowercase</b> 139:2 145:11 <b>lowest</b> 67:7,19 <b>LS</b> 147:20 <b>lunch</b> 110:13,19 <b>L1</b> 96:22 <b>L2</b> 147:13 <hr/> <b>M</b> <hr/> <b>m</b> 196:17,22 <b>machine</b> 11:12,14 50:5 85:14 99:12,17 101:23 102:1,4,5,6 102:11,14,17 103:2 107:19 120:25 121:14,20 127:14 128:4 207:24 209:10,12 210:1,7 211:20,22 <b>machines</b> 205:23 <b>magically</b> 222:3 <b>mail</b> 30:3 <b>mains</b> 226:5 <b>making</b> 17:5 115:5 115:12 139:22,23 144:13 148:16,20 151:5 162:22,25 164:16 180:7 187:14 <b>man</b> 12:1 <b>manifest</b> 128:4 <b>manifestation</b> 157:21 <b>manner</b> 40:8 142:2 <b>manual</b> 103:17 <b>mark</b> 7:19 17:18 23:7 23:8 81:22 151:16 151:18 164:1 197:22 203:14 223:18 229:10 <b>marked</b> 7:22 8:10 17:19,23 23:9 25:5 25:7,10 63:25 81:23	82:4 161:25 164:3,6 197:23 199:9 203:16 223:20,23 229:11,14 232:21 <b>marks</b> 140:6 141:4 151:19,22 152:1,4,6 152:10,14 194:8 232:20 <b>Martin</b> 2:17 6:9 <b>Massachusetts</b> 1:2 5:17 <b>match</b> 78:19 126:7 135:10 <b>matched</b> 187:25 <b>material</b> 196:7 <b>materials</b> 225:8 <b>mathematically</b> 185:5 <b>matter</b> 59:15 182:3 184:1 <b>matters</b> 61:2,5 <b>McClutchy</b> 2:16 5:8 <b>McCUTCHEN</b> 2:8 6:2,8 <b>mean</b> 12:7 33:5 34:13 34:25 38:23 43:6 46:15 47:8 48:1 56:16 60:24 67:8 97:15 103:19 108:7 111:4 141:13 151:19 152:2 165:12 176:19 179:17 186:5 199:21 207:20 213:7 220:22 <b>meaning</b> 79:22 83:11 86:13 100:1 121:18 124:25 127:19 139:9 171:23 <b>means</b> 13:17 68:2 97:20 113:4 126:25 150:23 158:15,19 213:4,5 <b>meant</b> 62:15 83:6 125:23 141:22 171:22 181:18 224:23 <b>meet</b> 22:25
---	--	---	---

<p><b>meeting</b> 22:3 24:7 25:21,23,25 26:3,4 26:24 27:7,18 29:2 29:3,7,10 33:8,9</p> <p><b>meetings</b> 23:5,16</p> <p><b>melted</b> 78:8</p> <p><b>memory</b> 85:14 126:6 207:25 208:7,10,16 209:21 211:13,21 213:6 219:11 225:7 226:3,10,25</p> <p><b>mention</b> 183:3,22,23 184:8 228:22</p> <p><b>mentioned</b> 17:14 19:17 20:1 42:24 46:21 47:13 52:15 55:11 67:10 92:24 99:22 119:17 145:12 195:19 199:12,12 215:10</p> <p><b>mentions</b> 27:22 89:14 193:9</p> <p><b>merely</b> 47:9 98:20 139:10</p> <p><b>messages</b> 61:17</p> <p><b>met</b> 19:10 24:11 26:23 32:15 33:6 171:4 177:9 195:12</p> <p><b>method</b> 16:18 179:21 180:1 193:10 196:23 197:6,9 199:9 200:12</p> <p><b>methods</b> 42:19 144:15 201:17</p> <p><b>Microsoft</b> 8:25 10:8 10:10,11 64:9 65:8 67:3 73:22 76:16,17</p> <p><b>middle</b> 161:13</p> <p><b>mind</b> 21:1 78:15 125:10,19,21 126:8 147:3 168:12 176:10 216:8</p> <p><b>mine</b> 19:21 53:2</p> <p><b>Minor</b> 127:12</p> <p><b>minute</b> 231:25</p> <p><b>minutes</b> 57:17 134:9 134:9</p> <p><b>MIPS</b> 65:4,5</p>	<p><b>Mischaracterization</b> 62:12</p> <p><b>mischaracterizes</b> 68:16 70:8 119:7 131:12 136:21 180:17 184:5 188:20 190:3 200:16 218:5</p> <p><b>mischaracterizing</b> 150:9 185:20</p> <p><b>misleading</b> 186:2</p> <p><b>mismatched</b> 223:10</p> <p><b>missing</b> 155:25 156:3 173:14</p> <p><b>mistake</b> 196:25 200:24</p> <p><b>mistaken</b> 25:25</p> <p><b>mistakes</b> 197:1</p> <p><b>mnemonic</b> 206:3</p> <p><b>mobile</b> 64:12 72:18 73:7 74:14,19 75:3 224:17,19,23 226:11,25</p> <p><b>mode</b> 53:22 55:12 126:10</p> <p><b>modes</b> 104:3 123:10 123:13 125:20 206:10</p> <p><b>modification</b> 113:3 128:16 140:17 143:15</p> <p><b>modifications</b> 33:3 88:13 95:20 98:17 98:22 107:22 114:14,20 115:6,13 115:22 139:19,22 139:23,24,25 140:3 140:11,20 141:6,10 142:3,4 145:5 155:24 157:14,18 173:6 174:11 176:11 179:5,7 180:13,24 181:1,11 181:19,21,25 182:4 182:5,6,13,24,25 183:12 184:14,16 187:5 188:10,11,23 189:17,24 190:8,15</p>	<p><b>modified</b> 32:25 88:13 114:15 116:1,4,11 118:8,24 129:13,14 129:15,15,17 130:1 130:3,4,17,20,21,23 131:7,10,16,18,21 131:22 137:25 138:1,5,14,19 139:15 140:24 141:1 144:9 145:1 146:20,20,23,23 147:6,10 168:15 171:6,22 172:5,17 172:18,22 173:9,10 173:13,22,23 174:19 175:15,19 176:3 177:3,15,21 177:22 178:17,17 186:17,18,22,24,25 191:18,19 192:6,6 192:12,13,22,23 193:3,3</p> <p><b>modifies</b> 147:13 171:10,12</p> <p><b>modify</b> 98:21 147:14 181:21</p> <p><b>modifying</b> 116:6,23 141:2 171:15,18,25 172:2</p> <p><b>modular</b> 65:2 66:9</p> <p><b>modularity</b> 66:9</p> <p><b>module</b> 66:12</p> <p><b>modules</b> 66:11</p> <p><b>moment</b> 5:6 64:25 79:9 96:15 110:14 123:16 134:14 150:16 178:10 195:18 198:18 203:11 228:2 231:20 232:13</p> <p><b>monitor</b> 38:6</p> <p><b>months</b> 10:13 62:2</p> <p><b>morning</b> 5:7 6:22</p> <p><b>Morningside</b> 5:2</p> <p><b>motion</b> 8:7 60:14 191:4,10</p> <p><b>mov</b> 206:18 207:18 207:22 210:22</p>	<p>212:2 214:16,21 215:2,7 216:19</p> <p><b>move</b> 178:14 207:24 209:13 214:21,25 223:19</p> <p><b>moved</b> 189:6</p> <p><b>moving</b> 30:3 208:2,7 210:24 211:7,12</p> <p><b>multiple</b> 82:15</p> <p><b>multitude</b> 59:8</p> <p><b>multi-page</b> 164:10 223:24</p> <p><b>M-O-V</b> 207:18</p> <hr/> <p style="text-align: center;"><b>N</b></p> <hr/> <p><b>N</b> 2:1 3:2 5:1</p> <p><b>name</b> 5:7,19 43:15 77:4 161:23 204:5</p> <p><b>named</b> 12:2</p> <p><b>names</b> 103:24 197:4 197:4</p> <p><b>narrow</b> 120:12 122:16</p> <p><b>near</b> 192:19 207:16</p> <p><b>necessarily</b> 13:11 63:8 83:8 102:14 119:23 154:10 158:20 167:7 173:5</p> <p><b>necessary</b> 37:15 59:7 61:21 99:24 174:3 182:14,18 188:14 188:16,24</p> <p><b>need</b> 7:10 39:3 62:2,3 71:9,10 94:2 98:15 123:14 153:2 172:15,15 173:5 178:4 183:3</p> <p><b>needed</b> 155:23</p> <p><b>needlessly</b> 129:8</p> <p><b>needs</b> 71:18,22</p> <p><b>neither</b> 234:16</p> <p><b>net</b> 186:23</p> <p><b>network</b> 13:16</p> <p><b>neutralize</b> 92:19 95:9 95:19 106:9 108:13 110:3</p> <p><b>neutralized</b> 94:25 98:18 108:17</p>
--	---	---	--

<p><b>neutralizes</b> 107:7  <b>neutralizing</b> 93:4  107:21 148:1  <b>never</b> 14:22 134:24  199:23 207:6 208:4  <b>new</b> 1:16,17,18,18  2:4,4 5:2,2,13,13  10:20 12:21 55:23  56:13,14,15 107:17  127:8,21 129:12,14  129:15 130:4,10,13  131:7,10,16,18,22  133:18 138:1,5,15  138:19 139:15  141:15 144:9 145:2  146:21,23 147:6  153:4,7,22 154:25  155:5,17,22 156:17  157:3 159:1 170:9  177:3,4,11,12,15,17  177:21,22 178:18  179:4,9 180:2,4  181:16 182:12  186:18,20,22,25  189:6 191:18 192:7  192:12,24 193:3,6  193:10,11,14 194:1  194:23 203:15  227:1 234:4,5,23,24  <b>newly</b> 128:24 184:23  <b>normal</b> 20:3,8,10  <b>Notary</b> 1:16 234:4  <b>note</b> 6:3 190:25 191:2  <b>noted</b> 91:5  <b>notes</b> 4:7 23:15 24:1  54:9 55:16 197:12  199:2 201:7 203:4  205:9,11,16  <b>notice</b> 29:22 156:4  231:6  <b>noticed</b> 30:13 202:17  <b>noting</b> 13:3,5  <b>notion</b> 87:9 113:1  114:25 117:25  126:19 134:2  148:25 187:9  <b>notwithstanding</b>  71:15</p>	<p><b>November</b> 8:19 22:3  22:13 23:1 24:7,14  25:23 26:2 27:4,10  28:4 32:14,21 46:16  53:9,17 65:12,15  66:7,24 70:15 80:15  163:1 175:4 201:1,5  202:7,16 203:1  217:21,23  <b>number</b> 3:9 4:2 5:18  21:2 23:13 31:11  32:6,8 42:12 50:9  50:16 53:19 55:2  63:21 64:22 80:19  100:16 101:6  103:18 110:12,16  123:22,25 124:2,6,8  124:15,24 127:5,6,7  130:16 132:1,16  134:16 139:19  157:4,4 159:16  162:1 164:8,9  165:20 174:11  178:12 180:23  196:6 199:18  202:17 209:5 212:1  212:23 220:14,16  220:16 229:4  <b>numbered</b> 170:16  <b>numbers</b> 25:13 104:7  120:23 127:3 139:9  199:18 203:19  206:10  <b>NW</b> 2:8  <b>NY</b> 2:10</p> <hr/> <p style="text-align: center;"><b>O</b></p> <hr/> <p><b>obfuscated</b> 40:8  <b>objdump</b> 205:8,12,20  209:19  <b>object</b> 13:2 35:19  42:7,9,11 44:22  88:11 106:21  120:19 127:15,18  127:25 128:9,10,13  128:16 167:25  172:12 205:24  211:19 219:8</p>	<p><b>objection</b> 13:21  15:13 19:5 20:5,18  26:17 32:16 33:16  36:5,13 43:4 44:4  49:16 51:6 59:4,21  60:22 62:11 68:15  69:9,18 70:7,22  71:21 72:20 73:9  75:8,21 84:4 89:6  90:3,11 95:10,21  100:10 101:1  104:18 105:10,18  106:10 109:7,18  112:6,17 113:13  114:3 117:3,20  119:6 121:24  124:11 125:1  130:19 131:11,24  132:11 133:3  135:25 136:20  137:4 139:4 141:12  143:11 144:24  148:9,23 150:7  151:11,23 152:12  154:6 155:6 158:7  158:18 159:6,9,19  162:12 165:10,17  167:2 170:25 175:8  180:16 182:10,21  183:16 184:4  185:19 188:19  190:2,16 200:15  217:13 218:4,15  219:23 220:9 222:8  224:20 225:16  227:6,19 230:10  <b>objects</b> 42:8,12,22  43:2,18  <b>object-oriented</b> 42:3  42:18 43:2,11 44:12  44:14,15,17,20,21  44:24 45:1,4,4,6,8,9  45:12,14,15  <b>observation</b> 184:20  186:11,16 192:8  <b>observe</b> 55:12,13  56:4 72:1  <b>observed</b> 53:23</p>	<p><b>observing</b> 76:9  179:19  <b>obtain</b> 124:4  <b>obtained</b> 133:14  143:3 187:3  <b>obvious</b> 88:14 89:14  114:16 116:15,23  118:9 147:24  230:25  <b>obviously</b> 104:14  169:18  <b>occupy</b> 210:2  <b>occur</b> 27:7 227:3  <b>occurred</b> 181:1  <b>occurring</b> 51:5  <b>odd</b> 194:7  <b>offer</b> 79:19  <b>office</b> 5:11 22:4 53:2  80:8  <b>offices</b> 1:17 24:17  <b>offset</b> 166:7  <b>oh</b> 21:2 29:22 84:20  91:20 100:20  108:19 111:19  147:19 173:20  194:3,3 213:10  <b>okay</b> 6:13,22 7:3,17  7:21,25 8:3,9,12,20  8:23 9:6,10,13,15  9:23 10:6,6,16 11:3  11:7,18,25 12:23,23  13:4,4,6,12,18,19  14:2,8,15,20 15:9  15:14,24 16:3,6,6  16:11,23 17:1,13,16  17:17,17,18,22 18:4  18:8,14,21 19:2,7  19:16,16,25 20:11  20:11,22 21:7,14,14  21:23,25 22:9,19,21  22:25 23:6,18 24:3  24:5,16,19 25:2,6  25:10,20 26:1,10  27:2,2,6,9,17 28:1,1  28:5,7,10,14 29:4  29:15,21 30:5,13,14  30:19,19,23 31:2,17  32:2,13,13 33:5,14</p>
--	--	--	--

33:21 34:2,11,23 35:5,15,18 36:1,25 37:5,24 38:9,16,16 38:22 40:2,20 41:2 41:7,25 42:3,17 44:1,9 45:3,16 46:8 46:19,25 47:14 49:3 49:11,25 50:11,18 50:20,20 51:23 52:7 52:11 53:8 54:12 55:7,11,17 56:3,16 57:8,8,15,16,23 58:21,23 60:8,19 62:17 63:13,23,23 64:3 65:6,15,19 66:3 67:1,5,5,18 68:6,12,22 70:4,13 70:18 71:15 72:3 73:5 74:4 77:11,20 77:25 78:14,14,24 79:4,13 80:3,16 81:5,10,13,13,21 82:2,6,17 83:12,20 84:13,22 85:4,15 86:16,18 87:3,12 88:6 89:4,13,23 90:21 91:8,15,24 92:1,1,6 93:2,18 94:11 95:7,7 96:2 96:12,25 97:10 98:2 100:18,22 101:9,14 104:23 105:22 109:20 110:2,18 111:12,17,22 113:19 114:11 116:13 118:5 120:13 121:2,8,22 123:5,9 124:18 126:14 127:10 129:11 130:14 131:21 133:8,12 134:8,18 135:1,12 137:21,24 138:13 138:20 140:11,18 140:25,25 141:5 142:6 144:1,3,17 145:7,17 146:18 147:4,21 149:19	150:11,20 151:2,8 151:16 154:16,23 155:25 161:1,4,6,11 162:7 163:15,17,25 164:20,24 166:17 166:24 167:21,23 168:13 169:3,21 170:20 171:12,19 172:1,18,24 173:25 174:5,17 175:2 176:4,12,21,25 177:8,23 178:14 179:16 180:9 182:16 183:5 186:14 188:8 191:13 192:25 193:13 195:11 196:10 197:13,21 198:24 199:21 200:11,19,23,23 201:6,11,15,21 202:2,24 203:2,7,14 204:3,9,15,18,21 205:5,15 206:1,5,12 206:16,22 207:8,15 207:21 208:6,15,22 208:25 209:9,12,16 210:1,22 211:1,17 212:2,9,13 213:2,10 213:15 214:4,8,15 215:2,7,15,22 216:1 216:7,9,9,23,23 217:6,9,9,19,24 218:2,11 219:13,19 222:5 223:9,16,17 223:22 224:5,15 225:2 227:17 228:1 228:1,19 229:9,14 229:20,20,25 230:17,24 231:6,19 232:1 <b>old</b> 9:20 107:17 129:12,13,15,22 130:1,9,13,17,20,21 130:23 133:18 137:25 138:5,15,19 139:15 144:9 145:1 146:20,23 147:6	153:4,7,22 154:5,13 154:25 155:4,18,22 156:17,22 157:2,10 157:15 168:15,16 170:8 171:6,7,10,15 171:22 172:5,17,19 172:22 173:7,9,10 173:13 175:19 176:10 178:17 179:3,9 180:4 181:15 186:17,20 186:22,24 191:19 192:6,13,23 193:3,6 193:10 207:3,6 <b>omitted</b> 99:21 194:25 <b>omitting</b> 118:23 <b>once</b> 136:23 149:1 <b>ones</b> 20:25 27:15 159:24 161:23 167:22 226:5 <b>one-day</b> 33:8 <b>one-hour</b> 33:10 <b>one-minute</b> 79:5 <b>op</b> 215:11 <b>opcodes</b> 103:24 104:2 104:4 <b>open</b> 36:1,3,7 162:1 198:10 <b>operates</b> 36:2 62:20 207:13 222:1,17 <b>operation</b> 53:6 127:25 158:6,9,13 159:8 167:11 <b>operational</b> 49:5,6 <b>operations</b> 158:10 165:9 <b>OPERATOR</b> 5:6 63:15,19 79:6,9 110:10,14 134:11 134:14 150:13,16 178:6,10 203:8,11 231:22 232:10,13 <b>opinion</b> 73:2 102:9 111:19 <b>opinions</b> 132:25 <b>opportunity</b> 180:21 <b>opposed</b> 214:8,10,11 <b>optimizing</b> 12:21	<b>orange</b> 42:11,11 <b>order</b> 35:20,22 41:10 57:12 67:22 120:25 155:4 222:1 <b>ordinary</b> 17:7,11 35:1 36:19 39:12,19 79:19 89:16 <b>oriented</b> 44:22 <b>original</b> 56:12,15 141:14,15 204:5 <b>originally</b> 81:19 149:7 <b>originals</b> 129:18 <b>outcome</b> 234:19 <b>output</b> 51:5 53:23 55:12,12 56:4 193:19 195:12,16 196:1,8,9 202:20 205:8 209:19 <b>outside</b> 86:12 107:3 162:3 <b>outstanding</b> 143:24 <b>overrun</b> 189:5 <b>oversimplification</b> 149:18 155:9 <b>oversimplifying</b> 149:7 150:3 <b>Over-The-Air</b> 224:14 <b>O-B-J-D-U-M-P</b> 205:9
<b>P</b>			
<b>p</b> 2:1,1 5:1 131:9,22 145:9,9,20,20 196:18,22 <b>packet</b> 81:8 <b>page</b> 3:9 4:2 8:10 14:19 16:15 21:17 82:3 87:25 110:23 139:2 164:13 168:24 169:3 170:16 187:23 191:4 192:17,20 194:23 195:24 196:10,13,14 199:7 199:8 201:11 205:11 212:10			



216:7,10 224:6,6,16 226:7,9,14,16 233:7	209:23 222:6,19 225:5	96:13,14,18 98:19 99:5,23 100:1,5 101:19 102:10,12 105:14 106:8 107:6 107:11,20,23,24 108:2,3,22 113:8 114:6 115:2,5,12 117:8,18 118:15,16 121:19 122:10,11 123:2,11,12,20 124:25 128:8,20 129:20 130:7,25 131:6 133:15 134:19,25 135:14 136:6,13,14 138:23 138:24,25 139:16 139:21 141:7,24 142:7,9 143:4,6,13 143:16 144:16,21 144:25 145:19 146:6 147:24 149:20,22 150:5,21 151:3,6 152:5,7,24 157:1 160:4 165:16 165:22 171:23 181:19 183:7,14,19 183:24 184:18 185:25 186:9,13,14 187:12 191:7 194:8 228:22 229:2,4,16 229:18,21,23 230:1 230:2,9,9,19,22,23	220:22,23,25 221:4 <b>perfectly</b> 126:7 165:8 165:12 <b>perform</b> 120:25 221:21 <b>performance</b> 224:9 225:4 <b>performs</b> 16:20 <b>period</b> 228:7 <b>person</b> 17:6,6,11 19:17 22:13 26:23 36:19 82:13 <b>perspective</b> 198:8 <b>pertinent</b> 230:20 <b>phases</b> 32:8 <b>philosophical</b> 119:13 119:15 <b>phone</b> 13:15 22:12,18 22:20,22 <b>phones</b> 224:17,19,23 <b>phrase</b> 83:7 86:5,22 114:4 118:24 136:23 142:17 <b>phrases</b> 79:21,23 <b>picked</b> 218:19 <b>piece</b> 35:4 39:3 41:17 59:13,13 <b>pieces</b> 12:20 <b>place</b> 27:24 50:17 87:12 92:15 145:1,3 160:15 227:3 234:13 <b>placed</b> 153:4 <b>places</b> 64:23 87:11 <b>placing</b> 140:15 <b>Plaintiff</b> 1:7 2:5 <b>plaintiffs</b> 8:6 60:13 <b>platforms</b> 64:12,20 <b>playing</b> 181:2,8 <b>Plaza</b> 1:18 2:3 5:13 <b>please</b> 15:5 31:8 52:10 100:7 105:21 109:11 125:8 147:3 148:22 153:18 174:9 175:6 188:17 <b>pleased</b> 232:1 <b>plenty</b> 61:18 180:21 <b>plus</b> 155:23 157:10
<b>pages</b> 8:11,13 <b>paid</b> 9:7 20:16,24 <b>pairs</b> 67:24 <b>Palm</b> 13:10 <b>paper</b> 38:4 81:8 <b>papers</b> 10:22 <b>parag</b> 101:21 <b>paragraph</b> 16:23 17:1 58:4,5,16,19 63:24 64:4,6,14 66:6,8 67:1 79:15 79:15,17 85:21 119:1 120:14 121:9 126:11,12 127:10 128:2,11,18,19 129:11 144:4,22 146:18,24 147:22 148:24 149:15 161:23,24 170:3 183:5 224:7 225:3 226:8,20 <b>paragraphs</b> 191:24 <b>paralegal</b> 2:10 6:8 <b>parameters</b> 26:10 <b>pardon</b> 106:8 123:19 153:14 <b>parse</b> 167:11 221:15 222:1 <b>parsing</b> 14:12,12,16 <b>part</b> 10:18 19:13,14 61:10 66:15 68:4 71:1 73:20 74:8 85:21 87:6 89:10 97:4 99:9 106:16 114:11,11 115:18 116:14 122:14 137:12 140:23 146:16 153:9,13,25 156:3,20 157:15 158:3 162:17 167:11 168:4,10 171:5 172:14 176:9 177:18 178:15 179:8,25 182:17 185:6,23 186:8 194:13 201:9	<b>partial</b> 153:23,25 <b>participated</b> 14:13 15:15,25 <b>particular</b> 11:11,21 12:9 18:2,16 41:17 43:13 59:11,12 67:16 73:7,19 76:25 77:2,13,19 80:5 82:3 87:13 89:2 117:23 120:22 132:14 133:5 137:13 183:3 187:17 197:20 200:20 202:20 204:17 218:9,19 227:18 228:6 <b>particularly</b> 47:17 62:1 83:11 150:24 183:2 195:16 225:19 <b>parties</b> 5:22 <b>parts</b> 45:7 67:11 70:23 71:4 75:22,23 75:25 84:2 140:18 140:25 206:19 <b>party</b> 150:24,25 234:17 <b>passage</b> 93:5,23 95:1 109:1 145:25 <b>passed</b> 192:13 229:7 <b>patch</b> 34:22 35:4 55:22 56:11,12,18 56:19,19 68:1 <b>patches</b> 57:2 <b>patent</b> 3:17,23 4:5 14:25 15:4,7,16,25 16:8 17:4,9,12 28:2 30:16,17 60:21 80:6 80:7,7,8,12,13 81:22 82:3,5,14 83:13,17 84:1,6,15 84:17 86:11,17,23 87:11,19 88:20 89:22,24 90:9,22 92:8,23 93:6,22,24 94:10,15,23 95:23	96:13,14,18 98:19 99:5,23 100:1,5 101:19 102:10,12 105:14 106:8 107:6 107:11,20,23,24 108:2,3,22 113:8 114:6 115:2,5,12 117:8,18 118:15,16 121:19 122:10,11 123:2,11,12,20 124:25 128:8,20 129:20 130:7,25 131:6 133:15 134:19,25 135:14 136:6,13,14 138:23 138:24,25 139:16 139:21 141:7,24 142:7,9 143:4,6,13 143:16 144:16,21 144:25 145:19 146:6 147:24 149:20,22 150:5,21 151:3,6 152:5,7,24 157:1 160:4 165:16 165:22 171:23 181:19 183:7,14,19 183:24 184:18 185:25 186:9,13,14 187:12 191:7 194:8 228:22 229:2,4,16 229:18,21,23 230:1 230:2,9,9,19,22,23 <b>patents</b> 15:24 155:12 <b>Patricia</b> 1:15 5:24 234:3 <b>PDA</b> 13:10,10 <b>PE</b> 228:9,14 <b>pending</b> 7:14 26:19 94:19 95:14 97:8 100:8 105:23 109:15,16 190:19 193:23 194:20 <b>people</b> 35:7,22 38:14 104:13 155:12 227:21,24,24 <b>percent</b> 72:8,9 78:13 220:18 221:5 <b>percentage</b> 220:7,11	

162:1 197:19 208:11 <b>point</b> 9:19 14:15 24:20,25 37:14 46:2 49:3 50:1,14 54:4,6 60:15,18 75:15 80:9 108:14 109:20,22 127:19 164:25 171:11 172:16,23 174:18 180:6 181:8 182:7 183:14,19,20 197:5 200:4 205:3 210:1 212:1 218:12 231:3 <b>pointed</b> 214:21 <b>pointer</b> 51:3 <b>pointers</b> 43:17 <b>pointing</b> 59:9 <b>points</b> 48:11 50:10 72:12 88:23 147:23 181:20 <b>portion</b> 67:6 88:8,19 90:21 97:11 108:17 117:17 147:17 195:13 196:2 198:2 <b>portions</b> 180:14 198:21 199:23 <b>posed</b> 174:22 <b>position</b> 65:21 146:10 <b>positions</b> 210:3 <b>positive</b> 225:17 <b>possibility</b> 186:4 211:7 231:18 <b>possible</b> 47:12 49:4 74:11 75:10 162:18 173:2 185:5 209:21 211:2,4 218:20 220:4 226:11 227:1 <b>possibly</b> 28:11 97:22 122:25 126:2 211:6 <b>posted</b> 161:25 <b>potential</b> 113:2 <b>potentially</b> 30:7 73:4 73:5 176:2,5 213:18 227:15 <b>practice</b> 196:5 <b>preamble</b> 194:15,25 <b>precedes</b> 212:17	<b>precise</b> 50:21 125:11 148:12 187:18 <b>precisely</b> 59:19 78:19 107:9 <b>precision</b> 60:20,25 62:9,10,18 <b>precursor</b> 145:8 <b>predict</b> 41:16 93:14 93:20 189:19 <b>predictable</b> 95:9,19 98:17 105:9 <b>predicted</b> 93:10 94:15,22,24 98:25 222:13 <b>predicting</b> 95:3 <b>predictions</b> 157:13 <b>prefer</b> 35:24 51:11 74:3 <b>preferred</b> 62:4 90:16 108:5 115:3 117:12 133:20 135:10,18 136:5 137:17 138:18 153:1 155:17 158:2 <b>preliminary</b> 8:7 60:14 191:4,11 <b>prepared</b> 161:6,7 169:16 <b>preparing</b> 37:7 61:23 64:22 161:21 <b>presence</b> 149:11 156:8,9 207:11 209:6 <b>present</b> 2:15 7:20 81:12 184:19 199:20 <b>presentation</b> 38:17 <b>presented</b> 23:12 28:25 32:22,24 57:12 <b>pressure</b> 61:24 <b>presume</b> 24:21 69:20 92:22 150:25 207:7 <b>presuming</b> 151:18 <b>pretty</b> 150:5 167:4 185:15 197:3 <b>prevent</b> 189:5 <b>previously</b> 202:17	<b>primarily</b> 80:6 205:23 226:5 <b>primary</b> 88:3 224:22 <b>Prime</b> 129:24,25 130:15 131:4,4,9,22 133:1,1,17,17 137:15,15 138:4,4 138:14,14 139:14 139:15,18 140:4,4 140:21,21 141:19 141:21,22,23,23 142:2,13,13 145:9,9 145:15,16,20,20 146:4,4 147:8,8,9 147:10,14,20 160:8 160:8 <b>primitive</b> 169:1 171:14 172:3,6 173:3,15,23 174:12 175:7,11,23,24 176:5,7 177:10,16 177:20 <b>print</b> 30:10 <b>printed</b> 164:22 <b>printing</b> 61:17 <b>prints</b> 55:3 <b>prior</b> 18:15,25 26:24 32:14,21 34:7,12 37:3 59:2 62:12 68:22,25 70:14 73:15 80:23 90:10 90:21 129:12 131:13 133:14 136:10 142:22 143:3,9 144:15 162:22,25 176:14 217:20 228:17 234:5 <b>probably</b> 8:10 9:4,8 14:18 25:24 28:4 33:1 34:22 35:9 38:13 40:21 56:5 59:14 61:15 62:21 74:10 78:8 80:20 104:1,8 107:10 118:23 147:15,19 166:20 187:18 188:5 196:5 204:14	210:24 211:12,13 212:18 213:11 214:18,25 215:9,18 216:16,17,20,21 226:4 <b>probe</b> 47:1 <b>problem</b> 74:8 149:8 225:22 227:11,13 <b>problems</b> 42:16 <b>proceed</b> 5:25 <b>proceeding</b> 91:4,11 91:15 109:2,5 <b>proceeds</b> 110:4 <b>process</b> 17:2 42:15 52:12 57:5 71:19,25 72:1,4,7,15,15 98:24 107:8 223:11 225:9 <b>processing</b> 64:11,19 75:6 168:5 <b>processor</b> 120:24 121:3,6,12 127:4 214:12 221:18,21 <b>processors</b> 66:17 103:14 <b>produce</b> 55:22 98:24 192:8 193:11 <b>produced</b> 56:14,20 129:8 137:1 163:3,7 163:12,14 176:14 197:15,16,17,18 202:23 204:7 229:5 <b>produces</b> 68:1 <b>producing</b> 50:25 144:10 <b>product</b> 11:1 135:15 136:12 184:2 <b>products</b> 10:21 26:14 133:2 <b>professional</b> 9:11 <b>Professor</b> 22:10 <b>program</b> 30:10 34:17 34:17 35:1,2,13,19 35:23 37:15 40:5,6 41:22 43:3,13 44:3 44:12,15,17,22 45:19,21,22 46:4,13 46:24 47:2,6,17,18
---	---	---	--

47:22,25 48:1,2,3 48:22,22 49:1,2,20 49:20 50:2,7,10,14 50:15 51:17,18,19 52:13 53:7,22 55:3 57:11 61:3,6 65:22 66:9 67:7,11,24 68:2,4 71:10 78:16 78:25 85:1,5,13,13 94:13 99:12,13 103:1 104:23 105:4 105:6,17 106:3 107:1,18 120:17,19 120:20,21 127:9 129:13,14,23 130:1 130:4,9,10,18,21,21 130:23 131:7,10,17 131:21,22 133:19 138:1 140:18 146:10 149:3 153:4 153:5,7,11,22 154:5 154:13,25 155:4,5 155:18,18,22,22 156:18,22 157:3,8 157:10,15 165:15 168:25 172:3,17,19 172:22 173:7,16 174:19 177:12 186:18,18,24,25 192:1,5,6,7,23,24 193:14 195:14 196:17,18,22 197:14 199:3,3,24 202:21 205:23 207:2,4 211:25 219:6 220:12,15 222:6,11 <b>programmer</b> 52:21 55:5,7 64:24 66:10 222:4 <b>programmers</b> 46:19 46:23 <b>programming</b> 9:4,16 12:7,8 21:5 42:4,5 42:14,16,18 43:11 44:21 46:22 105:5 <b>programs</b> 13:9 43:23 43:25 54:24 55:23	72:18 73:25 92:25 104:14,20 129:16 129:17 130:13 131:19 138:15,19 139:15 141:15,16 144:9,12 145:2 146:21,24 147:6,10 186:20,22 193:7 206:9 220:24 221:14,17,20,22 222:12 <b>project</b> 12:3 14:14 15:10 20:14 <b>projects</b> 3:18 11:23 <b>prominence</b> 187:7 <b>prominently</b> 86:10 183:24 <b>pronounce</b> 40:16 <b>pronunciation</b> 40:22 <b>pronunciations</b> 40:23 <b>properties</b> 187:25 <b>proposed</b> 81:20 110:25 <b>prosecution</b> 80:16,18 80:21 <b>provided</b> 10:4 102:19 111:12 190:9 <b>public</b> 1:16 174:25 234:4 <b>publish</b> 10:22 <b>published</b> 36:3 58:7 58:17,25 61:12 64:7 <b>purely</b> 70:3 <b>purports</b> 22:1 31:5 <b>purpose</b> 34:20 207:8 207:9 <b>purposes</b> 24:4 66:5 69:16 104:25 135:15 161:21 174:6 <b>pursuant</b> 20:16 31:13 204:10 <b>push</b> 203:5 206:19 <b>put</b> 50:24 51:10 64:25 125:13 135:19 <b>putting</b> 84:7 231:10	<b>p.m</b> 228:8 <b>P1</b> 96:23,24 129:21 129:24,25 130:10 130:14 131:3 133:1 133:17 134:1 137:13,14 138:4,13 139:14,17,18 140:3 140:21 141:8,9,14 141:19,21,23 142:13 145:14,15 145:24 146:3,11 147:8,9 160:8 <b>P2</b> 130:10 131:4 133:1,17 134:1 137:13,14,15 138:4 138:14 139:14 140:4,21 141:8,9,14 141:21,23 142:1,13 145:16,24 146:4,11 147:8,9,14,20 160:8	222:24 223:7,8 224:21 225:11 228:12,20,24 230:25 <b>questioner</b> 52:3 <b>questions</b> 7:5,9 26:13 48:5,9 60:16 64:5 92:20 181:4 232:4 232:17 <b>quibble</b> 155:13,14 <b>quickly</b> 223:17,19 <b>quite</b> 118:25 148:12 165:11 167:15 181:23 193:8 222:24 <b>quotations</b> 191:5 <b>quote</b> 37:21 49:8 71:16 77:24 94:25 108:16 115:12 121:11 135:2 169:22 184:19 <b>quoted</b> 191:8 <b>quoting</b> 133:16 168:23 191:25
<b>Q</b>			
		<b>qualify</b> 176:2,5 <b>ques</b> 52:24 <b>question</b> 7:14 13:2 19:9 26:18 31:20 39:2 40:16 47:23,23 51:7 52:4,5,10 53:3 54:15 58:23 59:25 60:1 70:14 80:3 85:20 94:18,19 95:1 95:14 96:7 97:2,8 97:10 98:5 100:6,8 100:19 101:18 103:16 105:21,23 106:1,16 107:9 109:9,10,15,16 113:14 116:21,22 119:16 125:14 136:23 137:11 143:24 167:3,16 171:8 172:10 174:22 179:12 190:19 193:22,23 194:19 195:2,8 196:25 199:11,15 211:14 218:9 219:25 220:13	
<b>R</b>			
		<b>R</b> 2:1 5:1 154:16 156:5 234:1 <b>radio</b> 14:4,5 <b>raised</b> 231:8 <b>ran</b> 53:22 55:18 202:5 <b>Random</b> 111:13 <b>range</b> 20:2 21:8 40:23 189:4 <b>rarely</b> 74:20 99:23 <b>rate</b> 19:25 20:4,9,10 21:10 <b>raw</b> 223:11 <b>reach</b> 21:8 <b>read</b> 29:13 59:24 60:1 64:3 68:2 80:13,15,17 81:5,6 84:20 88:6 89:14 90:24 91:18,18,20 91:22,23 93:5 94:18 94:19 95:2,12,14 99:8 100:6,8 105:21	

105:23 108:11 109:14,16 112:3 115:22 118:8 123:7 134:22 136:10 137:10 145:25 146:8 147:17 149:18 166:13 179:13 190:17,19 191:6,10,20 193:18 193:22,23 224:15 226:23 229:8 230:3 233:3 <b>readily</b> 92:17 <b>reading</b> 16:24 55:14 55:15 67:13 71:23 72:13 91:11 166:12 166:14 168:20 171:13 174:13 177:15 182:11 202:2 211:13 <b>reads</b> 58:5 64:6 79:17 84:25 144:8 207:17 <b>real</b> 42:9 <b>realize</b> 66:21 201:22 <b>realizing</b> 14:3 <b>really</b> 9:20 34:25 36:18 100:21 107:9 107:16 155:2 182:14,24 194:22 195:25 209:20 <b>reason</b> 27:15 29:19 29:20 47:14 75:16 98:3 152:9 162:18 194:14,24 215:9 216:3 233:7 <b>reasonable</b> 202:19 <b>reasonably</b> 66:10 <b>reasons</b> 41:21 47:13 73:19 221:8 <b>recall</b> 11:22 13:8,11 14:18 18:9 22:6,12 22:20,23 23:2 24:21 25:3,24 27:12,14 28:6,24 32:10 38:11 54:5,10 64:14 66:23 66:25 69:10,12,15 70:9 77:4,9,12,12 80:14 81:3 84:5	87:14 165:3 166:21 167:23 204:16 224:4 229:3,18 <b>recalling</b> 201:16 <b>receive</b> 80:22 81:1 <b>received</b> 18:6 <b>receiving</b> 29:5,10 <b>recess</b> 63:18 110:13 150:15 178:9 203:10 232:12 <b>recite</b> 104:11 114:12 <b>recited</b> 79:21 <b>recognize</b> 18:3 23:14 25:15 68:8 89:19 222:21 <b>recognized</b> 66:22 128:21 129:6 189:16 <b>recognizes</b> 66:19,20 <b>recognizing</b> 189:23 <b>recollection</b> 24:11 29:5,9 70:14 134:23 165:6 219:1 231:9 <b>reconcile</b> 143:10 <b>reconsider</b> 212:5 <b>reconstruct</b> 153:11 155:17,21 <b>record</b> 6:4 7:12 13:7 63:16,20 64:4 79:7 79:8,10 88:7 99:9 110:11,15 134:12 134:13,15 150:14 150:17 178:7,11 191:1,2,6 193:25 194:22 203:9,12 226:23 231:23,25 232:8,11,14 <b>Red</b> 1:5,6 4:3 5:14,14 6:14,15 18:11,21,24 19:3,13,14 23:25 26:5,13 79:18 81:11 81:15,20 161:9 163:8,22 191:3 229:6 230:2,12 <b>RedBend</b> 226:15 <b>RedBend0002931</b> 223:25 <b>reduce</b> 189:20	<b>reducing</b> 187:1 <b>refer</b> 8:9 17:22 21:14 21:23 28:14 31:3 35:23 39:1,8,8 58:3 63:24 66:12 69:17 79:14 80:4 82:2 89:9 93:4,22 99:7 101:9,10,13,15 103:25 107:23,24 110:22 123:15 125:23 126:21 130:6 132:22 139:11 141:23 143:16 144:3,17 152:18 154:4 156:22,23 163:17 166:1 196:17 204:22 219:11 224:5 228:5 <b>reference</b> 86:19,25 87:3,4 96:3,5,8,11 96:13,23 97:12,22 97:23,25 98:9,16,21 98:24 99:2,3,25 100:3,14,15,24 101:9,10,13,14 102:17,21,23 103:1 103:3,5,8,8,17,21 104:16,17,21,24 105:1,2,3,7,8,15 106:6,7,14,20,25 117:19 121:10,18 121:21 122:24 123:1,13,16,19,21 126:16 127:25 128:23,23 141:3,3 148:2,4,4 149:1 151:17 156:8,9 165:25 166:1,23,25 167:24 168:3,3,8 179:2,15,17,23 183:9,11,11 184:22 184:22 186:12,23 187:10 208:16 212:3,7,9 213:1,17 213:24 214:2,3,17 215:4,21,23,25 216:12,22 217:10	218:12 219:7,20 221:4 222:4,5,16,18 222:22,22,23,25 223:3,11,13 <b>references</b> 69:13 87:6 87:8,17,22,23,25 88:5,14,21,23,24 89:2,5,10,24 90:8 90:14,18,23 91:9 94:1,2 95:8,18 96:24 97:15 106:9 107:2,2 110:23 111:1,6 112:3,13 113:12,15,16 114:1 114:2,5,15,20,24,25 115:13,20 116:2,4,6 116:12,24,25 117:25 118:6,7,9,24 119:5,5,21 122:4,8 122:13,15,16 123:4 123:24 127:19 129:6 133:21 140:6 141:3 144:11,12 151:21,22 152:8,11 152:15,16 157:1,13 157:17,20,22,25 166:6 167:10 179:10,18,25 180:2 180:7,11 181:3,14 181:21,22 186:19 187:6,15 188:4 189:10 190:7,14 219:12,14 220:4 222:11 223:18 <b>referred</b> 97:22 112:12 113:22 122:25 126:3 134:24 135:23 141:21 142:7,9 143:6 158:10 169:4 181:14 201:23 205:15 <b>referring</b> 8:12 16:14 21:16 24:22 27:2 28:16 31:4,21,22 52:6,8 54:12 58:2 58:15,19 64:2 67:11 71:16 79:16 84:23
---	--	--	--

87:2,14,16,24 92:23 93:3 97:3 98:6 106:19 109:1 110:24 112:9,12 113:10 115:18 116:18 120:15 123:17 125:3 126:10 129:2 130:8 138:1 139:6 141:19 141:20 142:22 144:7,19 145:13 146:24 161:3 164:11,12 169:24 170:1,10 177:1 179:19 184:11 187:4 191:16,24 196:12,16 199:10 201:6 202:11 224:8 226:17	<b>relative</b> 124:5,7 168:10 208:17,19 208:22 216:21 <b>relatively</b> 88:17 89:21 114:9,18 116:17 117:2 118:12 128:21 184:20 <b>relevant</b> 11:15 17:8 60:17 61:16,19 62:19 78:23 79:21 159:23 165:15,21 197:20 <b>reliability</b> 225:9 226:8,9,18,20 <b>reliable</b> 63:3 <b>reliance</b> 117:17 <b>relied</b> 69:16 161:20 164:16,18 165:1 200:12 204:8 <b>relocated</b> 219:6 <b>relocation</b> 76:7 167:25 219:3 <b>rely</b> 116:14 135:2 <b>relying</b> 80:10 139:13 163:4 174:6 <b>remained</b> 140:19 <b>remaining</b> 140:23 <b>remarks</b> 183:6 <b>remember</b> 8:18 13:9 22:17 24:23 53:12 77:23 122:19 196:3 208:5 209:2 219:15 231:10 <b>remind</b> 56:6 <b>reminding</b> 210:14 <b>rendered</b> 144:12 <b>repeat</b> 95:13 96:6 98:15 228:18 <b>repeatedly</b> 114:5 <b>rephrase</b> 106:1 <b>replace</b> 118:5 154:19 156:20 <b>replaced</b> 112:14 152:23 <b>replacement</b> 153:20 <b>replacing</b> 140:6,12 140:16	<b>report</b> 54:6 160:13 205:24 231:6,11 232:2 <b>reporter</b> 1:15 5:23 7:7 13:3,5 60:2 94:20 95:15 100:9 105:24 109:14,17 147:18 190:20 193:24 205:21 234:3 <b>reports</b> 59:9 206:10 <b>represent</b> 5:23 94:6 99:10 176:11 215:11 <b>representation</b> 175:12,14 193:15 <b>representations</b> 133:22 <b>represented</b> 149:4 157:6 <b>reproduce</b> 55:23 <b>require</b> 121:2 <b>required</b> 62:9,10 138:15 180:8 <b>requirements</b> 225:7 226:3 <b>research</b> 10:19 12:3 <b>resemble</b> 72:23 <b>reserve</b> 198:20 <b>reserved</b> 231:7 <b>resident</b> 72:18 <b>respect</b> 80:12 122:7 165:7 228:7 229:1 230:23 <b>respective</b> 129:18 <b>respectively</b> 130:11 138:15 <b>respects</b> 149:25 <b>response</b> 7:8 47:21 54:15 <b>responsibility</b> 15:18 <b>rest</b> 58:19 72:25 138:25 229:3,15 <b>result</b> 16:22 88:16 89:21 93:17 105:6 113:5 114:17,21,25 115:4 116:16 117:1 118:11 128:22,24	131:3 132:5,10,19 132:23,24 133:9,9 133:13,24 134:1,3 134:21 135:22,24 136:17,19 137:1,2,3 137:7 138:6,8,10 142:8,10,18,25 143:1,2,7,17,18 144:13 145:21,24 146:20 147:5,5 148:7,14,17,20,21 149:5,9,12 153:8 156:21 183:13 184:21,23 187:1,2,6 190:14 191:18 192:9,15 193:2,5 195:7,13 196:1 223:15 <b>results</b> 64:8 86:2 128:16 132:2 134:25 135:3 146:23 <b>resume</b> 45:25 <b>ret</b> 206:18 <b>retained</b> 14:24 <b>reverse</b> 208:3 <b>review</b> 31:8 <b>Reviewing</b> 27:14 85:7 86:4,21 87:1 91:2 92:1,10 96:20 101:12,17 108:11 115:15 118:17 122:19,21 129:21 129:24 130:2 131:1 132:21 137:10 140:2 142:11 144:23 147:4 160:6 160:22 161:22 165:3 170:2 179:24 180:3 181:13 185:2 197:10 205:9 212:6 <b>reviews</b> 21:3 <b>revolves</b> 42:6 <b>RF</b> 14:3,4 <b>right</b> 9:23 21:7 23:22 30:13 31:2 42:23 50:22 54:16 65:7 111:24 113:19
--	--	--	--

139:18 158:12 161:15 170:18 190:12 198:20 207:17 215:8,15 216:2,13,14 220:22 221:19 231:2,7 <b>rigorous</b> 63:3 219:20 <b>rise</b> 88:12 142:14 160:9 <b>Rob</b> 6:13 18:7 22:18 38:13 <b>Robert</b> 2:4,9 6:1 87:22 <b>robert.scheinfeld...</b> 2:6 <b>robotics</b> 12:12 <b>Rockefeller</b> 1:18 2:3 5:12 <b>role</b> 59:17 60:6,7,8,9 60:10 89:5 231:13 <b>Romanette</b> 178:22 <b>room</b> 38:9,14 189:6 <b>rooms</b> 38:2 <b>roughly</b> 8:14 10:13 26:3 72:10 97:6 151:1 164:20 201:12 <b>row</b> 165:24 178:20 <b>rules</b> 222:10,12,16 <b>run</b> 35:3,12 36:20 41:14 45:24 51:4 61:4 76:6 78:25 109:22 120:17,22 130:24 197:14 <b>running</b> 48:23 49:19 50:5 55:11 58:22 111:21 121:13 211:20,21 <b>runs</b> 195:22 197:9 <b>rushed</b> 61:22 <b>R,1</b> 156:5,10 <b>r.bertin@bingham...</b> 2:11	234:3 <b>sat</b> 222:4 <b>satisfaction</b> 225:10 <b>satisfied</b> 118:13 119:4 177:18 <b>saw</b> 28:11 32:1,3,23 37:8,21 38:1 66:10 77:18 171:16,19 183:2 197:4 <b>saying</b> 13:4 22:9 50:12 91:18 93:9 99:3 108:2,12 109:2 109:21 110:2 116:3 117:16 118:1 147:16 149:22 150:6 151:4 154:19 169:22 171:13 182:18,23 184:9,19 188:22 190:12 207:24 214:21 217:16 219:17 222:25 <b>says</b> 8:5 16:15 58:9 60:13 88:10 92:16 121:5,9 122:14 123:25 141:25 160:7,16 165:25 168:14,24 170:7 171:5 178:16 181:10 186:6,15 189:2 206:20 214:25 224:9 226:1 226:24 <b>scanned</b> 31:10 <b>scans</b> 219:8 <b>scenario</b> 106:12 <b>Scheinfeld</b> 2:4 3:6 6:3,13,14 13:1,21 15:12 19:5 20:5,18 26:17 32:16 33:16 36:5,13 40:24 43:4 44:4 49:16 51:6,11 52:2 57:19 59:4,21 60:22 62:11 68:15 69:9,18 70:7,22 71:21 72:20 73:9 75:8,21 84:4,19 89:6 90:3,11 91:13	91:17 94:17 95:10 95:21 96:16 97:2,7 100:10 101:1 104:18 105:10,18 105:20 106:10 109:7,9,13,18 110:8 112:1,6,17 113:13 113:25 114:3 115:7 115:10 117:3,20 119:6 121:24 124:11 125:1,7 130:19 131:11,24 132:11 133:3 135:25 136:20 137:4 139:4 141:12 143:11,23 144:1,24 148:9,23 150:7 151:11,23 152:12 154:6 155:6 158:7 158:18 159:6,9,19 162:12 163:13 165:10,17 166:10 167:2 170:25 175:8 176:19,22 180:16 182:10,20 183:16 184:4 185:10,19 188:19 190:2,16,21 193:21,25 194:19 197:25 198:11,17 198:24 200:15 203:7 217:13 218:4 218:15 219:23 220:9 222:8 224:20 225:16 226:12 227:6,19 230:10 232:5,16 <b>scope</b> 92:23 100:4,5 <b>screamed</b> 70:10 <b>screen</b> 81:7 <b>searching</b> 38:20,22 <b>second</b> 84:13,23 158:14 165:24 168:7,24 178:24 181:14 226:8,17,20 <b>Secondly</b> 106:25 <b>section</b> 85:25 89:13 91:4 92:8,9 108:8 108:12 118:6	156:19 202:25 <b>sections</b> 76:10 <b>see</b> 7:18 8:17,23,23 9:2 10:9 30:15 37:24 40:9 43:1,7 47:2,7,10,25 48:12 48:24 49:8 53:19,24 54:3,9 57:21 58:4 58:12 69:6 77:9 79:13,25 82:9 84:13 85:2,3,4 93:12 95:6 99:14,18,19 108:19 109:12 115:16 116:19,20 123:14 127:22 130:14 131:15 143:22 145:18 147:10 152:20 154:17 156:8 161:17 162:21 168:17 173:12,18,18,20 180:3 182:8 194:15 197:10 201:13 207:4 212:9 226:21 231:21 <b>seed</b> 42:12 <b>seeing</b> 48:4 69:15 70:9 109:4 162:1 211:10 <b>seen</b> 17:25 18:2 28:2 28:8,10,22 29:2 30:16 31:18,20 37:17 67:14 68:10 70:24 71:14 80:7,21 128:10 162:14 165:4 182:3 220:1 224:3,25 <b>segment</b> 93:25 94:12 <b>segments</b> 153:2,2 <b>selling</b> 225:18 <b>semantics</b> 12:18,19 <b>send</b> 31:9 127:4 <b>sending</b> 27:13 <b>sense</b> 35:6 45:18 78:4 98:22 196:8 214:3 215:13 222:25 <b>sent</b> 28:20 132:6,15 <b>sentence</b> 58:5 84:13
<b>S</b>			
<b>S</b> 2:1 3:8 4:1 5:1,1 <b>sample</b> 56:8 203:25 <b>Sands</b> 1:15 5:24			

84:24 85:16 88:9 101:21 119:2 121:9 121:23 126:10,12 129:5 144:8,22 146:8 147:22 149:15,24 150:1 183:7	<b>sheet</b> 18:3 23:3 <b>shelf</b> 53:2 <b>shifts</b> 127:18 <b>shop</b> 78:8 <b>short</b> 104:23 117:10 134:6 <b>Shorthand</b> 1:15 234:3 <b>show</b> 158:4 172:16 173:21 <b>shown</b> 132:6 142:1 145:8,23 160:20 163:8,21 <b>side</b> 175:16 <b>side-by-side</b> 227:2 <b>sign</b> 214:5 <b>signal</b> 186:8 <b>signed</b> 24:24 25:1,13 25:21,22,24 31:10 31:10 46:15 65:20 233:5 <b>significance</b> 138:21 139:1 213:2 214:4 <b>significant</b> 88:20 209:24 210:11 <b>signing</b> 33:10 34:7,9 34:12 37:3,6 59:2 80:23 <b>signs</b> 211:11 <b>similar</b> 111:20 141:10 142:2,4 177:4 215:17 <b>similarly</b> 145:16 184:13 <b>simple</b> 7:4 36:25 98:4 220:17 <b>Simplex</b> 10:10,11,13 <b>simplification</b> 185:4 <b>simplified</b> 133:18 <b>simplify</b> 42:15 <b>simplifying</b> 150:2 <b>simply</b> 39:16 47:10 58:7,25 196:9 <b>single</b> 43:17 61:20 75:11,13 119:25 127:13 202:22 219:15 <b>sit</b> 77:12 197:7	<b>sitting</b> 200:24 <b>situation</b> 88:12 <b>size</b> 128:21 132:23 133:12 143:1 184:21 187:1 189:20 <b>skill</b> 17:7,11 79:19 89:16 <b>skipped</b> 80:20 <b>skips</b> 117:13 <b>slash</b> 179:6 <b>slightly</b> 83:7 112:20 112:20 125:21 <b>slowly</b> 48:24 <b>small</b> 12:5 32:12 56:11 94:11,12 107:1 114:25 138:21 139:2 145:19 149:4 <b>smaller</b> 132:23 133:12 134:4 143:1 144:14 149:11 <b>software</b> 1:6 5:15 6:15 11:9,15 12:20 12:22,24 13:12,19 14:3,9,10 18:22,25 19:4 34:15,24 35:4 35:6,7 36:7 39:13 39:14 40:3 41:4,12 41:17 52:16 58:6,14 58:20,24 59:14,19 60:21 63:2 71:17 125:15 161:16,19 162:8,10,25 169:14 <b>sold</b> 11:2 <b>solely</b> 105:16 148:5 183:12 <b>solution</b> 224:10 225:15 227:16 <b>solutions</b> 225:18 227:22,25 <b>somebody</b> 10:25 19:3 19:12 206:13 218:20 <b>somewhat</b> 42:9 78:15 103:13 169:11 174:14 <b>soon</b> 171:16,19 195:6	<b>sorry</b> 8:3 12:8 15:5 84:19,21 87:2 96:6 96:16 97:7 100:5 109:13 112:1 115:7 115:10 116:10,20 140:8 147:15 148:19 166:10 182:20 185:10 191:1 193:21 194:3 194:11,20 196:12 206:8 213:9,10,24 226:12 <b>sort</b> 10:16 11:3 17:6 26:10 30:14 42:25 94:9 122:12 189:17 225:13 <b>sorts</b> 42:13 43:21 122:2,4,17 230:4 <b>sound</b> 7:15 <b>sounds</b> 36:25 166:13 <b>source</b> 34:3,6,16,19 34:21 36:1,3,3,3,7,9 37:11,12,17,21,25 38:4,7,15,17,25 39:16,19,21 40:4 43:10 49:5,15,21,23 50:24 51:4,14,20,21 52:1,6,9,12,22 53:10,18,21,25 55:20 56:8,25 58:17 59:13 61:11,14,20 62:22 63:5 64:7 66:18 69:19 70:5 71:12,13 72:11 77:1 77:10 98:22 99:4 100:2,24 101:5,23 102:1,4,6,7 106:19 120:17 122:2 127:12 128:3,7,12 128:15 161:25 162:1 166:19,22,25 169:5,8,11 174:14 176:20 179:19 196:3,21 197:3 198:9,10 199:20,22 199:25 201:18 207:11 208:4 219:1 219:16 220:1
--	---	---	--

<p><b>sources</b> 62:25  <b>south</b> 12:2  <b>so-called</b> 54:25  142:20 157:24  201:17  <b>speak</b> 22:11 44:20  125:25 227:14  <b>speaks</b> 122:11 130:5  140:5  <b>special</b> 34:24  <b>specific</b> 13:24 27:15  29:4 65:3,4,5,5  67:15 69:24 70:3,6  70:11,20,24 71:2,5  71:5 72:5 74:21  85:21 98:12 162:8  165:5 218:11  219:25 225:13  <b>specifically</b> 22:7  69:13 71:11 76:3  77:8,22 79:1 89:9  100:15 115:19  134:24 218:8  <b>specifications</b> 11:13  11:14  <b>specificity</b> 70:19  <b>specified</b> 142:16,21  160:11  <b>specifies</b> 77:13  <b>specify</b> 77:17  <b>speculating</b> 74:2  <b>speed</b> 41:13  <b>spell</b> 205:21  <b>spelled</b> 205:22  <b>spend</b> 63:4  <b>spent</b> 30:20 33:21  62:5  <b>split</b> 156:10  <b>spoke</b> 22:18 141:22  <b>spot</b> 50:2,4  <b>springs</b> 125:19  <b>spumoni</b> 78:2  <b>stamp</b> 29:16  <b>stamped</b> 82:5  <b>standard</b> 43:16 46:11  95:5 148:13 200:7  205:22  <b>star</b> 213:3</p>	<p><b>start</b> 88:8 91:11  139:25 219:9  <b>started</b> 12:3,4,11  17:5 19:22  <b>starting</b> 9:12 27:19  140:4,9 146:6  212:15  <b>starts</b> 85:8 108:19,20  210:17  <b>start-up</b> 21:5  <b>state</b> 6:6 11:12,14  59:19,23 65:21 91:3  92:6 127:11,16  128:19 129:4  148:21 183:8  185:16  <b>stated</b> 90:15 114:21  131:15 140:22  <b>statement</b> 33:11,23  37:7 64:18,22  117:10 184:7  <b>statements</b> 54:4  169:13  <b>states</b> 1:2,16 5:16  31:6 79:1 114:13  142:25 234:4  <b>stating</b> 177:8  <b>statistical</b> 187:25  <b>statistics</b> 206:11  <b>status</b> 216:18  <b>stay</b> 231:24  <b>stems</b> 128:22 184:21  <b>stenographically</b>  234:12  <b>step</b> 83:19 143:15  145:8,14 146:14  172:20 192:5  <b>Stephen</b> 1:9 3:4 5:19  8:6 31:7  <b>stepping</b> 48:23 168:8  <b>steps</b> 17:3 35:5,8,16  56:7,9 78:18 107:11  139:7 145:13  <b>stop</b> 45:25 109:21  <b>straightforward</b>  98:14  <b>stream</b> 67:12  <b>streams</b> 67:19</p>	<p><b>Street</b> 2:8  <b>strikes</b> 97:16  <b>string</b> 3:14  <b>strong</b> 206:21 218:18  218:25 220:11  <b>strongly</b> 43:13  162:14  <b>structure</b> 43:10,20  45:12  <b>structures</b> 42:19 43:2  43:22,24 44:2 45:10  176:8  <b>student</b> 39:23  <b>stuff</b> 20:23 65:3  156:11 197:16,17  197:18,19 198:21  207:19 228:25  <b>style</b> 42:5 44:14,24  45:1,5,8,15  <b>subheading</b> 85:10  <b>subject</b> 221:11 233:5  <b>submit</b> 220:12  <b>subpoena</b> 204:10  <b>subscribed</b> 233:21  <b>subsequent</b> 189:5  <b>subsequently</b> 19:2  <b>subset</b> 74:11 137:16  138:18  <b>subsets</b> 103:15  <b>substantial</b> 82:23  83:10 220:1  <b>substantially</b> 16:20  16:21,22 179:2,14  179:17  <b>substrings</b> 188:2  <b>subtle</b> 158:2 159:24  212:18 214:19  <b>subtlety</b> 159:17,21  <b>successful</b> 40:2  <b>sufficient</b> 153:10  155:20 173:8 190:6  <b>sufficiently</b> 98:4  111:7  <b>suggest</b> 106:18 108:4  146:3 162:15  <b>suggested</b> 90:17  <b>Suggesting</b> 57:4  142:3</p>	<p><b>suggestion</b> 97:14  <b>suggests</b> 29:13 69:21  108:5 111:9 140:20  171:9 225:2  <b>suit</b> 133:15 143:4  <b>summarize</b> 57:9  150:1  <b>summary</b> 56:23  57:10  <b>summer</b> 10:12  <b>support</b> 8:6 60:13  76:21 87:18,19  160:2  <b>supported</b> 77:1,8,22  79:2  <b>supports</b> 64:8 78:16  78:19  <b>suppose</b> 220:21  <b>supposed</b> 34:18  <b>suppress</b> 159:23  <b>sure</b> 7:16 13:5 29:13  38:14 45:11 61:8,9  63:1 72:15 73:12  83:22 107:13  126:25 163:7  165:11 166:13  197:4 203:24 204:2  212:24 213:4  214:24 216:20  220:14  <b>surprised</b> 54:11  <b>suspect</b> 206:7 213:4  224:4  <b>suspected</b> 172:11  <b>suspicious</b> 217:15  218:18,25  <b>swear</b> 5:24  <b>sworn</b> 5:3 6:18  233:21 234:7  <b>symbol</b> 187:24  <b>symbolic</b> 103:24  <b>Synopsys</b> 9:24 10:8  10:15,17 11:2,3  <b>synthesize</b> 56:13  <b>synthesizing</b> 11:13  11:14  <b>system</b> 16:18 30:8</p>
--	--	--	---



<b>T</b>			
<b>T</b> 3:8 4:1 5:1 234:1,1	120:10,11 128:6	21:20 79:20,22	206:18 219:2
<b>table</b> 82:19 84:11,16	194:1	80:11 82:15 86:13	221:23 230:5,5
84:25 85:1,6,12	<b>talks</b> 58:16 117:24	88:20,25 110:21	<b>think</b> 14:20 32:23
86:14 96:2,22	163:22 177:2	116:20 146:13	33:18 39:18 41:24
110:20 134:20	180:12	151:10,14 168:19	42:2 45:18 50:11,13
142:14,19 160:9	<b>tangible</b> 38:4	180:23 191:13	51:12 59:6 60:19
165:25 166:2	<b>tantamount</b> 171:24	202:2 208:16 217:9	70:1 76:14 91:17
168:16,21 169:5	172:2	227:21	93:18 94:16 100:18
171:6,7,15,22 172:5	<b>tape</b> 63:17,21 110:11	<b>test</b> 206:18 207:4,14	110:8 112:19 117:7
172:22 173:10,11	110:16 134:16	<b>testified</b> 5:3 14:21	117:13 118:17
173:14,22,23	178:5,8,12 203:13	69:7,11 131:6	131:5 141:13 146:5
174:19 175:20	232:15	188:12 195:18	148:11 159:20
176:10 177:3,4,15	<b>target</b> 148:4 183:11	199:22	160:20 183:22
177:21,22 178:17	<b>tasked</b> 10:19	<b>testify</b> 234:7	185:23 186:2
178:18 179:3,4,9,9	<b>tasks</b> 221:19,22	<b>testifying</b> 149:16	188:16 198:7,12
180:2,4,5 181:16,16	<b>teach</b> 105:14 107:20	<b>testimony</b> 1:14 62:13	205:5 211:10
182:13 191:18,19	<b>teaches</b> 107:7 115:5	65:6 68:16 70:4,8	218:19 223:2
192:12,13 193:3,4	115:12 116:6 230:5	89:23 119:8 131:13	<b>thinking</b> 110:6 113:5
<b>tables</b> 79:24 175:13	230:5	150:9 163:6 175:1	149:17
175:15,16,23 176:3	<b>teachings</b> 135:18	180:18 184:5	<b>third</b> 58:4 121:9
176:6 187:24	<b>technical</b> 225:21,24	185:21 188:21	178:24 209:6 226:9
<b>take</b> 7:12,13 14:21	<b>technique</b> 48:20,25	190:4 192:25	228:18
15:9 30:25 35:6	53:15 147:25	195:25 200:16	<b>thought</b> 83:14 126:4
57:17 63:14 79:4	<b>techniques</b> 53:5,13	218:5 233:4 234:11	171:21,24
96:14 104:25	53:16 90:10,17 91:7	<b>text</b> 92:3 97:9 108:12	<b>thoughts</b> 231:20
109:19 110:7	133:14 143:3	117:5,23 130:5	<b>thousands</b> 104:5
118:13 124:2	144:14 187:3	139:11,16 141:24	220:24
150:11 152:9 154:2	<b>technology</b> 10:20	144:25 146:2	<b>three</b> 10:13 78:3
158:15 170:5	224:19 225:5,13	171:17,20 182:12	158:15,16,20
200:18 223:7 232:9	226:2 227:5	188:6 226:17	161:12,12 210:2,13
<b>taken</b> 1:14 6:24 7:1	<b>tell</b> 46:1 51:20 57:9	<b>thank</b> 6:23 22:10,16	210:15,16
23:16 48:6,13 78:18	105:25 138:25	80:3 104:12 232:16	<b>Thursday</b> 24:6
118:3 127:8 155:16	147:16 148:22	<b>thereof</b> 176:11	<b>time</b> 5:10,21 7:10
185:6 197:12 233:4	155:14 170:21	<b>thing</b> 45:11 50:16	19:23 22:11 23:2
234:12	173:1,18 174:9	61:13 68:19 78:3	28:2,22 29:15 30:3
<b>takes</b> 67:24 68:1	175:6 196:20 203:2	94:9 108:4 114:7	31:17 32:1 33:7
171:10	206:16 212:1	134:23 173:13,15	37:20 38:10 46:3
<b>talk</b> 91:6 106:22	221:10	177:25 185:17	54:13,13 59:17
120:9 129:11	<b>telling</b> 57:1 209:24	194:6	61:24,24 63:5,16,20
146:19 180:10	212:20 214:11	<b>things</b> 10:9,23 17:14	71:24 76:6 77:14
198:14 225:7	<b>tells</b> 49:19	35:12 42:10 47:8	79:7,10 81:12
<b>talked</b> 120:16 230:11	<b>ten</b> 134:9 201:12	48:2 55:17 56:3	104:14 110:6,11,15
<b>talking</b> 9:21 15:12	<b>term</b> 15:6 44:19	61:15 62:2,3 81:7	113:2,3 114:8
25:6 67:6 71:8 78:5	66:14 86:8,18,24	94:1 97:24 107:3	119:18,19 120:2,3,8
106:17 108:9	87:1,5,24 96:3	113:4 116:9 120:7	120:11,12 134:6,12
109:25 110:19	99:24 115:19	122:3 139:10 145:4	134:15 150:14,17
	<b>terminate</b> 48:2	145:15 148:15	160:4 162:9 169:16
	<b>terms</b> 9:23 17:9 21:7	166:21 189:17	177:11 178:7,11

187:19 191:15 202:6 203:9,12 206:2 224:25 228:7 228:13,18 231:14 231:16 232:11,14 234:13 <b>times</b> 13:3 29:23,23 38:19 73:17 80:20 88:23 144:13 <b>timesheet</b> 3:15 23:7 23:21,23 24:5 27:3 27:22 54:18 202:14 204:22 205:1 228:3 <b>title</b> 8:5 60:13 <b>today</b> 5:9 22:11 73:16 77:12 197:7 197:15,19 <b>today's</b> 6:23 70:15,17 <b>told</b> 56:11 174:4 178:3 <b>tolerate</b> 40:22 <b>tool</b> 35:11 47:12 48:8 54:5 55:19 58:11 59:10 163:23 <b>tools</b> 46:6,11 53:24 <b>top</b> 24:6 29:18 142:12 153:25 156:19 157:10,12 160:6 161:11 164:12 187:22 196:11 206:21,22 207:16 224:16 <b>track</b> 39:8 <b>traditional</b> 187:11 <b>trained</b> 39:11 <b>training</b> 39:12 <b>transcribe</b> 7:7 <b>transcript</b> 1:14 84:20 101:4 198:2,6 232:21 233:4 234:11 <b>transformed</b> 172:7 <b>transforms</b> 168:25 171:16,20,24 172:1 172:2 191:25 <b>transistor</b> 11:24 <b>transistors</b> 11:17,19 <b>transition</b> 179:8	<b>translate</b> 12:19 127:13 <b>translation</b> 12:21 96:22 <b>transmission</b> 193:19 <b>transmitted</b> 196:4 <b>treat</b> 184:2 213:12 222:23 223:4,4,10 <b>treating</b> 189:24 <b>treats</b> 76:11 <b>tree</b> 43:16,19 207:12 <b>tried</b> 53:24 68:23 78:24 134:2 207:6 218:8 219:24 230:3 <b>tries</b> 71:11 <b>trivially</b> 124:1 <b>true</b> 8:15,17 66:2 124:15 132:9 234:11 <b>truly</b> 209:20 <b>truth</b> 234:7,8,8 <b>try</b> 34:20 39:7,21 48:25 49:1 68:2 69:3 93:12 108:12 110:3 118:21 122:16 220:3 223:19 <b>trying</b> 30:1 36:18 39:15,25 41:4 48:21 55:13,24 57:8 63:11 82:14 83:5 121:22 126:25 136:5,14 148:25 188:3 <b>Tuesday</b> 1:19 <b>turned</b> 19:13 156:4 <b>twins</b> 113:6,9 <b>two</b> 8:22 11:23 17:18 43:17 48:11 56:8,10 56:18 62:7,8 70:2,5 70:10 107:19,19 109:23,24 113:4 123:23 133:22 164:1,6 167:23 168:12 178:19 187:24 192:8 199:12,12 201:17 201:17 212:2 226:5 226:16,19	<b>two-hour</b> 33:8 <b>typ</b> 15:20 <b>type</b> 13:13 14:4 68:19 78:2 114:12 122:7 124:23 126:9 <b>types</b> 42:7 45:13 56:3 72:17 102:5,6,7 122:9 123:6,24 166:17 167:9 216:11 217:7 <b>typical</b> 48:16 127:4 194:15 <b>typically</b> 15:21 35:18 36:2 39:14 45:22 50:8 55:5 66:14 125:22 129:6 136:10 217:4 <b>typo</b> 195:10 <hr/> <b>U</b> <b>Uh</b> 17:24 21:19 24:9 26:15 29:17 55:1 56:1 158:23 177:1 204:11 <b>uhm</b> 10:1 11:5 20:15 32:23 43:1 50:25 67:5 111:9 150:20 217:9 229:20 <b>ultimate</b> 211:15 <b>Um</b> 170:17 188:15 211:23 <b>underlying</b> 225:5 226:1 <b>understand</b> 25:17 34:20 39:15,22 41:4 41:10,23 45:23 46:4 46:23 47:6,18 48:21 52:16,22 53:6,10,17 55:8 61:11 62:25 71:8,11,18,22 73:12 73:19 83:6 89:17 93:18 94:5 107:6 127:1 135:2 137:11 150:22 151:7 156:18 167:16 172:9 173:8 182:16 202:22 221:13 225:21 227:13	228:10,14 230:4 <b>understanding</b> 12:17 16:7,16 46:12 47:16 49:1,2 73:21 82:11 89:4,11 92:11 95:8 114:19 136:2 145:22 146:13,15 152:25 160:12 167:5 172:13 173:20 190:5 192:4 206:24 219:4 223:9 224:18 227:10 231:12,17 <b>understands</b> 167:6 <b>understood</b> 79:20 111:7 138:12 175:25 176:17 <b>undertake</b> 230:21 231:15 <b>undertaken</b> 20:14 73:15,18,20 <b>undo</b> 95:4 <b>unimportant</b> 159:24 <b>unique</b> 74:18,21 <b>unit</b> 96:10 100:13 <b>United</b> 1:2 5:16 <b>Unix</b> 205:23 <b>unnecessary</b> 184:3 <b>update</b> 225:4,12,15 <b>updated</b> 8:19 56:21 105:6,17 106:3 219:6 <b>updates</b> 68:13,23 69:3 225:6 226:2 <b>updating</b> 92:25 224:16,19 227:3 <b>URL</b> 58:18 163:22 <b>use</b> 7:10 34:11,13,17 34:21 35:6,6,14,20 35:22 36:19 37:6 41:4,8,9,22 45:9 46:3,8,19,23 47:12 47:22 48:10,12,16 51:17 52:21 53:10 53:16 55:8 58:11 63:6 68:12,23,25 69:2 75:6 77:17 82:14 87:15 93:6
---	---	---	--

142:17 143:13 155:3 162:24 174:25 181:6 207:3 212:20 215:13,19 <b>useful</b> 49:11 <b>usefulness</b> 12:10 <b>user</b> 225:9 <b>users</b> 58:6,24 162:3 <b>uses</b> 45:12 47:15 74:11 89:24 97:19 97:21 143:8 146:17 194:8 <b>usually</b> 44:20 103:25 186:11 209:2 221:22 <b>utilities</b> 92:12,14 142:15,20,23 143:8 143:14 160:10,13 <b>utility</b> 89:18,25 95:6 109:23 192:22 205:12,14 <b>utilizing</b> 171:6 177:3 191:18 193:2	<b>values</b> 140:7,13 <b>variable</b> 46:1 48:6,13 208:20 <b>variant</b> 113:6 205:8 205:12 214:24 <b>varied</b> 38:18 <b>variety</b> 35:8 36:8 37:8 46:21 47:8 48:1 53:24 62:23 73:18 77:7 104:15 147:7 166:20 173:6 175:15 <b>various</b> 30:23 205:25 220:24 <b>variously</b> 147:24 <b>vast</b> 103:11,18,19 <b>verbal</b> 7:8 <b>verbatim</b> 149:20 <b>verify</b> 27:12 56:14 57:2,12 <b>Veritext</b> 5:8,24 <b>versa</b> 208:8,9 <b>versed</b> 92:17,21 93:1 <b>version</b> 32:1 35:19,20 76:15 172:17,19 173:16 192:6,7,23 192:24 193:5,6,15 206:25 227:2,2 <b>versions</b> 32:2 55:23 81:19 130:1,4 133:18 <b>versus</b> 5:15 18:11 23:25 123:10 180:11 219:22 220:8 221:5 <b>vice</b> 208:8,9 <b>video</b> 5:6 38:6 63:15 63:19 79:6,9 110:10 110:14 134:11,14 150:13,16 178:6,10 203:8,11 231:22 232:10,13 <b>Videographer</b> 2:16 <b>view</b> 59:17 62:7 79:22 198:16 <b>views</b> 79:19 <b>visible</b> 133:23 <b>visualize</b> 43:9	<b>Vitesse</b> 10:10,11 <b>volume</b> 199:1 <b>vs</b> 1:8 <hr/> <b>W</b> <hr/> <b>W</b> 5:1 <b>wait</b> 109:13 232:6 <b>Walker</b> 2:17 6:9,9 <b>wall</b> 38:7 <b>want</b> 6:3 8:9,15 13:4 17:17,22 21:14,23 26:21 27:11 28:14 31:2 35:18 41:23,25 44:16 45:24 47:10 47:24 48:15,18 54:20 57:24 58:3 63:4,23 64:4 82:2 90:23 91:22 92:4 99:7 103:22 107:13 109:10 110:22 113:20 130:6,6 144:3,17 152:18 160:24 178:14 190:25 191:2,14 197:2,11 203:4,14 224:5 228:1,5 231:20 232:7 <b>wanted</b> 32:5 57:2 73:12 112:4 <b>Washington</b> 2:9 <b>wasn't</b> 78:12 111:8 182:14,24 188:13 <b>watching</b> 197:14 <b>way</b> 10:21 11:1 16:21 35:14 36:21 38:3 39:17 45:23 48:15 49:18 50:12 57:3 59:11 62:18,24 65:2 83:16 97:15 101:18 105:9 120:7 135:14 149:4 155:24 164:22 180:19 182:6 189:25 191:21 205:20 206:3 209:3 223:14 <b>ways</b> 43:12 98:17 104:3 147:7 175:16 <b>web</b> 14:19 38:8 61:12	161:25 <b>website</b> 36:23 65:17 179:20 <b>weight</b> 113:7 <b>went</b> 12:4 24:22 32:7 56:9 76:24 162:2 187:13 <b>weren't</b> 163:12 <b>we'll</b> 82:4 191:13 229:9 <b>we're</b> 25:5 79:10 108:12 110:15 112:11 134:15 150:17 169:17 178:11 203:12 218:24 231:21 232:14 <b>we've</b> 14:20 25:10 95:2 108:9 109:1 164:6 183:17 212:3 223:22 229:14 <b>wide</b> 40:22 174:11 <b>Williams</b> 2:5 6:16,16 38:12 87:21 <b>windows</b> 64:9 65:1,3 65:8 66:19 67:4,15 67:25 68:9,14,24 69:25 70:19,20,24 71:9 72:4,23 73:22 73:22 75:5,14,19,24 76:4,16,17,20 77:5 77:7,13,15 78:6,20 168:1 219:4 <b>wise</b> 95:5 <b>witness</b> 3:3 5:19,25 6:18 7:21 13:23 14:22 15:14 18:7 19:7,10 20:20 23:24 26:18 32:18 33:21 36:7,15 40:25 43:6 44:6 47:9 49:18 51:12 52:3 59:6,23 60:3,24 62:14 68:17 69:10,19 70:9,23 71:22 72:21 73:10 75:10,22 84:5,22 90:4,13 91:20 95:12 95:16,22 96:17
---	---	---	---

<p>100:12 101:5          104:19 105:19          106:12 109:8,12,20          112:8,19 113:15          114:4 115:9 117:5          117:21 119:9 122:1          124:12 125:5,9          130:20 131:14,25          132:12 133:4 134:5          134:10 136:1,22          137:5 139:5 141:13          143:12 144:25          148:10,24 150:10          151:13 152:1,14          154:8 155:8 158:8          158:19 159:11,20          162:13 165:11,19          166:11 167:4          175:11 182:11,22          183:17 184:7          185:12,22 188:22          190:5,17,23 194:4,7          195:3 198:1 200:19          217:14 218:6,16          219:24 220:10          222:9 223:23 224:2          224:22 225:17          227:8 230:11 232:9          234:6  <b>witnesses's</b> 131:12  <b>witness's</b> 119:8 150:9          188:20  <b>wondering</b> 198:4  <b>word</b> 40:18 77:17          83:21,22 84:1 97:19          97:21 98:4 101:19          111:8,9,22 112:14          112:15 119:20          120:8  <b>wording</b> 88:3  <b>words</b> 49:8 51:2,10          65:8 80:20 81:18          82:22 83:4,5,16          89:22 111:21 118:6          120:5 123:5 141:8          170:22 181:6  <b>work</b> 11:7,18,20,21          26:1 40:1 71:6</p>	<p>76:15,17 200:25  <b>worked</b> 10:23 30:23          55:24 57:3,7 59:19  <b>working</b> 8:25 10:3          12:20 14:17 21:4          23:24 33:22 39:19          57:13 207:5  <b>works</b> 41:5 61:7  <b>world</b> 42:9  <b>worry</b> 104:13  <b>worth</b> 9:20 111:8  <b>wouldn't</b> 35:18 54:11          59:14 63:4 73:10          77:17 147:3 154:4  <b>Wow</b> 105:19  <b>write</b> 40:9 48:23          52:17,23 53:11          60:11 65:1 67:15          85:11 104:14          126:16 155:12          166:3 168:22  <b>writes</b> 170:4  <b>writing</b> 12:24 13:9  <b>written</b> 13:13,20,23          14:3,9 37:18 40:7          40:10 43:14 44:13          44:23,25 45:3,6,7          45:13,15 53:2 64:10          65:2 97:16 135:13          166:15 169:10          185:24 186:1          221:14  <b>wrong</b> 48:17 57:4          185:24 199:16          200:11 201:2,4          220:19  <b>wrote</b> 82:13 148:10          149:6</p>	<p style="text-align: center;"><b>Y</b></p> <p><b>yeah</b> 9:11,19,19          10:18 11:5,21 19:19          26:12 34:23 37:1          115:9 122:22          134:10 166:13          180:3 182:1 194:7          195:10 198:7          202:12 207:10          215:17  <b>year</b> 10:14  <b>years</b> 9:20 12:4  <b>yesterday</b> 30:14  <b>York</b> 1:16,18,18 2:4          2:4 5:2,2,13,13          234:4,23</p>	<p>136:18 138:2,22          139:2 145:8,9,10,20          145:23 146:1 147:2          154:16 156:5,20          164:13 169:3          178:22 228:21          229:1  <b>1A</b> 131:18  <b>1:10</b> 110:16  <b>1:54</b> 134:12  <b>10</b> 3:21 88:2,2,9,25          90:24,24 91:14          96:21 108:9 109:6          113:22,23 114:12          114:12 115:20,21          140:5,9 141:25          149:21,21,24          203:16,19 206:8,23  <b>10:43</b> 63:16  <b>10:56</b> 63:20  <b>100</b> 129:25  <b>10027</b> 5:2  <b>101</b> 151:19  <b>10112</b> 2:4  <b>105</b> 151:19  <b>11</b> 3:22 24:8 94:8          142:12 144:18,20          160:7 223:20,24  <b>11/25</b> 202:4,6  <b>11:21</b> 79:7  <b>11:25</b> 79:11  <b>1125</b> 202:17  <b>1125/230009</b> 201:13  <b>12</b> 3:23 8:10 9:22          27:10 120:14 121:9          126:11,12 144:18          145:18 146:7          149:21 209:5          229:11,14,17  <b>12th</b> 22:3 23:1 24:7          24:12,17,20 25:23          26:2,25 28:4,11,25          33:7,19 37:23  <b>12:21</b> 110:11  <b>120</b> 159:16  <b>13</b> 127:10 128:2,11          128:18  <b>13th</b> 27:4,18 28:9,11</p>
		<p style="text-align: center;"><b>Z</b></p> <p><b>Zaurus</b> 13:10  <b>zeroed</b> 133:22  <b>zones</b> 30:3</p>	
		<p style="text-align: center;"><b>\$</b></p> <p><b>\$250</b> 20:2</p>	
		<p style="text-align: center;"><b>#</b></p> <p><b>#2F</b> 5:2</p>	
		<p style="text-align: center;"><b>0</b></p> <p><b>0x4</b> 207:19 214:5  <b>0x4c4814</b> 211:8  <b>0x8</b> 215:5  <b>00008</b> 205:11  <b>01SA4974309</b> 234:23  <b>029</b> 215:16  <b>04</b> 207:18 209:7,9          210:2  <b>06</b> 216:21  <b>09-cv-11813-DPW</b>          1:3 5:18</p>	
		<p style="text-align: center;"><b>1</b></p> <p><b>1</b> 3:12 4:5 7:20,22          32:11 57:25 58:1          63:17 64:1 92:24          96:25 97:5 101:15          122:22 130:7,11,15          131:8 132:7,18</p>	
	<p style="text-align: center;"><b>X</b></p> <p><b>X</b> 3:2,8 4:1  <b>x86</b> 66:20,22 67:2          69:24 70:3,6,11          72:5 103:6,10,20          104:7,14,19 206:17          206:19 207:24          218:13 221:1,2,11</p>		

30:16 32:14,21,22 32:25 33:1,9,15,20 34:4 37:22 80:15 81:4 <b>14</b> 144:20 <b>140</b> 129:25 <b>15</b> 88:3 90:25 91:13 108:10 113:23 114:13 115:21 128:19 149:22,25 <b>16</b> 129:11 144:4,22 <b>160</b> 152:19 <b>161</b> 152:22 153:6,13 153:15 154:21 155:1 156:2,12,18 156:23 157:6,9,19 157:21 <b>164</b> 3:18,19 <b>17</b> 3:13,14 146:18,25 147:22 148:24 149:15 183:5 <b>17th</b> 33:2,11 34:8,12 37:3 46:16 53:9,17 55:18 59:18 63:7,10 65:19 66:7,24 68:22 68:25 69:2 70:15 80:24 163:1 201:1,5 217:21,23 <b>18</b> 79:15,17 <b>197</b> 3:20 <b>1982</b> 9:4 <b>1990</b> 8:25 9:12 <b>1996</b> 229:24 <b>1998</b> 80:1	<b>2nd</b> 229:24 <b>2:05</b> 134:16 <b>2:38</b> 150:14 <b>2:52</b> 150:18 <b>20</b> 78:4 85:18 <b>200</b> 20:2 <b>20006</b> 2:9 <b>2001</b> 9:25 19:23 <b>2009</b> 8:21 <b>201</b> 130:11 137:14 <b>2010</b> 1:19 5:9 233:5 233:22 <b>202</b> 2:11 137:15 <b>2020</b> 2:8 <b>203</b> 3:21 130:11 137:14 <b>204</b> 131:17 137:16 <b>206</b> 131:2 137:16 <b>208</b> 130:16 <b>209</b> 131:9,17 <b>21</b> 16:25 17:1 85:10 <b>210</b> 132:7,16 <b>2109345</b> 234:24 <b>212</b> 2:6 <b>223</b> 3:22 <b>229</b> 3:23 <b>23</b> 3:15 58:4 161:23 161:24 <b>239</b> 3:6 <b>24</b> 63:24 66:6,8 67:1 <b>246</b> 4:5 <b>25</b> 3:16 72:8,9 108:20 <b>25th</b> 65:12,15 202:7 202:16 203:1 <b>250</b> 4:6,7 21:13 <b>26</b> 108:20 <b>265</b> 3:5 <b>272269</b> 192:17 <b>27269</b> 170:16 <b>27270</b> 170:19 187:23 <b>29</b> 145:18 <b>2935</b> 226:15	184:25 186:15 191:7 196:10,14 210:11,18 226:7 <b>3:38</b> 178:7 <b>3:57</b> 178:12 <b>30</b> 1:18 2:3 5:12 85:9 85:18 145:18 146:7 <b>31</b> 184:25 191:7 <b>35</b> 185:1 <b>36</b> 209:5 <b>37</b> 60:16 <b>371</b> 199:5 <b>373-6672</b> 2:11	137:10,18 138:16 161:13 171:5 172:14 175:5 176:25 182:12 183:25 184:8 <b>43</b> 194:2,9,14,17,24 194:25 <b>46</b> 123:20 191:8
<hr/> <b>2</b> <hr/> 2 3:13 4:6 17:19,23 29:25 63:21 82:4 85:9,17 87:25 99:7 108:22,25 109:25 110:12,23 123:19 131:9,15,22 142:1 145:9,20 158:25 163:17 168:22 179:12 209:5 228:21 229:1 <b>2A</b> 129:22,25 151:20 <b>2B</b> 152:18 159:15,16	<hr/> <b>3</b> <hr/> 3 3:14 4:7 17:19 21:15,24 85:10,18 90:24 108:9 109:6 110:16 161:21	<hr/> <b>4</b> <hr/> 4 3:15 23:8,9,13 54:13 134:16 178:8 194:23 195:24 202:13 204:23 208:2,11,20 209:4 214:2,6,13 216:5 228:2 <b>4c4814</b> 210:25 211:14 <b>4:15</b> 228:8 <b>4:40</b> 203:9 <b>4:54</b> 203:13 <b>40</b> 9:20 129:22 <b>401a</b> 212:12 <b>401000</b> 207:17 209:16 210:5 <b>401003</b> 210:6 <b>40101a</b> 212:12 216:15 <b>40101d</b> 212:17 213:9 <b>40101f</b> 213:16 214:3 216:4 <b>401010</b> 210:23 <b>40102c</b> 212:15 215:23 <b>401022</b> 214:16 215:10 <b>401029</b> 213:8 <b>408-2563</b> 2:6 <b>41</b> 207:18 209:7,9 210:2 <b>42</b> 123:19,20 135:20 135:23 136:17,25	<hr/> <b>5</b> <hr/> 5 3:16 25:7,11,11 94:8 154:3 156:20 178:12 <b>5th</b> 8:19 <b>5:43</b> 232:11 <b>5:45</b> 228:8 <b>51</b> 140:5,10 <b>522</b> 171:23 <b>552</b> 3:17 30:17 80:6 81:22 82:2 102:9,12 122:10,11 123:11 123:12 124:25 128:20 134:19 144:16 165:21 230:1,9,22 231:1 <b>59</b> 141:25
			<hr/> <b>6</b> <hr/> 6 3:5,17 81:23 191:4 203:13 <b>6th</b> 228:6 <b>6:03</b> 232:15 <b>61</b> 85:11 <b>62</b> 96:21 97:1,5,6 101:16 <b>63</b> 99:8 <b>64</b> 122:22 <b>65</b> 99:8 <b>67</b> 97:1,6 101:16 <b>68</b> 25:14
			<hr/> <b>7</b> <hr/> 7 3:12,18 92:16 94:8 164:3,7,8,11 165:7 170:11 192:17 232:15 <b>70</b> 220:18 <b>713</b> 3:23 228:22

229:1,4,16,18 230:9  
230:18,23

---

**8**

---

**8** 3:19 164:3,7,9,25

209:5 215:5

**8b** 207:18 209:7,9

210:2

**8:30** 27:23

**81** 3:17

**83** 9:5

**84** 9:8

**85** 9:8

---

**9**

---

**9** 1:19 3:20 5:9 24:8

88:9 94:8 108:20

197:23 199:9 201:7

202:11 205:10,18

205:19

**9th** 22:13

**9:21** 1:19 5:10

**9:30** 27:19

**90** 5:2