

EXHIBIT A

UNITED STATES DISTRICT COURT
 DISTRICT OF MASSACHUSETTS
 SKYHOOK WIRELESS, INC.,
 Plaintiff,
 vs. Case No.: 1:10-cv-11571-RWZ
 GOOGLE, INC.,
 Defendant.

GOOGLE, INC.,
 Counterclaim-Plaintiff,
 vs.
 SKYHOOK WIRELESS, INC.,
 Counterclaim-Defendant.

VIDEOTAPED DEPOSITION OF ANTHONY S. ACAMPORA, Ph.D.
 San Diego, California
 Thursday, September 22, 2011
 Volume 1

Reported by:
 Claire A. Wanner
 CSR No. 12965, RPR
 Job No. 172844

1 APPEARANCES:
 2
 3 For Plaintiff:
 4 Irell & Manella LLP
 5 BY: SAMUEL K. LU, ESQ.
 6 BY: LINA F. SOMAIT, ESQ.
 1800 Avenue of the Stars, Suite 900
 Los Angeles, California 90067
 310.277.1010
 7
 8 For Defendant:
 9 Bingham McCutchen LLP
 10 BY: ROBERT C. BERTIN, ESQ.
 2020 K Street NW
 Washington, DC 20006
 202.373.6000
 11
 12 Also Present: Daniel Payan, Videographer
 13 Mark Zavislak, Google
 Jennifer Polse, Google

1 UNITED STATES DISTRICT COURT
 2 DISTRICT OF MASSACHUSETTS
 3 SKYHOOK WIRELESS, INC.,
 4 Plaintiff,
 5 vs. Case No.: 1:10-cv-11571-RWZ
 6 GOOGLE, INC.,
 7 Defendant.

8 GOOGLE, INC.,
 9 Counterclaim-Plaintiff,
 10 vs.
 11 SKYHOOK WIRELESS, INC.,
 12 Counterclaim-Defendant.

13
 14
 15
 16
 17 Videotaped deposition of ANTHONY S. ACAMPORA,
 18 Ph.D., Volume 1, taken on behalf of Plaintiff, at
 19 402 West Broadway, Suite 900, San Diego, California,
 20 beginning at 9:07 a.m., and ending at 5:41 p.m. on
 21 Thursday, September 22, 2011, before Claire A. Wanner,
 22 Certified Shorthand Reporter No. 12965, RPR.
 23
 24
 25

1 I N D E X
 2 WITNESS: Anthony S. Acampora, Ph.D.
 3
 4 EXAMINATION PAGE
 5 BY MR. LU 7
 6
 7 E X H I B I T S
 8 MARKED FOR PLAINTIFF PAGE
 9 Exhibit 1 Document entitled "United States Patent No.: US 7,414,988 B2" 8
 10 dated August 19, 2008; 20 pages
 11 Exhibit 2 Document entitled "United States Patent No.: US 7,433,694 B2" 8
 12 dated October 7, 2008; 20 pages
 13 Exhibit 3 Document entitled "United States Patent No.: US 7,305,245 B2" 8
 14 dated December 4, 2007; 20 pages
 15 Exhibit 4 Document entitled "United States Patent No.: US 7,474,897 B2" 8
 16 dated January 6, 2009; 14 pages
 17 Exhibit 5 Document entitled "Declaration of Anthony S. Acampora., PH.D." 8
 18 dated September 14, 2011;
 19 66 pages
 20 Exhibit 6 Document entitled "Exhibit 1" 8
 21 dated September 14, 2011;
 22 48 pages
 23 Exhibit 7 Document entitled "Declaration Of Susan Baker Manning In Support of Google Inc.'s Motion For Summary Judgment Of Indefiniteness And, In The Alternative, Opening Claim Construction Brief" dated September 14, 2011; six pages
 24
 25

1 (Index Continued)
 2 Exhibit 8 Document entitled "Exhibit 7" 72
 3 dated September 14, 2011; four
 4 pages
 5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 5

1 San Diego, California; Thursday, September 22, 2011
 2 9:07 a.m. - 5:41 p.m.
 3
 4 THE VIDEOGRAPHER: Good morning. Here begins
 5 Media No. 1 of the deposition of Anthony S. Acampora,
 6 Ph.D., in the matter of Skyhook Wireless, Incorporated,
 7 versus Google, Incorporated.
 8 This case is in the United States District
 9 Court, District of Massachusetts, and the civil action
 10 number is 1;10-CV-11571-RWZ.
 11 Today's date September 22nd, 2011. The time
 12 is 9:08 a.m. This deposition is taking place at
 13 Sarnoff, 402 West Broadway, Suite 900, San Diego,
 14 California 92101. This deposition is taken on behalf
 15 of the plaintiffs. The videographer is Daniel Payan,
 16 appearing on behalf of Sarnoff Court Reporters & Legal
 17 Technologies, located in San Diego, California.
 18 All present, please take notice that as a part
 19 of videotaping of this deposition, very sensitive
 20 high-quality microphones are being used. If anyone
 21 present wishes to make a statement off the record, they
 22 should state that they are going off the record and
 23 gain concurrence from all parties. The videographer
 24 will then stop recording. All recorded comments made
 25 by anyone present during this deposition will be

Page 6

1 assumed to be on the record and will be transcribed.
 2 Would counsel at this time please identify
 3 yourselves and state whom you represent.
 4 MR. LU: Samuel Lu of Irell & Manella for
 5 Skyhook Wireless.
 6 MS. SOMAIT: Lina Somait, Irell & Manella for
 7 Skyhook Wireless.
 8 MR. BERTIN: Robert Bertin with
 9 Bingham McCutchen for Google.
 10 MS. POLSE: Jennifer Polse of Google.
 11 MR. ZAVISLAK: And Mark Zavislak of Google.
 12 THE VIDEOGRAPHER: Thank you. At this time
 13 the court reporter may swear in the witness.
 14
 15 ANTHONY S. ACAMPORA, PH.D.,
 16 having been administered an oath, was examined and
 17 testified as follows:
 18
 19 EXAMINATION
 20 BY MR. LU:
 21 Q. Good morning, Dr. Acampora.
 22 A. Good morning.
 23 MR. LU: Before we begin, I think I want to
 24 take care of a little bit of housekeeping. I have some
 25 exhibits that I'd like to mark.

Page 7

1 Exhibit 1 will be U.S. Patent No. 7414988.
 2 (Exhibit 1 was marked.)
 3 MR. LU: Exhibit No. 2 will be U.s. Patent No.
 4 7433694.
 5 (Exhibit 2 was marked.)
 6 MR. LU: Exhibit No. 3 will be U.S. Patent No.
 7 7305245.
 8 (Exhibit 3 was marked.)
 9 MR. LU: Exhibit No. 4 will be U.S. Patent No.
 10 7474897.
 11 (Exhibit 4 was marked.)
 12 MR. LU: Exhibit No. 5 will be a document
 13 entitled, "Declaration of Anthony S. Acampora, Ph.D."
 14 (Exhibit 5 was marked.)
 15 MR. LU: And Exhibit 6 will be a document that
 16 is labeled Exhibit 1, which I will represent to you is
 17 an Exhibit 1 attached to the declaration of
 18 Anthony S. Acampora, Ph.D.
 19 (Exhibit 6 was marked.)
 20 BY MR. LU:
 21 Q. So Dr. Acampora, could you please state your
 22 full name for the record?
 23 A. Anthony Acampora.
 24 Q. And what is your present business address?
 25 A. I have two. I'm professor of electrical

Page 8

1 one of the defendants in one of these
 2 multiple-defendant matters. But I was not engaged by
 3 Google, as near as I can recall, in any of these
 4 matters.
 5 Q. So in connection with this matter, how much
 6 are you being paid?
 7 A. \$600 an hour, which is my customary rate.
 8 Q. And to date how much have you billed?
 9 A. Ballpark estimate, about \$20,000. That might
 10 be a little bit on the high side, but that's -- that's
 11 probably a good estimate.
 12 Q. Okay. Ballpark, what percentage of your total
 13 annual income comes from consulting as an expert
 14 witness?
 15 A. Well, that's varied over the years. But if
 16 you're asking --
 17 Q. Presently.
 18 A. -- presently, 60 to 70 percent.
 19 Q. And how much have you made over the past two
 20 years in terms of expert witness consulting --
 21 ballpark?
 22 A. Well, I don't want to assume that you mean
 23 paid to my consulting company as opposed to paid to me
 24 in salary from my consulting company.
 25 Q. Well, how is your consulting company

Page 17

1 structured, first of all?
 2 A. It's a C corporation.
 3 Q. Okay. And who's the owner of the consulting
 4 company?
 5 A. My wife and I are co-owners.
 6 Q. So let's break that question into two.
 7 How much money has your corporation received
 8 in the past two years from expert consulting work that
 9 you have done?
 10 A. Ballpark figure, it's probably been 1.5 to
 11 \$1.6 million.
 12 Q. And that's for both --
 13 A. That's over a two-year period.
 14 Q. -- over a two-year period?
 15 A. Yes.
 16 Q. Okay. And how much have you been paid
 17 personally from your corporation for the work that
 18 you've done on behalf of that corporation relating to
 19 expert consulting work?
 20 A. Okay. So I'm taking that question to mean,
 21 what was I paid in salary from my corporation over the
 22 past two years. And it's probably in the range of
 23 \$600,000.
 24 Q. How much has your wife been paid from the
 25 corporation over the past two years?

Page 18

1 A. Under \$100,000.
 2 Q. So please describe what you've done since
 3 you've been retained by Mr. Bertin's firm in connection
 4 with this litigation?
 5 MR. BERTIN: I'm just going to state on the
 6 record that Dr. Acampora is being offered as a witness
 7 on claim construction.
 8 MR. LU: So noted.
 9 THE WITNESS: I read the patents. I read the
 10 prosecution history. I had numerous telephone
 11 conversations with Mr. Bertin. I attended a
 12 face-to-face meeting with Mr. Bertin and Mr. Lebar, and
 13 I prepared my report -- my declaration.
 14 BY MR. LU:
 15 Q. Okay. Did you speak to any individuals other
 16 than the employees at Google and the employees at
 17 Bingham that you've identified today in connection with
 18 your work on this matter?
 19 A. No.
 20 Q. Did you do any prior art searches in
 21 connection with your work on this matter?
 22 A. No.
 23 Q. Did you look at any Google products or
 24 services in connection with your work on this matter?
 25 A. No.

Page 19

1 Q. Did you look at any Skyhook products or
 2 services in connection with your work on this matter?
 3 A. No.
 4 Q. Have you heard of the patent in suit prior to
 5 your work on this matter?
 6 A. No.
 7 Q. Had you heard of Skyhook Wireless prior to
 8 your work on this matter?
 9 A. No.
 10 Q. Had you -- were you aware of Google location
 11 services prior to your work on this matter?
 12 A. In passing, yes.
 13 Q. And what do you mean by "in passing"?
 14 A. Well, I know that they're -- as an example, on
 15 my iPhone I could -- or on my laptop -- I can certainly
 16 go to Google map. But my awareness of any location
 17 services that might be provided by Google are -- are --
 18 are -- are really not any deeper than that.
 19 Q. Now, Dr. Acampora, I assume that you received
 20 a copy of a subpoena asking you to appear here today
 21 for the deposition, correct?
 22 A. I was aware there was such a subpoena, and I
 23 actually saw that subpoena yesterday.
 24 Q. And were you -- did you see a second
 25 subpoena -- or perhaps the same subpoena -- directed

Page 20

1 towards asking you to produce documents in connection
 2 with your expert declaration?
 3 A. I did yesterday. But I was informed
 4 earlier -- I believe it was earlier this week -- that I
 5 was to produce all of the material I relied on, which I
 6 did.
 7 Q. Okay. And what were the -- the materials that
 8 you relied on, those were produced to Mr. Bertin?
 9 A. I believe so.
 10 MR. LU: Okay. And Mr. Bertin, I just want a
 11 representation that all of materials that Dr. Acampora
 12 relied upon were, in fact, produced to us as part of, I
 13 guess, the declaration of Susan Baker Manning.
 14 MR. BERTIN: Yes. That's -- that's correct.
 15 BY MR. LU:
 16 Q. Okay. Have you seen the declaration of
 17 Susan Baker Manning?
 18 A. I did.
 19 Q. And were there any materials that you relied
 20 upon that were not in the declaration of
 21 Susan Baker Manning?
 22 A. Oh, I would need to look at that declaration
 23 to answer that question.
 24 Q. Sure. We'll pull that out.
 25 Were there documents -- did you look at any of

1 and let me know if there are any documents that you
 2 relied upon in connection with your expert report --
 3 your expert declaration -- excuse me -- that are not
 4 listed in this declaration.
 5 A. I believe that the answer to your question is
 6 no. But to totally confirm that, I would need to look
 7 at my own declaration to see if there's any -- and --
 8 and do some sort of a -- a cross check between what's
 9 in my declaration and what's in Ms. Manning's
 10 declaration.
 11 Q. Okay. But sitting here right now, you not
 12 aware of anything that's listed in -- that's listed in
 13 your expert report? Well, let me strike that question.
 14 So your expert report lists all of the
 15 materials that you relied upon in the preparation of
 16 that expert report?
 17 A. I believe that's the case.
 18 Q. Okay. And just to make things clear, since
 19 both of us have been referring to your expert
 20 declaration --
 21 A. Declaration.
 22 Q. -- as an expert report, for the purposes of
 23 this deposition, if we refer to your expert report, it
 24 will be understood that we're referring to your expert
 25 declaration, Exhibit No. 5.

1 the dictionary definitions that were provided by
 2 Skyhook as part of the claim construction process?
 3 A. Not that I can recall.
 4 Q. Okay. So I'd like to have marked as
 5 Exhibit No. 7 the declaration of Susan Baker Manning --
 6 A. Can I back up for a second just to be sure
 7 that I answered that previous question correctly?
 8 I'm assuming you mean as part of the
 9 preparation of my declaration? Answer: No. Have I
 10 seen -- have I subsequently seen dictionary definitions
 11 that were produced by Skyhook? Probably, because I did
 12 look at Skyhook's claim construction brief after it was
 13 filed.
 14 Q. But prior to the filing of your declaration,
 15 you did not review any of the dictionary definitions
 16 provided or produced by Skyhook in this litigation?
 17 A. That's correct.
 18 MR. LU: Do we have the exhibit stickies?
 19 There you go.
 20 BY MR. LU:
 21 Q. So Dr. Acampora, I've put before you
 22 Exhibit No. 7, which is the declaration of
 23 Susan Baker Manning.
 24 (Exhibit 7 was marked.)
 25 MR. LU: Take a few moments to review this,

1 A. That's fine.
 2 Q. Okay. Did you review any legal cases in
 3 connection with the preparation of your expert
 4 declaration?
 5 A. Any legal cases?
 6 Q. Any case law?
 7 A. Did I -- did I personally review case law?
 8 Q. Correct.
 9 A. No. I was provided with instructions with
 10 regard to claim construction that reproduced in my
 11 declaration. And they may be referenced -- I -- I
 12 would need to double-check. There may be reference to
 13 case law there. There may not be, but I was provided
 14 with instructions. I did not personally review any
 15 case law --
 16 Q. Okay.
 17 A. -- with regard to the preparation of my
 18 declaration.
 19 Q. So let's turn to your declaration for a
 20 moment. That's Exhibit No. 5. Whether you want to
 21 refer to the declaration you have in front of you or
 22 the actual exhibit --
 23 A. This one appears to be less unwieldy.
 24 Q. Fair enough.
 25 So I'd like you to turn to page 26, which is

1 A. Range might be one. Wireless local area
 2 networks or the range on an access point was not
 3 necessarily intended to be beyond -- much beyond a few
 4 hundred feet, or cellular systems might have ranges
 5 that -- well, they may be that small. Some base
 6 stations may have a range that extend to -- that may
 7 extend to several miles. That's one type of
 8 difference. They operate in accordance with different
 9 standards. They use different parts of electromagnetic
 10 spectrum. They use different modulation and coding
 11 techniques. They have different design objectives.
 12 Q. What do you mean by "different design
 13 objectives"?
 14 A. Availability, quality of service -- things of
 15 this type.
 16 Q. What do you mean by "availability"?
 17 A. One of the issues that we face in wireless
 18 communications is the fact that the signal strength is
 19 not constant. Signal strength can fluctuate for a
 20 variety of reasons. Line-of-sight blockage, multipath
 21 propagation, movement of client devices.
 22 Cellular systems, for the most part, are
 23 designed with a higher availability requirement than a
 24 wireless, local area network might be. Cellular
 25 systems are designed so that there's a certain quality

Page 37

1 of service guarantee. As an example, for basic
 2 cellular telephony, there are two things that we need
 3 to be concerned with. What is the likelihood that you
 4 try to place a cell call and the call doesn't go
 5 through because there wasn't a circuit available? And
 6 you need to be sure that the cellular system is
 7 designed so that that does not happen more than some
 8 specified fraction of the time. You need to be sure
 9 the call is not dropped because the user moves out of
 10 range of coverage and can't be picked up by a
 11 surrounding cell tower. Or the signal might be
 12 dropped -- blocked or dropped because the signal
 13 strength simply fades below some floor. You need to
 14 guarantee a certain call-blocking rate for wireless
 15 local area networks. These may or may not be design
 16 criteria.
 17 Some wireless networks -- my at-home
 18 network -- I bought a wireless router, and I installed
 19 it. And it's giving me pretty good service. I'm the
 20 only one using it, but -- so I don't need to be worried
 21 about the air link becoming clogged because there's too
 22 much demand for a juice. I'm the only user. But in
 23 terms of -- of coverage, parts of my house have great
 24 coverage, and other parts of my house where I have no
 25 signal. In a cellular system, that would probably not

Page 38

1 be acceptable. I would need to do something to fix
 2 that.
 3 Q. Okay. Any other differences between cellular
 4 systems?
 5 A. Well, we can spend all afternoon -- or all
 6 morning and all afternoon talking about that, if you
 7 want. So how much detail do you want to get down to?
 8 Q. Well, what are the other major differences, in
 9 your view?
 10 A. I already mentioned that they use different
 11 parts of the spectrum. They're deployed with different
 12 objectives in mind, different service quality
 13 objectives, different modulation and coding techniques,
 14 different capacities.
 15 How much more detail do you want to get into?
 16 I can take any one of these topics and take you down to
 17 the next plateau.
 18 Q. Well, let's ask about some -- let's ask about
 19 some differences that I had in mind.
 20 Who controls the cell towers?
 21 A. I'm not sure what you mean by "control."
 22 Q. Well, when you -- when you install a cell
 23 tower, who does the installation there?
 24 A. I'm still not quite sure what you mean.
 25 Q. If I'm -- if I've got a Verizon -- Verizon

Page 39

1 phone, and I'm connecting to a Verizon -- a cell tower,
 2 who owns that cell tower?
 3 MR. BERTIN: Object to form.
 4 THE WITNESS: Okay.
 5 BY MR. LU:
 6 Q. Let me phrase --
 7 A. I -- I --
 8 Q. Let me phrase it to you a little differently.
 9 So there's a cell tower infrastructure that a
 10 particular network provider creates, correct, or
 11 builds?
 12 A. I -- I think I understand what you're asking.
 13 I think the answer is yes, but why don't I let you go
 14 on to see where it's -- where it's going.
 15 Q. Okay.
 16 A. And if I need to correct what you said, I
 17 will.
 18 Q. All right. So a particular network
 19 provider -- let's use Verizon as an example -- would
 20 have a -- would have cell towers that it controls,
 21 correct?
 22 A. I'm -- I'm -- I'm -- I -- I think the answer
 23 to the question as you're -- as I'm interpreting
 24 "control," I think the answer to the question is -- is
 25 yes, but --

Page 40

1 A. No.
 2 Q. Quick question, Dr. Acampora. Can you read
 3 source code?
 4 A. No.
 5 Q. Can you write source code?
 6 A. No.
 7 Q. Do you have any computer programming
 8 experience?
 9 A. Well, that's not a simple yes or no.
 10 I certainly programmed in the past. My
 11 students all program, and I supervise their work. So I
 12 guess the answer is, yes, I have had experience in
 13 writing programs in my past.
 14 Q. When you say in your past writing programs --
 15 A. I -- I think that was your question.
 16 Q. That was my question.
 17 A. Yes.
 18 Q. My -- my question is, when you say that you
 19 have experience writing programs in the past, are you
 20 talking about putting hands -- fingers to keys on
 21 keyboard, as you used that terminology previously
 22 today?
 23 A. I've done that.
 24 Q. Okay. And how long ago was that?
 25 A. Long time ago.

1 A. Yes.
 2 Q. And how -- that was not source code because it
 3 was in Basic or some other --
 4 A. Yes. I was it was in programming language,
 5 not source code, correct.
 6 Q. Okay. Do you have any database programming
 7 experience?
 8 A. I'm not sure what you mean by that. I've got
 9 experience with databases.
 10 Are you asking whether I've written source
 11 code to create database or to operate a database? The
 12 answer is no.
 13 Q. Do you have any experience in programming
 14 servers?
 15 A. Well, I'm going to ask you to clarify that
 16 question. I'm not sure what you mean by "programming
 17 servers." I gave you my programming experience before.
 18 If the computers upon which my programs
 19 execute are servers, then the answer to the question is
 20 yes.
 21 Q. Okay. But you have no experience writing
 22 source code that is used to operate a server?
 23 A. That is correct.
 24 Q. I'm going to put before you what I'm going to
 25 mark as Exhibit 8, which, to confuse things, is

1 Q. When you say long time ago, are we talking
 2 '80s? '90s? '70s? Punch cards?
 3 MR. BERTIN: Object to form.
 4 THE WITNESS: All of the above.
 5 BY MR. LU:
 6 Q. Okay. So when was the last time you had --
 7 where you wrote source code for a computer program?
 8 A. I don't know if I've ever written source code.
 9 That wasn't the question you asked.
 10 Q. What was -- what was the programming
 11 experience that you had? What did you write?
 12 A. Mostly computer simulations and, in some
 13 cases, actual formulas that were needed to produce
 14 numerical results at the end of a fairly extensive
 15 theoretical analyses.
 16 Q. So we're talking about something like math lab
 17 -- MATLAB?
 18 A. I've used MATLAB.
 19 Q. When you said "putting together formulas," are
 20 you referring to the use of MATLAB?
 21 A. Well, no. I actually wrote my own programs
 22 specifically to compute what needed to be computed.
 23 I've also used MATLAB, but less often.
 24 Q. And when you refer to simulations, that also
 25 referred to writing programs?

1 Exhibit 7 from the declaration of Lina Somait in
 2 support of Skyhook's claim construction brief.
 3 (Exhibit 8 was marked.)
 4 BY MR. LU:
 5 Q. I'd like you to refer to the definition of
 6 triangulation in this dictionary definition, which is
 7 from the American Heritage Science Dictionary. And it
 8 reads, for triangulation: "The method of determining
 9 the relative position of points in space by measuring
 10 the distances and sometimes angles between those points
 11 and other reference points whose positions are known.
 12 Trigo- -- triangulation often involves the use of
 13 trigonometry."
 14 Do you see that?
 15 A. I do.
 16 Q. Is that definition of triangulation consistent
 17 with your definition of triangulation provided in your
 18 expert declaration?
 19 A. I think this definition is a subset of my
 20 definition.
 21 Q. So your definition requires the formation of
 22 triangles; is that correct?
 23 A. Well, again, with the understanding that if
 24 we're dealing in three dimensions, these are
 25 generalized triangles that have four vertices.

1 Second problem, same nature, is the Chinese
 2 postman problem. Here, I've got a graph defined by
 3 edges. And what I want to do is ensure that I drive
 4 along each edge -- I cover each edge in such a way that
 5 the total distance that I've driven is as small as
 6 possible.
 7 Q. And what do you mean that it's an
 8 optimization?
 9 A. The problems of this type are known as
 10 optimization problems in the field.
 11 Q. Why are they optimization problems?
 12 A. Well, notice I said I'm trying to minimize the
 13 distance covered. So I'm optimizing my route to
 14 achieve some objective function. The objective in this
 15 case being to minimize the distance traveled. And
 16 there's a cost associated with -- in the Chinese
 17 postman example, there's a cost associated with each --
 18 with each link, namely its distance. When I drive this
 19 link, I've accrued a certain distance. What I'm trying
 20 to do is add up all those distances so that the total
 21 is as small as possible but such that the subject can
 22 be restrained at each edge -- is covered at least once.
 23 I can cover an edge twice in order to minimize the
 24 distance, but each edge has to be covered at least
 25 once, and I need to cover -- I need to do that in the

Page 109

1 shortest distance total.
 2 Q. But one could drive other routes that cover
 3 each edge at least once but that don't minimize the
 4 distance, correct?
 5 MR. BERTIN: Object to form. Mischaracterizes
 6 testimony.
 7 THE WITNESS: Well, are you saying can I drive
 8 some other route that covers each edge but doesn't
 9 minimize the distance?
 10 I suppose that one could do that, but that's
 11 not what's in the patent. Because if you were to do
 12 that -- one way to do it is -- just to make up an
 13 example -- just take Manhattan, rectangular streets and
 14 avenues. And first, just drive up and down each street
 15 when you get to the -- to the edge of Manhattan Island.
 16 You know, make a right turn, go to the next street,
 17 drive down, so forth and so on. When you get to the
 18 northernmost boundary, repeat the process by now
 19 driving the avenues of, first, north to south and south
 20 to north and complete the process. Except along the
 21 way, for whatever reason, I decide I'm going to
 22 backtrack and cover three streets 10 times.
 23 Now, using your criteria, I would have covered
 24 every edge, but that would be contrary to what's
 25 actually taught in the patent. Because if I were to do

Page 110

1 that -- remember the purpose of driving in this
 2 deliberate fashion in the patent is to scan for WIFI
 3 access points, and I would have recorded the same
 4 access points more times along the streets that I drove
 5 multiple times. And that would not accomplish the
 6 objective of creating a more accurate database.
 7 Remember here, you need to cover each street at least
 8 once, but try to cover each street the fewest time in
 9 order to ensure that you've got each street covered
 10 once, and you haven't spent, you know, three days
 11 driving up and down in order to collect the data. So
 12 the -- the patent was pretty deliberate in terms of --
 13 of disclosing how to accomplish this routing for the
 14 purposes of scanning the WIFI database -- the WIFI
 15 access points.
 16 BY MR. LU:
 17 Q. So that alternative route would be a
 18 nonoptimized route, correct?
 19 A. The alternative route would -- would not have
 20 accomplished the objectives of the invention; that's
 21 correct. And if that's what you mean by not optimized,
 22 then it would not be optimized.
 23 Q. Well, I'm referring to optimized in terms of
 24 what you've been referring to optimized, which is
 25 minimizing the distance that's covered.

Page 111

1 A. In this case, in the case of this patent, that
 2 is a criteria.
 3 Q. And so a --
 4 A. That's what the Chinese postman problem --
 5 that's the problem the Chinese postman solution
 6 addresses.
 7 Q. And so there are other routes that do not
 8 drive a Chinese postman route that would cover each and
 9 every street and each and every corner, but it would
 10 not be optimized because the distance covered would be
 11 greater than the Chinese postman algorithm route?
 12 A. It -- it -- it's worse than that. It's not
 13 just the distance wouldn't be minimized, but it's also
 14 the fact that you will have covered -- you may have
 15 covered each street many times, which you don't want do
 16 if -- if -- you don't want to do unnecessarily because
 17 that will introduce errors that the invention, if any,
 18 is intended to avoid.
 19 Q. I'd like you to turn to page 7 and paragraph
 20 22. Second sentence says: "The scanning is performed
 21 using a Chinese postman format to drive each street a
 22 minimum number of times and preferably only once to
 23 avoid introducing a bias towards certain streets."
 24 Do you see that?
 25 A. I do.

Page 112

1 expert reports have you submitted in connection with
 2 claim construction in your role as an expert witness?
 3 A. Rough estimate, 20.
 4 Q. So do you have an understanding of the process
 5 of construing a claim in a court in a patent case?
 6 A. Well, I don't know. And the reason I don't
 7 know is in each and every instance among these
 8 approximately 20, including the current matter, I was
 9 provided with a set of instructions that I was asked to
 10 follow in performing my opinions. Those instructions I
 11 understand.
 12 Whether they conform to some other criteria
 13 that you're alluding to, I'm not a lawyer. I don't
 14 know. But I do know the instructions that I was
 15 provided with, and I'd be happy to tell you what they
 16 are. And these are the -- these are the instructions
 17 that I followed in forming my opinions.
 18 Q. So you don't have any formal legal training in
 19 patent law?
 20 A. That's correct.
 21 Q. Okay. And as you mentioned, you are not a
 22 lawyer. You have no JD?
 23 A. That's correct.
 24 Q. So where are the instructions that you
 25 followed for the purposes of the -- of construing the

Page 129

1 claims in this particular case?
 2 A. They begin on page 26 of my declaration.
 3 Q. That's the section that's labeled "claim
 4 construction principles"?
 5 A. That's correct.
 6 Q. And where do they end?
 7 A. They appear to end on page 28. But without
 8 reviewing the entire document, I don't know if there
 9 may be any other claim construction principles
 10 appearing elsewhere in my declaration. There are none
 11 that I'm aware of right now. I believe they're all
 12 contained in these four pages.
 13 Q. Other than the claim construction
 14 principles --
 15 A. Three pages.
 16 Q. Sorry.
 17 Other than the claim construction principles
 18 that are listed in pages 26, 27, and 28, and what may
 19 appear elsewhere in your declaration, were you provided
 20 any other instructions regarding how claim construction
 21 is to proceed?
 22 A. Not to apply a -- a term that is sprinkled
 23 throughout my report of indefinite -- but I find your
 24 question indefinite.
 25 What time frame would you be referring to now?

Page 130

1 Q. In preparing your report, were you provided
 2 any principles of claim construction that are not
 3 articulated in your report or declaration, including
 4 the claim construction principles listed on page 26,
 5 27, and 28?
 6 A. Okay. There may have been some additional
 7 discussion with regard to claim construction principles
 8 that I was exposed to as I was preparing my
 9 declaration. But the principles that I applied are
 10 contained on these three pages.
 11 Q. Do you recollect what other principles you may
 12 have been exposed to that would not be listed on these
 13 three pages or anywhere else in your declaration?
 14 A. Not that I can recall.
 15 Q. As an expert, what do you understand -- strike
 16 that.
 17 What do you understand your role as an expert
 18 to be in the claim construction process?
 19 MR. BERTIN: Object to form.
 20 THE WITNESS: I believe that it's my role to
 21 offer an opinion as to how one of skill in the art
 22 would view the proper construction -- or would view the
 23 proper construction of these claims to be -- a person
 24 of skill in the art at the time of the inventions. Who
 25 would that person be and how would that person

Page 131

1 understand these claims.
 2 BY MR. LU:
 3 Q. Now, you have not applied claim construction
 4 principles in construing these claim terms that are not
 5 listed either on pages 26, 27, and 28 of your expert
 6 report or elsewhere in your declaration; is that
 7 correct?
 8 A. I -- I -- I don't know if that's the same
 9 question or a different question than one or two that
 10 you asked already. It sounded like exactly the same
 11 question. So what I'm going to do is take a couple of
 12 minutes just to read these three pages and then respond
 13 to that question.
 14 By the way, in reading, I immediately see
 15 that -- you asked what my understanding is of the role
 16 of an expert with regard to claim construction, and I
 17 mentioned that -- to the effect that my role is to
 18 offer opinions as to how one of skill in the art would
 19 understand these claims, what it would mean to a person
 20 of skill in the art.
 21 But I need to modify that. I'm looking at
 22 paragraph 59 of my report. "Unless the terms have been
 23 given a special meaning in the patent or related
 24 documents, such as the prosecution history." So there
 25 may be some understanding of how -- of what these

Page 132

1 phrases would mean to one of skill in the art. But
 2 they may have been given a different interpretation or
 3 special meaning in the patent or related documents. So
 4 in such case -- in such cases, it would be my role as
 5 an expert to identify that as well.
 6 No.
 7 Q. So all of the principles for claim
 8 construction that you have relied upon for preparation
 9 of your declaration are recited in those three pages of
 10 your expert declaration?
 11 A. The principles that I applied in forming my
 12 opinions as to how these phrases should be construed
 13 are based on these three pages.
 14 Q. Now --
 15 A. The instructions provided to me in these three
 16 pages.
 17 Q. Now, if they were additional legal principles
 18 of which you were not aware, could that influence your
 19 opinion?
 20 A. I don't know.
 21 Q. So it's possible it could influence your
 22 opinion?
 23 A. I -- I -- I don't know. You need -- you need
 24 to give me a specific example.
 25 Q. Let's go through these claim construction

1 knows this is plain and ordinary. It's not used any
 2 differently. And, no, the inventor has specifically
 3 defined this in an unconventional fashion. There are
 4 shades of gray between those two.
 5 And what I did is attempt to find support in
 6 the claim -- the specification and prosecution
 7 history -- as to how one of ordinary skill in the art,
 8 having read all of this, would construe the phrase.
 9 BY MR. LU:
 10 Q. Are there any claim terms for which you
 11 believe the patentee or the inventor specifically
 12 defined the claim term in an unconventional fashion?
 13 A. Well, there are several cases where I believe
 14 the inventors coined phrases or used phrases in an
 15 unconventional fashion. And I even have opinions on
 16 those expressed in my declaration. There may be other
 17 instances where that was done as well, but I don't have
 18 an opinion on that.
 19 Q. Which phrases, in your opinion, were phrases
 20 in which the inventors coined phrases or used phrases
 21 in an unconventional fashion?
 22 A. Reference symmetry, arterial bias, avoids
 23 arterial bias, rules and predefined rules, being
 24 suited, target area, several related terms, calculated
 25 position information, calculated positions of the WIFI

1 principles, and you can explain your understanding of
 2 them to me.
 3 So please explain your understanding of
 4 paragraph 59 of the section in claim construction
 5 principles.
 6 MR. BERTIN: Object to form.
 7 THE WITNESS: My understanding of paragraph 59
 8 is that one of skill in the art would have an
 9 interpretation as to what the words in the claims mean,
 10 unless the terms have been given a special meaning in
 11 the patent or related documents, such as prosecution
 12 history.
 13 So when I read the claims, I'm looking for a
 14 deviation from what the words would ordinarily mean to
 15 a person of ordinary skill in the art in that era. And
 16 I look through the specification -- I look at the claim
 17 itself. I look at the specification. I look at the
 18 prosecution history and other related documents.
 19 And based upon what I find there, I either
 20 conclude, yes, this phrase has a plain and ordinary
 21 meaning, or perhaps some clarification is needed in
 22 light of the specification or the claim language itself
 23 or related documents, or perhaps the inventor has
 24 intentionally defined a phrase to mean X or -- and --
 25 or any variation along this scale of, yes, everyone

1 access points, calculated locations, and recorded
 2 location information.
 3 Q. Let's address each of those.
 4 A. I'm -- I'm not finished.
 5 Substantially, all WIFI access points in the
 6 target area providing a reference database of
 7 calculated locations of WIFI access points --
 8 (Reporter interruption.)
 9 THE WITNESS: Providing a reference database
 10 of calculated locations of WIFI access points in a
 11 target area. And I'm still not finished. The
 12 means-plus-function terms.
 13 By the way, you were asking me earlier how I
 14 applied paragraph 59, and I tried to limit my answer to
 15 only paragraph 59. But there's a whole different
 16 section in my report describing the principles that I
 17 applied for means-plus-function limitations that go in
 18 the instructions, substantially more detailed than what
 19 appear -- appears in paragraph 59. If you'd like me to
 20 discuss those as well --
 21 Q. No. We can address those --
 22 A. And -- so then I guess you don't want me to
 23 list the means-plus-function limitations that may have
 24 a special or unconventional or defined meaning
 25 different than what one of skill in the art might

1 side. And this is a situation that the inventors
 2 characterize as lack -- as being in lack of reference
 3 symmetry.
 4 BY MR. LU:
 5 Q. And what is shown in figure 6? Just back
 6 up -- back up a little bit on -- back to figure 5.
 7 So we have calcu- -- calculated location of
 8 user, marked with a little X. Do you see that?
 9 A. I do.
 10 Q. And we have user 501, which is a solid black
 11 dot. Do you see that?
 12 A. I do.
 13 Q. And you see radio range of user devise being
 14 surrounded by a circle? Do you see that?
 15 A. I do.
 16 Q. Okay.
 17 A. By the way, thanks for calling my attention to
 18 that. The X in figure 5 is the location -- the
 19 calculated location of the user. The actual location
 20 is the -- the black circle. And all of the calculated
 21 locations of access points are on one side of that
 22 user. They're all to the left of that user. Some of
 23 them are beneath the user, some of them are above the
 24 user, but they're all to the left. And this again, is
 25 a -- this is a situation that the inventors

Page 165

1 characterize as a "lack of reference symmetry."
 2 Q. Okay. Let's turn to figure 6. Is this --
 3 A. And if -- if -- if -- if I can go on. And the
 4 section of the patent titled "reference symmetry" tells
 5 us what the inventors mean by "reference symmetry" --
 6 or what they're trying to express by the term
 7 "reference symmetry," at least in the specification.
 8 When I looked at that and tried to relate that
 9 description to the claim language, I found that there
 10 wasn't -- it wasn't a relationship. They didn't map
 11 over. And I don't know where else to look for
 12 reference symmetry. I scoured the patent and its
 13 prosecution history, and as the phrase is used in the
 14 claims, it -- it -- it -- it -- there simply isn't a
 15 description.
 16 Q. Let's turn to figure 6, what the inventors
 17 have characterized as "positioning with reference
 18 symmetry." Do you see that?
 19 A. I do.
 20 Q. Can you describe what's depicted in figure 6,
 21 "positioning with reference symmetry"?
 22 A. Well, yeah, I can. If you look at column 9,
 23 beginning at line 64 -- and this is part of the
 24 description of figure 6. In fact, it may be the
 25 totality of description of figure 6.

Page 166

1 "With Chinese postman model of scanning for
 2 access points, the user typically encounters a physical
 3 location" -- figure 6, and -- "in which there are
 4 numerous access point locations on all sides of the
 5 users." So the user is 601, and there are numerous
 6 access stations, 602, that we see marked on figure 6
 7 that are, as the specification describes it, on all
 8 sides of the user within range of the -- of the devices
 9 802 radio. The resulting in position calculation has
 10 reduced location bias and is more accurate as a result.
 11 So that's what figure 6 is -- is showing. But
 12 there's a real problem in -- in this regard. This
 13 perhaps is what the inventors would like their
 14 invention to produce. They have no way of knowing if
 15 this is going to be produced or not because they have
 16 no way of knowing in advance where the access points
 17 are and whether it's possible to achieve this reference
 18 symmetry that's represented in figure 6 and discussed
 19 in the accompanying text. The access point locations
 20 may not be conducive to production of reference
 21 symmetry. It may really all be on one side of the
 22 user.
 23 So this reference -- that we had some
 24 discussion earlier about whether things were achievable
 25 or not, and here's an example of something that may not

Page 167

1 be achievable. It's something beyond control of
 2 whoever is taking measurements of -- of access points
 3 in an attempt to determine where the access points are
 4 located. The access points may not be symmetrically
 5 located around the user. It may not be possible to get
 6 to this situations that the inventors are -- are -- are
 7 striving for. Just may not be possible. It's
 8 beyond -- it's beyond the control of the -- whoever's
 9 taking the measurements.
 10 Q. And what --
 11 A. I think I -- I think I even discuss in my
 12 expert declaration that what's not disclosed at all in
 13 the patent is intentionally seeding the target area
 14 with access points in an attempt to ensure access
 15 symmetry. Otherwise, I just don't see how the
 16 teachings of the patent can produce reference symmetry
 17 as it's represented in figure 6 and described in the
 18 specification.
 19 And again, the claims -- the use of the phrase
 20 in the claim don't even relate to this. They relate to
 21 something else that's not discussed at all in the
 22 specification.
 23 Q. Just on that point, if a target is -- if a
 24 targeted area is intentionally and densely seeded with
 25 access points, a person having ordinary skill in the

Page 168

1 art would be more likely to be able to determine
 2 reference symmetry, correct?
 3 A. What do you mean by "determine reference
 4 symmetry"?
 5 Q. Well, you're talking --
 6 A. Reference symmetry of what? Are we talking
 7 now about the claim language or the specification?
 8 Q. We're talking about the -- we're talking about
 9 the specification.
 10 A. Just the specification. Because again, as I
 11 testified earlier, the use of the phrase in the claim
 12 is different than what's described in the
 13 specification.
 14 In neither case would one know, a priori, what
 15 this means without reading. In the case of the
 16 specification, one can sort of glean what the inventors
 17 meant. But in my opinion, it's not possible to ensure
 18 that you've gotten to that there, no matter what
 19 measurement technique you use. And it's with regard to
 20 the claims. It -- it -- it -- it -- it just -- it --
 21 it -- it -- it -- there's no suggestion as to what the
 22 phrase means as it's used in the claim because it's
 23 used -- there's a different reference in mind. I know
 24 what the reference point is that they have in mind in
 25 the specification. I don't even know what the

Page 169

1 reference point is in the -- in the claim.
 2 And if you'd like, I can explain that.
 3 Q. Well, let's get an answer to my question that
 4 I originally presented which is: If you have an area
 5 that is intentionally and densely seeded with access
 6 points, known access points, a person having ordinary
 7 skill in the art would be likely to be able to
 8 determine reference symmetry in accordance with what's
 9 described in the patent specification, correct?
 10 MR. BERTIN: Object to form.
 11 THE WITNESS: I'm -- I'm -- I'm -- I'm not
 12 sure that's even a properly posed question.
 13 BY MR. LU:
 14 Q. Well, okay.
 15 A. What I would know is that unless the access
 16 points coincidentally were cited in such a way as to
 17 provide this -- and now I'm going to read from the
 18 specification -- "to provide a sufficient number of
 19 reference point with balance or symmetry around the
 20 user," then you could not have reference symmetry. And
 21 that would suggest that you've got to intentionally
 22 deploy the access points in such a way that you have
 23 got a sufficient number and balance or symmetry around
 24 the user no matter where the user might be. This --
 25 this would be rather difficult to accomplish.

Page 170

1 And -- and that's as the phrased is used in
 2 the specification. How it's used in the claim, it's --
 3 it's just not described. One would not know how
 4 it's -- what the phrase means as it's used in the
 5 claim.
 6 Q. Okay. So ultimately, the conclusion of your
 7 paragraph 74 is that even practicing the technique
 8 disclosed in the patent, illustrated in figures 5 and
 9 6, one would have no idea of whether or note there is
 10 indeed a condition of reference symmetry because the
 11 location of the WIFI access points is simply not known?
 12 MR. BERTIN: Object to form.
 13 THE WITNESS: That's not what paragraph 74
 14 says.
 15 BY MR. LU:
 16 Q. Okay. So what about my statement was
 17 incorrect?
 18 A. Well, you -- you -- you -- you tried to
 19 characterize what you said was a conclusion I've drawn
 20 in paragraph 74, and I don't think I've drawn that
 21 conclusion.
 22 Q. What is the conclusion that you draw
 23 from paragraph -- in paragraph 74?
 24 A. Well, the last line reads -- if that's a
 25 conclusion -- there is a fundament -- thus -- and I

Page 171

1 gave the reasons why earlier in the paragraph -- "there
 2 is a fundamental lack of any objective standard for
 3 determining whether distribution of WIFI access points
 4 might have reference symmetry with regard to a user."
 5 And again, this does not relate to how the
 6 phrase appears in the claim. There was a claim -- the
 7 phrase is used differently in the claim. It's not with
 8 regard to a user. The description in the specification
 9 is with regard to a user.
 10 Q. Okay. So turning back to figures 5 and 6 --
 11 A. There was something else in your question
 12 that -- that -- that -- that troubled me a little bit.
 13 You asked about figure 5 and the conclusion
 14 that I've drawn concerning --
 15 Q. And 6?
 16 A. Yeah. Figures 5 and 6.
 17 Figure 5 has nothing to do with reference
 18 symmetry. In fact, the -- except as an example of the
 19 situation that lacks reference symmetry.
 20 Q. Okay.
 21 A. Now that -- that sort of gets to the heart of
 22 what I've been trying to explain. Figure 5 may be the
 23 reality. It may not be as a result of any particular
 24 measurement technique or any particular location
 25 technique. It may, in fact, be the case that there are

Page 172

1 access points located only on one side of the user, so
 2 there's nothing you can do to fix that. If reference
 3 symmetry means you have sufficient density of access
 4 points and they're uniformly spread around the user --
 5 here's an example of where that situation could not --
 6 is -- is simply unachievable. You can't -- you can't
 7 get to that point without intentionally laying down a
 8 whole bunch of additional access stations above the
 9 eight that are shown here, if these are, in fact, the
 10 locations of those eight access points. You're stuck.
 11 Q. What's a sufficient number of WIFI access
 12 points, in your opinion?
 13 A. You got me. Those are the inventors' words,
 14 not mine.
 15 Q. Turning to figures 3 and 4 --
 16 A. Okay.
 17 Q. -- we have in this image known locations of
 18 WIFI access points, which are in black circles. We
 19 have calculated locations of access points, which are
 20 in white diamonds.
 21 Do you see that?
 22 A. Yes.
 23 Q. And this is an example of a scanning scenario
 24 showing arterial bias.
 25 A. Yeah. The so-called random model, where the

1 collection of data was coincidentally some other reason
 2 for traversing the route.
 3 Q. Okay. And I'd like you to put an X, if you
 4 won't mind, in the middle -- in the upper right-hand
 5 corner of the middle box.
 6 A. You want me to mark it right on the --
 7 Q. Yes, please?
 8 A. -- on Exhibit 1 itself?
 9 Q. On Exhibit 1 itself.
 10 A. So you want me to put an X in the middle of --
 11 Q. The upper right-hand corner of the middle box.
 12 A. You mean just put an X here?
 13 Q. Just put an X there.
 14 A. Like that?
 15 Q. Yeah. And why don't you put an X on figure 4
 16 as well.
 17 A. Same spot?
 18 Q. Same spot.
 19 A. Done.
 20 Q. Okay. Let me just grab my pen back.
 21 And I'll tell you what. We're also going to
 22 draw a circle right -- right here.
 23 A. You mean you want -- well, where you point,
 24 you want that to be the center of the circle.
 25 Q. Yeah. Put that as the center of the circle.

1 A. Okay. And how large a radius do you want me
 2 to draw?
 3 Q. Just around the size of the other circles.
 4 MR. BERTIN: So this is a circle that --
 5 MR. LU: And let's label that --
 6 MR. BERTIN: -- Dr. Acampora is being asked to
 7 draw in the lower left-hand corner of the center box on
 8 figure 4 of the 988 patent, just so the record is
 9 clear.
 10 MR. LU: Let's label that circle "user."
 11 Let's draw a similar circle in the exact same
 12 location with the exact same label on figure 3.
 13 You can keep that. All right.
 14 BY MR. LU:
 15 Q. So getting back to figure 3. What we have
 16 here are calculated locations of WIFI access points
 17 that are in white diamonds and the location of the
 18 actual access points in black circles and then a blue
 19 circle, which is now labeled "user."
 20 And we have in figure 4 the exact same thing,
 21 except that rather than simply driving artery 304 and
 22 artery 305, a Chinese postman routing methodology has
 23 been driven.
 24 MR. BERTIN: And to be clear, the circles have
 25 been added to the -- to these figures. They don't

1 exist in the patent by themselves.
 2 MR. LU: Correct.
 3 BY MR. LU:
 4 Q. Now, Dr. Acampora, per the teachings of the
 5 patents, is there a greater degree of reference
 6 symmetry around the user in figure 4 than there is in
 7 figure 3?
 8 A. Well, before I even attempt to answer that,
 9 I've got to ask a question.
 10 Are you referring to the claims, or are you
 11 referring to the specification?
 12 Q. My patent -- my question made it clear, per
 13 the teachings of the patent specifications.
 14 A. So the specifications. So we're not -- we're
 15 not considering the claim. Again, the claim appears to
 16 use reference symmetry in some other way that's not
 17 defined at all. You can't glean what it is from claim
 18 or anything in the specification. It's indefinite.
 19 So we're looking now only at the
 20 specification, as if I could rip the claims off and
 21 focus only on the specification.
 22 Is that what you're asking me to do?
 23 Q. Yes. That's what I'm asking you to do?
 24 A. Okay. And the question once again is?
 25 Q. Is there a greater degree of reference

1 symmetry for the calculated location of WIFI access
 2 points in figure 4 around "user" than there is in
 3 figure 3?
 4 A. Well, I -- I have to ask another question
 5 before I can begin to answer that. What are the Xs
 6 that you asked me to draw represent?
 7 Q. You can ignore the Xs.
 8 A. Ignore the Xs.
 9 Okay. I think I understand your question.
 10 And the answer is going to require a certain amount of
 11 explanation. So let me try to be as brief as I can and
 12 as clear as I can.
 13 On the one hand, both figures 3 and 4 have the
 14 same degree of reference symmetry with regard to the
 15 user, which is the only context in which reference
 16 symmetry is discussed in the specification because
 17 location of the access points and location of the user
 18 have not changed one bit. So let me give an example of
 19 what I mean.
 20 Let's suppose that the actual locations of the
 21 access points are the -- the computed locations -- the
 22 calculated locations are the actual locations. So I'm
 23 going to divorce this notion of reference symmetry from
 24 the scanning method for the moment.
 25 Suppose I know exactly where the access points

Page 177

1 are located. The distribution of access points
 2 relative to the user are what they are, and they appear
 3 to be the same in both figures. Now, what the patent
 4 appears to be saying is that with regard to the same
 5 reference symmetry by a different measure, the
 6 calculated locations relative to the random model and
 7 the deliberate Chinese postman model -- it would appear
 8 that the calculated positions are better distributed
 9 around the user in figure 3 than figure 4.
 10 But to draw that conclusion, once again, I
 11 have to rely on the actual scanning method. So if the
 12 invention -- if the inventors are intending to say that
 13 if you use our deliberate Chinese postman routing
 14 algorithm, you will achieve better reference symmetry
 15 with regard -- with respect to the location of the user
 16 than for the examples given in 3 and 4. That would
 17 appear to be the case.
 18 With regard to other language in the -- from
 19 the -- drawn from exactly the same section of the
 20 specification, the section discussing reference
 21 symmetry, that refer to reference symmetry only with
 22 regard to the locations of the access points relative
 23 to the user, that's the same in both figures.
 24 So the reference symmetry is what it is based
 25 upon where the access stations are actually located.

Page 178

1 But the perceived degree of reference symmetry might be
 2 affected favorably by using what I believe the authors
 3 feel is their invention, deliberately driving every
 4 street in the target area in according with the Chinese
 5 postman routing algorithm.
 6 And again, that's reference symmetry with
 7 regard to the user, which has nothing to do with how
 8 reference symmetry is used in the claims. It's used in
 9 a different way. It's not discussed relative to the
 10 user at all. In fact, one would not know how it's used
 11 in claims.
 12 Q. Turning to paragraph 75 of your declaration,
 13 the statements that you make in that paragraph are
 14 directed towards the statement that you just made which
 15 is, "as used in the claims, one -- it's not discussed
 16 relative to the user, and one would not know how it's
 17 used in the claims." "It" being reference
 18 symmetry -- strike that.
 19 Why don't you explain what paragraph 75 says.
 20 MR. BERTIN: Object to form.
 21 THE WITNESS: Okay. So as I testified several
 22 times already, the only discussion of reference
 23 symmetry that would suggest what it means, how the
 24 inventors use the phrase, is in -- there's a section on
 25 reference symmetry in the specification. And that

Page 179

1 reference symmetry is discussed with regard to a user.
 2 There's a known reference point. The point -- the --
 3 the location of the user. And reference symmetry is
 4 described with regard to some dense and uniform
 5 distribution of access points relative to that point.
 6 Now, when we look at the claim, we see no
 7 language of that type whatsoever. In fact, what we do
 8 see is -- so I'm reading from claim 1 now.
 9 MR. BERTIN: That's of the 988 patent; is that
 10 correct?
 11 THE WITNESS: 988 patent, claim 1, correct.
 12 Column 14, line 22, beginning with the "wherein."
 13 "Wherein said calculated position information
 14 is obtained from recording multiple readings of the
 15 WIFI access points at different locations around the
 16 WIFI access points so that the multiple readings have
 17 reference symmetry relative to other WIFI access points
 18 in the target area."
 19 I have no idea what that means. We no longer
 20 have a point of reference. We have multiple readings.
 21 Somehow these multiple readings have reference symmetry
 22 relative to other WIFI access points. In the
 23 specification, reference symmetry is defined, A,
 24 relative to a user -- the location of the user -- and
 25 is described in such a way as to suggest that the

Page 180

1 access points are uniformly distributed and densely
 2 distributed around that user.
 3 Here, somehow, that concept of reference
 4 symmetry is -- appears -- well, the words "reference
 5 symmetry," not the concept -- but the words "reference
 6 symmetry" appear -- regard to other WIFI access points
 7 and multiple readings from different locations around a
 8 WIFI access point. What -- what reference point must
 9 the access points be distributed uniformly and densely
 10 relative to, as was taught in the specification for the
 11 known reference point of the user? I don't know where
 12 the reference point is here. I -- I -- I -- I don't
 13 know what they're talking about here.
 14 MR. LU: Okay. Why don't we take a quick
 15 break.
 16 THE VIDEOGRAPHER: Off the record. The time
 17 is 3:21 p.m.
 18 (A brief recess was taken.)
 19 THE VIDEOGRAPHER: We're going back on the
 20 record. The time is 3:30 p.m.
 21 MR. LU: So when we were off the record, we
 22 reviewed some testimony that Dr. Acampora had provided
 23 regarding figures 3 and figure 4 and, specifically, the
 24 distribution of the calculated locations of the access
 25 points in figure 3 compared to figure 4.

Page 181

1 The question was: "Was the distribution in
 2 figure 4 -- did that have better reference symmetry
 3 than the distribution in figure 3?" And the answer may
 4 not have reflected -- at least as transcribed -- may
 5 not have reflected Dr. Acampora's answer.
 6 BY MR. LU:
 7 Q. So would you like to comment on that
 8 particular answer?
 9 A. Yes. Figure 4 in the context of the question
 10 and the context of the reply that was given appears to
 11 have better reference symmetry than figure 3. Whereas,
 12 as you read it to me off the record, the roles of
 13 figure 3 and 4 were reversed.
 14 Q. Thank you.
 15 MR. BERTIN: Just to be clear, the rest of
 16 your answer is accurate other than the transposition of
 17 figures 3 and 4.
 18 THE WITNESS: That is correct.
 19 MR. BERTIN: Okay.
 20 BY MR. LU:
 21 Q. So let's turn to page 31 of your expert
 22 declaration. And I direct your attention to paragraph
 23 79 which states: "Based on my review of the
 24 specifications and prosecution history, it is my
 25 opinion that the term 'arterial bias,' standing alone,

Page 182

1 can be understood to mean the deviation from the
 2 calculated position information for a WIFI access point
 3 towards heavily trafficked roads and away from the
 4 actual geographic location of the access point due to
 5 the tendency of random scanning to result in a greater
 6 number of scans from heavily trafficked roads."
 7 Do you see that?
 8 A. I do.
 9 Q. What is the basis for your statement that this
 10 is, quote, "due to the tendency of random scanning as a
 11 result in a greater number of scans from heavily
 12 trafficked roads"?.
 13 A. Okay. So this may be somewhat repetitive to a
 14 discussion we had earlier today with regard to figure 3
 15 in the 988 patent -- or it might have been from the 245
 16 patent. But it's the same figure in either case and
 17 the accompanying text from the specification. So let
 18 me just locate that, and we'll review this.
 19 Okay. So figure 3, example scanning scenario
 20 showing arterial bias.
 21 By the way, I'm using figure 3 from the 988
 22 patent, but I'm going to assume that the blue markings
 23 that you asked me to include are not present, so I'm
 24 using the pristine figure 3 not the marked-up figure 3.
 25 Q. That's fine.

Page 183

1 A. And what we have in figure 3, the black dots
 2 represent the actual locations of access points. The
 3 white diamonds represent the calculated position of the
 4 access points. And the accompanying description, which
 5 appears in column 7 and 8 of the patent, tells us
 6 that -- and I'm reading now from column 7, line 52.
 7 "The quality of the data collected is greatly
 8 affected by the scanning methodology employed by the
 9 scanning vehicles. Each model has its own benefits and
 10 limitations. One approach, known as the random model,
 11 places scanning devices in vehicles as they are
 12 conducting daily activities for business or personal
 13 uses" -- business or personal "use," singular.
 14 "These vehicles could be delivery trucks, taxi
 15 cabs, traveling salesmen or just hobbyists. The
 16 concept is that over time, these vehicles will cover
 17 enough streets in their own random fashion in order to
 18 build a reliable reference database. The model does,
 19 in fact, provide a simple means to collect data, but
 20 the quality of resulting data is negatively affected
 21 due to issues of arterial bias.
 22 "Figure 3 describes the challenge of the
 23 random model. When the scanning vehicle traverses
 24 routes designed to solve other problems than gathering
 25 data."

Page 184

1 BY MR. LU:
 2 Q. So because the patent doesn't describe any
 3 other way in which --
 4 MR. BERTIN: Were you done with your answer
 5 there?
 6 THE WITNESS: I was not.
 7 MR. LU: Okay.
 8 MR. BERTIN: Do you mind just letting him
 9 finish?
 10 THE WITNESS: Yeah. The language that I was
 11 referring to that we discussed earlier appears in
 12 paragraph 21 of my report. "Their, quote, 'discovery,'
 13 unquote, if any, appears to be a deliberate and
 14 possibly unachievable effort to improve the accuracy."
 15 So they're setting up this arterial bias.
 16 They're telling us how it's created. And even the name
 17 itself, "arterial bias," it's bias caused by the fact
 18 that the measurements are being taken along arteries.
 19 That's what they intended when they used the phrase
 20 "arterial bias."
 21 So the construction -- and I won't reread
 22 it -- but it's exactly what I opine on in paragraph 79.
 23 And that's just -- that's nothing more than a summary
 24 of exactly what the inventors taught us they meant by
 25 arterial bias in the specification.

1 Now, is there another way that you could get
 2 arterial bias? So suppose you drive the -- and this is
 3 why the objectives of the invention might not be
 4 achievable.
 5 Suppose you drive some different route. Let's
 6 call it a quasi- -- quasi-deliberate route so we're not
 7 pinning it down to any specific routing algorithm. So
 8 maybe you're driving on streets other than main
 9 arteries. But as I testified earlier, there are
 10 propagation effects of shadow-fading caused by line of
 11 sight blockage by buildings, multipath propagation,
 12 that can still cause the resulting access point
 13 location calculations to be significantly in error.
 14 Whether the errors would coincidentally cause
 15 them to be bias towards arteries, that, I don't know.
 16 But -- so, yeah, maybe you -- I -- I guess I'm
 17 convincing myself even further that arterial bias can
 18 only be caused by driving in this random way where
 19 there would be a tendency to scan heavily trafficked
 20 roads.
 21 Coincidentally, and probably highly unlikely,
 22 one might get arterial bias because of some
 23 unpredictable propagation patterns. But that just
 24 further shows that the objectives of the patent cannot
 25 be guaranteed. But in any event, there's no doubt what

1 the inventors mean by arterial bias. It's the only
 2 description they give, and I had to struggle to create
 3 a situation. And even as I was struggling to invent a
 4 situation on the fly, I realize that that may not
 5 result in arterial bias. It's more likely to result in
 6 significant errors, but not necessarily arterial
 7 bias -- arterial bias? The word itself "arterial,"
 8 it's bias caused by the fact you're traveling the
 9 arteries. This is quite clear.
 10 BY MR. LU:
 11 Q. Now, what if I were to provide instructions to
 12 my drivers to drive a programmatic route but only to
 13 take roads that had at least four lanes. Would that
 14 create arterial bias?
 15 A. Well, how many lanes are there on the average
 16 roads? If -- if four -- if four lanes would be
 17 regarded as a very wide road and other streets have one
 18 lane, then that might be arterial bias. On the other
 19 hand, if most roads have four lanes and a few roads
 20 have eight lanes, then what you just described would
 21 not produce what the authors intended by the use of the
 22 phrase "arterial bias."
 23 Arterial bias means you're scanning the
 24 heavily trafficked roads, the main arteries, the big
 25 streets. That's completely consistent with common

1 sense and understanding, what we mean by an artery in
 2 the vehicular traffic sense and what's disclosed in the
 3 specification.
 4 Q. But one can create arterial bias by scanning
 5 that is other than random; in my example, instructions
 6 to only drive streets that are at least a certain
 7 number of lanes wide, correct?
 8 A. Not as it's used in this patent, no, not
 9 correct. The patent does not suggest that there --
 10 they're setting up a problem. They're telling you how
 11 that problem would commonly be -- would commonly occur.
 12 A bunch of vehicles are sent out on a mission -- on
 13 missions. Those missions are to get from point A to
 14 point B. And as the patent describes, you're more
 15 likely to navigate onto an artery, travel that artery,
 16 and that's what's going to cause the arterial bias.
 17 The patent does not suggest deliberately creating what
 18 you're characterizing as arterial bias by instructing
 19 the fleet to drive only on the main roads.
 20 Now, if you instructed -- I think common sense
 21 consistent with what's in the patent, why this arterial
 22 bias exists, would be consistent with telling the fleet
 23 to drive the main arteries because you want them to get
 24 to their destination quickly. It's not an attempt to
 25 create arterial bias as much as an attempt to get to

1 diagram had sufficient detail that one could actually
 2 identify unambiguous steps to be followed. It couldn't
 3 be so high level as we know better than the box labeled
 4 computer to begin with.
 5 Q. So if there were a description that was
 6 sufficient to identify unambiguous steps to be
 7 followed, that description could disclose sufficient
 8 structure, correct?
 9 A. Where are you reading from now? If -- if
 10 you're going to read from my report --
 11 Q. That was not --
 12 A. -- it might help if you tell me where.
 13 Q. That was not a question from your report.
 14 That was a question based upon what you just said about
 15 flow charts. And -- I'll tell you what I'm trying to
 16 get at.
 17 Are flow charts and algorithms the only means
 18 by which a sufficient structure can be disclosed? Flow
 19 chart and code -- excuse me -- are the only means by
 20 which a sufficient structure can be disclosed for
 21 purposes of means-plus-function?
 22 A. Probably not.
 23 Q. Okay.
 24 A. Things are coming to mind -- might be a
 25 recipe. Do this, followed by this, add three cups of

Page 201

1 this, et cetera, et cetera. I could imagine a recipe
 2 having enough specificity that I'd know -- one would
 3 know how the computer was programmed.
 4 Q. Okay. And if a description had sufficient
 5 specificity to know how the computer would be
 6 programmed, would that be sufficient structure for
 7 means-plus-function?
 8 A. If what had?
 9 Q. A description, a written description --
 10 A. Written description had --
 11 Q. -- sufficient disclosure so that you would
 12 know how to program the computer, would that be
 13 sufficient structure under your understanding of the
 14 law relating to means-plus-function?
 15 A. Well, possibly. But that sort of just shift
 16 with the debate. And that's why we really need to see
 17 a specific example of what you're referring to.
 18 The debate has now shifted to: Is the
 19 description adequate to know how the computer is
 20 programmed?
 21 Q. Fair enough. I'm just trying to make sure
 22 that there are no categories of, you know, information
 23 that you would automatically say would not constitute a
 24 description because it happens -- or constitute
 25 sufficient structure because it happens to fall into

Page 202

1 one category rather than another category.
 2 A. I understand.
 3 Q. Okay.
 4 Now, turning to paragraph 86, it states: "As
 5 discussed below, I have considered the claims and
 6 here's my opinion that logic is not a structure and
 7 that these terms are therefore means-plus-function
 8 terms.
 9 "I have reviewed the disclosure of the 988
 10 patent and for the reasons discussed below, it is my
 11 opinion it does not disclose corresponding structures
 12 capable of performing the functions stated in the logic
 13 limitations."
 14 Now, the first question is: Is this
 15 statement -- are these two statements made in paragraph
 16 86 true for all six of the logic terms listed in
 17 paragraph 84?
 18 A. I believe the answer to the question is yes.
 19 But if you'd like an unambiguous confirmation of that,
 20 I'll need to read -- reread my opinions for each of
 21 them. But I believe the answer to the question is yes.
 22 Q. Okay. Now paragraph, 87 states: "Logic is
 23 not a structural term."
 24 Do you see that?
 25 A. I see that.

Page 203

1 Q. What is your -- what did you mean when you
 2 said "logic is not a structural term"?
 3 A. When I see the word "logic," I don't know what
 4 the structure of that logic is. So as I write: "A
 5 person of ordinary skill in the art would understand
 6 logic to mean a series of defined steps for performing
 7 function as opposed to a structure." So "logic" is
 8 functional, not physical.
 9 You know, thinking could be logical, as an
 10 example. So you need to see more context. You need to
 11 see the language of the claim itself. You need to go
 12 back to the specification in order to infer what, if
 13 any, structure corresponds to logic. If the claim term
 14 is written so that the logic is as it appears in the
 15 claim, is defined only by what it does.
 16 Q. Now, the patent relates to a WIFI location
 17 server; is that correct? The 988 patent, claim 1,
 18 relates to a WIFI location server, correct?
 19 A. That's how the preamble reads.
 20 Q. Okay. What is your understanding of what a
 21 WIFI location server is?
 22 A. Well, I'm not aware that that's a term that's
 23 in dispute. If it is, I certainly haven't offered an
 24 opinion on it, so I'm not going to create an on-the-fly
 25 claim construction. That will take lot of time. If

Page 204

<p>1 you'd like me to, I can try, but I can tell you that 2 will take time because I haven't been asked to do that, 3 and I haven't got an opinion on that. 4 Q. So in interpreting the logic terms of the 988 5 patent, you did not consider what the meaning of a WIFI 6 location server is, correct? 7 MR. BERTIN: Object to form. 8 THE WITNESS: No. I didn't say that either. 9 BY MR. LU: 10 Q. Okay. 11 A. But you -- you asked me for a construction, 12 and that I'm not prepared to do. Whether I considered 13 the preamble with regard to these logic limitations, 14 well, sure. I read the entire patent, including the 15 claim, and all parts of the claim, including the 16 preamble. 17 Q. Okay. So did you have an understanding when 18 you interpreted the logic terms what a WIFI location 19 server is? 20 A. Well, I have an understanding of what that is 21 with regard to the parts of it as claimed in claim 1. 22 Q. Okay. 23 A. Except that I don't know what these logic 24 things are because they were defined only by the 25 function.</p> <p style="text-align: right;">Page 205</p>	<p>1 Q. So it's a computer, correct? A WIFI location 2 server is a computer, correct? 3 A. Well, possibly. In the -- at -- completely 4 out of context, a server would be -- one of skill in 5 the art would have some understanding that the server 6 is some type of a computer. 7 Q. Now, is the term "logic" in the field of 8 electronics a purely functional term? 9 A. You need to be more specific. If -- if the 10 logic that's -- when you say "in the field of 11 electronics," if the logic is given in the form of 12 bunch of Boolean operations to be performed -- to be 13 performed, then it is presented in functional form, 14 yes. 15 Q. But -- 16 A. If it's a specific circuit showing gates and 17 interconnection of gates and so forth and so on to 18 accomplish some tasks, then perhaps. But again, we 19 need to -- I'm sort of borderline speculation right 20 now. Then perhaps it's -- it's not just functional 21 form but actually showing a block diagram, so I would 22 know how to build this thing. And I need -- then I 23 would need to see the context. 24 Q. Okay. 25 A. But none of that, by the way, is presented in</p> <p style="text-align: right;">Page 207</p>
<p>1 So I know that -- I could sort of see what -- 2 based upon what's written in the specification, this 3 location server is some sort of a thing that is being 4 accessed by WIFI users -- that's being queried by WIFI 5 users in an attempt to determine the location. And 6 it's created by means of some this deliberate scanning 7 algorithm, the Chinese postman. I would understand 8 that. 9 But then the claim goes on. It's -- it's -- 10 it's -- it's telling -- then it's telling us exactly 11 what's being claimed, and that's where I fall off the 12 bandwagon because there is some -- some of these terms, 13 in my opinion, are indefinite. 14 Q. So "thing." A WIFI location server can be a 15 human brain? 16 MR. BERTIN: Object to -- object to form. 17 Argumentative. 18 THE WITNESS: I would not interpret this -- I 19 don't think one of skill in the art would interpret the 20 server to be a human brain. 21 BY MR. LU: 22 Q. Okay. 23 A. It's telling us it's comprising a database of 24 WIFI access points. So there's got to be a database. 25 It can be -- it can't be only a brain.</p> <p style="text-align: right;">Page 206</p>	<p>1 this patent. There are no circuit diagrams. 2 Q. So you would disagree with a definition of 3 logic that would included hardware, such as 4 applications, specific integrated circuit, or 5 field-programmable gate array software, or a 6 combination of hardware and software? 7 A. What logic? The claimed logic? 8 Q. Just -- just the use of the word "logic." 9 A. I -- I need this in context. 10 Q. Okay. So in the context of computer and 11 computer electronics, you would disagree with a 12 definition of logic that would be hardware, such as an 13 application, specific-integrated circuit, or a 14 field-programmable gate array software, or a 15 combination of software and hardware, correct? 16 A. At this point I would neither agree nor 17 disagree. I need to see more context. 18 Q. Have you heard the phrase "emitter-coupled 19 logic"? 20 A. I have. 21 Q. What is emitter-coupled logic? 22 A. It's a type of electronics. It's a -- I 23 believe it's a type of bipolar electronics that's 24 actually capable of operating at substantial clock 25 speeds.</p> <p style="text-align: right;">Page 208</p>

1 So that might be an example of something of
 2 logic in electronics that's not purely functional.
 3 I've read the circuit diagram. That circuit diagram
 4 is -- is -- represents the electronics that has been
 5 fabricated on a chip. And it -- I know the Boolean or
 6 truth table functionality that the chip is performing.
 7 There I think there's some structure.
 8 Q. Is custom high-speed logic structural or
 9 functional?
 10 A. Don't know. I need to see the -- I would need
 11 to see the -- the context.
 12 Q. Are logic families structural or functional?
 13 Are commercially available logic families structural or
 14 functional?
 15 A. I need to see the context once again.
 16 MR. BERTIN: Object to form.
 17 BY MR. LU:
 18 Q. Is reconfigurable logic structural or
 19 functional?
 20 A. Well, I -- I -- I -- again -- and let me this
 21 time try to elaborate a little bit. I would need to
 22 see the context.
 23 If it's reconfigurable logic for performing a
 24 function and the specification included a block
 25 diagram -- a circuit block diagram of that

1 reconfigurable logic that performs that function, then
 2 that might denote something other than function.
 3 But if there's no description in the
 4 specification whatsoever about this reconfigurable
 5 logic for performing this function -- no Boolean
 6 operations, no truth tables, no flow chart -- then, in
 7 my opinion, that would be functional.
 8 Q. And --
 9 A. And that's why I said you'd need to tell me
 10 the context.
 11 Q. Is emitter-coupled logic structural or
 12 functional?
 13 A. I -- I don't even know how to comprehend that
 14 question. Does structural --
 15 Q. Does emitter-coupled logic bring to mind a
 16 structure, or is that a purely functional term?
 17 A. It brings to mind a class electronics, so it's
 18 neither structure nor function. It brings to mind a
 19 class of electronics.
 20 Q. Something that you can hold in your hand?
 21 A. It brings to mind a class of electronics.
 22 That's all. I know what emitter-coupled logic is.
 23 It's not necessarily something I can hold in my hand.
 24 It's a technology that can be used to build chips. So
 25 it's not something I can hold in my hand, no.

1 Q. Is a programmable logic array something that
 2 can be held in your hand?
 3 A. I can buy a PLA, a programmable logic array.
 4 But all that is is a bunch of gates that have not yet
 5 been programmed at that point. So that's -- that --
 6 that -- that -- it -- it -- it -- that's a bunch of
 7 gates.
 8 Q. Can you buy a emitter -- an emitter-coupled
 9 logic device?
 10 A. Let me see if I can help you out. Can I buy a
 11 chip that was built using emitter-coupled logic
 12 technology?
 13 Q. Sure.
 14 A. Yes.
 15 But once again, if I saw the phrase -- because
 16 again, we are talking here about -- I came here to
 17 testify about construction of certain phrases using
 18 these patents, and you're asking me a bunch of
 19 abstractions. So I need to be sure that you're not
 20 going to misapply some of the responses that I'm
 21 giving.
 22 If I saw emitter-coupled logic for performing
 23 some function in a claim specification and -- and --
 24 and -- and -- and in a claim -- it's a limitation of a
 25 claim, and the specification does not show me the block

1 diagram for that emitter-coupled logic, then whether I
 2 know that emitter-coupled logic thing is something I
 3 could hold in my hand or not is still being described
 4 functionally. I need the block diagram, a flow chart,
 5 something that's describing how this emitter-coupled
 6 logic is structured. I need something more than just
 7 the phrase "emitter-coupled logic."
 8 You're asking can I -- can I hold a chip that
 9 was built based upon emitter-coupled logic in my
 10 hand -- emitter-coupled logic technology. Answer, yes.
 11 If I see emitter-coupled logic for performing a
 12 function in a claim, I'd have to go back to
 13 specification to find out whether there's any
 14 disclosure of the blocked diagram, the circuit
 15 connections, a flow chart, something, to tell me when
 16 that emitter-coupled logic used in the claim is
 17 structure. And if it's not in the specification, then
 18 it will be my opinion that emitter-coupled logic is
 19 being described purely in functional terms.
 20 MR. LU: Why don't we take a short break since
 21 we're about to run out of tape.
 22 THE VIDEOGRAPHER: This marks the end of
 23 Media No. 3 of the deposition of Dr. Anthony Acampora.
 24 We're going off the record, and the time is
 25 4:30 p.m.

1 (A brief recess was taken.)
 2 THE VIDEOGRAPHER: Here begins Media No. 4 in
 3 the deposition of Dr. Anthony Acampora.
 4 We're back on the record. The time is
 5 4:39 p.m.
 6 BY MR. LU:
 7 Q. Dr. Acampora, I'd like you to turn to page 49
 8 of your declaration.
 9 A. I'm there.
 10 Q. And I'd like you to take a look at
 11 paragraph 121 and any other portions of this section
 12 that you need to review in order to answer any
 13 questions.
 14 First question I have for you is: Outside the
 15 context of the patent specification, do you have an
 16 understanding of what a "rule" is?
 17 A. So outside of the context of the patent, as I
 18 understood your question, I would understand a "rule"
 19 to be an instruction to be followed.
 20 Q. And --
 21 A. Like add a cup of water, mix thoroughly.
 22 Q. Would a rule also include a statement like:
 23 If A, do B; but if C, then do D?
 24 A. Again, we're outside the context of the
 25 patent?

Page 221

1 Q. Yes. Outside of the context --
 2 A. So you're asking is that -- is that an example
 3 of a rule? And suppose I know what A and B are and C
 4 and D are, then, yes, that might be a rule.
 5 Q. Okay. Now, outside the context of the patent
 6 specification, do you have an understanding of what a
 7 "predefined rule" would be?
 8 A. That's less clear. There I think one would
 9 need -- one would need some context to get an
 10 understanding of what a "predefined rule" is.
 11 Q. Do you have an understanding of the phrase
 12 "predefined" standing on its own --
 13 MR. BERTIN: Object to form.
 14 BY MR. LU:
 15 Q. -- outside of the context of the patent
 16 specification?
 17 A. Well, no. I -- I don't. I can tell you what
 18 comes to mind, but that's not to say that it's correct.
 19 So suppose I'm going to perform some process.
 20 But that's a big "if." I'm not sure that's a context
 21 in which "predefined" is being used or not. And again,
 22 we're not talking about the patent at all now.
 23 So maybe there's some sort of a process that's
 24 going to be performed. "Predefined" might mean
 25 something that was defined before this process was

Page 222

1 begun, as an example. That -- that might be, but I'm
 2 not sure that that would be the only understanding of
 3 "predefined." That's just by way of -- really more by
 4 way of example. So I don't know what a "predefined
 5 rule" is, absent context.
 6 Q. Okay. Let's turn to paragraph 123. The
 7 second sentence, referring back to the term "being
 8 suited," states that "it is applied apparently to
 9 different types of equations or algorithms that can be
 10 used for different numbers of access points."
 11 What is -- what did you mean when you wrote
 12 that sentence?
 13 MR. BERTIN: Object to form.
 14 THE WITNESS: Well, once again, I think the
 15 words speak for themselves. So are you asking me to
 16 state what I'm written here differently?
 17 BY MR. LU:
 18 Q. Well, I'm trying to understand what is meant
 19 here because it's not absolutely crystal clear to me
 20 what -- what you're stating here.
 21 A. Well, in a role reversal, let me ask, what's
 22 not clear about it?
 23 Q. Well, the word "apparently to different types
 24 of equations or algorithm," what equations or
 25 algorithms are you referring to there?

Page 223

1 A. Well, in -- in the claims, if I look at -- if
 2 we look at claim 1 of the 245 patent, next to last
 3 element, the claim speaks about choosing a
 4 corresponding location/determination algorithm. From
 5 plurality of the location/determination algorithms,
 6 said chosen algorithm being suited for the number of
 7 identified WIFI access points.
 8 So your question was, as -- as I understood
 9 it, related to what's the location determination
 10 algorithm? Was that your question?
 11 Q. Well, my -- my question was, what are you --
 12 to what were you referring when you made reference to
 13 "algorithms" in that second sentence of paragraph 123?
 14 MR. BERTIN: Object to form.
 15 THE WITNESS: Different methodologies.
 16 Methodologies expressed by some sort of a mathematical
 17 relationship.
 18 BY MR. LU:
 19 Q. So an algorithm requires a methodology
 20 expressed by a mathematical relationship, correct?
 21 A. Well, mathematical in the most general sense.
 22 It might be some sort of a -- it might be Boolean math
 23 as opposed to real-number arithmetic. But there'd be a
 24 procedure to be followed. Well, it's equations or
 25 algorithms. So a procedure to be followed. And I

Page 224

1 guess it may be expressed by means of some sort of
 2 logic or instructions or mathematical symbolism. But
 3 that's what I get from reading the specification.
 4 BY MR. LU:
 5 Q. So an algorithm in this instance can be an
 6 equation, Boolean logic, a series of instructions.
 7 Anything else?
 8 A. Well, let me go back to the specifications.
 9 MR. BERTIN: Can you read back the question?
 10 (Record read.)
 11 MR. BERTIN: Object to form.
 12 THE WITNESS: Okay. Here's the difficulty
 13 that I'm having in addressing your question. But by
 14 explaining the difficulty, maybe I'll answer your
 15 question.
 16 "Being suited" appears in claim 1 of the 245
 17 patent. And I read some of the claim language, and
 18 that claim language, again, is suggesting that there
 19 are different types of -- let's be specific --
 20 different types of algorithms -- different algorithms,
 21 in any event, that it be chosen from among. So I'm
 22 going to choose an algorithm being suited from some
 23 number of algorithms.
 24 And again, these algorithms are described in
 25 the specification. Specification refers to simple

1 signal strength, weighted models, nearest neighbor
 2 models combined with triangulation techniques, adapted
 3 smoothing based on device velocity, different equations
 4 perform better under different scenarios and tend to be
 5 used together in hybrid deployments to product the most
 6 accurate final readings. Preferred embodiments --
 7 (Reporter interruption.)
 8 THE WITNESS: Preferred embodiments of the
 9 invention can use a number of positioning algorithms.
 10 Decision of which algorithm to use is driven by the
 11 number of access points observed and the user case
 12 application using it."
 13 And it goes on. But it describes some
 14 filtering techniques, common filters. That's where the
 15 math -- or the equations come in. But also some broad
 16 references made to all of these algorithms from among
 17 which one might be chosen -- that one being best
 18 suited, whatever that means -- there's actually only
 19 one algorithm this close. I actually don't know
 20 what -- all of the different algorithms are. The
 21 patent doesn't tell me what they are. It simply says,
 22 there are a whole bunch of different things that you
 23 could do, but it doesn't reveal what they are, except
 24 in one instance -- the equations appearing in column
 25 12, or the same equations weighted by the C parameter

1 in the event that the numerical accuracy needs to be
 2 improved.
 3 So there are a set of equations, and -- given
 4 in column 12. And when I go back to the claim, I see
 5 that the claim actually requires some plurality of the
 6 algorithms. And then I'm gonna -- and I'm not sure
 7 what those algorithms are. There's one algorithm
 8 that's actually shown. And then I'm going to choose
 9 one that's best suited, whatever that means.
 10 So I'm concluding that these algorithms are
 11 rules or mathematical descriptions, something of this
 12 type, based upon what I'm reading here.
 13 BY MR. LU:
 14 Q. The patent claims reference that the
 15 algorithms can include a simple, signal-strength,
 16 weighted, average model. Do you see that? That's in
 17 the appended claim 6?
 18 A. I do.
 19 Q. Do you have an understanding of what a simple,
 20 signal-strength, weighted, average model would be?
 21 A. Well, I think we discussed that earlier today.
 22 Q. Fair enough. So I think it the answer is
 23 "yes"?
 24 A. I -- well, yes.
 25 Q. Okay. Dependent claim 7 says: "The plurality

1 of the location determination algorithms includes the
 2 nearest neighbor model."
 3 Do you know what a "nearest neighbor model" is
 4 in terms of a location determination algorithm?
 5 A. No.
 6 Q. Okay. Claim 8 says: "The plurality of the
 7 location determination algorithm includes a
 8 triangulation technique."
 9 Do you know what a "triangulation technique"
 10 would be in the context of location determination?
 11 A. Yeah. I believe I have an opinion on that in
 12 my report. I think I know what a -- what -- what a
 13 triangulation technique is.
 14 A location determination algorithm that
 15 includes a triangulation technique -- not from what I'm
 16 seeing in this specification, no. In fact, I'm finding
 17 that to be quite ambiguous.
 18 Q. Okay. Do you have a -- turning to dependent
 19 claim 9, do you have an understanding of what an
 20 "adaptive smoothing technique based on device velocity"
 21 might be in the context of a location determination
 22 algorithm?
 23 A. Well, I might have some understanding of what
 24 an adaptive smoothing technique is. Based upon device
 25 velocity, no. But moreover, the claim is to -- is

1 referring back to the method of claim 1, wherein the
 2 plurality of location determination algorithms includes
 3 an adaptive smoothing technique based on device
 4 velocity.
 5 Well, here, I have some understanding of what
 6 adaptive smoothing is. Adaptive smoothing based on
 7 device velocity, no. And location determination
 8 algorithm including adaptive smoothing technique based
 9 on device velocity, again, no. Not from what's taught
 10 in the specification and not from anything outside of
 11 this either.
 12 Q. Now, if one were to have multiple location
 13 determination algorithms and use each of those multiple
 14 location determination algorithms with a particular
 15 number of WIFI access points and determine that one
 16 performed better than the others, is that something
 17 that one of ordinary skill in the art could do?
 18 A. I'm going to ask you to repeat that question.
 19 But first, we need to do something about the glare that
 20 is blinding me right now.
 21 Q. Which pane is it coming through?
 22 A. It's coming through this one here, but I'm not
 23 sure what we can do since those shades are not
 24 providing enough -- now, we may actually need to hang
 25 something up. That -- that -- that just is -- I --

Page 229

1 I -- I -- I really can't go on like this, at least not
 2 in this position.
 3 MR. BERTIN: Do you want to take a break?
 4 MR. LU: Sure. Let's take a short break.
 5 MR. BERTIN: And reposition you is probably
 6 the easiest thing.
 7 THE VIDEOGRAPHER: We're going off the record.
 8 The time is 4:58 p.m.
 9 (A brief recess was taken.)
 10 THE VIDEOGRAPHER: We're back on the record.
 11 The time is 5:07 p.m.
 12 BY MR. LU:
 13 Q. I'd like you to turn to page 51, and
 14 specifically paragraph 125 of your declaration. Second
 15 sentence of paragraph 125 states: "As discussed above
 16 the common specification is dominated by a single
 17 method for creating the database, which involves
 18 driving a vehicle in a systematic manner along every
 19 street."
 20 Do you see that?
 21 A. I do.
 22 Q. What do you mean by "dominated by a single
 23 method"?
 24 A. Okay. Well, we had a good deal of discussion
 25 about this for much of today.

Page 230

1 Q. Well, let me narrow my question a little bit.
 2 When you say "dominated by a single method,
 3 are there alternative methods disclosed in the patent
 4 specification for creating the database?
 5 MR. BERTIN: Object to form.
 6 THE WITNESS: No. There were -- there were --
 7 there are one-sentence sound bytes, if you will, but no
 8 discussion of how it would be done, leaving one to sort
 9 of scratch one's head -- what's meant by this.
 10 BY MR. LU:
 11 Q. Would one of ordinary skill in the art know
 12 how to create a database which involves driving a
 13 vehicle in a systematic manner around -- along every
 14 street without using the Chinese postman model?
 15 A. Not without some further description.
 16 Q. Would one of ordinary skill in the art know
 17 how to drive a vehicle in a systematic manner along
 18 every street without utilizing the Chinese postman
 19 model?
 20 A. Would one know how as opposed to could one
 21 create some alternative to the Chinese postman?
 22 Q. Well, let's --
 23 A. No. I think one would -- would need to ponder
 24 that for a while and figure out how to do it.
 25 Q. What is your --

Page 231

1 A. And then there'd also be some limitations of
 2 cost, time, and other factors to take into
 3 consideration as well.
 4 Q. What do you mean by "there could also be some
 5 limitations of cost, time, and other factors to take
 6 into consideration as well"?
 7 A. Well --
 8 MR. BERTIN: Object to form. Asked and
 9 answered.
 10 THE WITNESS: If I thought about it, I could
 11 probably create some sort of a brute-force approach,
 12 like marking on a map every street that I've driven
 13 along and then occasionally looking at the -- the --
 14 the -- the marked-up map to see where I haven't driven
 15 and then go back out and drive those. And by brute
 16 force, given enough time and given enough money and
 17 given enough gasoline, eventually being sure that every
 18 street on my map has an X to it. That's brute force.
 19 But even that's not suggested in the patent at
 20 all. So I don't think that was -- that was the intent.
 21 There's a systematic manner, not a brute-force manner.
 22 And I don't know what that system -- what -- the only
 23 systematic manner that's disclosed is the Chinese
 24 postman, and one would have to create an alternative --
 25 in my opinion, one would have to create an alternative

Page 232

1 their building.
 2 Would all but an insignificant number, as you
 3 interpret that claim, require the capture of those WIFI
 4 access points that are shielded so that they cannot be
 5 captured from the street, using any technology?
 6 A. I -- I understand the question. What claim
 7 are we discussing here?
 8 Q. Well, both of the independent claims, the 988
 9 and the 694 patents.
 10 A. So let's just discuss one of them. I
 11 haven't -- I haven't reached the 988 patent first.
 12 So as I understood your question, if all of
 13 the access points were enclosed in the Faraday cage --
 14 let's say a small Faraday cage -- not one that would
 15 actually extend over streets that a van -- that the van
 16 is driving up and down. But these are small Faraday
 17 cages that don't extend over any streets.
 18 Then I don't think that -- I can't imagine the
 19 situation where one would -- where one could infringe
 20 this claim if every access point were captured in a
 21 Faraday cage. But because there would be no access
 22 points in the database. There wouldn't be no database
 23 of WIFI access points in that case.
 24 Q. So by that reasoning as well, one would not
 25 infringe the claim if somehow all of the -- all but an
 Page 237

1 insignificant -- strike that.
 2 So by that same reasoning, if building
 3 interference alone was sufficient to prevent WIFI
 4 access point signals from exiting the building and
 5 being captured on the street, those WIFI access points
 6 would still need to be captured. And all but an
 7 insignificant number would need to be captured in order
 8 to infringe the claim, correct?
 9 A. Well, the claim requires a database of WIFI
 10 access points. And included in that database are
 11 records for substantially all WIFI access points in the
 12 target area. And substantially, all means -- all but
 13 an insignificant number of. Well, again, since we're
 14 dealing with the claim term, let me be precise.
 15 The disputed term is substantially all WIFI
 16 access points. And my proposed construction is -- and
 17 I should have bold fast -- faced these constructions so
 18 I could find them easily. "All but an insignificant
 19 number of WIFI access points in the target area."
 20 So in the scenario that you just painted, some
 21 reason the signals can't get out of the buildings, but
 22 you haven't put in Faraday cages, which means some of
 23 the signals did leak out. You're not -- so we're no
 24 longer talking about a complete electromagnetic shield.
 25 Then you made your heroic attempt; you've done the
 Page 238

1 Chinese postman routing; you've used the special van
 2 with the directive antennas.
 3 One of the problems I'm having is that the
 4 patent doesn't tell you how much directivity to use in
 5 these antennas. So if I have something other than a
 6 perfect shield around these access points, I could
 7 deploy a van with a sufficiently large aperture antenna
 8 that I'm going to capture all but an insubstantial
 9 number of the access points by driving this Chinese
 10 postman routing algorithm.
 11 And maybe it's in the eye of the beholder. If
 12 after the end of the day, whoever is responsible for
 13 gathering data comes back to the -- back to the office
 14 and concludes, I haven't got enough files. I know
 15 there were more access points out there. Maybe the
 16 next day they go back out with a bigger antenna. I
 17 don't know.
 18 Q. But what your -- but the claims -- all but --
 19 substantially all of the WIFI access points, as you
 20 understand it, requires a heroic effort, including the
 21 use of directional antennas, such as the ones that are
 22 disclosed in the patent specification?
 23 MR. BERTIN: Object to form. Mischaracterizes
 24 his testimony.
 25 THE WITNESS: That's not what I said.
 Page 239

1 The patent mentions the use of directive
 2 antennas. Whether they're necessary or not to -- I
 3 don't think that the use of the directive antennas is
 4 necessarily a limitation of the claim.
 5 But unless you've gotten all but an
 6 insubstantial number of those access points recorded in
 7 the database, you haven't met this claim limitation.
 8 And perhaps the only way to get to that point is by
 9 deploying a van with directive antennas. It depends on
 10 the situation.
 11 BY MR. LU:
 12 Q. So continuing on with that paragraph, you
 13 state: "Skyhook's rewriting would exclude the purpose
 14 of the disclosed collection method from the boundaries
 15 of the claim. For example, a target area might be
 16 scanned in 500 WIFI access points included in the
 17 database of claims. Six months later, there might now
 18 be 1,000 WIFI access points in that same area. If the
 19 target area had not been rescanned during that time,
 20 the database would still have 500 access points and
 21 will still have substantially all observed WIFI access
 22 points simply because no observations had been made
 23 during a time in which the actual number of WIFI access
 24 points present changed dramatically. Skyhook's
 25 proposed construction would destroy the usefulness of
 Page 240

1 the target area. I think you're asking me a different
 2 question.
 3 If -- here's what I'm hearing you ask. If
 4 substantially, all WIFI access points means all but an
 5 insignificant number of WIFI access points in the
 6 target area, would I know what that claim limitation
 7 meant?
 8 Q. Would I know whether that claimed limitation
 9 were ever met?
 10 A. I don't know. I -- I actually have not got an
 11 opinion on that. I would need to think about that
 12 probably fairly deeply. I -- I don't know.
 13 Q. Because I wouldn't know -- because one
 14 wouldn't know whether or not some paranoid, wearing an
 15 aluminum foil hat hasn't put, you know, 1,000 WIFI
 16 access points within a Faraday cage within his
 17 building, correct?
 18 MR. BERTIN: Object to form.
 19 THE WITNESS: That's not the only reason.
 20 BY MR. LU:
 21 Q. But there are other reasons because a WIFI
 22 access point might be located underground not -- and
 23 still in a target region, correct?
 24 A. That's another reason.
 25 There are -- that's the problem why I -- at

Page 245

1 this point I actually haven't got an opinion on that
 2 because I'd have to think about these different
 3 scenarios and which one of those were covered by the
 4 claims and which ones are -- are not covered by the
 5 claims. I -- I don't know. The -- the -- the -- the
 6 claim might be indefinite for that reason. It's --
 7 it's -- in my opinion, it is indefinite for other
 8 reasons. But that particular reason, I don't actually
 9 have an opinion on that at this moment.
 10 I wasn't have to provided one, and I didn't.
 11 I was just asked to give my opinion as to, what
 12 substantially all WIFI access points means.
 13 Q. Would, substantially, all WIFI access points
 14 require one to take a survey of possible WIFI access
 15 point holders in order to determine whether they have a
 16 WIFI access point in the target area.
 17 MR. BERTIN: Object to form. Asked and
 18 answered.
 19 THE WITNESS: I didn't understand the
 20 question.
 21 BY MR. LU:
 22 Q. How would one count -- how would one determine
 23 what all of the WIFI access points would be in a
 24 particular target area?
 25 Let me put it to you differently.

Page 246

1 A. I haven't formed an opinion on that.
 2 Q. Let me put to you differently.
 3 As an expert in WIFI technology, if I were to
 4 ask you how would you go about determining the number
 5 of WIFI access points on the island of Manhattan, how
 6 would you go about doing that?
 7 He smiles.
 8 A. Yes.
 9 MR. BERTIN: Object to form.
 10 THE WITNESS: First, you'd have to give me the
 11 budget. Then I would tell you if I thought it was
 12 possible, given the budget and the time. So you -- you
 13 need to give me --
 14 BY MR. LU:
 15 Q. Million dollars. Million dollars and one
 16 year.
 17 MR. BERTIN: Object to form.
 18 BY MR. LU:
 19 Q. That's the budget; that's the time.
 20 A. You know, I -- even then I'm not sure it could
 21 be done. One thought that's going through my mind is
 22 setting out on foot, knocking on doors, looking for
 23 access points, and maybe even needing to buy my way
 24 into the premises to do a visual inspection. So I -- I
 25 might run out of your million-dollar budget before I

Page 247

1 got a -- if I start at Battery Park, I may not get past
 2 Wall Street and run out of budget. I -- I don't know.
 3 You're really creating a -- a -- a wild hypothetical
 4 here.
 5 Q. And it would be crazy because they'd be adding
 6 WIFI access points, potentially, in Battery Park by the
 7 time you got up to Wall Street, right?
 8 A. Well, that's another problem.
 9 Q. Okay.
 10 MR. LU: Can we take a short break, figure out
 11 if we have any other --
 12 MR. BERTIN: Sure.
 13 MR. LU: -- what other line of questioning,
 14 and then maybe conclude.
 15 THE VIDEOGRAPHER: We're going off the record.
 16 The time is 5:36 p.m.
 17 (A brief recess was taken.)
 18 THE VIDEOGRAPHER: We're back on the record.
 19 The time is 5:40 p.m.
 20 MR. LU: We have no further questions, and so
 21 we can go off the record.
 22 MR. BERTIN: Okay. And I have no -- I have no
 23 questions either. Thanks to all.
 24 MR. LU: Thank you.
 25 THE VIDEOGRAPHER: This concludes today's

Page 248