```
Page 1
 1
 2
               UNITED STATES DISTRICT COURT
 3
               WESTERN DISTRICT OF NEW YORK
 4
 5
     PAUL D. CEGLIA,
                                  )
                                  )
                   Plaintiff,
 6
                                  )
 7
                                      No. 1:10-cv-00569
               vs.
                                         (RJA)
                                  )
 8
     MARK ELLIOT ZUCKERBERG,
     Individually, and
                                  )
 9
     FACEBOOK, INC.,
                                  )
10
                  Defendants.
11
12
13
14
15
16
                           August 10, 2012
17
                           10:05 a.m.
18
19
               Deposition of ALBERT LYTER, held at the
20
         offices of Gibson, Dunn & Crutcher LLP, 200
21
         Park Avenue, New York, New York, before
22
         Laurie A. Collins, a Registered Professional
23
         Reporter and Notary Public of the State of New
24
         York.
25
```

	Page 2
1	
2	APPEARANCES:
3	
4	BOLAND LEGAL, LLC
5	Attorneys for Plaintiff
6	1475 Warren Road
7	Unit 770724
8	Lakewood, Ohio 44107
9	BY: DEAN BOLAND, ESQ.
10	(via telephone)
11	
1 2	GIBSON, DUNN & CRUTCHER LLP
1 3	Attorneys for Defendants
1 4	200 Park Avenue
1 5	New York, New York 10166-0193
16	BY: ALEXANDER H. SOUTHWELL, ESQ.
17	SRIPRIYA NARASIMHAN, ESQ.
18	
1 9	
2 0	
2 1	
2 2	
2 3	
2 4	
2 5	

	Page 3
1	
2	ALBERT LYTER,
3	called as a witness, having been duly sworn
4	by the notary public, was examined and
5	testified as follows:
6	EXAMINATION BY
7	MR. BOLAND:
8	Q. Dr. Lyter, good morning. My name is
9	Dean Boland. I represent the plaintiff, Paul
10	Ceglia, in this matter.
11	You and I haven't met before, have we?
12	A. No, we have not.
13	Q. Okay. Have you had any conversations
14	with the defense attorneys before your deposition
15	today?
16	A. Yes.
17	Q. When was the most recent conversation
18	you had with them preparing for your deposition?
19	A. Yesterday afternoon.
20	Q. And did you discuss your deposition
21	with them before yesterday afternoon?
22	A. Yes.
23	Q. And when was that conversation?
24	MR. SOUTHWELL: Dean, I assume you're
25	referring to substantive conversations rather

	_
1	Lyter
2	than, you know, saying, you know, logistical.
3	I guess I'll object to the form. Maybe if you
4	can clarify that, that will be helpful.
5	MR. BOLAND: Yeah, I'm just asking a
6	specific conversation other than before
7	yesterday afternoon in preparation for this
8	deposition, that conversation.
9	A. Yes, I had a similar conversation in
10	preparation for the deposition last week when the
11	deposition was supposed to occur.
12	Q. Okay. And you provided at least a
13	declaration in this case, probably more than a
14	year ago. Do you recall that?
15	A. I don't recall the date.
16	Q. But you recall a declaration it's
17	around I have the date in front of me. It's
18	August of 2011. Does that sound familiar to you?
19	Does that ring a bell?
20	A. Is that the one dated August 15th,
21	2011?
22	Q. Yes, that would be right. Yes.
23	MR. BOLAND: And Alex, by chance did
24	you get my e-mail with those two documents

attached, or three documents attached?

	_
1	Lyter
2	MR. SOUTHWELL: Yes. And I sent you a
3	response saying I provided those three to
4	Dr. Lyter. So he has them in front of him.
5	MR. BOLAND: I didn't hear what you
6	said, Alex.
7	MR. SOUTHWELL: Yes, I sent you an
8	e-mail. I have printed them, and he has them
9	in front of him.
10	Q. Dr. Lyter, if you could just
11	MR. BOLAND: Or the court reporter
12	could just mark that declaration dated August
13	15th, 2011, as Exhibit 1, please or Lyter,
14	Lyter 1, so we can keep track of it.
15	(Lyter Exhibit 1, declaration of Lyter
16	dated 8/15/11 without exhibits, marked for
17	identification.)
18	Q. Dr. Lyter, do you recognize this
19	document that's now marked as Lyter 1?
20	A. Yes, I do.
21	Q. What do you recognize it to be?
22	A. It's labeled as my declaration. It
23	bears my signature and is dated August 15th, 2011,
24	and that it was prepared in Raleigh, North

Carolina.

1	
Τ.	

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

- Q. And does that appear to be the

 declaration you filed -- or that was filed in this

 case back in August of 2011 and authored by you?
 - A. Well, it appears to be something that I authored. I don't know when it was filed.

Well --

- Q. I'll represent to you the date across the top -- the information printed across the top of the declaration indicates when it was filed. So that's August 15th, 2011.
 - A. Okay.
 - Q. Does that sound about right?
- A. Yes.
 - MR. SOUTHWELL: Mr. Boland, I would just note for the record that what you sent us does not actually have the exhibits to this declaration. So, I mean, that's fine. I just want to make it clear that this is the five pages of the declaration without the exhibits.

MR. BOLAND: Yes.

Q. Dr. Lyter, to be clear, there were exhibits attached to this declaration, but I've only provided to you as Lyter 1 just your declaration. Mr. Southwell is correct.

1	
Τ.	

Lyter

- Q. Does that ASTM standard cover the type of ink age determination that you perform?
- A. I certainly do the analysis that's covered in the ASTM standard that relates to identification of ink formulations and therefore dating by commercial availability.
- Q. And that test that you perform is not a dynamic test, is it?
- A. That particular standard does not relate to any dynamic test.
- Q. Right. My point is the testing that you perform -- well, can you describe for me -- very briefly summarize the name of the type of testing you do for ink age determination?
- A. There are a variety of tests that I perform that relate to the aging of ink samples. One of them would be the one defined in that standard that relates to the identification of a particular, in essence, make and model of ink which would allow for determination of commercial availability.

It also includes detection of dating tags, which are unique components that have been added to the ink to identify a particular year of

1		
-		

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

2 manufacture.

And then there are several dynamic methods that look at either the extractability of the ink or the quantitative measurement of various volatile or semivolatile components.

- Q. Okay. And is there a standard, an ASTM standard, for dynamic testing of ink age?
 - A. I don't believe so.
- Q. Do you know why that is or do you have an opinion as to why that is?
- A. Well, the mechanism by which ASTM generated standards was by receiving information from various working groups. I think one of them was called SWGDOC, S-W-G-D-O-C, which was the scientific group for document examination.

And at the present time that group is no longer providing information to ASTM, so that they would not be in a position to generate any standard methods or standard guides that would deal with the dynamic analysis of writing inks.

- Q. Are any of the defendants' experts in that group?
 - A. I don't know.
 - Q. Are you in that group?

	Page 10
1	Lyter
2	A. No, I'm not.
3	Q. Now, are you aware of the type of ink
4	age testing that Gerald LaPorte performed in this
5	case?
6	A. If you're talking about the measurement
7	of phenoxyethanol, yes. I don't recall
8	specifically what Mr. LaPorte did in this matter.
9	Q. Well, no, I'm focusing on and for
L 0	the court reporter's benefit, we'll just call it
L1	the PE test. Is that fair enough, that we'll both
L 2	understand each other what we're talking about
L3	when we refer to LaPorte's test?
L 4	MR. SOUTHWELL: Objection. Are you
L 5	referring to it generically or are you
L 6	referring to it as LaPorte's test? I think
L 7	it's not clear.
L 8	MR. BOLAND: No, I meant I'm just going
L 9	to refer to it as the PE test.
20	Q. Is that an accurate way to sort of
21	summarize the type of test that LaPorte did in
22	this case?
23	A. Well, the literature describes a

variety of methods by which 2-phenoxyethanol,

which we've called PE, is measured and how that

24

1

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

can be related to the age of writing inks. But I
don't know specifically the methodology that was
used by Mr. LaPorte in this case or in any other
case, for that matter, regarding the measurement
of PE.

- Q. Are you aware of that testing method, before your work in this case, where some experts are out there measuring PE as a method of determining the age of ink?
- A. I'm certainly familiar with what is in the literature regarding the measurement of PE as a way of determining the age or relative age of writing inks.
- Q. And in this case it's true that you did not attempt to do that type of a test measuring

 PE -- correct? -- in this case?
 - A. That's correct, I did not.
- Q. Have you attempted that test in prior cases?
- A. I do use a methodology for the measurement of the amount of phenoxyethanol in writing ink samples as a way of determining the age of that writing.
 - Q. Have you done that as part of your work

1	Lyter
2	as an expert witness in prior cases?
3	A. Yes.
4	Q. Do you use the same method as Gerald
5	LaPorte when you're doing that type of PE testing?
6	MR. SOUTHWELL: Objection to the form,
7	calls for speculation.
8	A. I don't know. I don't know what
9	Mr. LaPorte uses.
10	Q. Can you describe briefly what you do
11	what kind of measurements you take of PE when you
12	performed that test in prior cases? How do you do
13	your test?
14	A. I basically use multiple samples, at
15	least two different samples, from an area of
16	writing and an additional two samples that would
17	be artificially aged by placing them in an oven
18	for a period of time.
19	And then I extract the ink with a
20	particular solvent that contains an internal
21	standard. And then I measure the amount of
22	phenoxyethanol as well as the internal standard
23	using a gas chromatograph/mass spectrometer, or

And by running standards of the

abbreviated GC/MS.

24

1	
_	

Lyter

phenoxyethanol at various concentrations, I can quantify the amount of phenoxyethanol present in the samples and then measure the difference in the amount of PE based on the fact that one set of samples has not been altered or affected by the heating process and the other set has.

That provides me with a delta P in a percentage that is related to how much of the phenoxyethanol has been driven off by the heating process, and it relates to the amount that was there. And the percentage that's been driven off is related to the age of the writing.

- Q. And is this a method -- when did you first start using this method in your career that you just described?
- A. It's been a number of years. I don't recall specifically when I started.
- Q. And do you know Valery Aginsky, Dr. Valery Aginsky?
 - A. Yes, I do.
- Q. And are you aware whether he uses this method as well, the one you just described, or something similar to it?
 - A. I know that he has published articles

1	ı
т.	ı
	п

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

2 that describe methods that are very similar to
3 this.

- Q. And in this case you did not use the method that you just described to test the age of the ink; is that correct?
 - A. That is correct.
 - Q. Why didn't you use it?
 - A. I didn't have enough sample.
- Q. When did you do your testing and when -- of the ink?
 - A. The examination of the documents took place in July of 2011 and I believe again in August of 2011, so that the testing would have been done shortly after that time period; I don't recall specifically.
 - Q. And was it July of 2011 when you determined you did not have enough samples to do the PE testing that you just described?
 - A. Well, after the initial examination of the document where it was clear that the ink line had been deteriorated and that the amount of sample available would be somewhat less than what might have normally been available, in particular from some of the smaller amounts of writing like

1	Lyter
2	the initials on the "work for hire" document, the
3	first page, or even the interlineation on that
4	first page.
5	I was at that point questioning whether
6	there would be sufficient sample to be able to do
7	tests for phenoxyethanol using the methodology and
8	based on the equipment configuration that I have.
9	Q. Now, at some point after July 2011
10	in fact it's within the exhibit you have in front
11	of you, Lyter 1 you submitted a declaration in
12	part asking the court or justifying to the court
13	why more samples needed to be taken from the
14	document; correct?
15	MR. SOUTHWELL: Object to the form.
16	A. I'm reviewing Lyter 1. Just one
17	second.
18	Q. I'm looking at the bottom of page 3,
19	paragraph 8, it looks like, where you start saying
20	what samples you need.
21	A. Yes, and I forget your initial
22	question.
23	Q. I'm just pointing out that after July
24	2011 there was a time when you you drafted this

declaration, and in part of this declaration it's

1	Lyter
2	indicating additional samples that the experts
3	would require, as it says on page 3 there;
4	correct?
5	A. Yes.
6	Q. And were those additional samples being
7	sought so you could perform the PE testing that
8	you had previously described in an earlier
9	question?
10	A. No. There was not sufficient sample
11	available for me to perform the PE testing.
12	Q. Can you clarify for me was it I
13	thought you said and maybe I'm wrong in July
14	of 2011 the samples taken then, there were not
15	enough there were not sufficient samples for
16	the PE testing. Is that accurate or did I
17	misunderstand you?
18	A. Well, it's true, but it's also true
19	that at no point were there sufficient number of
20	samples for me to conduct PE testing based on the
21	methodology that I use and the instrument
22	configuration that I have.
23	O. How many samples do vou require to do

Each of the samples that I need would

the testing methodology you use regarding PE?

Α.

24

1
т –

Lyter

contain eight of the little microplugs. And since

I need two samples that would be unheated and two

samples that would be heated, that will be 32

microplugs from any one area of writing.

- Q. Why do you need so many?
- A. The instrument that I have is set up with an automatic sampler, and the automatic sampler requires the amount of volume of liquid in each of the samples to be of a certain quantity.

And in order to be able to measure the amount of PE in that quantity of liquid, the number of hole punches needs to be increased. And I found that the minimum number that will provide the ability to quantify the amount of PE in all instances is eight.

- Q. What machine are you talking about that you need that many samples to properly run the test?
- A. Well, the machine I use is a GC/MS, but it has an automatic sampler on it instead of simply a manual injection.
- Q. If you were using manual injection, would you be able to perform the PE test that you described with less samples than the 32 you've

1	Lyter
2	just described?
3	A. Yes.
4	Q. Now, in paragraph 9 of Lyter 1 it's
5	on page 34. You see that paragraph?
6	A. I do.
7	Q. What are you referring to in the phrase
8	written there where it says "extremely sensitive
9	and precise chemical testing"? What test are you
10	referring to?
11	A. Well, all of the tests that I do are
12	precise and extremely sensitive. Thin-layer
13	chromatography is one of them. I can do TLC
14	densitometry. That's another. It is possible
15	that GC/MS could be used, but there are
16	limitations to that.
17	Q. You're aware that a second round of
18	samples was approved by the court?
19	A. Well, I know that I took samples,
20	additional samples, in August of 2011.
21	Q. What testing did you perform on those
22	additional samples?
23	A. I did some additional thin-layer
2 4	chromatography.

Q. Is that all? Anything else?

Т	

Lyter

2

3

5

6

7

8

9

10

11

12

13 14

15

16

17

18 19

20

21

22

23

24

25

- Α. No. I didn't do any additional testing on those samples because of the results of that additional thin-layer chromatography test.
- And what were those results that caused 0. you to not do any further testing?
- They're the results that are described. In my report I believe it's on page 7 where we're talking about the TLC results.
- And just can you summarize for me what Q. those results were?
- Α. The samples of ink that were taken from the written notations on the "work for hire" document, both the interlineation, the initials, the signatures, and the dates, the sample -- the samples were so deteriorated that the results of the TLC analysis were not able to be used either for comparison purposes or for any other analyses, such as determining the ink formulations that might have been used or looking at the relative age of the ink through measuring of extraction characteristics.
- And did you get similar results from 0. the testing that you did in July?

MR. SOUTHWELL: Objection to form.

1	Lyter
2	A. I don't recall if I did testing in
3	July, waiting because of the possibility of
4	getting additional samples. I just don't recall.
5	Q. Do you have your notes with you that
6	might refresh your memory on that?
7	A. No, I do not.
8	Q. Did you bring them with you to New
9	York?
10	A. Yes.
11	Q. Where are they located now?
12	A. They're in another conference room.
13	MR. BOLAND: Okay. Can we just take a
14	brief break so that Dr. Lyter can go grab his
15	notes, please?
16	MR. SOUTHWELL: What is your intention
17	with respect to the notes? As you know, this
18	is the subject of an ongoing dispute that you
19	have now raised with the court.
20	MR. BOLAND: I'm going to ask him to
21	read his notes and refresh his recollection
22	about what testing he did back in July.
23	That's all.
24	MR. SOUTHWELL: Okay. I mean, you want
25	to keep going and at the next break he gan got

1	Lyter
2	them or
3	MR. BOLAND: Is it a long walk back and
4	forth to where his notes are? If it's going
5	to take him 20 minutes, we can do it at a
6	break.
7	MR. SOUTHWELL: No, it's not a long
8	walk. We'll take a break now then.
9	MR. BOLAND: Very well.
10	(Recess taken from 10:32 to 10:35.)
11	Q. Dr. Lyter, can you hear my question
12	still? I'm just checking the technology to make
13	sure we're still connected.
14	A. Yes, I can hear you fine.
15	Q. Do you have your notes in front of you
16	from the testing that you did in July of 2011?
17	A. Yes, I reviewed that particular
18	testing.
19	Q. And can you tell us now what tests you
20	performed in July of 2011?
21	A. I did in fact do thin-layer
22	chromatography of the interlineation from page 1
23	of the "work for hire" document and the signatures
24	and dates appearing on page 2 of the "work for
25	hire" documents.

_	

Lyter

8

9

10

11

12

13

14

15

16

17

18

19

And the results were as listed in my report in that those analyses were not conclusive. It was clear that the ink lines had deteriorated, and so it was not possible to determine whether those particular entries were similar or different from each other because of the degree of deterioration.

- Q. When you say "similar or different from each other," do you mean the ink formulations being similar or different from each other?
- A. Yes, the actual results were such that they were uncharacteristic of what we expect to see when we examine ballpoint ink with thin-layer chromatography.
- Q. Do you have an image of the two-page contract you analyzed within your notes?
 - A. I'll have to check.
 - Q. Very well.

20 (Pause.)

A. I have what appears to be an image of the two pages of the "work for hire" contract, but I'm not -- I don't know where these images came from, so I don't know what they're associated with.

1	Lyter
2	MR. BOLAND: If the court reporter
3	could hand to Dr. Lyter a copy of his report.
4	It should be the document in front of him that
5	was filed in this case. I'm looking for the
6	document number. Document 328 should be
7	stamped across the top of it.
8	THE WITNESS: Yes, I have that.
9	MR. BOLAND: If that could be marked
10	Lyter 2, just for convenience.
11	(Lyter Exhibit 2, redacted report of
12	Lyter, marked for identification.)
13	Q. Dr. Lyter, could you just describe what
14	that appears to be for the record?
15	A. Lyter 2 is labeled as Exhibit D. It
16	says that there are 13 pages. It appears to be my
17	report, and attached to it is a copy of my CV.
18	And it's dated March 24th, 2011.
19	Q. Does this appear to be the report that
20	you submitted to the defendants for them to then
21	eventually file in this case?
22	MR. SOUTHWELL: Mr. Boland, just so the
23	record is clear, this is the redacted version
24	that was publicly filed, just so that that's

clear.

1	Lyter
2	MR. BOLAND: Alex, I'm having a hard
3	time hearing you.
4	MR. SOUTHWELL: I just wanted the
5	record to be clear that this is the redacted
6	version which was filed, just so that that's
7	clear.
8	MR. BOLAND: Yes, fair enough.
9	Q. Dr. Lyter, we've had this incident
10	not incident this situation with several other
11	witnesses. And to be clear, so there's no
12	misunderstanding, the report you submitted to the
13	defendants based on some court rulings, they
14	redacted portions of it out that weren't allowed
15	to be publicly filed.
16	So I understand that this report will
17	have the word "redacted" all over it in different
18	places and that those are not things that you put
19	into the report?
20	But other than the redacted sections
21	that were redacted by the lawyers, does the rest
22	of it appear to be the report you filed in this
23	case in March of 2011?

Could you go to page 10 of the report,

Yes, it does.

Α.

Q.

24

1		Lyter

- please. And when I'm using the page numbers,

 Dr. Lyter, I'm using the page number in the upper
 right-hand corner of the page, the thing that's
 been stamped by the court.
 - A. Okay. Is that the page that bears my signature?
 - Q. Yes.
 - A. Okay, I have it.
 - Q. Do you see paragraph 2 there that you talked about the deterioration of the "work for hire" document?
 - A. Yes, paragraph 2 says, The intentional deterioration of the "work for hire" document thwarted my ability to assess the authenticity of the questioned documents using TLC analysis and ink identification and relative aging methodologies.
 - Q. And when did you make that determination? Was that in July of 2011 or sometime later?
 - A. My initial suspicion was in July. I believe that I actually comment. I'm looking for the -- I guess on page number 3 where it says my initial observations I comment on the

1	Lyter
2	deterioration aspect and that I was concerned
3	about what might be the results of that analysis.
4	Q. Dr. Lyter, could you look at page 9 of
5	that exhibit. There's the first full paragraph
6	on page 9 which starts with the words "the results
7	of the TLC analysis." Do you see that?
8	A. Yes.
9	Q. When you say in this paragraph the very
10	next sentence that you were unable to obtain
11	satisfactory TLC results and you talk about the
12	document was deteriorated in a way that changed
13	the chemical composition of the dye components in
14	the ink, can you list what are the dye components
15	in ink?
16	A. You mean in this particular ink?
17	Q. I guess what I'm asking is PE is not
18	considered a dye component; it's considered a
19	solvent; right?
20	A. Yes, it's characterized as a solvent or
21	semivolatile component.
22	Q. And is it your position that the
2 2	dotorioration of the decument had no effect on the

I don't know. That's not something

PE that might have been in the ink?

Α.

24

1	
Т	

Lyter

that I tested.

- Q. Is there a test to do to determine if -- well, how would you know if the PE in the ink was somehow damaged by the deterioration of the document? What test would you run to determine that?
- A. You've asked me two questions. The first -- the answer to the first question is that if you did a test that normally is able to detect and quantify PE and you don't find any of it there and you know it's supposed to be there, then there's been deterioration of it.

On the other hand, the test that is most listed in the literature as the appropriate method for both detecting and quantifying PE is GC/MS.

- Q. Okay. My question is if you use GC/MS and you detected some quantity of PE in this document in this case, how would you then determine if the quantity you detected was accurate given that the document was, as you put it, deteriorated.
- A. If the PE had been deteriorated by the condition of the document, then you wouldn't be

1		
Т		

Lyter

2 able to detect it.

Q. Okay. Let's talk about the word "deteriorated," then, because I'm not understanding it. Are you saying it's either an all-or-nothing proposition, that if a document is slightly deteriorated, it will eliminate all the PE, you won't be able to detect any?

MR. SOUTHWELL: Object to the form.

A. When you use the word "deteriorated,"

I'm referring to it and using it in the manner

that describes initially what the appearance is,

meaning that the written line was deteriorated

because it no longer contained a complete coverage

of ink from within the confines of the lines that

the ballpoint pen would lay down.

And number two, the appearance of the line, in addition to there not being complete coverage, what was there had changed. It had either become discolored and that it was now yellow to brown-colored as opposed to black colored.

And number two, because of the testing

I performed that would measure what dye components

were present and how those dye components reacted

Lyter

to thin-layer chromatography, it gave me results that indicated that the dye components had in fact been impacted by whatever method was used to cause the deterioration on the document.

And it -- I don't -- it would be my opinion that if we're dealing with a semivolatile component such as PE that if you can measure it, then you know that there's some of it there. If you measure a difference that is present after you've artificially aged or heated a sample, then you know that there was a quantity of PE that was present that was higher than what would be present in writing that would be greater than two years old.

So the deterioration that I was talking about was evident with the dye components. I didn't do any testing for PE, so I don't know what that testing would have shown.

Q. What I'm saying is when you use the phrase in that paragraph "deteriorated in a way that changed the chemical composition of the dye components" is it your position that that deterioration that was sufficient to change the chemical composition after the dye components,

•	1	
	_	

Lyter

would it or would it not also change the amount of PE in the sample, if you know.

A. Well, I know that dyes are susceptible to photodegradation based on the structure that exists. I'm not aware that PE is susceptible to photodegradation.

So from that standpoint it would not be surprising to find dyes that would be altered chemically or altered so that when you examined for them chemically they would give you skewed results versus the fact that you might be able to look at PE and get a perfectly reliable measurement of how much is there.

- Q. Is there any way to know whether PE -have you seen anything in the literature whether
 PE levels are affected by UV light exposure of a
 document that has ink on it with PE in it?
- A. I don't know of any literature that addresses that issue.
- Q. Do you know of any way -- outside of the literature, do you know of any way to figure that out, whether PE has been deteriorated as a result of photodegradation?
 - A. Well, clearly there are

1		
-		

Lyter

experimentations that can be performed.

- Q. Do you know if any experts in your field have ever done such experiments and published their results?
- A. I'm not aware, as I silt here today, of any such results. It's not to say that they're not published; I just don't know of them.
- Q. Have you heard of the term "the ink matrix" before?
 - A. Yes.
- Q. And how would the deterioration of the document that you observed and you reported in your report, how would that have changed the ink matrix?
- A. Well, what I mean when I say "ink matrix" is basically the resin component of the ink. And my knowledge of resins would not indicate that they are going to be very susceptible, if at all, to photodegradation.
- Q. Can you describe the sort of layers of the ink matrix or is it just one thing, the resins, as you described?
- A. Well, when I talk about the resins, I'm talking about the -- basically the component of

•	4	
	L	

Lyter

the ink into which all of the other components are incorporated, which lays on top of the paper when you're talking about ball pen inks.

- Q. Is PE part of that ink matrix?
- A. Well, all of the components are incorporated into the matrix. In other words, the resins basically keep everything together.
- Q. If that matrix gets damaged somehow, let's say through UV degradation or some other deterioration, can PE be released out of that matrix which ordinarily would be trapped in that matrix?
 - A. I don't know.
 - Q. Is that possible?

 MR. SOUTHWELL: Objection.
- A. Anything is possible. Without actually seeing some research data or performing some experimentation, I wouldn't know. It would be speculation to say that it was or wasn't.
- Q. Could you look at the letter P that's on the first page of the Facebook contract that you have there connected to your notes in the initials PC on the first page.
 - A. Okay.

1	
Т	

Lyter

Q. And would you agree that the ink in that P is darker than the ink on the interlineation itself or no?

MR. SOUTHWELL: I'm going to object to this line of inquiry if you're not -- I mean, it's not clear --

MR. BOLAND: I can't make out what you're saying, Alex. You're far from the microphone, I guess.

MR. SOUTHWELL: I don't know. I'm right in front of it.

I'm going to object to this -- the way that you're conducting this inquiry, because it's not -- I'm not sure what you're asking him about on a document that's -- I mean, if you want to use one of the scans that's in the record, we could get a copy of that, we can put it up on the screen.

Asking him to look at something and comment on the differentiation of the inks without his knowledge -- as he said, he's not sure what the image is that he has. I just think that it's improper.

Q. Well, Dr. Lyter, can you comment on the

1		
-		

Lyter

apparent darkness of the ink in the letter P in the initials PC compared to the rest of the interlineation? What's your opinion of that?

A. I can't tell much from the copy that I have because I believe that it's smaller than the normal -- in other words, it's not a one-to-one copy, number one. And number two, it's -- I'm not sure when it was taken. It almost appears like there might be -- that it was taken after there were hole punches taken, sampling of it.

I know that there were varying degrees of ink coverage on various parts of the writing that appeared on the "work for hire" document.

Specifically I don't have a recollection about the initials PC.

Q. This conclusion we were just talking about on page 10 of your report, did you know this or did you reach this conclusion about the deterioration and the change in the chemical composition of the dye components after the July testing?

MR. SOUTHWELL: Objection, asked and answered.

A. I certainly had performed some

1		
L	6	

Lyter

 thin-layer chromatography on some samples from the "work for hire" document after the July sampling in 2011, and at that point in time what I saw indicated deterioration.

After getting additional samples in

August and doing additional testing, those results

were confirmed and expanded to include all of the

writing on the "work for hire" document.

 Q. Can you please describe why you could not perform relative aging analysis as a result of that deterioration?

A. Relative aging is actually a technique by which the amount of color that is extracted in the solution is measured. And when you can see that the amount of color is being unreliably displayed on a thin-layer chromatograph, then it's not appropriate to be able to or to try to measure quantitatively that amount of color.

There's just too much going on, too much that's been affected by whatever method that was used to deteriorate the ink to be able to try to measure something quantitatively and use, therefore, as a measure of the age.

Q. Do you know who Erich Speckin is?

	Page 36
1	Lyter
2	A. Yes.
3	Q. Are you aware whether he uses the ink-
4	aging method that you use regarding
5	extractability? Does he use that method too?
6	A. You're talking about relative aging?
7	Q. Yes.
8	A. I believe he does, yes.
9	Q. Going back a second, when you talk
10	about the GC/MS machine, there was manual
11	injection and I think automatic injection. Do you
12	remember that topic we were talking about?
13	A. Yes, I do.
14	Q. Why don't you use manual injection?
15	A. The instrumentation that I use is at
16	Duke University. I rent time on it. I do not own
17	it. And it is set up to use automatic sampling,
18	and that's the way the instrument is set up.
19	Q. What's your opinion of the use of
20	manual injection as compared to the automatic
21	sampling that you just talked about?
22	A. There's nothing wrong with manual
23	injection. A lot of individuals and/or
24	organizations don't decide to spend the additional

funds necessary to buy an automatic sampler and/or

4		
J	L	

may not do sufficient number of samples so that

the automatic sampler is not required.

2

3

5

6

7

8

9

10

11

12

13

14

15

16

17

18 19

20

21 22

23

24

25

But both methods of injection will give reliable results.

- Have you opposed Gerald LaPorte in 0. previous cases regarding ink aging?
- Α. We have been retained on opposite sides of matters. I don't know that those issues have revolved around ink aging. I can only recall one matter, and I don't believe there was any ink aging performed in that case.
- Q. Would you agree with the statement that -- well, is it appropriate to describe the relative ink aging that you do most commonly as accelerated aging with rate of extraction?
 - Α. No.
- What is that method or is that even a 0. method that you've heard of?
- Α. Well, I know what accelerated aging is, and I know what the rate of extraction is. might be best to define those. Rate of extraction and extent of extraction are different measurement methodologies. In other words, they're different parameters that are being measured. They both

1		
-		

Lyter

relate to how easily an ink sample is dissolved in a solution.

Relative aging will use either one or both of those measurement methodologies and compare various writings, some of them being questioned in nature as far as when they were prepared and others being known as to when they were prepared.

Accelerated aging as a technique will use either the rate of extraction or the extent of extraction and compare two different samples from the same writing, and that writing is questioned as far as its age.

One of the samples is not treated in any way, and the other sample is heated. And the conclusions are derived by looking at how those two samples differ. And if they provide differing results, in other words, different rates of extraction or different extents of extraction, then they are -- the writing on the document is considered to be less than a certain number of months or years old.

It's not relative aging because it has no controls. There's nothing other than the

1		
-		

Lyter

questioned writing that's being examined. And that is not a procedure that I use.

- Q. Do you use a procedure that measures the extractability of some components of ink to try and determine their age?
- A. Yes, I do relative aging. In other words, in order for me to measure the age of writing by measuring an extraction characteristic -- and the two extraction characteristics are rate of extraction and extent of extraction -- I have to have some known dated writing, in other words, writing that I know was done on a particular date, by which I can compare that with the questioned writing. And that's known as relative aging.
- Q. And would you agree with the statement that other than you Mr. Speckin is the only other person in the world who performs that kind of measurement of extractability?

MR. SOUTHWELL: Object to the form.

- A. I don't know if that's a correct statement or not.
- Q. Would you agree with the statement that that method you just described that you use is not

	1	
	2	
	3	
	4	
	5	
	6	
	7	
	8	
	9	
1	0	
1	1	
1	2	
1	3	
1	4	
1	5	
	6	
1	7	
1	8	
1	9	
2	0	

22

23

24

25

Lyter

scientifically reliable?

mischaracterizes.

- A. No.
- Q. And if Mr. LaPorte has said that in a prior deposition, you would disagree with his statement on that point?

MR. SOUTHWELL: Objection,

- A. I would have to know exactly what he's saying and why, because I know that what's in the literature and I know that some of the stuff that's in the literature is not correct.
- Q. Give me an example of something that's not correct in the literature. What do you mean by that?
- A. There's an article that describes some experimentation that was done involving relative aging, which is the extraction methodology. And some of the conclusions that were reached were based on data that clearly provided the opposite results to the conclusions that were reached. The conclusions said the technique did not work; the data clearly illustrated that it did.
- Q. Do you recall who the authors of that published article were?

1	Lyter
2	A. I don't remember. It seems to me they
3	were from Germany, but I don't recall.
4	MR. BOLAND: Alex, at this point, I
5	would like to take about a ten-minute break,
6	if we could, and the court reporter and
7	everyone can run to the restroom or whatever
8	they need to do.
9	MR. SOUTHWELL: All right.
10	(Recess taken from 11:09 to 11:23.)
11	Q. Dr. Lyter, I asked you some questions
12	before about the GC/MS machine, and you responded
13	that you use one at Duke University; right?
14	A. That's correct.
15	Q. And it's not a machine you own; you
16	sort of just rent time on it, I guess, is the way
17	to say it; right?
18	A. That's correct.
19	Q. And that machine is set up to do auto
20	sampling as opposed to manual injection for
21	purposes of PE testing; right?
22	MR. SOUTHWELL: Objection to form.
23	A. Well, it's set up with an automatic

sampler for all kinds of analyses, and as opposed

to having manual injection.

24

25

1	
_	

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

2 Q. 3

- An auto sampler is an add-on for that GC/MS machine; correct?
- Α. Yes, it is. It's made to be used on it, but it's not something that has to be purchased when the machine is purchased.
- And it's true, sir, you could have 0. chosen at any time, using that machine, to have performed a manual injection, if you wanted to?
- No, I could not. It was under the control of Duke University, and the configuration of the equipment was to be used as it is.
- Q. I guess my point is if you got the permission from Duke University, the machine -the machine itself in the abstract was capable of being switched over to manual injection; true?
- Yes, that's right, the automatic sampler can be taken off.
- And you never asked Duke to do that as Q. part of your testing or thoughts of testing in this case?
- Α. I had asked them in previous matters to do it, and they said no.
- Do you need more samples when you're Q. using an auto sampler as opposed to if you did

1		
L	_	

Lyter

2 manual injection or used manual injection?

A. The automatic sampler requires a certain volume of liquid in order to reliably inject a certain amount. And when you increase the amount of volume in your sample, you have to increase the amount of analyte, which in this case were the ink samples.

And so yes, it requires a larger number of microplugs from any one area of writing that's going to be analyzed.

- Q. Does the use of more samples provide you with more accurate results?
- A. No, I don't believe it has anything to do with accuracy. It's just you're -- you're increasing the concentration of the analyte within the volume to the point where it is most measurable and reliably measured.
- Q. Are you aware that Mr. LaPorte in his testing only used two samples from the document to perform his PE test?
- A. I'm not familiar with the specifics of his analysis, so I don't know.
- Q. And when I said "samples," I should have used the technical term. I meant two plugs

1	Lyter
2	from the document.
3	Were you aware of that or not?
4	A. No, I wasn't aware of that.
5	Q. Have you read his report in this case
6	and the results that he has in his report?
7	A. I have read his report.
8	Q. Do you agree with his results about the
9	ink is two years old or newer?
10	A. I neither agree nor disagree. I
11	haven't reviewed his data, so I don't have an
12	opinion.
13	Q. Isn't all the data you need to assess
14	his conclusions within his report?
15	A. Well, I would have to review his report
16	to see if it contains any data.
17	Q. You don't recall, when you read his
18	report, if it contained data necessary to support
19	his conclusion?
20	A. No, I frankly don't.
21	Q. What kind of data would be necessary to
22	be in that report to for you to review to
23	verify his conclusions? I'm not understanding
24	what kind of data you mean.

MR. SOUTHWELL: Mr. Boland, can you

25

1	
Т	

just be more specific? Are you referring

2

3

5

6

7

8

9

10 11

12

13

14

15

16 17

18

19

20

21

22

23

24

25

conclusions? MR. BOLAND: I'm just asking the witness if he agrees with the conclusions in LaPorte's report, and he said he couldn't

agree or disagree. And I asked -- and he said

specifically to PE conclusions or all of his

he would need to review the data.

And now I'm asking him what data would he need to review in order to determine if he agrees or disagrees with any of the conclusions in LaPorte's report.

- Well, I would have to see any images Α. that he may have taken. I would have to see any examination results that he had, whether they were results of a visual examination, whether they were results from examination by VSC, whether they were thin-layer chromatography results, or whether they were GC/MS results.
- About how many cases in the past have you done PE testing and produced a report for a client?
 - I don't know. I don't remember. Α.
 - Well, can you ballpark it for me? Q.

1		
Т		

2

Would it be more than a hundred times?

3

A. No, probably less than that, but it's a quess.

5

6

7

8

9

Q. Wouldn't you agree with me that if someone's doing testing of ink and they're using 75 percent less number of plugs as part of their testing that that test would be -- that there's a higher potential for human error in that test when they're using so few plugs?

11

10

MR. SOUTHWELL: Objection to form.

12

A. No, I wouldn't agree. You'd have to know more specifically about what the specific

13

14

methodology was and where the particular points of

15

error may occur. And then also there's the

16

question of the competency of the individual doing

17

7 the test.

testing?

1819

Q. Do you have an opinion as to whether Gerald LaPorte is a competent ink analyst using PE

20

21

A. I have no reason to believe that he's

22

23

24

25

Q. And what causes you to decide whether you're going to use PE testing on ink or not in a given case?

not.

1
т

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

- 2 Α. Well, in this case the first 3 consideration is the amount of ink that's available; secondly, the circumstances surrounding 4 5 the document regarding the purported date of preparation and the supposed date of preparation 6 relative to when the examination was done; and 7 8 then of course the particular kind of ink that's 9 used, because a lot of inks -- or at least a fair 10 number of them do not contain PE.
 - Q. Did you consider using PE in this case when you were first retained? PE testing, I mean.
 - A. It is certainly one of the types of testing that is in my repertoire. As to whether it was going to be used or not depended on what the condition of the documents were and what kind of inks were being used.
 - Q. So did you assess those two factors you just mentioned in this case before deciding what ink age testing to do?
 - A. As I mentioned in my report, because of the condition of the document, it was clear to me that there would be insufficient sample for me to do PE testing, given the methodology that I use and the instrument configuration that I have.

	Page 48
1	Lyter
2	Q. And what methodology do you use? How
3	do you distinguish your methodology from
4	Mr. LaPorte's?
5	A. I don't know what Mr. LaPorte's
6	methodology is. I don't think that I've ever seen
7	it in writing or seen him describe specifically
8	step by step what his methodology is.
9	Q. Do you recall a paper that Mr. LaPorte
10	wrote in 2004 describing the PE methodology he
11	uses?
12	A. No.
13	Q. Have you ever seen his methodology
14	published by anyone in any of the scientific
15	literature?
16	A. I don't know. I certainly am aware of
17	some of the articles that he's written, and I know
18	he's addressed measuring PE by GC/MS from
19	ballpoint ink samples. But I don't know how that
20	particular article relates to the methodology he
21	uses in casework.
22	Q. Would you agree that his method has or
23	has not been peer-reviewed?

MR. SOUTHWELL: Objection as to form.

I don't know one way or the other. I

Α.

24

25

•	1	
	_	

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18 19

20

21

22 23

24

25

would have to see what particular article he references as containing his methodology.

But as you sit here today, you have 0. never seen such an article?

MR. SOUTHWELL: Objection to the form.

- I've never had somebody point out to me an article that says this is the method that Jerry LaPorte uses. Therefore I don't know whether the methodology that he uses has been peer-reviewed or not.
- Okay. Well, let's focus on your 0. methodology, the two points you made, the methodology and the condition of the document, which were decision points on why you didn't do PE testing.

What about your methodology as applied to the document in this case caused you to not do PE testing?

There was nothing about the methodology that caused me not to do PE testing. Once I could not get past the sample size restriction, it didn't make any difference what methodology I used. I wasn't going to be able to do the testing.

•	1	
	L	

And what sample size restriction are

2

Q.

you referring to?

3

4

5

6

7

8

9

10

11

12 13

14

15

16 17

18

20

19

21 22

23

24 25

Α. The one that I had previously described where I need at least 32 hole punches of ink in order to be able to analyze any one area of writing.

- 0. And when did you know you needed 32 plugs to perform PE testing using your sort of methodology?
- Well, that's -- that's the methodology that I use, so even before I went into the examination, I knew that I would need that much.
- Did you tell the defendants' attorneys 0. that you needed that many samples -- or plugs, I should say, pluqs?
- I don't recall whether I did or not. I just don't remember.
- Did you discuss doing PE testing with Q. the defendants' attorneys?
- When? I don't recall discussing it once the documents were examined. We may have talked about it briefly in the very early discussions regarding my involvement in this case, but I don't remember.

1	
Т	

Lyter

Q. Sir, what were you hired to do? What was your understanding you were hired to do by the

defendants' attorneys in this case?

A. As I listed on my report, I was engaged for the analysis of ink and paper. I was going to inspect two different documents, the "work for hire" document, a specifications document. And I was asked to determine whether there were any inconsistencies in the document and to determine if I could the date or time period of preparation of the document.

Q. Did you ever suggest to the defendants that you could do PE testing if you had sufficient samples from the document -- I mean the defendants' lawyers?

MR. SOUTHWELL: I'm going to object,
Mr. Boland. You're calling for privileged
communications at this point. I mean, you can
probably ask it in a different way.

Q. Dr. Lyter, did you make it known to anyone how many samples you would need to do PE testing, without telling me who? Did you tell anyone involved many this case how many samples you would need to do testing, the 32 plugs?

1	
Т	

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

Lyter

- 2 I don't remember that I did. Α.
 - How did you determine that you weren't 0. going to be permitted to get 32 plugs out of a sample and do PE testing, then, if you didn't ask anybody?
 - I could tell by looking at the Α. documents and the condition of the writing that there were clearly areas of writing where that amount of sample would not have been available, given the fact that the ink line had been deteriorated and that there were multiple experts involved, both from defendants and plaintiffs, that would desire to take samples of ink and that there simply wasn't going to be enough ink available.
 - Can you explain to me how Gerald LaPorte reached a completely different conclusion that he could do PE testing in this case?

MR. SOUTHWELL: Objection, calls for speculation.

- Α. I don't know.
- 0. If you know.
- Yeah, I don't know other than he does Α. 25 not have the same sample size restrictions that I

	rage 33
1	Lyter
2	do.
3	Q. Does that change the reliability of his
4	test compared to yours?
5	A. I don't think so.
6	Q. So you could have done PE testing with
7	less samples if you had used a machine that
8	allowed manual injection; is that accurate?
9	MR. SOUTHWELL: Objection, asked and
10	answered.
11	A. Yes, that's correct.
12	Q. Are you more experienced than
13	Mr. LaPorte in doing ink age analysis?
14	A. I don't know. I certainly have more
15	years doing the testing, but I don't know
16	whether how you would measure that parameter.
17	Q. And using your type of PE testing, what
18	effect does storage conditions have on a document
19	and the type of what effect would storage
20	conditions have on PE testing results, in your
21	opinion?
22	A. You mean in general?
23	Q. Yes, the storage conditions of a
24	document, can it affect PE testing results?
25	A Cortainly if a document is stored in

	rage 54
1	Lyter
2	what I'll characterize as harsh conditions, it may
3	make the amount of PE that's present less than
4	what would normally be present.
5	Q. What kind of storage conditions would
6	cause that?
7	A. Well, considering the fact that part of
8	the methodology for PE testing is to artificially
9	age or heat samples, I would say exposure to
10	excessive heat conditions.
11	Q. What's the freezing point of PE,
12	Dr. Lyter?
13	MR. SOUTHWELL: Objection to form.
14	A. I don't know.
15	Q. If a document is stored at a
16	temperature that puts it at or below the freezing
17	point of PE, how would that affect the PE testing
18	result?
19	A. I wouldn't think it would affect it at
20	all.
21	Q. It's your position that PE would
22	evaporate at the same rate if the document is kept
23	at a temperature where PE is at its freezing

point? It would still evaporate at the same rate

as room temperature?

24

25

Lyter

A. I believe that -- first off, I have no knowledge of what PE may or may not do at different temperatures because I don't know what the freezing point is and I don't know what the boiling point is for PE.

But I know that because it's a component that was within a matrix that includes resins and other materials that there's going to be synergistic effect within that matrix that's going to be different than what might occur with PE just sitting in a beaker on top of a desk or something.

- Q. Well, when you test PE, you test it within an ink matrix; you don't test it sitting placed in a beaker. Am I right?
- A. It depends on what test you're doing.

 If I do a standard, yes, the PE comes directly out of a beaker. But generally speaking we're testing ink samples that presumably have PE in them.
- Q. And in this case we don't know the ink formulation; true?
 - A. That's correct.
- Q. And would you agree with Gerald LaPorte that we also don't know whether this ink is a

1	
Т	

Lyter

fast-aging or slow-aging ink when it comes to PE?
Would you agree with that?

MR. SOUTHWELL: Objection to form.

- A. I don't know what Mr. LaPorte said about this ink one way or the other. I have no personal knowledge as to how this ink would be characterized regarding whether it's a fast aging or a slow aging.
- Q. You've heard of that terminology, though, before, fast aging and slow aging, as it relates to PE evaporation?
 - A. Yes, I have.
- Q. Do fast-aging and slow-aging inks generate the same test results when you do PE testing with regard to them?
- A. What samples are you talking about?

 Are you talking about samples that are the same age or purported to be the same age? I don't understand your question.
- Q. Let me give you a hypothetical.

 Assuming we have two different inks and we agree that one of them is a fast-aging ink when it comes to PE evaporation and the other one is a slow-aging ink, and we take each one of those pens and

Lyter

we make a mark on a piece of paper all the way down the side of the piece of paper on the same day for both of those inks, then a year later you went ahead and ran your PE tests on those two inks.

Would you -- do you assume that the results you would get would be identical for the amount of PE that's driven off by heating, et cetera, or would it be different between the slow-aging and the fast-aging ink?

- A. I would expect that if you did multiple samples of that ink that the actual average delta P value would be different for the two writings, but they would both fall within the -- or above or below the appropriate threshold value that would be used to determine the age of the writing.
- Q. So it doesn't matter if you have a fast-aging ink or a slow-aging ink, you're still going to get an accurate estimate of how old it is using PE testing?
 - A. Within certain criteria, yes.
 - Q. What criteria would those be?
- A. You have to realize that if you have a fast-aging ink you may not be able to distinguish

	_
1	Lyter
2	between a writing that is two years old versus one
3	that's one year old, whereas with a slow-aging ink
4	you probably will be able to distinguish that.
5	Q. Have you ever heard of a thing called
6	The Merck Index, M-E-R-C-K?
7	A. Yes.
8	Q. And are you aware that The Merck Index
9	shows that PE is commonly used in bug spray and
10	hand lotion?
11	A. I don't know. I certainly haven't read
12	The Merck Index regarding PE, so I don't know what
13	it says.
14	Q. Do you know what other common household
15	products contain PE?
16	A. No.
17	Q. Now, when you were handling this
18	document during the examination, did you handle
19	the document wearing gloves or just with your bare
20	hands?
21	A. I don't remember. I think that for a
22	portion of the time I had gloves on and another
23	portion I didn't.
24	Q. The portion where you had gloves on,
25	why did you bother to wear gloves?

1	
_	

Lyter

- A. Well, I don't remember that I did. I just -- that seems to be in my mind, but I'm not certain.
 - Q. And do you think it's important to handle a document with gloves that you're examining, if you're intending to do PE testing on?
 - A. No.
 - Q. Is it possible ever that handling a document without gloves could cause contamination of that document as it relates to PE?
 - A. I'm not aware of any circumstances in which that would be problematic. I know personally I don't use lotions and stuff on my hand, so that it wouldn't be a problem in that regard.
 - Q. What about somebody that does use lotions on their hand or bug spray that contains PE, wouldn't you agree that if they handled a document without gloves they could transfer PE to the document?
 - A. Well, I guess that's possible. I would propose that or question whether or not the amount that was transferred and the form that it took

1	
Т	

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

would be problematic regarding the testing of the ink. So I would look at that as being something that is speculative and would need to be tested in order to determine the viability of it presenting any problems.

- Q. But there's no literature on that, is there?
 - A. I'm not aware of any.
- Q. Is it fair to say that during the time you were testing this document if you went to the restroom or when you woke up in the morning and before you came in to test you washed your hands with soap? Would that be a fair assessment?
- A. I generally have pretty good hygiene, so I would guess yes.
- Q. And do you know if the soap you washed your hands with had PE in it?
 - A. I would think not, but I don't know.
- Q. So there's another possibility: if a person washes their hands in soap that has PE in it that if they touch a document they could transfer PE to the document. You agree that's possible?
 - A. I would say you're dealing with the

1		
-		

Lyter

realm of possibilities and not probabilities, and I tend to deal in probabilities. And as far as that being very probable, I would say that it's not.

- Q. What data or studies are you pointing to to base your comment that it's not probable that PE could be transferred from hand soap used by a person handling a document?
- A. Just my personal experience in examining documents and examining paper blanks from documents, in particular from the areas on the documents where people would normally handle them. And I don't recall that in those instances I've ever found a measurable amount of PE.
- Q. So you've run testing of this type, washing your hands with soap that had PE in it, handling a document, and then seeing how much PE you might have added to it? You've done that kind of test?
- A. No, I have never done a specific test.

 Number one, I don't know that PE is used in soap.

 Like I said, it seems to me kind of

 counterproductive.

But I've tested blank samples of paper

1		
1	•	

Lyter

- that have been handled by a variety of

 individuals, and I've never detected any PE. So

 that to me says that the likelihood of finding a

 contaminant of PE on a document because of the

 handling process is extremely limited if -- even

 if -- if it even exists.
 - Q. Are you aware that there's published articles that have talked about the contamination of documents regarding PE and people touching them with their hands? Are you aware of literature on this point?
 - A. No, I'm not.
 - Q. Here's a hypothetical for you. Let's imagine you're back in July 2011 and you're at the offices of that law firm and you're handling this document without gloves and someone told you there was a huge sign above the soap dispenser, which was the only one you could use in the bathroom you could use to wash your hands with, and it says, By the way, this soap contains PE, would that cause you to use gloves when you went back to handle the document; do you know?
 - A. No, I probably would have only used water to wash my hands.

	Page 63
1	Lyter
2	Q. Okay. Let's assume you used the soap,
3	though, and you saw the sign and went ahead and
4	used the soap, would you still go handle the
5	document with your bare hands, knowing that you
6	just washed your hands with soap with PE in it?
7	A. I don't know. I'd have to think about
8	it.
9	MR. BOLAND: Alex, at this point, I
10	would like to take one more break. I think
11	we're close to be done. But how about we take
12	15 minutes.
13	MR. SOUTHWELL: Okay.
14	(Recess taken from 11:54 to 12:14.)
15	Q. Dr. Lyter, can you hear me still?
16	A. I can.
17	Q. Did you provide a list of cases in
18	which you have testified in the past four years as
19	part of providing your report in March of 2011?
20	A. I don't know if I did. I frankly don't
21	remember.
22	Q. Do you know if you did that I'm
23	sorry, go ahead.

I frankly don't remember if I did that

or not.

Α.

24

25

1	Lyter
2	Q. Do you remember if you did that with
3	any prior declaration that you submitted in the
4	case?
5	A. No, I don't have any recollection as to
6	whether that was submitted prior to this.
7	Q. Do you maintain such a list of cases in
8	which you've testified in the past, at your
9	office, for example?
10	A. Yes, I do.
11	Q. And if you reviewed that list, would
12	you be able to tell me in how many of those cases
13	you issued a report and Gerald LaPorte was hired
14	by the other side's lawyers in that particular
15	case?
16	A. Maybe.
17	Q. Okay, well, I'll just leave a little
18	blank in the deposition and ask that you review
19	that list and provide that information to answer
20	that question within seven days, please.
21	TO BE FURNISHED:
22	·
23	MR. SOUTHWELL: All right. I'll ask
24	that Dr. Lyter provide that to us, and we'll
25	discuss that with you.

1	
Т	

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

- Q. The GC/MS machine that you use at Duke
 University, can you tell me the make and model of
 that machine?
 - A. The manufacturer is Schmidazu

 Schmidazu, S-C-H-M-I-D-A-Z-U, and I believe it's

 2010 is the model number.
 - Q. And that's the machine, just to be clear, that is set up to do auto sampling, and you were not able to change the settings -- you were not allowed to change the settings to a manual injection; right?
 - A. That's correct.
 - Q. Do you have a copy -- do you have that list -- that list I was just asking you about of cases in which you testified previously, do you have that list with you today?
 - A. No, I do not.
 - Q. Okay. You didn't bring it to New York?
 - A. I did not.
 - RQ Q. And then I'd also ask that the notes that you brought with you that we've been talking about today, if you can provide a copy of those to us within seven days as well, because we've never seen those.

1	
_	

2

3

4

5

6

7 8

9

10

11

12

13 14

15

16

17

19

18

20

21 22

23

24

25

MR. SOUTHWELL: All right. Well, we can discuss that along with all the notes that you've been withholding from us.

MR. BOLAND: I mean, yeah, that's up to you, Alex, if you want to provide it to us. I ask he provide them to you, and if you guys want to withhold them, there's nothing I can say about that.

- And just to clarify that, the GC/MS machine in Duke, if Duke permitted you, do you have the ability to alter the settings of that machine so you could use it with a manual injection? Do you know how to change that setting?
- Well, it involves removing a physical piece of hardware, and then there are some changes to the software as well, just some settings in the software. I'm sure that, given sufficient amount of time, I'd be able to do that.
- Have you ever done that before with any GC/MS machine?
- Well, I certainly have used the GC/MS Α. in the past where I was using an individual -well, it wasn't a GC/MS; it was just the GC part,

1	Lyter		
2	where I was making manual injections.		
3	Q. You were present in July 2011 for the		
4	inspection of the actual two-page document at		
5	issue in this case?		
6	MR. SOUTHWELL: Objection to the form.		
7	Do you want to specify which day?		
8	MR. BOLAND: I couldn't hear that,		
9	Alex. Objection what?		
10	MR. SOUTHWELL: I'm asking if you want		
11	to specify the day. The examination lasted		
12	for multiple days.		
13	Q. Dr. Lyter, what day in July of 2011, or		
14	days, plural, perhaps, were you present in Buffalo		
15	examining the two-page contract?		
16	A. July 19th.		
17	Q. And do you know what day the		
18	examination started by the defendants' experts?		
19	A. I don't remember.		
20	Q. How did the document appear to you when		
21	you first saw it on July 19th? How would you		
22	describe it?		
23	A. Which document are you talking about?		
24	Q. The two-page contract that's the main		

document in this case.

25

1

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Lyter

- 2 A. Is that the one we referred to as the 3 "work for hire" document?
 - Q. Yes, that's how the defendants' attorneys and everyone referred to it, that's correct.
 - A. The pages were -- the paper of the two pages was somewhat yellowed on the front, and there were certainly deterioration of the ink samples, ink entries, that appeared on both pages so that the ink line was not consistent from side to side or along the length of the writing. And it was discolored, being more yellow to brown than it was black. And then it was -- contained toner-based printing on it that was dark in color.
 - Q. Did you capture any images of the document before your examination began?
 - A. I believe I did, yes.
 - Q. And how did you capture those? What type of devices?
 - A. Flatbed scanner.
 - Q. And did you record what the settings were on that scanner when you used it for those scans?
 - A. I didn't make any specific recording of

	Page 69
1	Lyter
2	the settings that I believe it's maintained in the
3	software as default settings so that I could
4	identify what they were.
5	Q. And approximately how many scans did
6	you take before you started examining the
7	document?
8	A. I believe just one each, each page
9	front side. I don't believe I took a scan of the
L O	back side.
L1	Q. And when you observed the yellow
L 2	yellowing, I guess you called it, of the front of
L 3	the two pages, did you discuss that with any of
L 4	the other experts that were present on July 19th?
L 5	A. I don't recall there were any other
L 6	experts present.
L 7	Q. Fair enough. Was there anyone else
L 8	present? Were you by yourself in the room doing
L 9	your examination?
20	A. No, there was both defense counsel and
21	I believe Mr. Argentieri was there, and there was
22	a videographer there. And I don't believe anybody
23	else, or I don't recall anybody else, anyway.
24	Q. And about how long did your examination

take that day?

25

1				
2	A.	I	don't	re

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

A. I don't remember specifically. I think somewhere in the neighborhood of four to five hours.

Lyter

- Q. Did you provide copies of all the images you captured of that two-page contract to the defendants' lawyers?
- A. I don't believe so, but I don't recall specifically.
- Q. Did you retain copies of those to this day in your office?
 - A. Yes, I think so.
- Q. And what type of an agreement did you have or do you have with the defendants or the defendants' lawyers as far as your work in this case and how much you are to be paid?
- A. I'm compensated for my time at the rate of \$400 an hour.
- Q. Is that from a retainer that you keep on account with you or do you bill them periodically and then receive a payment on that invoice?
- A. I did receive a retainer, and I billed against it. And then I send monthly invoices.
 - Q. And approximately how much have you

1	Lyter
2	been paid for your work thus far in the case from
3	the first time you started working on anything
4	related to this case?
5	A. I don't know. I would have to check my
6	billing records that are back in the office. I
7	know that my initial retainer is \$4,000.
8	Q. Well, what would you estimate you've
9	billed them thus far, again, like a ballpark? Is
L O	it \$500,000 or something less than that?
L1	A. I wish. No, I would say maybe \$40,000.
L 2	That's a guess.
L 3	Q. And since you submitted your
L 4	declaration's last report in March of 2011, have
L 5	you done any other work on this case, analysis-
L 6	type work, not just conversations with lawyers?
L 7	A. Since I submitted the report?
L 8	Q. Yes.
L 9	A. No, I have not done any additional
20	examinations.
21	Q. Do you know if any of the other
22	defendants' experts have done additional
23	examination since March of 2011?
24	A. I haven't spoken with any of the other

experts, so I don't know.

1		
4	_	

conclusions that you reached that are not

Are there examinations you performed or

2

Q.

Α.

reflected in your report?

Yes.

3

4

5

6

7

8

9 10

11

12

13

14 15

16

17

18 19

20

21

22

23

24 25

Well, let's break that up, then. 0. examinations have you done that are not reflected in your report?

I list in my report having done -having used a VSC 4C on page 2 regarding the ultraviolet. And I used the VSC through both the visible and near infrared region as well to characterize the inks that were on the "work for hire" document, and those results were not reported in the report.

And then I also did some testing, in essence experimentation, to determine the effect that ultraviolet light and heat had on paper samples, in particular how they affected the optical brighteners.

And then I also did an experiment with determining how exposure to sunlight affected both optical brighteners in paper as well as black ball pen ink writings.

> And why didn't you put those -- the Q.

1		
L	_	

Lyter

fact that you did those examinations in your
report?

A. Well, the VSC analysis was not really -- was not included because I was going to do chemical testing, and the results of the chemical testing is normally more significant, in particular the ability to identify the ink formulations that were present on the specifications document in this case.

And the experimentation was not included in order -- because the results of that testing was actually included in the conclusions that were given regarding what had occurred to the "work for hire" document, what had caused its deterioration, and what we were seeing as regards the ultraviolet examination.

And then the fact that the experience that I had had relative to the effect of examination equipment in causing deterioration of documents, I had not had that experience. And the length of time that was required to cause that kind of effect simply validated that experience.

Q. Did you provide those conclusions to the defendants' lawyers?

	_
1	Lyter
2	A. I told them about it.
3	MR. BOLAND: Very well. Alex, I have
4	no further questions.
5	MR. SOUTHWELL: Okay. I've got no
6	questions either. I guess I just wanted want
7	to put a couple things on the record,
8	Mr. Boland.
9	I should have raised this at the
10	outset, but we had communicated the fee for
11	Dr. Lyter, and I'm just double-checking what
12	the amount is. I would ask that you write the
13	check today and put it in an overnight, you
14	know, delivery service for Monday delivery to
15	Dr. Lyter's address, which is on the first
16	page of his report, Lyter 2.
17	THE WITNESS: Yeah, that's a P.O. box.
18	I can give you a street address, which would
19	be
20	MR. BOLAND: Yes, go ahead. What's
21	that street address? FedEx won't go to a P.O.
22	box.
23	THE WITNESS: It's 7425 Capstone,
24	C-A-P-S-T-O-N-E, Drive, Raleigh, North
25	Carolina, and the ZIP code is 27615.

1		
-		

Lyter

MR. BOLAND: Okay. I have the address, Alex.

And then we'll talk about getting his notes, copies of his notes, and the other information from his case log as well so we can conclude the deposition that way.

MR. SOUTHWELL: All right, yeah, we can certainly talk about that in consultation with Mr. Stewart's items that he has withheld.

There's seemingly a large amount of materials that your experts have that have not given us and that you have abrogated the agreement that we had about mutual exchange. So once we can resolve that --

MR. BOLAND: Stewart is the only one left. I have responded to everything, including Larry Stewart, what we will and will not give you. Maybe we haven't given you everything. The responses are all in.

There's nothing left hanging regarding the responses from any of the experts from us, as far as I know.

MR. SOUTHWELL: Well, okay, you may have responded and said that you're not going

1	
_	

Lyter

to give us the things that were plainly -- no, I take it back. Your response was we gave it to you, and what we have pointed out is that is incorrect.

So, frankly, I am waiting for a response on how you square your claim that you've given us everything with the reality of the situation as it's detailed in the deposition transcript.

MR. BOLAND: Oh, no, let's be clear. We've given you everything that we think is appropriate to provide, and there's other things you've asked for that we felt, just like you did, that they were more appropriately obtained during deposition.

The same answer you gave to me on a bunch of those questions, which I accepted, and I went after a bunch of that stuff in deposition, and so did you.

So we've responded to everything. You got everything that we think is appropriate just like you have given us everything that you think is appropriate at this point, I assume.

1
Τ.

Lyter

MR. SOUTHWELL: No, Mr. Boland, that is incorrect. Mr. Stewart testified that he provided us with a PDF which included everything including, for instance, his ink inventory. He did not. You represented on the record that you provided that to us. You did not.

We provided you exactly what we got from Mr. Stewart, which was not a PDF but a series of images, and it did not include that inventory, which both he and you claim to have given us, and you have not.

So it is not a case of something different where you're saying, you know, these are things that we are saying are not appropriate.

So I think there is still an issue here that we need to get resolved, and I anticipate that we will get it resolved so that we can continue to depose Mr. Stewart about it. But in any event, we don't need to take up the record on all of this.

And the only other thing I wanted to add is we are requesting a review of the

1	Lyter
2	transcript. I will I'll have to just
3	e-mail you confirmation of the amount for
4	Dr. Lyter, but you're representing on the
5	record here that you will provide the check
6	for the amount as we had previously discussed,
7	overnighted to the address Dr. Lyter provided
8	for Monday delivery; correct?
9	MR. BOLAND: Right, and you're going to
10	give me the amount, though.
11	MR. SOUTHWELL: Yeah. We had
12	previously communicated to it. I just can't
13	get my fingers on it right now. So I will
14	send it to you by e-mail shortly.
15	MR. BOLAND: And what about Larry
16	Stewart's payment? It's probably way over 30
17	days now. Can you guys put that in an
18	envelope today for Monday delivery as well?
19	MR. SOUTHWELL: I e-mailed you about
20	that yesterday. I don't think it is over 30
21	days. There's not a specific time frame. But
22	yes, I'm expecting the check today, and I was
23	planning to send it out.
24	MR. BOLAND: Oh, you're sending it out

today. Okay.

25

3 STATE OF NEW YORK

4 : ss.

5 COUNTY OF NEW YORK)

I, LAURIE A. COLLINS, a Registered Professional Reporter and Notary Public within and for the State of New York, do hereby certify:

CERTIFICATE

That ALBERT LYTER, the witness whose deposition is hereinbefore set forth, was duly sworn by me and that such deposition is a true record of the testimony given by the witness.

I further certify that I am not related to any of the parties to this action by blood or marriage, and that I am in no way interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set my hand this 13th day of August, 2012.

LAURIE A. COLLINS, RPR

	Page 81
1	
2	
3	
4	WITNESS: EXAMINATION BY: PAGE
5	Albert Lyter Mr. Boland 3
6	
7	TRANSCRIPT MARKINGS
8	DIRECTIONS:
9	MOTIONS:
10	REQUESTS: 65:21
11	RULINGS:
12	TO BE FURNISHED: 64:21
13	
14	EXHIBITS
15	LYTER NO. DESCRIPTION PAGE
16	
17	Exhibit 1, declaration of Lyter dated 5
18	8/15/11 without exhibits
19	Exhibit 2, redacted report of Lyter 23
20	
21	
22	
23	
24	
25	

[& - amounts] Page 1

&	25:20 35:4 62:15	a	39:6,8 47:20 53:13
& 1:20 2:12	63:19 67:3,13 71:14	a.m. 1:17	54:9 56:19,19 57:17
	71:23	abbreviated 12:24	aged 12:17 29:11
0	2012 1:16 79:22	ability 17:15 25:15	aging 7:22 8:17
00569 1:7	80:22 82:5,23	66:12 73:8	25:17 35:11,13 36:4
1	212 82:4	able 15:6 17:11,24	36:6 37:7,10,12,15
1 5:13,14,15,19 6:24	23 81:19	19:17 27:10 28:2,8	37:16,20 38:4,10,24
15:11,16 18:4 21:22	24th 23:18	30:12 35:18,22	39:7,16 40:18 56:2
81:17	27615 74:25	49:24 50:6 57:25	56:2,8,9,11,11,14,14
10 1:16 24:25 34:18	279-9424 82:4	58:4 64:12 65:10	56:23,25 57:11,11
82:5	3	66:20	57:19,19,25 58:3
10001 82:3	3 15:18 16:3 25:24	abrogated 75:13	aginsky 13:19,20
10166-0193 2:15	81:5	abstract 42:15	ago 4:14
10:05 1:17	30 7:6 78:16,20	accelerated 37:16	agree 33:2 37:13
10:32 21:10	32 17:4,25 50:5,8	37:20 38:10	39:17,24 44:8,10
10:35 21:10	51:25 52:4	accepted 76:18	45:8 46:5,12 48:22
11:09 41:10	328 23:6	account 70:20	55:24 56:3,22 59:20
11:23 41:10	34 18:5	accounting 79:5	60:23
11:54 63:14	4	accuracy 43:15	agreement 70:13
1250 82:3		accurate 10:20	75:13
12:14 63:14	4,000 71:7	16:16 27:22 43:13	agrees 45:6,12
12:36 79:16	40,000 71:11	53:8 57:20	ahead 57:5 63:3,23
13 23:16	400 70:18	action 80:18	74:20
13th 80:22	44107 2:8	actual 22:12 57:13	albert 1:19 79:19
1475 2:6	4c 72:10	67:4	80:11 81:5 82:6,21
15 63:12	5	add 42:2 77:25	alex 4:23 5:6 24:2
15th 4:20 5:13,23	5 81:17	added 8:25 61:19	33:9 41:4 63:9 66:6
6:11	500,000 71:10	addition 28:18	67:9 74:3 75:3
1975 7:5	6	additional 12:16	alexander 2:16
19th 67:16,21 69:14	64:21 81:12	16:2,6 18:20,22,23	allow 8:21
1:10 1:7	65:21 81:10	19:2,4 20:4 35:6,7	allowed 24:14 53:8
2		36:24 71:19,22	65:11
2 10:24 21:24 23:10	7	address 74:15,18,21	allows 7:23
23:11,15 25:10,13	7 19:8	75:2 78:7	alter 66:12
72:10 74:16 81:19	7425 74:23	addressed 48:18	altered 13:6 30:9,10
20 21:5	75 46:7	addresses 30:20	amount 11:22 12:21
200 1:20 2:14	770724 2:7	affect 53:24 54:17	13:3,5,11 14:22
2004 48:10	8	54:19	17:9,12,15 30:2
2010 65:7	8 15:19	afternoon 3:19,21	35:14,16,19 43:5,6 43:7 47:3 52:10
2011 4:18,21 5:13	8/15/11 5:16 81:18	4:7	54:3 57:9 59:24
5:23 6:4,11 14:13	9	age 7:16 8:3,15 9:8	61:15 66:19 74:12
14:14,17 15:9,24	-	10:4 11:2,10,13,13	75:11 78:3,6,10
16:14 18:20 21:16	9 18:4 26:4,6	11:24 13:13 14:5	amounts 14:25
21:20 23:18 24:23		19:21 35:24 38:14	amounts 14.23

[analyses - capture] Page 2

analyses 19:18 22:3	article 40:16,25	avenue 1:21 2:14	blood 80:18
41:24	48:20 49:2,5,8	average 57:13	boiling 55:6
analysis 7:18 8:4	articles 13:25 48:17	aware 10:3 11:7	boland 2:4,9 3:7,9
9:21 19:17 25:16	62:9	13:22 18:17 30:6	4:5,23 5:5,11 6:15
26:3,7 35:11 43:23	artificially 12:17	31:6 36:3 43:19	6:21 10:18 20:13,20
51:6 53:13 71:15	29:11 54:8	44:3,4 48:16 58:8	21:3,9 23:2,9,22
73:4	asked 27:8 34:23	59:13 60:9 62:8,11	24:2,8 33:8 41:4
analyst 46:19	41:11 42:19,22 45:8		44:25 45:5 51:18
analyte 43:7,16	51:9 53:9 76:14	b	63:9 66:5 67:8 74:3
analytical 7:11	asking 4:5 15:12	b 3:2	74:8,20 75:2,16
analyze 50:6	26:17 33:15,20 45:5	back 6:4 20:22 21:3	74:0,20 73:2,10
analyzed 22:17	45:10 65:15 67:10	36:9 62:15,22 69:10	78:24 79:4,12,15
43:11	aspect 26:2	71:6 76:3	81:5
analyzing 7:4,13	assess 25:15 44:13	ball 32:4 72:23	bother 58:25
answer 27:9 64:19	47:18	ballpark 45:25 71:9	bottom 15:18
76:17	assessment 60:14	ballpoint 22:14	box 74:17,22
answered 34:24	associated 22:24	28:16 48:19	break 20:14,25 21:6
53:10	assume 3:24 57:7	bare 58:19 63:5	21:8 41:5 63:10
anticipate 77:19	63:2 76:25	base 61:7	72:6
anybody 52:6 69:22	assuming 56:22	based 13:5 15:8	brief 20:14
69:23	astm 7:15,17 8:2,5	16:20 24:13 30:5	briefly 8:14 12:10
anyway 69:23	9:7,12,18	40:20 68:15	50:23
apparent 34:2	attached 4:25,25	basically 12:14	brighteners 72:20
appear 6:2 23:19	6:23 23:17	31:17,25 32:8	72:23
24:22 67:20	attempt 11:16	bathroom 62:19	bring 20:8 65:19
appearance 28:12	attempted 11:19	beaker 55:12,16,19	broadway 82:3
28:17	attorneys 2:5,13	bears 5:23 25:6	brought 65:22
appeared 34:14	3:14 50:14,20 51:4	began 68:17	brown 28:21 68:13
68:10	68:5	believe 9:9 14:13	buffalo 67:14
appearing 21:24	august 1:16 4:18,20	19:8 25:23 34:6	bug 58:9 59:19
appears 6:5 22:21	5:12,23 6:4,11	36:8 37:11 43:14 46:21 55:2 65:6	bunch 76:18,19
23:14,16 34:9	14:14 18:20 35:7		buy 36:25
applied 49:17	80:22 82:5	68:18 69:2,8,9,21 69:22 70:8 79:9	c
appropriate 27:15	authenticity 25:15	bell 4:19	c 2:2 9:15 58:6 65:6
35:18 37:14 57:16	authored 6:4,6	benefit 10:10	74:24 80:2,2
76:13,22,24 77:17	authors 40:24	best 37:22	call 10:10
appropriately 76:16	auto 41:19 42:2,25	bill 70:20	called 3:3 9:15
approved 18:18	65:9	billed 70:23 71:9	10:25 58:5 69:12
approximately 69:5	automatic 17:8,8,21	billing 71:6	calling 51:18
70:25	36:11,17,20,25 37:3	black 28:21 68:14	calls 12:7 52:20
area 12:15 17:5	41:23 42:17 43:3	72:23	capable 42:15
43:10 50:6	availability 7:25 8:7	blank 61:25 64:18	capstone 74:23
areas 52:9 61:12	8:22	blanks 61:11	capture 68:16,19
argentieri 69:21	available 14:23,24	DIGHTS UI.II	Capture 00.10,17
	16:11 47:4 52:10,16		

[captured - correct] Page 3

captured 70:6	characterize 54:2	commission 82:25	conduct 16:20
career 13:15	72:13	common 58:14	conducting 33:14
carolina 5:25 74:25	characterized 26:20	commonly 37:15	conference 20:12
case 4:13 6:4 10:5	56:8	58:9	configuration 15:8
10:22 11:4,5,8,15	check 22:18 71:5	communicated	16:22 42:11 47:25
11:17 14:4 23:5,21	74:13 78:5,22 79:9	74:10 78:12	confines 28:15
24:23 27:20 37:12	79:9,10	communications	confirmation 78:3
42:21 43:7 44:5	checking 21:12	51:19	confirmed 35:8
46:25 47:2,11,19	74:11	company 82:2	connected 21:13
49:18 50:24 51:4,24	chemical 18:9 26:13	compare 38:6,12	32:23
52:19 55:21 64:4,15	29:22,25 34:20 73:6	39:14	consider 47:11
67:5,25 70:16 71:2	73:7	compared 34:3	consider 47.11
71:4,15 73:10 75:6	chemically 30:10,11	36:20 53:4	considered 26:18,18
77:14 82:5	chemistry 7:11	comparison 7:19	38:22
cases 11:20 12:2,12	chosen 42:8	19:18	considering 54:7
37:7 45:21 63:17	chromatograph	compensated 70:17	consistent 68:11
64:7,12 65:16	12:23 35:17	competency 46:16	consultation 75:9
casework 48:21	chromatography	competent 46:19	contain 17:2 47:10
cause 29:4 54:6	18:13,24 19:4 21:22	complete 28:14,18	58:15
59:11 62:21 73:22	22:15 29:2 35:2	completely 52:18	contained 28:14
caused 19:5 49:18	45:19	component 26:18,21	44:18 68:14
49:21 73:15	circumstances 47:4	29:8 31:17,25 55:8	containing 49:3
causes 46:23	59:13	components 8:24	containing 47.3
causing 73:20	claim 76:7 77:12	9:6 26:13,14 28:24	44:16 59:19 62:21
ceglia 1:5 3:10 82:5	clarify 4:4 16:12	28:25 29:3,17,23,25	contaminant 62:5
certain 17:10 38:22	66:10	32:2,6 34:21 39:5	contamination 02.3
43:4,5 57:22 59:4	clear 6:19,22 10:17	composition 26:13	59:11 62:9
79:6	14:21 22:4 23:23,25	29:22,25 34:21	continue 77:21
certainly 8:4 11:11	24:5,7,11 33:7	concentration 43:16	contract 22:17,22
34:25 47:13 48:16	47:22 65:9 76:11	concentrations 13:2	32:22 67:15,24 70:6
53:14,25 58:11		concerned 26:2	control 42:11
66:23 68:9 75:9	40:23 52:9	conclude 75:7	controls 38:25
certify 80:10,16	client 45:23	concluded 79:13	convenience 23:10
cetera 57:10	close 63:11	conclusion 34:17,19	conversation 3:17
chance 4:23	code 74:25	44:19 52:18	3:23 4:6,8,9
change 29:24 30:2	collins 1:22 80:7,25	conclusions 38:17	conversations 3:13
34:20 53:3 65:10,11	color 35:14,16,19	40:19,21,22 44:14	3:25 71:16
66:14 82:7	68:15	44:23 45:3,4,6,13	copies 70:5,10 75:5
changed 26:12	colored 28:21,22	72:3 73:13,24	copy 23:3,17 33:18
28:19 29:22 31:14	comes 55:18 56:2,23	conclusive 22:3	34:5,8 65:14,23
changes 66:17	comment 25:23,25	condition 27:25	corner 25:4
characteristic 39:10	33:21,25 61:7	47:16,22 49:14 52:8	correct 6:25 7:7
characteristics	commercial 7:24 8:7	conditions 53:18,20	11:17,18 14:6,7
19:22 39:11	8:21	53:23 54:2,5,10	15:14 16:4 39:22
17.22 57.11	0.21	55.25 51.2,5,10	40:12,14 41:14,18
			70.12,17 71.17,10

212-279-9424

[correct - documents] Page 4

			-
42:3 53:11 55:23	dealing 29:7 60:25	39:25 50:4	57:14 77:15
65:13 68:6 78:8	deals 7:19,20,22	describes 10:23	differentiation
counsel 69:20	dean 2:9 3:9,24	28:12 40:16	33:21
counterproductive	decide 36:24 46:23	describing 48:10	differing 38:18
61:24	deciding 47:19	description 81:15	directions 81:8
county 80:5	decision 49:15	desire 52:14	directly 55:18
couple 74:7	declaration 4:13,16	desk 55:12	disagree 40:5 44:10
course 47:8	5:12,15,22 6:3,10	detailed 76:9	45:8
court 1:2 5:11 10:10	6:18,20,23,25 15:11	detect 27:10 28:2,8	disagrees 45:12
15:12,12 18:18	15:25,25 64:3 81:17	detected 27:19,21	discolored 28:20
20:19 23:2 24:13	declaration's 71:14	62:3	68:13
25:5 41:6	default 69:3	detecting 27:16	discuss 3:20 50:19
cover 8:2	defendants 1:10	detection 8:23	64:25 66:3 69:13
coverage 28:14,19	2:13 9:22 23:20	deteriorate 35:22	discussed 78:6
34:13	24:13 50:14,20 51:4	deteriorated 14:22	discussing 50:21
covered 8:5	51:13,16 52:13	19:16 22:4 26:12	discussions 50:24
criteria 57:22,23	67:18 68:4 70:7,14	27:23,24 28:4,7,10	dispenser 62:18
crutcher 1:20 2:12	70:15 71:22 73:25	28:13 29:21 30:23	displayed 35:17
cv 1:7 23:17	defense 3:14 69:20	52:12	dispute 20:18
d	define 37:22	deterioration 22:8	dissolved 38:2
d 1:5 9:15 23:15	defined 8:18	25:11,14 26:2,23	distinguish 48:3
65:6 81:2	degradation 32:10	27:5,13 29:5,16,24	57:25 58:4
damaged 27:5 32:9	degree 22:7	31:12 32:11 34:20	district 1:2,3
dark 68:15	degrees 34:12	35:5,12 68:9 73:16	document 5:19 9:16
darker 33:3	delivery 74:14,14	73:20	14:21 15:2,14 19:14
darkness 34:2	78:8,18	determination 7:16	21:23 23:4,6,6
data 32:18 40:20,23	delta 13:8 57:13	7:24 8:3,15,21	25:12,14 26:12,23
44:11,13,16,18,21	densitometry 18:14	25:20	27:6,20,22,25 28:6
44:24 45:9,10 61:6	depended 47:15	determine 22:5 27:3	29:5 30:18 31:13
date 4:15,17 6:8	depends 55:17	27:7,21 39:6 45:11	33:16 34:14 35:3,9
39:14 47:5,6 51:11	deponent 82:6	51:9,10 52:3 57:17	38:21 43:20 44:2
82:5	depose 77:21	60:5 72:17	47:5,22 49:14,18
dated 4:20 5:12,16	deposition 1:19 3:14	determined 14:18	51:8,8,10,12,15
5:23 23:18 39:12	3:18,20 4:8,10,11	determining 11:10	53:18,24,25 54:15
81:17	40:5 64:18 75:7	11:13,23 19:19	54:22 58:18,19 59:6
dates 19:15 21:24	76:10,16,20 80:12	72:22	59:11,12,21,22
dating 8:7,23	80:13 82:5	devices 68:20	60:11,22,23 61:9,18
,		differ 38:18	62:5,17,23 63:5
day 57:4 67:7.11.13	derived 38:17		
day 57:4 67:7,11,13 67:17 69:25 70:11	describe 8:13 12:10	difference 13:4	67:4,20,23,25 68:3
	describe 8:13 12:10 14:2 23:13 31:21	difference 13:4 29:10 49:23	67:4,20,23,25 68:3 68:17 69:7 72:14
67:17 69:25 70:11	describe 8:13 12:10 14:2 23:13 31:21 35:10 37:14 48:7	difference 13:4 29:10 49:23 different 12:15 22:6	67:4,20,23,25 68:3 68:17 69:7 72:14 73:10,15
67:17 69:25 70:11 79:22 80:22 82:23 days 64:20 65:24	describe 8:13 12:10 14:2 23:13 31:21 35:10 37:14 48:7 67:22	difference 13:4 29:10 49:23 different 12:15 22:6 22:9,11 24:17 37:23	67:4,20,23,25 68:3 68:17 69:7 72:14 73:10,15 documents 4:24,25
67:17 69:25 70:11 79:22 80:22 82:23	describe 8:13 12:10 14:2 23:13 31:21 35:10 37:14 48:7 67:22 described 13:16,23	difference 13:4 29:10 49:23 different 12:15 22:6 22:9,11 24:17 37:23 37:24 38:12,19,20	67:4,20,23,25 68:3 68:17 69:7 72:14 73:10,15 documents 4:24,25 14:12 21:25 25:16
67:17 69:25 70:11 79:22 80:22 82:23 days 64:20 65:24 67:12,14 78:17,21	describe 8:13 12:10 14:2 23:13 31:21 35:10 37:14 48:7 67:22	difference 13:4 29:10 49:23 different 12:15 22:6 22:9,11 24:17 37:23	67:4,20,23,25 68:3 68:17 69:7 72:14 73:10,15 documents 4:24,25

[documents - frame] Page 5

62:10 73:21	eliminate 28:7	expect 22:13 57:12	fall 57:15
doing 12:5 35:7 46:6	elliot 1:8	expecting 78:22	familiar 4:18 11:11
46:16 50:19 53:13	engaged 51:5	experience 61:10	43:22
53:15 55:17 69:18	entries 22:6 68:10	73:18,21,23	far 33:9 38:7,14
double 74:11	envelope 78:18	experienced 53:12	61:3 70:15 71:2,9
dr 3:8 5:4,10,18	equipment 15:8	experiment 72:21	75:23
6:22 7:4 13:20	42:12 73:20	experimentation	fast 56:2,8,11,14,23
20:14 21:11 23:3,13	erich 35:25	32:19 40:17 72:17	57:11,19,25
24:9 25:3 26:4	errata 82:2	73:11	fedex 74:21
33:25 41:11 51:21	error 46:9,15	experimentations	fee 74:10
54:12 63:15 64:24	esq 2:9,16,17	31:2	felt 76:14
67:13 74:11,15 78:4	essence 8:20 72:17	experiments 31:4	field 7:9 31:4
78:7	estimate 57:20 71:8	expert 12:2	figure 30:22
drafted 15:24	et 57:10	experts 9:22 11:8	file 23:21
drive 74:24	evaporate 54:22,24	16:2 31:3 52:12	filed 6:3,3,6,10 23:5
driven 13:10,12	evaporation 56:12	67:18 69:14,16	23:24 24:6,15,22
57:9	56:24	71:22,25 75:12,22	find 27:11 30:9
duke 36:16 41:13	event 77:22	expires 82:25	finding 62:4
42:11,14,19 65:2	eventually 23:21	explain 52:17	fine 6:18 21:14 79:7
66:11,11	evident 29:17	exposure 30:17 54:9	fingers 78:13
duly 3:3 80:13	exactly 40:9 77:9	72:22	firm 62:16
dunn 1:20 2:12	examination 3:6	extent 37:23 38:11	first 13:15 15:3,4
dye 26:13,14,18	9:16 14:12,20 45:16	39:11	26:5 27:9,9 32:22
28:24,25 29:3,17,22	45:17,18 47:7 50:13	extents 38:20	32:24 47:2,12 55:2
29:25 34:21	58:18 67:11,18	extract 12:19	67:21 71:3 74:15
dyes 30:4,9	68:17 69:19,24	extractability 9:4	five 6:19 70:3
dynamic 8:9,11 9:3	71:23 73:17,20 81:4	36:5 39:5,20	flatbed 68:21
9:8,21	examinations 71:20	extracted 35:14	focus 49:12
e	72:2,7 73:2	extraction 19:21	focusing 10:9
e 2:2,2 3:2,2 4:24	examine 22:14	37:16,21,22,23	follows 3:5
5:8 58:6 74:24 78:3	examined 3:4 30:10	38:11,12,20,20 39:9	forget 15:21
78:14,19 79:5 80:2	39:2 50:22	39:10,11,12 40:18	form 4:3 12:6 15:15
80:2 81:2	examining 59:7	extremely 18:8,12	19:25 28:9 39:21
earlier 16:8	61:11,11 67:15 69:6	62:6	41:22 46:11 48:24
early 50:23	example 40:13 64:9	f	49:6 54:13 56:4
easily 38:2	excessive 54:10	f 80:2	59:25 67:6
effect 26:23 53:18	exchange 75:14	facebook 1:9 32:22	formulation 55:22
53:19 55:10 72:17	exhibit 5:13,15	fact 13:5 15:10	formulations 7:21
73:19,23	15:10 23:11,15 26:5	21:21 29:3 30:12	8:6 19:19 22:10
eight 17:2,16	81:17,19	52:11 54:7 73:2,18	73:9
either 9:4 19:17	exhibits 5:16 6:17	factors 47:18	forth 21:4 80:12
28:5,20 38:4,11	6:20,23 81:14,18	fair 10:11 24:8 47:9	found 17:14 61:15
74:6	exists 30:6 62:7	60:10,14 69:17	four 63:18 70:3
	expanded 35:8		frame 78:21

[frankly - ink] Page 6

frankly 44:20 63:20	62:17,22	hearing 24:3	incident 24:9,10
63:24 76:6	go 20:14 24:25 63:4	heat 54:9,10 72:18	include 35:8 77:11
freezing 54:11,16,23	63:23 74:20,21	heated 17:4 29:11	included 73:5,12,13
55:5	going 10:18 20:20	38:16	77:4
front 4:17 5:4,9	20:25 21:4 31:19	heating 13:7,10 57:9	includes 8:23 55:8
15:10 21:15 23:4	33:5,13 35:20 36:9	held 1:19	including 75:18
33:12 68:8 69:9,12	43:11 46:24 47:15	helpful 4:4	77:5
full 26:5	49:24 51:6,17 52:4	hereinbefore 80:12	inconsistencies
funds 36:25	52:15 55:9,11 57:20	hereunto 80:21	51:10
furnished 64:21	73:5 75:25 78:9	higher 29:13 46:9	incorporated 32:3,7
81:12	good 3:8 60:15	hire 15:2 19:13	incorrect 76:5 77:3
further 19:6 74:4	grab 20:14	21:23,25 22:22	increase 43:5,7
80:16	greater 29:14	25:12,14 34:14 35:3	increased 17:13
g	group 9:16,17,23,25	35:9 51:8 68:3	increasing 43:16
g 9:15	groups 9:14	72:14 73:15	index 58:6,8,12
gas 12:23	guess 4:3 25:24	hired 51:2,3 64:13	indicate 31:19
gc 12:24 17:20 18:15	26:17 33:10 41:16	hole 17:13 34:11	indicated 29:3 35:5
27:17,18 36:10	42:13 46:4 59:23	50:5	indicates 6:10
41:12 42:3 45:20	60:16 69:12 71:12	hour 70:18	indicating 16:2
48:18 65:2 66:10,22	74:6	hours 70:4	individual 46:16
66:23,25,25	guides 9:20	household 58:14	66:24
general 53:22	guys 66:7 78:17	huge 62:18	individually 1:8
S	h	human 46:9	individuals 36:23
generally 55:19		hundred 46:2	62:3
generally 55:19 60:15	h 2:16 65:6	hundred 46:2 hygiene 60:15	62:3 information 6:9
generally 55:19 60:15 generate 9:19 56:15	h 2:16 65:6 hand 23:3 25:4	hundred 46:2 hygiene 60:15 hypothetical 56:21	62:3 information 6:9 9:13,18 64:19 75:6
generally 55:19 60:15 generate 9:19 56:15 generated 9:13	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16	hundred 46:2 hygiene 60:15	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22	hundred 46:2 hygiene 60:15 hypothetical 56:21	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 identical 57:8 identification 5:17 7:21,23 8:6,19	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10 given 27:22 46:25	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21 hard 24:2	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23 images 22:23 45:14	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14 injections 67:2
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10 given 27:22 46:25 47:24 52:11 66:19	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21 hard 24:2 hardware 66:17	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23 images 22:23 45:14 68:16 70:6 77:11	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14 injections 67:2 ink 7:16,19,21,23
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10 given 27:22 46:25 47:24 52:11 66:19 73:14 75:12,19 76:8	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21 hard 24:2 hardware 66:17 harsh 54:2	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23 images 22:23 45:14 68:16 70:6 77:11 imagine 62:15	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14 injections 67:2 ink 7:16,19,21,23 8:3,6,15,17,20,25
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10 given 27:22 46:25 47:24 52:11 66:19 73:14 75:12,19 76:8 76:12,23 77:13	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21 hard 24:2 hardware 66:17 harsh 54:2 hear 5:5 21:11,14	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23 images 22:23 45:14 68:16 70:6 77:11 imagine 62:15 impacted 29:4	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14 injections 67:2 ink 7:16,19,21,23 8:3,6,15,17,20,25 9:5,8 10:3 11:10,23
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10 given 27:22 46:25 47:24 52:11 66:19 73:14 75:12,19 76:8 76:12,23 77:13 80:14	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21 hard 24:2 hardware 66:17 harsh 54:2 hear 5:5 21:11,14 63:15 67:8	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23 images 22:23 45:14 68:16 70:6 77:11 imagine 62:15 impacted 29:4 important 59:5	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14 injections 67:2 ink 7:16,19,21,23 8:3,6,15,17,20,25 9:5,8 10:3 11:10,23 12:19 14:6,11,21
generally 55:19 60:15 generate 9:19 56:15 generated 9:13 generically 10:15 gerald 7:12 10:4 12:4 37:6 46:19 52:17 55:24 64:13 germany 41:3 getting 20:4 35:6 75:4 gibson 1:20 2:12 give 30:11 37:4 40:13 56:21 74:18 75:19 76:2 78:10 given 27:22 46:25 47:24 52:11 66:19 73:14 75:12,19 76:8 76:12,23 77:13	h 2:16 65:6 hand 23:3 25:4 27:14 58:10 59:16 59:19 61:8 80:22 handle 58:18 59:6 61:13 62:22 63:4 handled 59:20 62:2 handling 58:17 59:10 61:9,18 62:6 62:16 hands 58:20 60:13 60:18,21 61:17 62:11,20,25 63:5,6 hanging 75:21 hard 24:2 hardware 66:17 harsh 54:2 hear 5:5 21:11,14	hundred 46:2 hygiene 60:15 hypothetical 56:21 62:14 i identical 57:8 identification 5:17 7:21,23 8:6,19 23:12 25:17 identify 8:25 69:4 73:8 illustrated 40:23 image 22:16,21 33:23 images 22:23 45:14 68:16 70:6 77:11 imagine 62:15 impacted 29:4	62:3 information 6:9 9:13,18 64:19 75:6 infrared 72:12 initial 14:20 15:21 25:22,25 71:7 initially 28:12 initials 15:2 19:14 32:24 34:3,16 inject 43:5 injection 17:22,23 36:11,11,14,20,23 37:4 41:20,25 42:9 42:16 43:2,2 53:8 65:12 66:14 injections 67:2 ink 7:16,19,21,23 8:3,6,15,17,20,25 9:5,8 10:3 11:10,23

[ink - lyter] Page 7

26:15,16,24 27:5	involves 66:16	60:17,19 61:22	light 30:17 72:18
28:15 30:18 31:9,14	involving 40:17	62:23 63:7,20,22	likelihood 62:4
31:16,18,22 32:2,5	issue 30:20 67:5	66:14 67:17 71:5,7	limitations 18:16
33:2,3 34:2,13	77:18 79:6	71:21,25 74:14	limited 62:6
35:22 36:3 37:7,10	issued 64:13	75:23 77:15	line 14:21 28:13,18
37:11,15 38:2 39:5	issues 37:9	knowing 63:5	33:6 52:11 68:11
43:8 44:9 46:6,19	items 75:10	knowledge 31:18	82:7
46:24 47:3,8,20	j	33:22 55:3 56:7	lines 22:4 28:15
48:19 50:5 51:6	january 7:5	known 38:8 39:12	liquid 17:9,12 43:4
52:11,14,15 53:13	jerry 49:8	39:16 51:21	list 26:14 63:17 64:7
55:15,20,21,25 56:2	july 14:13,17 15:9	l	64:11,19 65:15,15
56:6,7,23,25 57:11	15:23 16:13 19:24	1 3:2,2	65:17 72:9
57:13,19,19,25 58:3	20:3,22 21:16,20	labeled 5:22 23:15	listed 22:2 27:15
60:3 68:9,10,11	25:20,22 34:21 35:3	lakewood 2:8	51:5
72:24 73:8 77:5	62:15 67:3,13,16,21	laporte 7:12 10:4,8	literature 10:23
inks 7:4,13,18 9:21	69:14	10:21 11:4 12:5,9	11:12 27:15 30:16
11:2,14 32:4 33:21	justifying 15:12	37:6 40:4 43:19	30:19,22 40:11,12
47:9,17 56:14,22	v	46:19 48:9 49:9	40:14 48:15 60:7
57:4,6 72:13	k	52:18 53:13 55:24	62:11
inquiry 33:6,14	k 58:6	56:5 64:13	little 17:2 64:17
inspect 51:7	keep 5:14 20:25	laporte's 10:13,16	llc 2:4
inspection 67:4	32:8 70:19	45:7,13 48:4,5	llp 1:20 2:12
instance 77:5	kept 54:22	large 75:11	located 20:11
instances 17:16	kind 12:11 39:19	larger 43:9	log 75:6
61:14	44:21,24 47:8,16		logistical 4:2
instrument 16:21	54:5 61:19,23 73:23	larry 75:18 78:15 lasted 67:11	long 7:3,12 21:3,7
17:7 36:18 47:25	kinds 41:24	laurie 1:22 80:7,25	69:24
instrumentation	knew 50:13	law 62:16	longer 9:18 28:14
36:15	know 4:2,2 6:6 7:12	lawyers 24:21 51:16	look 9:4 26:4 30:13
insufficient 47:23	9:10,24 11:3 12:8,8	64:14 70:7,15 71:16	32:21 33:20 60:3
intending 59:7	13:19,25 18:19	73:25	looking 15:18 19:20
intention 20:16	20:17 22:23,24	lay 28:16	23:5 25:23 38:17
intentional 25:13	26:25 27:4,12 29:9	layer 18:12,23 19:4	52:7
interested 80:19	29:12,18 30:3,4,15	21:21 22:14 29:2	looks 15:19
interlineation 15:3	30:19,21,22 31:3,8	35:2,17 45:19	lot 36:23 47:9
19:14 21:22 33:4	32:14,19 33:11	layers 31:21	lotion 58:10
34:4	34:12,18 35:25 37:9	layers 31:21 lays 32:3	lotions 59:15,19
internal 12:20,22	37:20,21 39:13,22	leave 64:17	lyter 1:19 3:8 4:1
inventory 77:6,12	40:9,10,11 43:23	left 75:17,21	5:1,4,10,13,14,15,15
invoice 70:22	45:24 46:13 48:5,16	-	5:18,19 6:1,22,24
invoices 70:24	48:17,19,25 49:9	legal 2:4	7:1,4 8:1 9:1 10:1
involved 51:24	50:8 52:22,23,24	length 68:12 73:22 letter 32:21 34:2	11:1 12:1 13:1 14:1
52:13	53:14,15 54:14 55:4		15:1,11,16 16:1
involvement 50:24	55:5,7,21,25 56:5	levels 30:17	17:1 18:1,4 19:1
	58:11,12,14 59:14		20:1,14 21:1,11
			, ,

[lyter - number] Page 8

22:1 23:1,3,10,11	manufacturer 65:5	memory 20:6	n
23:12,13,15 24:1,9	march 23:18 24:23	mentioned 47:19,21	n
25:1,3 26:1,4 27:1	63:19 71:14,23	merck 58:6,8,12	n 2:2 74:24 81:2
28:1 29:1 30:1 31:1	mark 1:8 5:12 57:2	met 3:11	name 3:8 8:14
32:1 33:1,25 34:1	marked 5:16,19	method 11:7,9 12:4	narasimhan 2:17
35:1 36:1 37:1 38:1	23:9,12	13:14,15,23 14:5	nature 38:7
39:1 40:1 41:1,11	markings 81:7	27:16 29:4 35:21	near 72:12
42:1 43:1 44:1 45:1		36:4,5 37:18,19	necessary 36:25
46:1 47:1 48:1 49:1	marriage 80:18	39:25 48:22 49:8	44:18,21
	mass 12:23		need 15:20 16:25
50:1 51:1,21 52:1	materials 55:9	methodologies	17:3,6,18 41:8
53:1 54:1,12 55:1	75:11	25:18 37:24 38:5	42:24 44:13 45:9,11
56:1 57:1 58:1 59:1	math 7:6	methodology 11:3	50:5,13 51:22,25
60:1 61:1 62:1 63:1	matrix 31:10,15,17	11:21 15:7 16:21,24	60:4 77:19,22
63:15 64:1,24 65:1	31:22 32:5,7,9,12	40:18 46:14 47:24	needed 15:13 50:8
66:1 67:1,13 68:1	32:13 55:8,10,15	48:2,3,6,8,10,13,20	50:15
69:1 70:1 71:1 72:1	matter 3:10 10:8	49:3,10,13,14,17,20	needs 17:13
73:1 74:1,11,16	11:5 37:11 57:18	49:23 50:10,11 54:8	neighborhood 70:3
75:1 76:1 77:1 78:1	80:20	methods 9:4,20	neither 44:10
78:4,7 79:1,19	matters 37:9 42:22	10:24 14:2 37:4	never 42:19 49:5,7
80:11 81:5,15,17,19	mean 6:18 20:24	microphone 33:10	61:21 62:3 65:24
82:6,21	22:10 26:16 31:16	microplugs 17:2,5	new 1:3,21,21,23
lyter's 74:15	33:6,16 40:14 44:24	43:10	2:15,15 20:8 65:19
m	47:12 51:15,19	mind 59:3	80:3,5,9 82:3,3
m 58:6 65:6	53:22 66:5	minimum 17:14	newer 44:9
machine 17:17,20	meaning 28:13	minute 41:5	normal 34:7
36:10 41:12,15,19	meant 10:18 43:25	minutes 21:5 63:12	normally 14:24
42:3,6,8,14,15 53:7	measurable 43:18	mischaracterizes	27:10 54:4 61:13
65:2,4,8 66:11,13	61:15	40:8	73:7
66:22	measure 12:21 13:4	misunderstand	north 5:24 74:24
mail 4:24 5:8 78:3	17:11 28:24 29:8,10	16:17	notary 1:23 3:4
78:14 79:5	35:18,23,24 39:8	misunderstanding	79:25 80:8 82:25
mailed 78:19	53:16	24:12	notations 19:13
main 67:24	measured 10:25	model 8:20 65:3,7	note 6:16
maintain 64:7	35:15 37:25 43:18	monday 74:14 78:8	noted 79:16
maintained 69:2	measurement 9:5	78:18	notes 20:5,15,17,21
making 67:2	10:6 11:5,12,22	monthly 70:24	21:4,15 22:17 32:23
manner 28:11	30:14 37:23 38:5	months 38:23	65:21 66:3 75:5,5
manual 17:22,23	39:20	morning 3:8 60:12	number 13:17 16:19
36:10,14,20,22	measurements	motions 81:9	17:13,14 23:6 25:3
41:20,25 42:9,16	12:11	multiple 12:14	25:24 28:17,23 34:8
43:2,2 53:8 65:11	measures 39:4	52:12 57:12 67:12	34:8 37:2 38:22
66:13 67:2	measuring 11:9,16	mutual 75:14	43:9 46:7 47:10
manufacture 9:2	19:21 39:9 48:18		61:22 65:7
	mechanism 9:12		

[numbers - point] Page 9

numbers 25:2	order 17:11 39:8	39:14 46:14 47:8	performed 10:4
	43:4 45:11 50:6	48:20 49:2 61:12	12:12 21:20 28:24
0	60:5 73:12	64:14 72:19 73:8	31:2 34:25 37:12
o 9:15 74:24	ordinarily 32:12	parties 80:17	42:9 72:2
object 4:3 15:15	organizations 36:24	parts 34:13	performing 32:18
28:9 33:5,13 39:21	outcome 80:19	parts 34.13 paul 1:5 3:9	performs 39:19
51:17	outset 74:10	pause 22:20	period 12:18 14:15
objection 10:14 12:6	outside 30:21	payment 70:21	51:11
19:25 32:16 34:23	oven 12:17	78:16	periodically 70:21
40:7 41:22 46:11	overnight 74:13	pc 32:24 34:3,16	permission 42:14
48:24 49:6 52:20	overnighted 78:7	pdf 77:4,10	permitted 52:4
53:9 54:13 56:4		pe 10:11,19,25 11:6	66:11
67:6,9	p	11:9,12,17 12:5,11	person 39:19 60:21
observations 25:25	p 2:2,2 13:8 32:21	13:5 14:19 16:7,11	61:9
observed 31:13	33:3 34:2 57:14	16:16,20,24 17:12	personal 56:7 61:10
69:11	74:24	17:15,24 26:17,24	personally 59:15
obtain 26:10	p.m. 79:16	27:4,11,16,19,24	ph.d. 7:9
obtained 76:16	p.o. 74:17,21	28:8 29:8,12,18	phenoxyethanol
occur 4:11 46:15	page 15:3,4,18 16:3	30:3,6,13,15,17,18	10:7,24 11:22 12:22
55:11	18:5 19:8 21:22,24	30:23 32:5,11 41:21	13:2,3,10 15:7
occurred 73:14	22:16 24:25 25:2,3	43:21 45:3,22 46:19	photodegradation
office 64:9 70:11	25:4,6,24 26:4,6	46:24 47:10,11,12	30:5,7,24 31:20
71:6	32:22,24 34:18 67:4	47:24 48:10,18	phrase 18:7 29:21
offices 1:20 62:16	67:15,24 69:8 70:6	49:15,19,21 50:9,19	physical 66:16
oh 76:11 78:24	72:10 74:16 81:4,15	51:14,22 52:5,19	piece 57:2,3 66:17
ohio 2:8	82:7	53:6,17,20,24 54:3	place 14:13
okay 3:13 4:12 6:12 7:3 9:7 20:13,24	pages 6:20 22:22 23:16 68:7,8,10	54:8,11,17,17,21,23	placed 55:16
25:6,9 27:18 28:3	69:13	55:3,6,12,14,18,20	places 24:18
32:25 49:12 63:2,13	paid 70:16 71:2	56:2,12,15,24 57:5	placing 12:17
64:17 65:19 74:5	paper 32:3 48:9	57:9,21 58:9,12,15	plainly 76:2
75:2,24 78:25	51:6 57:2,3 61:11	59:7,12,20,21 60:18	plaintiff 1:6 2:5 3:9
old 29:15 38:23 44:9	61:25 68:7 72:18,23	60:21,23 61:8,15,17	plaintiffs 52:13
57:20 58:2,3	paragraph 15:19	61:18,22 62:3,5,10	planning 78:23
once 49:21 50:22	18:4,5 25:10,13	62:21 63:6	please 5:13 20:15
75:14	26:5,9 29:21	peer 48:23 49:10	25:2 35:10 64:20
ongoing 20:18	parameter 53:16	pen 28:16 32:4	plugs 43:25 46:7,10
opinion 9:11 29:7	parameter 37:25	72:24	50:9,15,16 51:25
34:4 36:19 44:12	park 1:21 2:14	pens 56:25	52:4
46:18 53:21	part 11:25 15:12,25	people 61:13 62:10	plural 67:14
opposed 28:21 37:6	32:5 42:20 46:7	percent 46:7	point 8:12 15:5,9
41:20,24 42:25	54:7 63:19 66:25	percentage 13:9,12	16:19 35:4 40:6
opposite 37:8 40:20	particular 8:10,20	perfectly 30:13	41:4 42:13 43:17
optical 72:20,23	8:25 12:20 14:24	perform 8:3,8,13,17	49:7 51:19 54:11,17
1	21:17 22:6 26:16	16:7,11 17:24 18:21	54:24 55:5,6 62:12
		35:11 43:21 50:9	63:9 76:24

[pointed - reliably] Page 10

precise 18:9,12 provided 4:12 5:3 6:24 40:20 77:4,7,9 raised 20:19 74:9 raised 10:16 18:7,10 28:11 45:2 50:3 reliegh 5:24 74:24 raised 20:19 74:9 raised 20:11 75:2 20:6 20:6 20:6 20:6				
points 46:14 49:13	pointed 76:4	problems 60:6	quantitatively 35:19	
49:15	pointing 15:23 61:6	procedure 39:3,4	35:23	34:15 64:5
portion 58:22,23,24 portions 24:14 prosition 9:19 26:22 29:23 54:21 possibilities 61:2 possibilities 61:2 possibilities 61:2 possibilities 61:2 propose 59:24 proposition 28:6 provide 17:14 38:18 provide 17:14 38:18 43:12 63:17 64:19 60:24 62:24 66:23 66:6,7 70:5 73:24 76:13 78:5 60:24 78:24 78:15 provided 4:12 5:3 forested 4:10 47:6,6 51:11 prepared 5:24 38:8 38:9 preparation 4:7,10 47:6,6 51:11 prepared 5:24 38:8 38:9 provides 13:8 providing 9:18 63:19 public 1:23 3:4 79:25 80:8 82:25 publicly 23:24 24:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 printing 68:15 problematic 59:14 problematic 59:14 problematic 59:14 problematic 59:14 problematic 59:14 problematic 59:14 proposed 59:16 problematic 59:14 proposed 59:24 proposed 59:24 proposed 59:24 proposed 4:12 5:3 problematic 59:14 proposed 59:24 proposed 59:24 proposed 59:24 proposed 59:24 proposed 4:12 5:3 problematic 59:14 proposed 59:24 proposed 59:25 proposed 59:24 proposed 59:24 proposed 59:24 proposed 59:24 proposed 59:25 proposed 59:24 propose	points 46:14 49:13	process 13:7,11 62:6	quantity 17:10,12	record 6:16 23:14
portions 24:14 position 9:19 26:22 29:23 54:21 possibilities 61:2 possibility 20:3 60:20 possibility 20:3 60:24 possibility 20:3 60:25 possibility 20:3 60:26 possibility 20:3 60:27 60:28 possibility 20:3 60:29 possibility 20:3 60:29 possibility 20:3 60:20 possibility 20:3 64:20 possibility 20:28 62:4 possibility 20:28 62:20 possibility 20:28 62:20 possibility 20:28 62:4 possibility 20:28 62:20 possibility 20:28 possibility 20:28 possibility 20:28 possibility 50:24 64:20 62:28 possibility 20:29 po	49:15	produced 45:22	27:19,21 29:12	23:23 24:5 33:18
Sociation 9:19 26:22 29:23 54:21 29:23 54:24 29:23 54:24 29:23 54:24 56:24 56:24 56:16 78:61 29:24 2	portion 58:22,23,24	products 58:15	question 15:22 16:9	68:22 74:7 77:7,23
29:23 54:21 possibilities 61:2 propose 59:24 propose 59:24 propose 59:24 proposition 28:6 provide 17:14 38:18 doi:10.25	portions 24:14	professional 1:22	21:11 27:9,18 46:16	78:5 80:14
possibilities 61:2 possibility propose 59:24 proposition 28:6 provide 47:13 8:18 questions 21:5 questioning 15:5 questions 24:5,14,17,20,21 24:5,14,17,20,21 24:5,14,17,20,21 81:19 proposition 28:19 provide 41:11 74:4,6 76:18 7 7 76:5 questions 27:8 questions 27:1 questions 28:1 questions 27:1 questions 28:1 questions 27:1 questions 28:1 questions <th>position 9:19 26:22</th> <th>80:8</th> <th>56:20 59:24 64:20</th> <th>recording 68:25</th>	position 9:19 26:22	80:8	56:20 59:24 64:20	recording 68:25
prossibility 20:3 60:20 provide 17:14 38:18 discrete 43:12 63:17 64:19 discrete 60:24 discrete 62:4 foreign 70:5 73:24 76:13 foreign 78:5 provided 4:12 5:3 foreign 6:24 discrete 62:4 discrete 6:25 foreign 78:5 provided 4:12 5:3 foreign 6:24 discrete 6:25 foreign 78:5 provided 4:12 5:3 foreign 6:24 discrete 6:25 foreign 78:5 forei	29:23 54:21	properly 17:18	questioned 25:16	records 71:6
60:20 possible 18:14 22:5 32:15,17 59:10,23 60:24 potential 46:9 precise 18:9,12 precisely 7:10 preparation 4:7,10 47:6,6 51:11 prepared 5:24 38:8 38:9 preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 priorbable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:16 problem 59:16 problem 59:16 problem 59:16 problem 59:16 protential 46:9 precise 18:9,12 defered 6:2,5 70:24 76:13 78:5 provided 4:12 5:3 6:24 40:20 77:4,7,9 78:7 78:7 78:7 78:7 78:7 78:7 78:7 78:	possibilities 61:2		38:7,13 39:2,15	redacted 23:11,23
A3:12 63:17 64:19	possibility 20:3	proposition 28:6	questioning 15:5	24:5,14,17,20,21
32:15,17 59:10,23	60:20	provide 17:14 38:18	questions 27:8	81:19
To: 5 73:24 76:13 To: 5 73:24 76:14 To: 5 73:14 75:21 To: 16 73:14 75:14 To	possible 18:14 22:5	43:12 63:17 64:19	41:11 74:4,6 76:18	refer 10:13,19
potential 46:9 precise 18:9,12 precisely 7:10 preparation 4:7,10 47:6,6 51:11 prepared 5:24 38:8 38:9 preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 pretty 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:16 problem 59:16 problem 59:16 problem 59:16 proportion 4:7,10 47:6,6 51:11 provides 13:8 providing 9:18 63:19 provides 13:8 providing 9:18 63:19 public 1:23 3:4 79:25 80:8 82:25 published 13:25 31:5,8 40:25 48:14 62:8 punches 17:13 34:11 50:5 purchased 42:6,6 purported 47:5 56:19 purposes 19:18 41:21 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probable 41:47 probable 41:3 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:16 problem 59:16 problem 59:16	32:15,17 59:10,23	64:24 65:23 66:6,7	r	references 49:3
precise 18:9,12 precisely 7:10 preparation 4:7,10 47:6,6 51:11 prepared 5:24 38:8 38:9 preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 prety 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:16 problem 59:16 provided 4:12 5:3 6:24 40:20 77:4,7,9 78:7 provides 13:8 providing 9:18 63:19 provides 13:8 providing 9:18 63:19 provided 4:12 5:3 6:24 40:20 77:4,7,9 78:7 provides 13:8 providing 9:18 63:19 provided 4:12 5:3 6:24 40:20 77:4,7,9 78:7 provides 13:8 providing 9:18 63:19 provided 4:12 5:3 6:24 40:20 77:4,7,9 78:7 provides 13:8 providing 9:18 63:19 present 37:16,21,22 38:11 39:11 54:22 54:24 70:17 rates 38:19 reached 40:19,21 52:18 72:3 reached 40:19,21 52:18 72:3 reached 40:19,21 52:18 72:3 reached 20:21 44:5,7,17 58:11 reality 76:8 reality 73:5 realm 61:2 registered 1:22 80:7 referting 3:25 10:1: 10:16 18:7,10 28:11 10:16 18:7,10 29:14 16:24 36:4:31:7 17:5 50:24 56:8 18:10 1:2 16:24 36:4:37:7 17:5 50:24 56:8 18:10 1:2 16:24 36:4:37:7 17:5 50:24 56:8 18:10 1:2 16:24 36:4:37:7 17:5 50:24 56:8 18:10	60:24	70:5 73:24 76:13	× 2.2 2.2 2 58.6 80.2	referred 68:2,5
precise 18:9,12 precisely 7:10 preparation 4:7,10 47:6,6 51:11 prepared 5:24 38:8 38:9 preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 presumably 55:20 pretty 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 printing 68:15 printing 68:15 printing 68:15 privileged 51:18 probable 4:12,3 probable 4:13,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:14	potential 46:9	78:5	1	referring 3:25 10:15
precisely 7:10 preparation 4:7,10 47:6,6 51:11 prepared 5:24 38:8 38:9 preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 pretty 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 printing 68:15 printing 68:15 printing 68:15 privileged 51:18 probablidities 61:2,3 probable 4:14,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:16 problemsite 59:14	precise 18:9,12	provided 4:12 5:3		10:16 18:7,10 28:11
Tesperation 4:7,10 47:6,6 51:11 providing 9:18 63:19 propaged 5:24 38:8 38:9 63:19 public 1:23 3:4 79:25 80:8 82:25 publicly 23:24 24:15 published 13:25 31:5,8 40:25 48:14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 pretty 60:15 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 printing	precisely 7:10	6:24 40:20 77:4,7,9		45:2 50:3
prepared 5:24 38:8 38:9 38:9 preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 pretty 60:15 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 printing 68:15 printing 68:15 printing 68:15 printing 68:15 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problem 59:16 problematic 59:14 providing 9:18 63:19 providing 9:18 63:19 reach 34:19 reach 34:19 reach 40:19,21 52:18 72:3 reached 40:19,21 52:10 72:10 73:14 75:21 regards 5:16 59:17 7:14 80:17 relates 38:10	preparation 4:7,10	78:7		reflected 72:4,7
prepared 5:24 38:8 providing 9:18 63:19 54:24 70:17 regard 56:16 59:17 regarding 11:5,12 regarding 11:5,12 regarding 11:5,12 regarding 11:5,12 reached 38:19 reached 38:19 reached 38:19 reached 34:19 reached 40:19,21 52:18 72:3 reached 40:19,21 52:18 72:3 reached 40:19,21 52:18 72:3 reached 40:19,21 52:18 72:3 reached 28:25 59:17 73:14 75:21 72:10 73:14 75:22 </th <th>47:6,6 51:11</th> <th>provides 13:8</th> <th>1</th> <th>refresh 20:6,21</th>	47:6,6 51:11	provides 13:8	1	refresh 20:6,21
Trace Preparing 3:18 Preparing 3:19 Prepareng 3:19 Preparing 3:19 Pre	prepared 5:24 38:8	providing 9:18		regard 56:16 59:17
preparing 3:18 present 9:17 13:3 28:25 29:10,13,13 54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 pretty 60:15 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 printing 68:15 printing 68:15 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problematic 59:14 publicly 23:24 24:15 published 13:25 31:5,8 40:25 48:14 62:8 punches 17:13 34:11 50:5 purchased 42:6,6 purported 47:5 56:19 purposes 19:18 41:21 put 24:18 27:22 40:5 64:3,6 privileged 51:18 probablities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problematic 59:14 publicly 23:24 24:15 publicly 23:24 24:15 published 13:25 s1:5,8 40:25 48:14 62:8 punches 17:13 secated 28:25 read 20:21 44:5,7,17 58:11 reality 76:8 realize 57:24 reality 73:5 relate 28:25 read 20:21 44:5,7,17 58:11 reality 76:8 reality 73:5 relate 28:25 read 20:21 44:5,7,17 38:2 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 72:17 33:19 related 34:19 recehed 40:19,21 52:18 72:3 reacted 28:25 read 20:21 44:5,7,17 58:11 reality 76:8 realize 57:24 relate 1:22 80:7 relate 28:25 relate 0:20:2 4:45:5 real 20:21 44:5,7,17 58:11 reality 76:8 realize 57:24 relate 1:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 72:17 33:16 region 72:12 registered 1:22 80:7 relate 7:18 8:11,17 38:2 related 1:2:13:9,13 71:4 80:17 relates 8:5,19 13:11 related 1:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 relates 8:5,19 13:11 relates	38:9	63:19		regarding 11:5,12
present 9:17 13:3 79:25 80:8 82:25 reached 40:19,21 47:5 50:24 56:8 58:12 60:2 62:10 72:10 73:14 75:21 <th< th=""><th>preparing 3:18</th><th>public 1:23 3:4</th><th></th><th>16:24 36:4 37:7</th></th<>	preparing 3:18	public 1:23 3:4		16:24 36:4 37:7
Publicly 23:24 24:15 54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 pretty 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problematic 59:14 52:22 78:16 problematic 59:14 52:22 78:16 problematic 59:14 52:22 78:16 problematic 59:14 72:21 72:10 73:14 75:21 72:	present 9:17 13:3	79:25 80:8 82:25		47:5 50:24 56:8
54:3,4 67:3,14 69:14,16,18 73:9 presenting 60:5 presumably 55:20 pretty 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problematic 59:14 published 13:25 31:5,8 40:25 48:14 62:8 punches 17:13 34:11 50:5 purchased 42:6,6 purported 47:5 56:19 purposes 19:18 41:21 put 24:18 27:22 33:19 72:25 74:7,13 78:17 puts 54:16 problematic 59:14 published 13:25 read 20:21 44:5,7,17 regards 73:16 region 72:12 regards 73:16 region 72:12 related 1:22 80:7 relate 7:18 8:11,17 38:2 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 7:18 8:11,17 38:2 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 7:18 8:11,17 38:2 related 7:18 8:11,17 7:14 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 7:18 8:11,17 38:2 related 7:18 8:11,17 7:14 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 7:18 8:11,17 7:18 8:11,17 7:18 8:11,17 15	28:25 29:10,13,13	publicly 23:24 24:15	1	58:12 60:2 62:10
31:5,8 40:25 48:14 62:8 purches 17:13 34:11 50:5 purchased 42:6,6 purported 47:5 56:19 purposes 19:18 41:21 put 24:18 27:22 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probable 61:4,7 problem 59:16 problematic 59:14 problematic 59:14 31:5,8 40:25 48:14 62:8 punches 17:13 34:11 50:5 purchased 42:6,6 purported 47:5 56:19 purported 47:5 56:19 purposes 19:18 41:21 put 24:18 27:22 33:19 72:25 74:7,13 78:17 puts 54:16 problematic 59:14 puts 54:16 quantitative 9:5 purchased 42:6,6 purported 47:5 reality 76:8 reality 76:8 reality 73:5 realite 7:18 8:11,17 38:2 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 relative 11:13 19:20 25:17 35:11,13 36:6 37:15 38:4,24 39:7 73:19 receive 70:21,23 receive 70:21,23 receive 70:21,23 receive 70:21,23 receive 70:21,23 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 relative 11:13 19:20 37:15 38:4,24 39:7 73:19 released 32:11 reliability 53:3 reliable 30:13 37:5 40:2 reliably 43:4,18 recognize 5:18,21 reliably 43:4,18 recognize 5:18,21 reliably 43:4,18 recognize 5:18,21 reliable 30:13 37:5 recognize 5:18,21 relative 7:12 registered 1:22 80:7 relate 7:18 8:11,17 38:2 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 relative 11:13 19:20 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:15 38:4,24 39:7 37:16 37:	54:3,4 67:3,14	published 13:25		72:10 73:14 75:21
presenting 60:5 presumably 55:20 pretty 60:15 previous 37:7 42:22 previously 16:8 50:4 65:16 78:6,12 printed 5:8 6:9 printing 68:15 prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problematic 59:14 62:8 punches 17:13 34:11 50:5 purchased 42:6,6 purported 47:5 56:19 purposes 19:18 41:21 put 24:18 27:22 33:19 72:25 74:7,13 78:17 puts 54:16 problematic 59:14 62:8 punches 17:13 34:11 50:5 preality 76:8 reality 76:8 realize 57:24 really 73:5 realm 61:2 reason 46:21 82:7 recall 4:14,15,16 10:7 13:18 14:16 20:2,4 37:10 40:24 41:3 44:17 48:9 50:17,21 61:14 69:15,23 70:8 receive 70:21,23 receiving 9:13 receiving 9:13 receiving 9:13 receive 7:12 related 1:22 80:7 relate 7:18 8:11,17 38:2 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 13:9,13 71:4 80:17 recall 4:14,15,16 10:7 13:18 14:16 20:2,4 37:10 40:24 41:3 44:17 48:9 50:17,21 61:14 69:15,23 70:8 receive 70:21,23 receive 70:21,23 receive 70:21,23 related 31:21 8:10 48:20 56:12 59:12 related 31:21 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 18:9,13 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 related 11:2 18:10 48:20 56:12 59:12 related 11:2 18:10 48:20 56:12 59:12 related 11:2 18:10 10:7 13:18 14:16 20:2,4 37:10 40:24	69:14,16,18 73:9	31:5,8 40:25 48:14		regards 73:16
presumably 55:20 punches 17:13 reality 76:8 registered 1:22 80:7 previous 37:7 42:22 purchased 42:6,6 purported 47:5 realize 57:24 realize 73:5 related 71:4 80:17 71:4 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 48:20 56:12 59:12 relates 8:5,19 13:11 48:20 56:12 59:12 25:17 35:11,13 36:6 37:15 38:4,24 39:7 37:15 38:4,24 39:7 37:19 37:15 38:4,24 39:7 37:19 39:16 40:17 47:7 73:19 79:10 41:10 40:2 40:2 79:10 41:10 40:2 79:10 41:10 40:2 79:10 41:10	presenting 60:5	62:8	1	region 72:12
pretty 60:15 34:11 50:5 realize 57:24 relate 7:18 8:11,17 previous 37:7 42:22 purchased 42:6,6 purported 47:5 really 73:5 relate 7:18 8:11,17 65:16 78:6,12 purported 47:5 really 73:5 realm 61:2 relate 7:18 8:11,17 printed 5:8 6:9 purposes 19:18 41:21 realm 61:2 realm 61:2 relate 7:18 8:11,17 printed 5:8 6:9 purposes 19:18 41:21 10:7 13:18 14:16 48:20 56:12 59:12 relate 7:14 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 7:18 8:11,17 7:14 80:17 relates 8:5,19 13:11 48:20 56:12 59:12 7:15 25:17,21 6:14 69:15,23 70:8 7:15 38:4,24 39:7	presumably 55:20	punches 17:13		registered 1:22 80:7
previous 37:7 42:22 previously purchased 42:6,6 purported really 73:5 realm 61:2 reason 46:21 82:7 related 71:4 80:17 relates 71:4 80:14 relates 71:4 80:17 relat	pretty 60:15	34:11 50:5	1	relate 7:18 8:11,17
previously 16:8 50:4 purported 47:5 65:16 78:6,12 56:19 realm 61:2 71:4 80:17 printed 5:8 6:9 purposes 19:18 41:21 recall 4:41,15,16 48:20 56:12 59:12 prior 11:19 12:2,12 put 24:18 27:22 20:2,4 37:10 40:24 41:3 44:17 48:9 25:17 35:11,13 36:6 prior babilities 61:2,3 puts 54:16 50:17,21 61:14 69:15,23 70:8 39:16 40:17 47:7 73:19 probable 61:4,7 quantify 13:3 17:15 receive 70:21,23 76:ease 21:10 41:10 73:19 76:ease 32:11 76:ease 32:10 76:ease 32:10 76:ease 32:10 76:ease 32:10 76:ease	previous 37:7 42:22	purchased 42:6,6		38:2
65:16 78:6,12 56:19 reason 46:21 82:7 71:4 80:17 printed 5:8 6:9 purposes 19:18 41:21 recall 4:14,15,16 48:20 56:12 59:12 prior 11:19 12:2,12 put 24:18 27:22 33:19 72:25 74:7,13 20:2,4 37:10 40:24 48:20 56:12 59:12 probabilities 61:2,3 puts 54:16 50:17,21 61:14 69:15,23 70:8 37:15 38:4,24 39:7 probable 61:4,7 puts 54:16 quantify 13:3 17:15 receive 70:21,23 receive 70:21,23 relates 8:5,19 13:11 48:20 56:12 59:12 relative 11:13 19:20 25:17 35:11,13 36:6 37:15 38:4,24 39:7 39:16 40:17 47:7 73:19 receive 70:21,23 receive 70:21,23 receive 32:10 41:10 63:14 recess 21:10 41:10 63:14 recognize 5:18,21 40:2 reliable 30:13 37:5 40:2 71:4 80:17	previously 16:8 50:4	purported 47:5	· ·	related 11:2 13:9,13
printed 5:8 6:9 purposes 19:18 recall 4:14,15,16 recall 4:14,15,16 48:20 56:12 59:12 relates 8:5,19 13:11 48:20 56:12 59:12 relative 11:13 19:20 25:17 35:11,13 36:6 37:15 38:4,24 39:7 39:16 40:17 47:7 39:16 40:17 47:7 73:19 receive 70:21,23 receive 70:21,23 receive 70:21,23 relates 8:5,19 13:11 48:20 56:12 59:12 70:14 39:16 40:17 47:7 39:16 40:17 47:7 39:16 40:17 47:7 73:19 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 70:15 40:14 <th>65:16 78:6,12</th> <th>56:19</th> <th></th> <th>71:4 80:17</th>	65:16 78:6,12	56:19		71:4 80:17
printing 68:15 41:21 10:7 13:18 14:16 48:20 56:12 59:12 prior 11:19 12:2,12 33:19 72:25 74:7,13 20:2,4 37:10 40:24 25:17 35:11,13 36:6 privileged 51:18 78:17 50:17,21 61:14 37:15 38:4,24 39:7 probable 61:4,7 q receive 70:21,23 problem 59:16 quantify 13:3 17:15 receiving 9:13 problem 59:16 quantifying 27:16 63:14 recognize 5:18,21	printed 5:8 6:9	purposes 19:18		relates 8:5,19 13:11
prior 11:19 12:2,12 40:5 64:3,6 privileged 51:18 probabilities 61:2,3 probable 61:4,7 probably 4:13 46:3 51:20 58:4 62:24 78:16 problem 59:16 problematic 59:14 put 24:18 27:22 33:19 72:25 74:7,13 78:17 puts 54:16 quantify 13:3 17:15 27:11 quantifying 27:16 quantitative 9:5 put 24:18 27:22 33:19 72:25 74:7,13 78:17 puts 54:16 quantify 13:3 17:15 27:11 quantifying 27:16 quantitative 9:5 put 24:18 27:22 33:19 72:25 74:7,13 41:3 44:17 48:9 50:17,21 61:14 69:15,23 70:8 receive 70:21,23 receiving 9:13 recess 21:10 41:10 63:14 recognize 5:18,21 reliable 30:13 37:5 40:2 reliably 43:4,18	printing 68:15	41:21	1 1	48:20 56:12 59:12
40:5 64:3,6 33:19 72:25 74:7,13 41:3 44:17 48:9 37:15 38:4,24 39:7 probabilities 61:2,3 puts 54:16 50:17,21 61:14 39:16 40:17 47:7 probably 4:13 46:3 quantify 13:3 17:15 receive 70:21,23 receiving 9:13 reliability 53:3 78:16 quantifying 27:16 63:14 recognize 5:18,21 reliable 30:13 37:5 41:3 44:17 48:9 37:15 38:4,24 39:7 39:16 40:17 47:7 39:16 40:17 47:7 78:19 reliability 53:3 reliable 30:13 37:5 40:2 problem 59:16 quantitative 9:5 recognize 5:18,21 reliably 43:4,18	prior 11:19 12:2,12	put 24:18 27:22		relative 11:13 19:20
privileged 51:18 78:17 50:17,21 61:14 37:15 38:4,24 39:7 probable 61:4,7 q 69:15,23 70:8 receive 70:21,23 73:19 released 32:11 reliability 53:3 51:20 58:4 62:24 78:16 quantifying 27:11 receive 70:21,23 reliability 53:3 problem 59:16 problematic 59:14 recognize 5:18,21 73:19 reliability 53:3 reliable 30:13 37:5 40:2 reliably 43:4,18	40:5 64:3,6	33:19 72:25 74:7,13	· · · · · · · · · · · · · · · · · · ·	25:17 35:11,13 36:6
probabilities 61:2,3 puts 54:16 probable 61:4,7 q probably 4:13 46:3 quantify 13:3 17:15 receive 70:21,23 released 32:11 78:16 quantifying 27:11 recess 21:10 41:10 reliable 30:13 37:5 problem 59:16 quantitative 9:5 63:14 recognize 5:18,21	privileged 51:18	78:17		37:15 38:4,24 39:7
probable 61:4,7 q receive 70:21,23 73:19 probably 4:13 46:3 quantify 13:3 17:15 receive 70:21,23 released 32:11 51:20 58:4 62:24 27:11 receiving 9:13 reliability 53:3 78:16 quantifying 27:16 63:14 recognize 5:18,21 problematic 59:14 problematic 59:14 problematic 59:14 problematic 59:14	probabilities 61:2,3	puts 54:16	· · · · · · · · · · · · · · · · · · ·	39:16 40:17 47:7
probably 4:13 46:3 quantify 13:3 17:15 receiving 9:13 receiving 9:13 reliability 53:3 78:16 quantifying 27:16 63:14 recognize 5:18,21 reliable 30:13 37:5 problematic 59:14 quantitative 9:5 recognize 5:18,21 reliably 43:4,18	probable 61:4,7	a	· · · · · · · · · · · · · · · · · · ·	73:19
78:16 problem 59:16 problematic 59:14 78:16 quantifying 27:16 quantitative 9:5 recess 21:10 41:10 63:14 recognize 5:18,21 reliability 53:3 reliable 30:13 37:5 40:2 reliably 43:4,18	probably 4:13 46:3			released 32:11
78:16 problem 59:16 problematic 59:14 quantifying 27:16 quantitative 9:5 63:14 recognize 5:18,21 reliable 30:13 37:5 40:2 reliably 43:4,18	51:20 58:4 62:24	_ •		reliability 53:3
problem 59:16 quantitative 9:5 recognize 5:18,21 40:2 reliably 43:4,18	78:16			reliable 30:13 37:5
problematic 59:14 reliably 43:4,18	problem 59:16	1 0		40:2
60:2	problematic 59:14	quantitative 7.3	1 ccugnize 3.10,21	reliably 43:4,18
	60:2			

remember 36:12	restriction 49:22	S	screen 33:19
41:2 45:24 50:18,25	50:2	s 2:2 9:15 65:6 74:24	second 15:17 18:17
52:2 58:21 59:2	restrictions 52:25	82:7	36:9
63:21,24 64:2 67:19	restroom 41:7 60:12	sample 14:9,23 15:6	secondly 47:4
70:2	result 30:24 35:11	16:10 19:15 29:11	sections 24:20
removing 66:16	54:18	30:3 38:2,16 43:6	see 18:5 22:14 25:10
rent 36:16 41:16	results 19:3,5,7,9,11	· · · · · · · · · · · · · · · · · · ·	26:7 35:15 44:16
repertoire 47:14	19:16,23 22:2,12	47:23 49:22 50:2	45:14,15 49:2
report 19:8 22:3	26:3,6,11 29:2	52:5,10,25	seeing 32:18 61:18
23:3,11,17,19 24:12	30:12 31:5,7 35:7	sampler 17:8,9,21 36:25 37:3 41:24	73:16
24:16,19,22,25	37:5 38:19 40:21		seemingly 75:11
31:14 34:18 44:5,6	43:13 44:6,8 45:16	42:2,18,25 43:3	seen 30:16 48:6,7,13
44:7,14,15,18,22	45:17,18,19,20	samples 7:20 8:17	49:5 65:25
45:7,13,22 47:21	53:20,24 56:15 57:8	11:23 12:14,15,16	semivolatile 9:6
51:5 63:19 64:13	72:14 73:6,12	13:4,6 14:18 15:13	26:21 29:7
71:14,17 72:4,8,9	retain 70:10	15:20 16:2,6,14,15	send 70:24 78:14,23
72:15 73:3 74:16	retained 37:8 47:12	16:20,23,25 17:3,4	79:11
81:19	retainer 70:19,23	17:10,18,25 18:18	sending 78:24 79:7
reported 31:13	71:7	18:19,20,22 19:3,12	sensitive 18:8,12
72:15	review 44:15,22	19:16 20:4 35:2,6	sent 5:2,7 6:16
reporter 1:23 5:11	45:9,11 64:18 77:25	37:2 38:12,15,18	sentence 26:10
23:2 41:6 80:8	reviewed 21:17	42:24 43:8,12,20,24	series 77:11
reporter's 10:10	44:11 48:23 49:10	48:19 50:15 51:15	service 74:14
reporting 82:2	64:11	51:22,24 52:14 53:7	set 13:5,7 17:7 36:17
represent 3:9 6:8	reviewing 15:16	54:9 55:20 56:17,18	36:18 41:19,23 65:9
represented 77:6	revolved 37:10	57:13 61:25 68:10 72:19	80:12,22
representing 78:4	right 4:22 6:13 7:2	sampling 34:11 35:3	setting 66:15
requesting 77:25	8:12 25:4 26:19	36:17,21 41:20 65:9	settings 65:10,11
requests 81:10	33:12 41:9,13,17,21	satisfactory 26:11	66:12,18 68:22 69:2
require 16:3,23	42:17 55:16 64:23	saw 35:4 63:3 67:21	69:3
required 37:3 73:22	65:12 66:2 75:8	saying 4:2 5:3 15:19	seven 64:20 65:24
requires 17:9 43:3,9	78:9,13 79:4	28:5 29:20 33:9	sheet 82:2
research 32:18	ring 4:19	40:10 77:15,16	shortly 14:15 78:14
resin 31:17	rja 1:7	says 16:3 18:8 23:16	shown 29:19
resins 31:18,23,24	road 2:6	25:13,24 49:8 58:13	shows 58:9
32:8 55:9	room 20:12 54:25	62:4,20	side 57:3 68:11,12
resolve 75:15	69:18	scan 69:9	69:9,10
resolved 77:19,20	round 18:17	scanner 68:21,23	side's 64:14
respect 20:17	rpr 80:25	scans 33:17 68:24	sides 37:8
responded 41:12	rq 65:21	69:5	sign 62:18 63:3
75:17,25 76:21	rulings 24:13 81:11	schmidazu 65:5,6	signature 5:23 25:7
response 5:3 76:3,7	run 17:18 27:6 41:7	scientific 9:16 48:14	signatures 19:15
responses 75:20,22	61:16	scientifically 40:2	21:23
rest 24:21 34:3	running 12:25		significant 73:7

[silt - testified] Page 12

silt 31:6	64:23 66:2 67:6,10	stewart's 75:10	56:25 63:10,11 69:6
similar 4:9 13:24	74:5 75:8,24 77:2	78:16	69:25 76:3 77:22
14:2 19:23 22:6,9	78:11,19 79:2,8,13	storage 53:18,19,23	taken 15:13 16:14
22:11	speaking 55:19	54:5	19:12 21:10 34:9,10
simply 17:22 52:15	specific 4:6 45:2	stored 53:25 54:15	34:11 41:10 42:18
73:23	46:13 61:21 68:25	street 74:18,21	45:15 63:14
sir 42:7 51:2	78:21	structure 30:5	talk 26:11 28:3
sit 49:4	specifically 10:8	studies 61:6	31:24 36:9 75:4,9
sit 49.4 sitting 55:12,15	11:3 13:18 14:16	studies 61.6 stuff 40:11 59:15	talked 25:11 36:21
situation 24:10 76:9	34:15 45:3 46:13	76:19	50:23 62:9
size 49:22 50:2	48:7 70:2,9	subject 20:18	talking 10:6,12
52:25	specifications 51:8	submitted 15:11	17:17 19:9 29:16
skewed 30:11	73:10	23:20 24:12 64:3,6	31:25 32:4 34:17
slightly 28:7	specifics 43:22	71:13,17	36:6,12 56:17,18
slow 56:2,9,11,14,24	specify 67:7,11	subscribed 79:21	65:22 67:23
57:11,19 58:3	speckin 35:25 39:18	82:22	technical 43:25
smaller 14:25 34:6	spectrometer 12:23	substantive 3:25	technique 35:13
soap 60:14,17,21	speculation 12:7	sufficient 15:6 16:10	38:10 40:22
61:8,17,22 62:18,21	32:20 52:21	16:15,19 29:24 37:2	technology 21:12
63:2,4,6	speculative 60:4	51:14 66:19	telephone 2:10
software 66:18,19	spend 36:24	suggest 51:13	tell 7:15 21:19 34:5
69:3	spoken 71:24	suggest 31.13	50:14 51:23 52:7
solution 35:15 38:3	spray 58:9 59:19	10:21 19:10	64:12 65:3
solvent 12:20 26:19	square 76:7	sunlight 72:22	telling 51:23
26:20	sripriya 2:17	support 44:18	temperature 54:16
somebody 49:7	ss 80:4	supposed 4:11 27:12	54:23,25
59:18	stamped 23:7 25:5	47:6	temperatures 55:4
someone's 46:6	standard 7:16 8:2,5	sure 21:13 33:15,23	ten 41:5
somewhat 14:23	8:10,19 9:7,8,20,20	34:9 66:19	tend 61:3
68:8	12:21,22 55:18	surprising 30:9	term 31:9 43:25
sorry 63:23	standards 7:17 9:13	1 0	terminology 56:10
sort 10:20 31:21	12:25	susceptible 30:4,6	test 8:8,9,11 10:11
41:16 50:9	standpoint 30:8	31:20	10:13,16,19,21
sought 16:7	start 13:15 15:19	suspicion 25:22	11:16,19 12:12,13
sound 4:18 6:13	started 13:18 67:18	swgdoc 9:15	14:5 17:19,24 18:9
southwell 2:16 3:24	69:6 71:3	switched 42:16	19:4 27:3,6,10,14
5:2,7 6:15,25 10:14	starts 26:6	sworn 3:3 79:21	43:21 46:8,9,17
12:6 15:15 19:25	state 1:23 80:3,9	80:13 82:22	53:4 55:14,14,15,17
20:16,24 21:7 23:22	statement 37:13	synergistic 55:10	56:15 60:13 61:20
24:4 28:9 32:16	39:17,23,24 40:6	t	61:21
33:5,11 34:23 39:21	states 1:2		tested 27:2 60:4
40:7 41:9,22 44:25	step 48:8,8	t 3:2,2 74:24 80:2,2	61:25
46:11 48:24 49:6	stewart 75:16,18	tags 8:24	testified 3:5 63:18
51:17 52:20 53:9	77:3,10,21	take 12:11 20:13	64:8 65:16 77:3
54:13 56:4 63:13		21:5,8 41:5 52:14	

	1	1	1
testimony 80:14	79:16	unable 26:10	videographer 69:22
testing 8:12,15 9:8	times 46:2	uncharacteristic	visible 72:12
10:4 11:7 12:5	tlc 18:13 19:9,17	22:13	visual 45:17
14:10,14,19 16:7,11	25:16 26:7,11	understand 10:12	volatile 9:6
16:16,20,24 18:9,21	today 3:15 31:6 49:4	24:16 56:20	volume 17:9 43:4,6
19:2,6,24 20:2,22	65:17,23 74:13	understanding 28:5	43:17
21:16,18 28:23	78:18,22,25 79:10	44:23 51:3	vs 1:7
29:18,19 34:22 35:7	79:10,14	unheated 17:3	vsc 45:18 72:10,11
41:21 42:20,20	told 62:17 74:2 79:2	unique 8:24	73:4
43:20 45:22 46:6,8	toner 68:15	unit 2:7	W
46:20,24 47:12,14	top 6:9,9 23:7 32:3	united 1:2	w 9:15
47:20,24 49:16,19	55:12	university 36:16	waiting 20:3 76:6
49:21,25 50:9,19	topic 36:12	41:13 42:11,14 65:3	79:8
51:14,23,25 52:5,19	touch 60:22	unreliably 35:16	walk 21:3,8
53:6,15,17,20,24	touching 62:10	upper 25:3	want 6:19 20:24
54:8,17 55:19 56:16	track 5:14	use 11:21 12:4,14	33:17 66:6,8 67:7
57:21 59:7 60:2,11	transcript 76:10	14:4,8 16:21,24	67:10 74:6
61:16 72:16 73:6,7	78:2 81:7	17:20 27:18 28:10	wanted 24:4 42:9
73:13	transfer 59:21 60:23	29:20 33:17 35:23	74:6 77:24
tests 8:16 15:7 18:11	transferred 59:25	36:4,5,14,15,17,19	warren 2:6
21:19 57:5	61:8	38:4,11 39:3,4,25	warren 2.0 wash 62:20,25
thin 18:12,23 19:4	trapped 32:12	41:13 43:12 46:24	washed 60:13,17
21:21 22:14 29:2	treated 38:15	47:24 48:2 50:12	63:6
35:2,17 45:19	true 11:15 16:18,18	59:15,18 62:19,20	washes 60:21
thing 25:4 31:22	42:7,16 55:22 80:14	62:22 65:2 66:13	washing 61:17
58:5 77:24	try 35:18,22 39:6	uses 12:9 13:22 36:3	water 62:25
things 24:18 74:7	two 4:24 7:17 12:15	48:11,21 49:9,10	water 62.23 way 7:22 10:20
76:2,14 77:16	12:16 17:3,3 22:16	uv 30:17 32:10	11:13,23 26:12
think 9:14 10:16	22:22 27:8 28:17,23	V	29:21 30:15,21,22
33:24 36:11 48:6	29:14 34:8 38:12,18	v 82:5	33:13 36:18 38:16
53:5 54:19 58:21	39:10 43:20,25 44:9	valery 13:19,20	41:16 48:25 51:20
59:5 60:19 63:7,10	47:18 49:13 51:7	validated 73:23	56:6 57:2 62:21
70:2,12 76:12,22,24	56:22 57:5,14 58:2	value 57:14,16	75:7 78:16 80:19
77:18 78:20	67:4,15,24 68:7	variety 8:16 10:24	we've 10:25 24:9
thought 16:13	69:13 70:6	62:2	65:22,24 76:12,21
thoughts 42:20	type 8:2,14 10:3,21	various 9:5,14 13:2	wear 58:25
three 4:25 5:3	11:16 12:5 53:17,19	34:13 38:6	wearing 58:19
threshold 57:16	61:16 68:20 70:13	varying 34:12	week 4:10
thwarted 25:15	71:16	varying 34.12 verify 44:23	week 4.10 went 50:12 57:5
time 9:17 12:18	types 47:13	veritext 82:2	60:11 62:22 63:3
14:15 15:24 24:3	u	version 23:23 24:6	76:19
35:4 36:16 41:16	u 65:6	versus 30:12 58:2	western 1:3
42:8 51:11 58:22	ultraviolet 72:11,18	viability 60:5	whereof 80:21
60:10 66:20 70:17	73:17	viaumity 00.5	WHELEUI OU. 21
71:3 73:22 78:21	/ 3.1 /		

[wish - zuckerberg] Page 14

wish 71:11	year 4:14 8:25 57:4
withheld 75:10	year 4.14 8.23 37.4 58:3
withhold 66:8	years 7:6 13:17
withholding 66:4	29:14 38:23 44:9
witness 3:3 12:2	53:15 58:2 63:18
23:8 45:6 74:17,23	yellow 28:21 68:13
80:11,15,21 81:4	69:11
witnesses 24:11	yellowed 68:8
woke 60:12	yellowing 69:12
word 24:17 28:3,10	yesterday 3:19,21
words 26:6 32:7	4:7 78:20 79:3,5
34:7 37:24 38:19	york 1:3,21,21,24
39:8,13	2:15,15 20:9 65:19
work 11:8,25 15:2	80:3,5,9 82:3,3
19:13 21:23,24	Z
22:22 25:11,14	z 65:6
34:14 35:3,9 40:22	zip 74:25
51:7 68:3 70:15	-
71:2,15,16 72:13	zuckerberg 1:8 82:5
73:15	
working 9:14 71:3	
world 39:19	
write 74:12	
writing 7:4,13,18,19	
7:21 9:21 11:2,14	
11:23,24 12:16	
13:13 14:25 17:5	
29:14 34:13 35:9	
38:13,13,21 39:2,9	
39:13,13,15 43:10	
48:7 50:7 52:8,9	
57:17 58:2 68:12	
writings 38:6 57:14	
72:24	
written 18:8 19:13	
28:13 48:17	
wrong 16:13 36:22	
wrote 48:10	
X	
x 81:2	
y	
y 3:2	
yeah 4:5 52:24 66:5	
74:17 75:8 78:11	