

**EXHIBIT D**

**TO PLAINTIFFS' P.R. 4-5(c)  
REPLY BRIEF REGARDING  
CLAIM CONSTRUCTION**

UNITED STATES DISTRICT COURT  
EASTERN DISTRICT OF TEXAS

IP INNOVATION, LLC., and )  
TECHNOLOGY LICENSE )  
CORPORATION, )  
Plaintiff, ) No.  
vs. ) 2:07-CV-503-LED  
GOOGLE, INC., )  
Defendant. )  
\_\_\_\_\_ )

VIDEOTAPED TELEPHONIC DEPOSITION OF

HINRICH SCHUETZE

Palo Alto, California

Tuesday, February 24, 2009

HIGHLY CONFIDENTIAL -- ATTORNEYS' EYES ONLY

REPORTED BY:

JAY W. HARBIDGE

CSR NO. 4090

1 A. Yes.

2 Q. What does that mean, a data structure?

3 MR. HALL: Objection, the patent speaks  
4 for itself.

5 THE WITNESS: I mean, in the simplest  
6 case it could be just a list. I mean, well, I guess  
7 an array of words, the words that are covered in the  
8 thesaurus, and each array element is appointed to a  
9 list and the list contains all related words. That  
10 might be the simplest data structure you could think  
11 of.

12 BY MR. HINTZ:

13 Q. Can the data structure also take the  
14 form of a matrix?

15 A. Potentially. But I mean, I would think  
16 that that's not what's meant here. But I mean, if  
17 you really want to, of course you can -- what I just  
18 said, you can represent that as a matrix. But that  
19 would be a very inefficient data structure to use  
20 for what I just described.

21 Q. Why is that?

22 A. Because if you have, let's say, 100,000  
23 words, or let's say a million words, then you have a  
24 matrix with one million times one million entries  
25 and most of them would be zeros. So why -- the

1 zeros would be useless. So why represent all those  
2 zeros if you can just use a list?

3 Q. The patent that you have, the '819  
4 patent, in column one, line 9 to 12 says:

5 "More particularly, this invention  
6 determines the cooccurrence patterns of words  
7 in a document to form a thesaurus of word  
8 vectors."

9 Do you see that?

10 A. Yes.

11 Q. What form is the thesaurus in that is  
12 referred to here?

13 A. I mean, it's kind of a subtle  
14 distinction, and maybe I'm contradicting what the  
15 patent says here. But I mean, the way the list is  
16 computed is by computing the similarity between a  
17 word and all the other words, and those words are  
18 represented as vectors. But then what you actually  
19 use is just that rank list.

20 So the matrix is the representation that  
21 you derive the thesaurus from. But I don't remember  
22 particular methods for applying the thesaurus are  
23 given here. But I mean, the simplest way of  
24 applying the thesaurus is to say, "Okay, I have a  
25 word here. The thesaurus tells me that this other

1 word is equivalent, so I can substitute it." So for  
2 that you need a list, is the simplest way of doing  
3 that.

4 So, I mean, reading this now, I would  
5 interpret this as saying this is just describing how  
6 you get to that list and -- yeah. Because once you  
7 have that rank list, even though you used the word  
8 vectors to compute the rank list, you actually don't  
9 use the word vectors anymore. I mean, you can, of  
10 course in some cases, use them, but if you're  
11 strictly talking about thesaurus in the literal  
12 sense of the word, then I think it's more of a list.

13 Q. We just introduced this term "vectors,"  
14 or perhaps it's even been something you've talked  
15 about before now. But in this column one, the last  
16 word of the first paragraph, in about line 11 or 12  
17 there is "vectors," and it's in relation to "word  
18 vectors."

19 What is a vector?

20 MR. HALL: Objection, calls for  
21 speculation.

22 THE WITNESS: I mean, the assumption  
23 here is that you have a high-dimensional space, and  
24 in this case the high-dimensional space is a space  
25 in which each dimension corresponds to a word. And

1 then in a high-dimensional space you have points.  
2 And then you have a vector pointing to that point, I  
3 mean, kind of the line connecting the origin with  
4 that point. And so that's the word vector.

5 BY MR. HINTZ:

6 Q. Now, the way you described it, that's a  
7 graphical representation of a vector?

8 A. Yes.

9 Q. Is there also a numeric or mathematical  
10 representation?

11 A. The mathematical representation is that  
12 you take the point and its coordinants and you list  
13 all the coordinants. So that's the -- in that case  
14 it's a vector of numbers.

15 Q. In column one, lines 16 to 18, I'll  
16 paraphrase, but you're referring to prior systems  
17 where the usual approach was to use vectors. Do you  
18 see that?

19 A. Yes.

20 Q. So the use of vectors in information  
21 retrieval had been around for a long time before --

22 A. For a long time, yes.

23 MR. HINTZ: Let me hand you what the  
24 reporter will mark as Exhibit 7.

25 //

- 1 Q. Prior to filing the application?  
 2 A. I think so, yes.  
 3 Q. At the time you did that work, did you  
 4 reach any conclusion about whether tri-grams or  
 5 fourgrams were better, one compared to the other, as  
 6 far as performance of what you were trying to do?  
 7 A. I think what I found was that fourgrams  
 8 were better than three-grams.  
 9 Q. Prior to filing your patent application,  
 10 did you ever determine whether fourgrams were better  
 11 than using actual words in performing the functions  
 12 that are described in your patent?  
 13 A. I think my sense was that words are  
 14 better than N-grams for a carefully edited text like  
 15 the New York Times. But if you have many spelling  
 16 errors or OCR output, then letter N-grams have some  
 17 advantages.  
 18 Q. So when we're looking at the Summary of  
 19 the Invention in this column four and we talked  
 20 about the two steps, the second step was coming up  
 21 with a similarity measure, and the one example that  
 22 we've looked at was cosign function --  
 23 A. Uh-huh.  
 24 Q. -- were there other similarity measures  
 25 that you investigated prior to filing your patent

- 1 application?  
 2 A. I mean, so I mean, it depends a little  
 3 bit on how you -- what division of labor you assume  
 4 between the vectors and the similarity measure  
 5 because, I mean, just to give you an example,  
 6 usually it's not a good idea to use counts in the  
 7 vectors, simple counts like five, six, seven or ten.  
 8 Usually it is better to transform them using a  
 9 function like square root or logarithm.  
 10 So I did experiments on which  
 11 transformation of the count was the best thing to  
 12 do. But I mean, the ultimate measure that was used  
 13 on, you know, the post-transformation vectors was  
 14 always the cosign, as far as I remember.  
 15 Q. What do you mean that you did  
 16 experiments on which transformations were the best?  
 17 A. I think I did experiments on both using  
 18 either logarithm or square root and looked at what  
 19 happened.  
 20 Q. And this was prior to filing your  
 21 application?  
 22 A. Yes.  
 23 Q. What did you conclude?  
 24 A. I don't think there was a clear  
 25 conclusion. It didn't seem to matter. But counts

- 1 are definitely bad. Don't use counts directly.  
 2 Q. So prior to filing your application, you  
 3 knew that either log or square root would be better  
 4 than just using a straight count?  
 5 A. Yes.  
 6 Q. Now, the cosign calculation that's used  
 7 to determine similarity, when the math is all done,  
 8 does that result in a number?  
 9 A. Yes.  
 10 Q. A decimal? Well, I guess it could be --  
 11 A. A number between minus-one and one.  
 12 Q. So there are negative correlations?  
 13 A. There are negative correlations, yes. I  
 14 mean, I'm not sure whether the work described here  
 15 would ever lead to negative correlations, but in  
 16 some setups you do have negative correlations.  
 17 Q. So when one is performing a comparison  
 18 using the cosign function on two objects, whatever  
 19 they are, is it the closer the number is to the  
 20 number one means the closer those objects are to  
 21 each other, the more similar they are?  
 22 A. The more similar, yes.  
 23 Q. So that the most similar would yield --  
 24 the ultimate score, if you will, would be one?  
 25 A. Yes.

- 1 Q. And the farther away you are from one is  
 2 the less similar?  
 3 A. Correct.  
 4 Q. Did you, prior to filing your  
 5 application, do any kind of analysis or experiments  
 6 to determine what number led to a conclusion that  
 7 items were similar or dissimilar, whether there was  
 8 a cutoff, point .75, .6, .5? Did you ever do that  
 9 kind of work?  
 10 A. I don't recall.  
 11 MR. HINTZ: This is probably a good time  
 12 for us to break for lunch. Mr. Hall, is that okay  
 13 with you?  
 14 MR. HALL: That's fine with us.  
 15 MR. HINTZ: Okay.  
 16 MR. HALL: For planning, how long do you  
 17 think you're going to go before we get a chance in  
 18 the afternoon? I know you're not -- I'm not going  
 19 to hold you to it.  
 20 MR. HINTZ: No, no, no. That's a  
 21 legitimate question. I think it could be another  
 22 hour and a half or so, maybe two hours.  
 23 MR. HALL: Okay.  
 24 THE VIDEOGRAPHER: Going off the record,  
 25 the time is 12:14 p.m.

1 A. Correct.  
 2 MR. HINTZ: I think we're about to run  
 3 out of recording time, so we should probably take a  
 4 break so that can be switched.  
 5 THE VIDEOGRAPHER: We're going off the  
 6 record, the time is 1:54 p.m.  
 7 (Brief recess.)  
 8 THE VIDEOGRAPHER: Back on the record,  
 9 the time is 2:06 p.m.  
 10 BY MR. HINTZ:  
 11 Q. If you would look in Exhibit 10, the  
 12 second page, item 2, Related Work, the fifth line  
 13 says:  
 14 "Gallant, G-a-l-l-a-n-t (1991), Gallant,  
 15 et al., (1992) present a less labor-intensive  
 16 method based on microfeatures, but the  
 17 features for core stems still have to be  
 18 encoded by hand for each new document  
 19 collection. The derivation of the word space  
 20 presented here is fully automatic. It also  
 21 uses feature vectors to represent words, but  
 22 the features cannot be interpreted on their  
 23 own."  
 24 Do you see that?  
 25 A. Yes.

1 Q. What did you mean by "the features  
 2 cannot be interpreted on their own"?  
 3 A. I think I was referring to the fact that  
 4 if you do a singular value decomposition, then you  
 5 get a reduced space with fewer dimensions. But the  
 6 dimensions in that reduced space are -- I mean,  
 7 that's my opinion. The opinions expressed in the  
 8 paper are not interpretable anymore. They don't  
 9 have any pure semantic content. The aggregate  
 10 patterns of all of them together means something,  
 11 but not the features individually.  
 12 Q. And then another distinction you pointed  
 13 out here was that Gallant encodes things by hand  
 14 whereas word space is automatic; is that right?  
 15 A. Right.  
 16 Q. The next sentences say:  
 17 "The Vector similarity is the only  
 18 information present in word space:  
 19 Semantically related words are close,  
 20 unrelated words are distant. The emphasis on  
 21 semantic similarity rather than decomposition  
 22 into interpretable features is similar to  
 23 Kawamoto (1998)."  
 24 Do you see that?  
 25 A. Yes.

1 Q. What is Kawamoto?  
 2 A. He's a cognitive scientist, and I was  
 3 kind of inspired by his work when I first started  
 4 working on this.  
 5 Q. And the reference on the page that I  
 6 just read, the Kawamoto paper, is listed on the last  
 7 page of Exhibit 10; is that right?  
 8 A. Yes.  
 9 Q. Did you provide that paper by Kawamoto  
 10 to your attorneys who worked on your patent  
 11 application that led to the '819 patent?  
 12 A. I don't remember.  
 13 Q. Did you provide the word space paper,  
 14 Exhibit 10, to the attorneys who worked on your  
 15 patent application that led to the '819 patent?  
 16 A. I don't remember if I did or not.  
 17 Q. If we could go back to the Dimensions of  
 18 Meaning paper quickly, I forgot to ask something.  
 19 It's Exhibit 9.  
 20 On page 6, where section four begins,  
 21 under 4.1, Window Size, there was a reference in the  
 22 sentence that I read to a context vector, and I  
 23 neglected to ask you what that means in this paper.  
 24 What does context vector mean as used here?  
 25 A. I think I've used different definitions

1 of it over time, so I should probably try and find  
 2 the place where it's introduced. I mean, I think it  
 3 refers to the sum of vectors in that window, so you  
 4 take the vectors of the words occurring in that  
 5 window and you sum them and then you get the context  
 6 vector.  
 7 Q. Okay. And that -- I'm glad you  
 8 mentioned how it might be used differently.  
 9 If you look back in the patent, Exhibit  
 10 3, column five --  
 11 A. Yes.  
 12 Q. -- line 5 to 7, is that a definition of  
 13 context vector there? I should be specific, a  
 14 context vector for a particular document?  
 15 A. I mean, it's a definition of context  
 16 vector, yes.  
 17 Q. And it is defined as the weighted sums  
 18 of the thesaurus vectors of all the words contained  
 19 in the document, right?  
 20 A. I guess I'm puzzled why it would be  
 21 plural. It would make sense to me if it just said,  
 22 "The context vector is the sum of the 50 thesaurus  
 23 vectors." I'm not sure what is referred to by the  
 24 combination of the weighted sums.  
 25 Q. If you'd look in column 17 of the '819

1 patent, lines 16 through 20, it says:  
 2 "The document vectors that are computed  
 3 are called 'context vectors.' The simplest  
 4 approach is to represent each document by a  
 5 vector, which is the sum of the thesaurus  
 6 vectors for the words in its text."  
 7 Do you see that?  
 8 A. Yes.  
 9 Q. Is that the definition of "context  
 10 vector" that you were just referring to?  
 11 MR. HALL: Objection, calls for  
 12 speculation.  
 13 THE WITNESS: It's different, though,  
 14 slightly from what is -- what we just looked at in  
 15 the paper, right, because this is a vector of  
 16 context; this is the vector of a document. But  
 17 they're computed the same way, if that's what you're  
 18 asking.  
 19 BY MR. HINTZ:  
 20 Q. I was just going back to when you  
 21 commented that the description in column five did  
 22 not seem accurate to you --  
 23 A. I didn't say that.  
 24 Q. Okay, I'm sorry.  
 25 A. It doesn't make sense to me and it is

1 different from -- it seems different to me from what  
 2 you just pointed to. But where was that again?  
 3 Q. It's column 17, lines 16 to 20, or  
 4 column five, line 4 to 7.  
 5 A. So column 17, lines 16 through 20,  
 6 column 17, lines 16 through 20, that, I understand  
 7 as a definition of "context vector." I'm not sure I  
 8 understand column five, but this is referred to as a  
 9 combination of the weighted sums of the thesaurus  
 10 vectors.  
 11 Q. Is the description in column five, lines  
 12 5 to 7, inaccurate, to your understanding?  
 13 A. No.  
 14 MR. HALL: Objection to the use of the  
 15 word "inaccurate." It's vague.  
 16 THE WITNESS: It's different -- I would  
 17 think it is different from what is said in the other  
 18 passage.  
 19 BY MR. HINTZ:  
 20 Q. All right. Well, this is in your  
 21 patent. I'm trying to understand what "context  
 22 vector" means, and do you agree with either  
 23 definition that we've looked at?  
 24 A. I agree with the second.  
 25 Q. Okay.

1 A. Maybe the patent gives two different  
 2 definitions.  
 3 Q. In your work in the early to mid 1990s,  
 4 did you work with weighted sums of vectors?  
 5 A. Yes.  
 6 Q. I'm using some of the words in column  
 7 five.  
 8 A. Yes, I did work with weighted sums of  
 9 vectors.  
 10 Q. And what type of work did you do with  
 11 weighted sums?  
 12 A. The weighting would come about through a  
 13 weighting function on the window where the vectors  
 14 closest to the center word would be rated higher  
 15 than the vectors further apart from the word in the  
 16 middle when computing context vectors.  
 17 Q. What results did that weighting provide  
 18 in your work in your research?  
 19 A. My recollection is that I did a lot of  
 20 experiments on that in the beginning, but then I  
 21 later found that it actually didn't make a lot of  
 22 difference, so I just used uniform weighting at some  
 23 point.  
 24 But I mean, looking back at this  
 25 supercomputing paper, the '92 paper, it seems like I

1 don't remember a lot of things that I did. So -- I  
 2 mean, I'm looking at the window sizes here. I guess  
 3 it has pretty detailed experimental results on that.  
 4 And it also has -- you can actually see in Fig. 5 of  
 5 the supercomputing paper the optimal dimension  
 6 weights for -- oh, no, that's dimensions. That's  
 7 different, sorry.  
 8 I'm just looking whether there are  
 9 numbers on weights within a window or -- I don't  
 10 think I published that. I'm not sure.  
 11 Q. I'd like to go back and talk about the  
 12 time before your patent application was filed and  
 13 talk about the work that you were doing.  
 14 You said earlier how Mr. Pedersen wanted  
 15 you to file a patent application.  
 16 A. Yes.  
 17 Q. And did you write up a description of  
 18 your work to be used as part of that process?  
 19 A. I think I did, yes.  
 20 Q. Do you remember an invention proposal?  
 21 A. I don't remember that.  
 22 Q. Let me show you a document that was  
 23 produced, I believe, by Xerox, and it will be marked  
 24 as Exhibit 11.  
 25 //

1 understanding?

2 A. Yes, I guess -- I mean, I haven't been  
3 involved in the patent process for a number of years  
4 now, but I think that's what I -- what I remember,  
5 yes.

6 Q. And if you could, turn to the column  
7 five of your '819 patent, line 66.

8 A. Yes.

9 Q. It states, beginning on line 66:

10 "While this invention is described in  
11 some detail herein, with specific reference to  
12 illustrated embodiments, it is to be  
13 understood that there is no intent to be  
14 limited to these embodiments. On the  
15 contrary, the aim is to cover all of the  
16 modifications, alternatives and equivalents  
17 falling within the spirit and scope of the  
18 invention as defined by the claim."

19 So you understand, sir, that some of the examples of  
20 embodiments that Mr. Hintz was taking you through  
21 are not the only ones that your inventions could  
22 cover; is that correct?

23 A. I mean, I think that sounds correct to  
24 me.

25 Q. Maybe we can go through a couple of

1 those.

2 A. Okay, all right.

3 Q. With respect to a corpus, a corpus of  
4 documents can be defined by whoever sets up the  
5 particular system; is that correct?

6 A. That's correct.

7 Q. But for example, in a Google search  
8 system, Google would determine what the corpus of  
9 documents is; is that correct?

10 A. Whoever applies the plan would determine  
11 what the corpus is, correct.

12 Q. So in your '819 patent, a corpus of  
13 documents would not have to be limited to a  
14 particular subject; is that correct?

15 A. That's correct.

16 Q. Sir, when was the last time you read the  
17 file history in this case, or the file wrapper as we  
18 discussed?

19 A. I'm sorry, could you repeat the  
20 question, please?

21 Q. Yes, I was asking when was the last time  
22 you read the file wrapper or the file history in  
23 this case?

24 A. What's the file wrapper, the file  
25 history?

1 Q. It's Schuetze Exhibit 4. Actually, have  
2 you ever seen it before?

3 A. I don't recall having seen it.

4 MR. HALL: All right. Let's take five  
5 or ten minutes and I should be able to wrap up in  
6 about a half hour.

7 MR. HINTZ: Great.

8 THE VIDEOGRAPHER: Going off the record,  
9 the time is 4:30 p.m.

10 (Brief recess.)

11 THE VIDEOGRAPHER: Back on the record,  
12 the time is 4:42 p.m.

13 BY MR. HALL:

14 Q. Dr. Schuetze, could you turn in your  
15 patent, which is Schuetze Exhibit No. 3.

16 A. Yes.

17 Q. Please turn to column 24.

18 A. Yes.

19 Q. And about on line 37, it begins, it  
20 says, "I claim."

21 A. Yes.

22 Q. You understand that from that point on  
23 in your patent, it has the claims of your patent,  
24 correct?

25 A. Yes.

1 Q. And you have written patent claims  
2 before; is that correct?

3 A. Yes.

4 Q. And you understand that your patent  
5 claims are what define your invention?

6 A. Yes.

7 Q. And so do you understand with respect to  
8 Claim 1, your invention is the combination of all  
9 those elements as written in patent Claim 1; is that  
10 correct?

11 A. Yes.

12 Q. And your invention is the combination of  
13 those elements even if one or more of those elements  
14 were already known in the public; is that correct?

15 A. Yes.

16 Q. All right. Counsel for Google earlier  
17 talked a lot about singular value --

18 A. Decomposition.

19 Q. -- decomposition. Do you see the phrase  
20 "singular value decomposition" in paragraph one?

21 A. Give me a minute to read the claim.

22 Q. Okay.

23 A. No, in Claim 1 it doesn't mention  
24 singular value decomposition.

25 Q. All right. Could you turn to column 25,