

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF TEXAS
TYLER DIVISION

MIRROR WORLDS, LLC)
) DOCKET NO. 6:08cv88
-vs-)
) Tyler, Texas
) 1:30 p.m.
APPLE, INC., ET AL) January 27, 2010

TRANSCRIPT OF MARKMAN HEARING
BEFORE THE HONORABLE LEONARD DAVIS,
UNITED STATES DISTRICT JUDGE

A P P E A R A N C E S

FOR THE PLAINTIFF: MR. OTIS CARROLL
IRELAND, CARROLL & KELLEY
6101 S. Broadway; Ste. 500
Tyler, Texas 75703

MR. KENNETH STEIN
MR. JOSEPH DIAMANTE
MR. ALEXANDER SOLO
STROOCK & STROOCK & LAVAN
180 Maiden Lane
New York, New York 10038

FOR THE DEFENDANT: MR. ADAM BIGGS
ALBRITTON LAW FIRM
P.O. Box 2649
Longview, Texas 75606

MR. MATTHEW POWERS
MS. SONAL MEHTA
MR. STEVEN SCOTT CHERENSKY
WEIL GOTSHAL & MANGES
201 Redwood Shores Pkwy.
Redwood City, CA 94065

COURT REPORTER: MS. SHEA SLOAN
211 West Ferguson
Tyler, Texas 75702

Proceedings taken by Machine Stenotype; transcript was
produced by a Computer.

1 PROCEEDINGS

2 THE COURT: Please be seated.

3 All right. Ms. Ferguson, if you will call the case,
4 please.

5 THE CLERK: Court calls Case No. 6:08cv88 Mirror
6 Worlds v. Apple.

7 THE COURT: Announcements?

8 MR. CARROLL: Good afternoon, Your Honor. Otis
9 Carroll for Mirror Worlds. With me is Joe Diamante, Kenneth
10 Stein, and Alexander Solo. We have already dubbed him "Hans"
11 Solo, but Mr. Stein is going to speak for us today. Thank
12 you, Your Honor.

13 THE COURT: Thank you, Mr. Carroll.

14 Mr. Powers.

15 MR. POWERS: Good afternoon, Your Honor, Matt
16 Powers, Steve Cherensky and Sonal Mehta from the Weil Gotshal
17 Firm for Apple.

18 MR. BIGGS: Adam Biggs from the Albritton Law Firm.

19 THE COURT: Very good. Thank you.

20 All right. I, first of all, want to thank the
21 parties for the email we received with your proposed order of
22 proceeding, and that is quite agreeable with the Court. I
23 think that will be helpful to proceed in that order. I would
24 allow each side to make whatever brief opening statement they
25 would like to for four or five minutes, and then we will

1 proceed with the terms.

2 MR. STEIN: Thank you.

3 THE COURT: Mr. Stein.

4 MR. STEIN: Good afternoon, Your Honor. My name is
5 Ken Stein. We have handed to the Court and opposing Counsel a
6 packet of slides that give a general outline of the topics
7 that we expect --

8 THE COURT: I'm having a little trouble hearing you.
9 You might want to try to get a little closer to that
10 microphone.

11 THE COURT: Okay.

12 MR. STEIN: We handed the Court and opposing Counsel
13 a general outline, a packet of slides that is a general
14 outline of the topics we expect to cover today. I hope it is
15 of assistance of the Court. I know you have a copy up there.

16 Just briefly I want to go over initial remarks, just
17 general comments about the patented invention and how we got
18 here together. The patented inventions represent a
19 break-through technology developed at Yale University by Dr.
20 David Gelernter. Dr. Gelernter is a preeminent computer
21 scientist, a professor at Yale University, and he is truly an
22 icon in the field of computer science.

23 Generally, the patents-in-suit in this case relate
24 to a new model and system for organizing and locating
25 information on a computer system. Dr. Gelernter recognized

1 that there was severe limitations of the existing model, which
2 basically tried to emulate people's physical work environment
3 on a computer screen, and sometimes those conventional systems
4 are referred to as employing a so-called desktop metaphor.
5 And of particular note here, part of that metaphor is to file
6 documents into folders and subfolders. And then there is
7 other aspects of it, too; but the basic idea is to take the
8 workplace, including the desktop and your file cabinets, and
9 replicate them or mimic them on the computer.

10 Now, that model worked fine for a long time; but as
11 the information people were storing on their computers became
12 larger and larger, it became more and more difficult for
13 people to organize and locate that information. Quite often
14 the -- it required a lot of effort on behalf of the user to
15 decide what folders and subfolders to create, to name files,
16 and then locate -- you know, to name files and put them in the
17 proper categories. Quite often after all that was done the
18 user is trying to go back to find a particular file at a
19 future point in time, may have considerable difficulty in
20 actually locating that file.

21 So Dr. Gelernter's vision was basically to throw out
22 this sort of idea of replicating the physical world on the
23 computer and take a new, different approach to organizing
24 information that could have broke the bounds of what people
25 were used to seeing. And his concept was what he called a

1 "stream"; and the idea is that instead of dealing with data in
2 specific categories, to basically put all of the data that is
3 of interest to a user onto a stream and maintain the time
4 ordering of that data. And time ordering is important because
5 people generally intuitively can locate information based on
6 time. It is a natural type of tool for people to think about
7 when -- you know, when information and where information
8 and -- where information was created and where it is.

9 So in order to locate, say, a file that may be of
10 particular interest to a person, instead of having to remember
11 the name of the file or where in some subdirectory structure
12 this file was created, his concept was that users would have a
13 much better idea of when that file was created, for example.
14 And then in order to locate that file, that person would go to
15 that general point in time and scan around the documents,
16 browse through the documents in that time period to locate
17 that file.

18 In addition to that, you know, he recognized that
19 this general framework could be used to basically refine the
20 search based on time, so that users could look for categories,
21 information, say, all information related to a particular
22 person or all information related to a particular project.
23 And that would reduce the number of documents in this stream
24 to look at for a particular purpose, and then a person could
25 use this time-based ordering to zero in on the document that

1 would be of interest.

2 An important aspect of this is that instead of in
3 the conventional systems of having data separated, not only by
4 directory structure but in terms of a type of data, for
5 example emails being stored by an email system and calendar
6 entries being stored in some sort of calendaring system, what
7 users are interested in is getting all information related to
8 a particular topic.

9 So instead of having to go through these individual
10 applications to access data, all of this information would be
11 put onto the stream and the user could search all types of
12 documents relating to a particular document to create, one, a
13 substream -- is the term that he used -- of data on that
14 particular document, and it would go across the board from
15 correspondence to calendar entries, to emails, et cetera.

16 Another aspect of the invention described in the
17 patents is providing an intuitive user interface for accessing
18 the stream. The interface described in the patent displays
19 this stream in a way that in one potential embodiment where
20 the documents that are more recent in time would appear closer
21 to the user and the documents that are more distant or further
22 back in time would appear further away and it would be a
23 visual cue to the user -- to aid the user in zeroing in on a
24 document that may be of interest.

25 The third aspect relevant to the claims in this case

1 are archiving of documents and doing that automatically, you
2 know, to assist the user in that function.

3 Now, another very significant point relevant to this
4 case is that, as I said, Dr. Gelernter's work was very -- he
5 is a very well-known computer scientist. In fact, Apple has
6 been monitoring his work prior to the time they came out with
7 the accused products in this case and executives -- high-level
8 executives at Apple have been monitoring that work and
9 considered -- actually considered taking a license from Mirror
10 Worlds' technologies, which was a company formed by David
11 Gelernter to commercialize his technology prior to the time
12 that they came out with the accused products and actually met
13 with Mirror Worlds Technologies prior to that time.

14 Now, those accused products basically have -- there
15 are three primary features of those accused products that are
16 at issue here. One is called Spotlight. It is a feature of
17 Apple's recent operating systems which create the same kind of
18 pool of -- time-ordered pool of documents across all types of
19 formats and document types.

20 Basically, the Spotlight technology, if you read
21 what Apple says about it, could just as easily be marketing
22 materials for Mirror Worlds Technologies. Another aspect of
23 those accused products is Apple's Cover Flow feature, which
24 displays the documents on Apple's operating system in the same
25 visual format, the same -- what the patent calls "receding,

1 foreshortened stack" as was described in the patent.

2 And the third significant feature is a feature Apple
3 calls Time Machine, which performs the automatic archiving
4 function described in the patent.

5 So that concludes my opening remarks.

6 THE COURT: Counsel for defendant, Mr. Powers.

7 MR. POWERS: Thank you, Your Honor. On behalf of
8 Apple, we thank Your Honor for the opportunity to give a brief
9 opening statement.

10 I would like to cover three subjects. The first is
11 a brief overview over all of the disputed terms to give the
12 Court a sense from Apple's perspective of prioritization if we
13 end up pressed for time.

14 Second is to cover very briefly some legal subjects
15 which we think are particularly relevant here.

16 And, thirdly, a brief overview of the
17 patents-in-suit as well.

18 First beginning with the terms, we have on Slide 2,
19 Your Honor, a list of the terms presented in the email you
20 referenced earlier. And at least in Apple's view, we
21 recognize that there is limited time. The terms on the left
22 side we think are the ones that are most important to have
23 argument to the Court. The ones on the right, obviously we
24 are happy to answer any questions Your Honor has. But if we
25 have to, from Apple's point of view at least, those are terms

1 we think that could be submitted on the papers.

2 One final note on the terms, we have agreed, Counsel
3 for both sides, that the separately pending motion for summary
4 judgment on indefiniteness that is intertwined with many of
5 these terms would just be discussed as we are discussing those
6 terms rather than separately, if that is okay with the Court.

7 THE COURT: That would be my preference.

8 MR. POWERS: On Slide 3, Your Honor, I put up the
9 limited number of terms that are relevant to the Apple patent
10 that is in suit as well. With the Court's permission we think
11 there are a very small number of disputed terms and a very
12 narrow set of issues. We think ten minutes would be
13 sufficient to discuss those terms, and we would like to try to
14 make sure there is room for that. We know it is hard toward
15 the end of the day; but if it is possible we would like to
16 have about ten minutes to discuss those terms. And my
17 colleague Sonal Mehta will be discussing those terms as well.

18 I know Your Honor is deeply familiar with the law of
19 claim construction, so we wanted to offer thoughts, not by way
20 of purported education, but highlighting what we think are the
21 important legal principles in this particular Markman that we
22 think drives some of the analysis.

23 The first is a concept that we know and is quite
24 familiar to Your Honor, comes from Phillips, also originally
25 comes from several other cases, Reneshaw originally; and it is

1 not a formula that is easy to apply but it is a principle that
2 is important to think through, which is that words in a claim
3 are attempting to capture an idea. And all too often in claim
4 construction we end up engaged in a process of linguistic
5 deconstruction by which we attempt to divorce those words from
6 the context in which they were written, which was an actual
7 invention made by someone.

8 And the Reneshaw and Phillips quote takes us back to
9 that first principle which says you have to go back to what
10 that person actually thought they invented. In this case that
11 is extremely important for the stream-based terms, because
12 just as Mr. Stein said, what Dr. Gelernter was trying to get
13 away from was a hierarchical-based model by which you stored
14 and organized information in folders and subfolders and
15 subfolders.

16 And Dr. Gelernter was very, very clear in many
17 sources of the patent and file history and extrinsically, that
18 that is exactly what he wanted to get away from. That concept
19 formed several of the terms at issue before Your Honor
20 today.

21 The second principle that, again, I know is familiar
22 is that where the specification of the patent says in various
23 forms that this is the problem of the prior art, this is what
24 we are trying to get away from, that that is very, very
25 important for claim construction. Case after case, of course,

1 has highlighted that issue. And that, again, is very
2 important to several terms at issue here, notably the
3 stream-based terms that will be covered at the beginning of
4 the parties' constructions.

5 The third concept, again very familiar but here
6 particularly important, is that where the patentee acts as
7 what is called his own lexicographer but sets forth a
8 definition. Now, in this case often, as I know Your Honor is
9 familiar with, the parties are debating whether something is a
10 definition. Here the patentee helpfully said both in the
11 specification and the file history several times, I'm calling
12 this a definition. And it is that definition and words which
13 the courts have said clearly demonstrate a desire to express a
14 definition that we are relying upon for many of our
15 constructions and which Mirror Worlds is attempting to avoid.

16 So we think in this case more than most, the
17 patentee-as-lexicographer doctrine is critically important
18 because in some cases the Mirror Worlds inventors actually
19 said here is a definition and had bullets with definitions of
20 particular terms. And our definitions come straight out of
21 what -- of those definitions in the specification and file
22 history.

23 The next doctrine is, again I know familiar, where
24 the specification consistently uses a term in a very specific
25 way, even though that term could have broader meanings outside

1 the context of that particular specification. The Federal
2 Circuit has said over and over again, that we are going to
3 limit that otherwise apparently broad term to the narrow usage
4 in which it is found consistently in the specification. And
5 that is true in several cases and in this case particularly
6 with regard to, for example, the "archiving" term.

7 A brief overview of the Mirror Worlds patents that,
8 at least was helpful for us and we hope it is helpful for the
9 Court, there are four of them at issue. Three of them share a
10 common specification, the three on the left, the '227, '313
11 and '427. When we use specification cites throughout this
12 presentation for that set of patents, we are going to be
13 citing to the '227 patent just for consistency and ease use,
14 so I wanted to flag that at the front end. So that is a
15 common specification for all three patents. David Gelernter
16 and Eric Freeman are the named inventors there.

17 The fourth patent is this '999 patent, which has a
18 different specification and different inventors, and we will
19 discuss that separately, of course.

20 The final issue I wanted to talk about is describing
21 the two rough classes of claims into which those Mirror Worlds
22 patents fall. The first overall concept is this organizing
23 data into streams that Mr. Stein talked about as an
24 alternative to the folder, subfolder hierarchical base system
25 that had dominated the world before that. The '227 patent is

1 particularly focused on that in terms of the claims. The
2 specifications, of course, cross over, as I said earlier.

3 Within that overall universe of claims, there is a
4 subset of claims that we have denominated the "user interface"
5 claims. Those are talking about how the user sees the data
6 that is organized in those streams and how the user can
7 interact and manipulate those documents that are on their
8 system. And we have put on the screen the three patents, the
9 '313, '427, and '999 that fall into that category of "user
10 interface" claims. We have given the Court just an example of
11 a stream claim, which is '227, Claim 1. And you see the major
12 elements of that claim are the "stream", "substream" and the
13 use of that timestamp to identify the documents.

14 And, finally, an example of the user interface
15 claim, the '427, Claim 1 where you talk about document
16 representations and these "receding, foreshortened stack" as a
17 way of viewing that "stream"; and those will be terms that we
18 will be construing later.

19 So with those comments, Your Honor, I think that's
20 all we wanted to do as an introduction; and I think we are
21 ready to go into the terms.

22 THE COURT: Very well. Let's begin with "stream."
23 Would the plaintiff like to go first on that one?

24 MR. STEIN: With respect to the term "stream," I
25 will just dive right into the issue, as I will with all of the

1 terms, the parties' positions were set forth in the claim
2 construction chart in the briefs.

3 Both parties agree that the term "stream" -- that
4 this term is not a term of art as used in the specification
5 and claims of the patents-in-suit; and that the specification
6 of the patents must be consulted in order to construe that
7 term.

8 There are basically five issues that the Court must
9 address with respect to the construction of "stream." The
10 first is whether the term should be construed to be a "diary,"
11 as Apple proposes. The second relates to whether there are
12 separate and distinct past, present, and future portions of
13 the stream. The third relates whether a stream is properly
14 construed as unbounded in number. Fourth is whether the
15 stream is properly construed as something in which the
16 location of file storage is transparent to the user. And the
17 last issue is whether the construction should use the term
18 "collection" as Mirror Worlds proposes or "sequence" as Apple
19 proposes.

20 With respect to that first issue "diary," Apple
21 proposes that the term should be construed to be, "A diary of
22 a person or entity's electronic life." Now the term "diary"
23 is not in the claim. It was used in the patent as basically a
24 useful analogy; it was anecdotal. Frankly, Mirror Worlds'
25 position is that it was not useful for definitional purposes.

1 Both parties agree that a "diary" is not a term of art in
2 computer science. In fact, when Apple's expert was asked at
3 his deposition to explain when something is or is not a diary,
4 he struggled with that answer and went on at length, as we
5 noted in our reply brief in claim construction.

6 Basically, we feel like Apple was elevating a
7 metaphor from the specification into an unclear definition
8 that will not be useful to a jury in determining issues in
9 this case, and it should not be part of the construction.

10 The second issue relates to whether the stream
11 should be construed to have, basically, separate and distinct
12 past, present, and future portions, which is what Apple has
13 proposed. In particular, Apple argues that a stream must have
14 a future portion at all times. But that has nothing to do
15 really with the fundamental concept of the stream. What is
16 important when it comes to the concept of the stream is that
17 the stream may include data units associated with past,
18 present, and future times.

19 There is nothing, basically, in the concept of the
20 patent or the specification which would lead one of skill in
21 the art to conclude there are basically three separate
22 buckets; a past bucket, a present bucket, and a future
23 bucket. And that during operation that data units are moved
24 from one bucket to another. In fact, even Apple's expert
25 testified that is not necessary. He also said that it would

1 be, frankly, grossly inefficient and would not make sense.

2 The third term is "unbounded in number." This is
3 another aspect, a fundamental aspect of a stream that we think
4 is important to include in the construction. The stream, as
5 described in the patent, basically, includes all data units
6 received by or generated by a computer -- by a user or by the
7 computer system. And that type of operation is clearly
8 unbounded. There is no constraint within the specification
9 that there is a bound. It basically describes the stream as
10 including all those data units. And as each new data unit is
11 received or generated, it is added to the stream.

12 There is also a description in the specification
13 that a stream may contain millions of documents or more, which
14 is a further indication of the unbounded nature of the stream.
15 Apple apparently opposes including that phrase because the
16 word "unbounded" does not appear in the specification; but as
17 I just described, although that word may not appear, that
18 concept which is fundamental to a stream is clearly described
19 in the specification. And the specification specifically
20 describes the possibility of containing millions of documents
21 or more.

22 Another aspect of a stream, which is one that is a
23 characteristic that should be included for construction
24 purposes is that the location of file storage is transparent
25 to the user. In fact, Apple does not dispute that this is a

1 characteristic of a stream but fails to include that
2 characteristic in its construction.

3 The last issue relates to whether the term
4 "collection" should be used as Mirror Worlds proposes or
5 "stream" -- I'm sorry or "sequence" should be used as Apple
6 proposes. In particular, Mirror Worlds' proposed construction
7 starts, "a time-ordered collection of data units." And
8 Apple's starts, "a time-ordered sequence of documents."

9 Frankly, we feel that "collection" is a clearer term
10 to use for definitional purposes here. The patent refers to
11 "streams" as both a collection of documents and a sequence of
12 documents, so both terms to that extent have usage both in the
13 specification and the file history; but both parties'
14 definitions also include the time-ordered nature of a stream.
15 And that encompasses the separate sequence requirement that
16 Apple is putting in the claim construction. And we feel that
17 that makes that addition superfluous and may be viewed as
18 confusing to a jury since it may seem like "time-ordered" and
19 "sequence" are two separate and distinct requirements.

20 Thank you.

21 THE COURT: Thank you.

22 Response?

23 MR. POWERS: Thank you, Your Honor. I would like to
24 begin at our Slide 16, which just has a figure from the patent
25 to give us a perspective of what we are talking about with the

1 term "stream." This, I think, isn't disputed that the entire
2 set of those documents from front to back is what we are
3 talking about is a "stream"; and the patent -- we put a
4 quotation from the specification above it talking about the
5 nature of the operating system, which is the overall
6 organizing principle for all of the documents in the system.

7 Next, of course, we just want to show the context of
8 the term "stream" in the claim. It is the first claim
9 limitation where it is talking about a main stream of data
10 units and the main stream has each data unit received by or
11 generated by the computer system. So the main stream has
12 everything either received or generated by it.

13 Here at Slide 18 we want just to highlight what the
14 disputes are between the parties before we go into them one by
15 one. The parties agree that it is a time-ordered something of
16 documents. That is the data units of documents. Both sides
17 agree those are interchangeable. And the first dispute is
18 this question of whether the additional language that is in
19 Apple's construction, "that functions as a diary of a person
20 or entity's electronic life" is appropriate. And the reason
21 we chose it is because those are the words that the inventors
22 chose to describe their patent.

23 And I thought it was useful to note Mr. Stein's
24 statement about why those words are in the specification. He
25 said that was a useful way of conveying what the invention

1 was. And for the same reason that inventors thought that that
2 was a useful way of conveying what their invention was in the
3 patent, it is a useful way of conveying the invention to the
4 jury. And we have shown at Column 4 the language from the
5 specification which says, "a stream according to the present
6 invention" -- not in one of the preferred embodiments, not any
7 of that -- "is a time-ordered sequence of documents that
8 functions as a diary of a person or entity's electronic life."

9 We took the language from our construction directly
10 from that definition; and when you hear Mirror Worlds say,
11 well, we don't like that language, we don't think it is
12 precise enough for them, it is the language they chose in the
13 specification to convey what their invention was. We think
14 the law says they are bound by that. But even beyond the
15 specification, Your Honor, when you go to the file history
16 there is a section in the file history -- this is at Page
17 765 -- in one of the instances where they say "definitions are
18 provided below." One of the definitions that they give is "a
19 stream is a time-ordered sequence of documents that functions
20 as a virtual object (diary)."

21 So the language we picked came from their definition
22 both in the specification and the file history, and the fact
23 that they now wish to change it is not I think -- or Apples'
24 position as the law permits.

25 Now, their position that "diary" is too imprecise to

1 serve as formidable claim construction, we think has two
2 problems. One, we actually think it misses the point. This
3 is a jury instruction to be given to the jury, and the fact
4 that it is colloquial is actually helpful to the jury. The
5 jury is going to understand that more than it will understand
6 some computer science gobbledygook that might be put in. And
7 our point of view is that if it is useful enough to put in the
8 patent, it is useful enough for the jury in terms of whether
9 it is too colloquial.

10 But second, of course, the law is that the express
11 definitions in the specification file history control. When
12 they choose to be their own lexicographer, as they did, they
13 cannot later in litigation run away from it.

14 Now, the next issue is this question of past,
15 present, and future. And I note that we no longer have a
16 dispute here it appears. Their Slide 13 said they agree that
17 the system has to be designed to have past, present, and
18 future; but it does not have to have -- but every stream does
19 not necessarily have to have future. We agree with that, but
20 their definition does not say designed to have. Their
21 definition is ambiguous. It says can be in the past, present,
22 or future, which isn't clear as to whether it is designed to
23 be.

24 THE COURT: Do you not disagree with their argument
25 that it should be in the disjunctive instead of conjunctive?

1 MR. POWERS: Their argument I think is not that,
2 Your Honor. Their argument, as I heard it, is we agree it
3 should be designed in the conjunctive; i.e., it is designed so
4 that it could support past, present, and future.

5 THE COURT: I see.

6 MR. POWERS: But in terms of actual use it is
7 disjunctive; that it need not in each case have future.

8 THE COURT: So you are agreeing to that?

9 MR. POWERS: We agree to that. Designed in the
10 conjunctive, in actual use in the disjunctive, we agree to
11 that.

12 THE COURT: Or it could be the disjunctive?

13 MR. POWERS: I'm sorry?

14 THE COURT: Could be the disjunctive?

15 MR. POWERS: Could be the disjunctive, exactly. And
16 our construction reflects that agreement, and theirs does not
17 because theirs merely says "can be" and that could mean lots
18 of different things and doesn't reflect the conjunctive nature
19 of the design, which, as I understand based on Slide 13, they
20 now agree with. So I think we don't need to cover the
21 language on that that we were going to cover unless they are
22 now going to disagree with that. So we can go now to the next
23 dispute which is "unbounded in number."

24 And we have a couple of problems with that. First,
25 it is unclear what it means. Do they mean that the system

1 literally could have an infinite number of documents? That is
2 an odd construction to place in the language of the claim
3 construction because it is not physically possible. So it is
4 unclear as an initial matter what exactly the language they
5 chose means. And there is a reason for that. It comes from
6 nowhere in the specification, nowhere in the file history.
7 The best they can do to support it is this language in the
8 specification that says you can have millions or more
9 documents. Well, that doesn't mean an infinite number of
10 documents. It means you can have a lot of documents. So we
11 think the language they have plucked from certainly nowhere,
12 in our view, not the specification or the file history, is
13 inappropriate.

14 The last dispute is this question of the location of
15 file storage is transparent to the user. On this one we don't
16 actually have a disagreement as to whether that is true. But
17 we do, as we noted in the briefs, disagree as to whether it is
18 appropriate in this particular limitation. There are other
19 limitations that address that question, which are joined and
20 subject for dispute and we don't think it is appropriate to
21 put here. But as a matter of whether it is true or not, we
22 don't disagree with it, but we don't think it is appropriate
23 for the construction.

24 That completes our discussion on "stream" unless
25 Your Honor has --

1 THE COURT: Why don't you lead off on "main stream."

2 MR. POWERS: I will, Your Honor, thank you.

3 So first starting at Slide 33, again showing the
4 context in the claim, that "main stream" is the one which has
5 all of the documents in the system, every single document.
6 The real dispute here, when you put up our three-column slide,
7 is the dispute between whether it is stored and the somewhat
8 more amorphous word "of" which Mirror Worlds' construction
9 contains. And just as a matter of form, Your Honor --

10 THE COURT: How does a "stream" store? Isn't that
11 speaking almost of a function as opposed to a definition of
12 what "stream" is?

13 MR. POWERS: No. I mean, I understand the thrust of
14 Your Honor's question. But the "stream" is their word applied
15 to the storage of the system. Dr. Gelernter's concept
16 literally -- and we will show this throughout the
17 specification and the file history -- was that you would store
18 each document according to this unique timestamp in time
19 order. And if you wanted to go find it, you would know how
20 because you would be doing it by time. It is almost exactly
21 as Mr. Stein described in his opening statement. So it
22 actually is important to the invention, as I will show from
23 the specification, that it actually is storage.

24 As a matter of form I wanted to highlight what we
25 are doing in the middle column on Slide 34. The top

1 construction in quotes is exactly from our joint claim
2 construction statement. The bottom portion in brackets is our
3 attempt to highlight for the Court in what we hope is a
4 helpful way, what the dispute is or isn't. In other words, we
5 have adopted exactly their language from the latter half of
6 the construction to show that is not where any of the disputes
7 lie, even though both parties have slightly different
8 language. The real dispute is the storage issue.

9 So let me go straight to that. The specification --
10 and, again, in definitional language they say at Column 4,
11 Line 6, "a stream, according to the present invention, is a
12 time-ordered sequence of documents that functions as a diary
13 of a person or entity's electronic life."

14 THE COURT: But just saying it is stored in the main
15 stream, that doesn't really define what the "main stream" is.
16 I mean, don't you have -- your argument of storage and other
17 words in the claim that you had up there a moment ago, doesn't
18 it address what the activity on the "main stream" is going to
19 be where it says each data unit -- back up.

20 MR. POWERS: This one here?

21 THE COURT: Yes. "Each data unit received by or
22 generated by the computer system" --

23 MR. POWERS: Yes, that's what's -- I think I
24 understand Your Honor's question. There are two parts to it.
25 One is, is "storage" a complete definition of "stream"? No,

1 we are not saying that. But "storage" according to the
2 definitions in the file history and the specification is an
3 aspect and an important aspect of "stream"; that the "stream"
4 is actually where you are storing them because what Dr.
5 Gelernter wanted, as he said over and over again, is that this
6 "stream" is the basic organizing principle of all documents in
7 the system. That is essential to everything that he is
8 describing in the remainder.

9 THE COURT: Let me ask you this, Mr. Powers:
10 Instead of the word "stores" could you live with "a stream
11 that is inclusive of every data unit or document"?

12 MR. POWERS: If by that it means that the data unit
13 or document is physically in the stream, yes.

14 THE COURT: Let me ask plaintiff if they could live
15 with that?

16 MR. STEIN: The term "inclusive" would be fine, but
17 we don't agree --

18 THE COURT: With the each or every?

19 MR. STEIN: What?

20 THE COURT: You disagree with each or every?

21 MR. STEIN: We disagree with that, but we also
22 disagree with Apple's argument that "store" implies a certain
23 type of storage, which I can get to when I go over there or
24 now, but "store" is used in many different ways in computer
25 science. For example, the patent talks in the beginning about

1 storing --

2 THE COURT: Why don't you go to that podium over
3 there, if you would.

4 MR. STEIN: The patent refers in the background
5 section to storing documents in folders and subfolders or
6 directories and subdirectories. It is no different storing a
7 document in a stream than it is storing a document in a
8 directory. And the use of one type of -- by the way, our
9 expert opined on that and Apple's expert did not disagree,
10 there are many different ways of storing information in the
11 field of computer science.

12 THE COURT: Let me ask you, Mr. Stein, though, can
13 you live with a stream that is inclusive of either each or
14 every data unit or document?

15 MR. STEIN: Yes, but with the caveat that we don't
16 agree that that has the implication that Mr. Powers just said
17 he thinks it has.

18 THE COURT: What implication is that that you are
19 concerned with?

20 MR. STEIN: That it refers to a particular type of
21 storing. For example, I'm not even sure --

22 THE COURT: I don't think you are referring to a
23 particular type of storing, are you?

24 MR. POWERS: I was not. My clarification was that
25 if "inclusive" meant that the actual data unit or document is

1 in that stream, which I think is the implication of Your
2 Honor's construction, we agree with it. The problem -- the
3 dispute between the parties, as I understand it, is that
4 Mirror Worlds is arguing that stream does not itself have to
5 have the actual documents. It can just have something that
6 says, well, that document is over there. It doesn't have to
7 have the document itself.

8 THE COURT: Are you arguing that?

9 MR. STEIN: Yes, that is one way of storing
10 information in the data structure, and that is the way, for
11 example, Dr. Feiner testified that documents are stored in a
12 folder is by -- you know, it is by putting a pointer in the
13 data structure for the folder that points to where the data is
14 actually stored on a disk. There is all levels --

15 THE COURT: What is your support for that argument?

16 MR. STEIN: Well, that is just common -- "store" is
17 a common term used in computer science, and it means -- it
18 refers to many different things. It is almost a little
19 confusing in a way --

20 THE COURT: Is "storing" one of y'all's disputed
21 terms somewhere in the claims or anything? I'm trying to
22 figure --

23 MR. STEIN: "Store" isn't actually in the claim.
24 There is a reference to "store" in one of the dependent
25 claims, but "store" is not in this claim. And Apple -- the

1 term "store" in that dependent claim was not identified --

2 THE COURT: I think we are getting pretty far afield
3 of the definition -- or the construction of "main stream" by
4 getting off into the storage issue or how storage can be
5 accomplished. I'm not sure that is really an issue for claim
6 construction or at least of this term.

7 MR. STEIN: I would agree with that.

8 THE COURT: Would you agree with that, Mr. Powers?

9 MR. POWERS: I would not, Your Honor. Our view is
10 when the specification has a definitional sentence, as it
11 does, which says here is what a "stream" is, we believe that
12 should be honored. And with regard to Mr. Stein's point, I
13 would like to jump ahead -- we have given in the slides
14 several examples where the specification says over and over
15 again that what is important is that the documents are stored
16 in the streams, not the pointers or something else. That is
17 the central organizing principle that Dr. Gelernter thought he
18 was changing the world with.

19 But the point I wanted to make now, Your Honor, is
20 on Slide 38, and this is an important point from the file
21 history because when you think about their time-ordered
22 stream, a time-ordered stream is what all of us have seen in
23 every email we have had. Every email system has basically an
24 order of emails you get from the earliest received to the most
25 recent. And so that is a time-ordered collection of documents

1 in your email, so when the examiner raised that point to Dr.
2 Gelernter's attorneys in the file history, their response is
3 important. Their response says in contrast to those prior art
4 email systems which admittedly have time-ordered documents in
5 them, the present invention does not permit data units to be
6 removed from the main stream and still remain in the computer
7 system because -- and that is a good example. So in their
8 pointer system, if you remove that pointer from the stream,
9 the data unit document is still over there somewhere in the
10 computer system. All you have done is --

11 THE COURT: I think we are getting into, though,
12 what a "stream" does rather than what a "stream" is.

13 MR. POWERS: It is certainly part of what a "stream"
14 is in terms of what it does. I agree with you that there is a
15 fine line between "is" and "does"; but in this case it is what
16 is the essential purpose of the "stream" according to his
17 invention? And he made that very clear.

18 And the part of this I wanted to focus on, because
19 it is important, is the requirement that a data unit be in the
20 "main stream" results from the inherent structure of the "main
21 stream" as the storage backbone of the invention, so that goes
22 to really what it is.

23 THE COURT: In other words, you are saying that a
24 data unit that is in a "main stream" cannot be a pointer; it
25 has to contain the data itself?

1 MR. POWERS: Precisely.

2 THE COURT: And you say it can be a pointer?

3 MR. STEIN: Precisely the -- that is what it is --
4 the exact same arguments he is making could be made with
5 respect to folder. People refer to storing a document in a
6 folder. And it is not the way computers work. You have a
7 data structure that has a pointer to the document out on disk.
8 It is not clear what Apple is actually proposing from a
9 computer science point of view. There is no computer system
10 that operates today, as far as I know, that would have -- I
11 guess referring to physical disks, you know, say you have an
12 image file, a video file, you have the bits one after another
13 in the sequence on disk. Everything has levels of structure.
14 And when it comes to things like streams and subdirectories
15 and folders, there is going to be a data structure. If there
16 is anything more than a few bits of data, there is going to be
17 a pointer somewhere else where that data is stored.
18 Sometimes, depending on the filing system, there is going to
19 be a number of different pointers until you get to the
20 physical data on disk. And that's why we don't think it
21 should be included.

22 THE COURT: Mr. Powers, this is getting reminiscent
23 of another case I heard in this Court not too long ago.

24 MR. POWERS: They all start to blur together.

25 THE COURT: It seems like the experts battled that

1 out rather than claim construction.

2 MR. POWERS: Well, it would but for one thing, I
3 think, Your Honor. In this case you had a critical
4 distinction made in the file history to get around very strong
5 prior art. And the argument they make is that there is a
6 requirement -- that is the word they used and argued to the
7 examiner -- the requirement that the data unit -- and that
8 data unit is the document, as both sides have agreed. So not
9 a pointer. The document be in the "main stream." Results
10 from the inherent structure of the main stream as the storage
11 backbone of the present invention.

12 So with all respect, Your Honor, what I feel is
13 going on here is that Dr. Gelernter had -- he is a Yale
14 professor. He has great ideas sometimes. Sometimes they
15 don't always translate into reality. What has happened in
16 this case is that he wrote a specification and argued a file
17 history and wrote claims that covered what he thought was
18 going to change the world.

19 As with a lot of ideas that come out of university
20 professors who don't actually work in the real world that
21 much, this one doesn't. Mr. Stein is now trying to now make
22 that square peg fit into a round hole by changing the
23 language. But our view is the law requires that they be stuck
24 with two things: One, what they have said over and over again
25 definitionally in the specification. And, two, with -- they

1 can't now run from what they told the Patent Office to get the
2 patent granted.

3 And the last point I would like to make, Your Honor,
4 is this idea of storing the pointer instead of actually
5 storing that document in the string, appears nowhere in the
6 specification and nowhere in the file history.

7 THE COURT: Does it appear anywhere in the spec or
8 file history, or are you talking as a matter of general
9 computer science?

10 MR. STEIN: Just a matter of general computer
11 science. A "stream" is defined as a data structure.

12 THE COURT: All right.

13 MR. STEIN: Anyone in this field would understand
14 that --

15 THE COURT: Let me see if I can summarize where we
16 are, and then we will move on. I think the parties are in
17 agreement that we can agree on the definition that a "main
18 stream" means "a stream that is inclusive of every data unit
19 or document received by or generated by the computer." But
20 you have a claim scope dispute as to whether or not that
21 stream can include pointers or has to include the document,
22 and the Court will resolve that claim scope dispute for you.

23 MR. POWERS: Precisely, Your Honor.

24 MR. STEIN: Yes.

25 THE COURT: Everybody on the same page?

1 MR. POWERS: We are exactly in agreement.

2 THE COURT: Is the plaintiff in agreement?

3 MR. STEIN: Yes.

4 THE COURT: Okay. All right. Let's move on then to
5 the next one, and I will let the plaintiff go first on that if
6 you would like.

7 MR. STEIN: There was one other dispute on the "main
8 stream" which is whether the word "each" which is used in the
9 claims should be changed to "every." There is no reason for
10 doing that. And to the extent they mean the same thing, there
11 is no reason to substitute one simple word for another to the
12 extent they mean something different.

13 THE COURT: So you are agreeable to "every"?

14 MR. STEIN: What?

15 THE COURT: So you are agreeable to "every"?

16 MR. STEIN: No, I'm not, because "each" is used in
17 the claim. But to the extent they don't mean the same thing,
18 and Apple's expert indicated that every --

19 THE COURT: Do they mean the same thing to you, to
20 the plaintiff?

21 MR. STEIN: Yes.

22 THE COURT: Do they mean the same thing to the
23 defendant?

24 MR. POWERS: Yes, Your Honor. I just don't want any
25 argument later as to whether -- if "each" is chosen I don't

1 want to hear an argument that "each" doesn't mean "every" is
2 all; that if there is a real dispute, we should just clear it
3 up here.

4 THE COURT: Do you agree that "each" means "every"?

5 MR. STEIN: I believe "each" means "every" in the
6 context of these claims. Again, Apple's expert thought it may
7 have a different connotation -- "every" might have a different
8 connotation than "each" --

9 THE COURT: Well, we will cross that bridge when we
10 get to it.

11 All right. "Substream." Would plaintiff like to go
12 first on that one?

13 MR. STEIN: Yes.

14 THE COURT: Did I skip one?

15 MR. DIAMANTE: I think so, Your Honor.

16 MR. POWERS: It's the right order, Your Honor. You
17 have the right order.

18 MR. STEIN: Okay. With respect to "substream" the
19 only disagreement between the parties is whether the phrase "a
20 stream that" should be appended to the beginning of the
21 construction. Otherwise the constructions --

22 THE COURT: That is not really a big dispute, is it?

23 MR. STEIN: Not in our view. We just think it would
24 be -- is clear for construction purposes not to use those
25 words at the beginning. A "stream" and "substream" may be

1 implemented differently so that it could potentially lead to
2 confusion. And then also there is multiple instances of
3 stream then appearing in Apple's construction. And it is
4 confusing because I'm not sure what the antecedent basis for
5 some of the later references to "stream" so we don't consider
6 it a big dispute, but we do think --

7 THE COURT: Mr. Powers.

8 MR. POWERS: Thank you, Your Honor. The reason for
9 our choosing the language we did is it came from the
10 definitional language that the patentee chose. And going
11 straight to Slide 43, in the file history again this is a
12 section where they said we are providing several definitions.
13 And the definition they said of "substream" is a type of
14 stream. And then it goes on where the parties don't have a
15 dispute.

16 And in our view working the fact that plaintiff is
17 arguing against its own definition, suggests that they are
18 going to try to dispute that it is not a type of "stream" as
19 they represented to the Patent Office in an explicit
20 definition. And since the Court is construing "stream," there
21 should be no ambiguity that a "substream" is a type of
22 "stream," as they said, and, therefore, must meet the
23 requirements of "streams." In our view it is that simple.

24 THE COURT: Okay. Final word? Any rebuttal to that
25 or new rebuttal?

1 MR. STEIN: If the Court is inclined to add those
2 two words or a few words to the beginning, I think it would be
3 clear to say it is a type of stream as opposed to a stream,
4 but I think the definition is clearer without, you know,
5 reference to a stream.

6 THE COURT: Okay. "Stream-based operating system,"
7 Mr. Powers.

8 MR. POWERS: Thank you, Your Honor. So at Slide 46
9 we have put it up in context from '427, Claim 1. It is both
10 in the preamble and in the body of the claims, and it is
11 defining an operating system.

12 Now, there is really I think two main disputes here,
13 maybe three depending on where we end up. The first is this
14 concept of a non-hierarchical operating system. That, of
15 course, goes to exactly what it is. Whether it a stream-based
16 operating system is hierarchical or not, goes to its core.
17 It's not what it is -- what it does, but it is plainly what it
18 is.

19 In our view this is exactly what Dr. Gelernter said
20 in every forum that he could find, on any soap box that he
21 could stand on that he was, in fact, freeing the world from
22 the tyranny of the file based creating folders, naming
23 folders, et cetera; and that he was going to have this elegant
24 operating system that required none of that and wasn't
25 hierarchical. So the real dispute, the first dispute --

1 THE COURT: But how do you read non-hierarchical
2 into an operating system -- or a stream-based operating
3 system? Why does it have to be non-hierarchical?

4 MR. POWERS: Because that is what a "stream" is as
5 opposed to what was going on before. This is an example, Your
6 Honor, of what I described in the opening statement where when
7 the specification goes on at length to say here is the problem
8 we are trying to solve, here is what we don't want to be,
9 which this specification does repeatedly say about
10 hierarchical operating systems; and where the history does the
11 same thing, in that case the law says it is a "stream-based
12 operating system." What makes it stream-based, what defines
13 it at least in part is that it is non-hierarchical.

14 And I just want to give the Court an example of what
15 we are talking about. At Slide 49 we have given an example of
16 a hierarchical structure where you have my computer, you have
17 all of my photographs, and the photographs are labeled in
18 folders and subfolders and subsubfolders and
19 subsubsubfolders. It is that structure. It is the idea that
20 you are naming all of that that Dr. Gelernter wanted to get
21 away from, and he said that repeatedly many, many times.

22 And the stream-based is what he discloses in Figure
23 1 which gets away -- you can see visually, of course, it is
24 not hierarchical. It is just start from the beginning and end
25 at the end in terms of time. And that was the defining nature

1 in his mind as he reflected in the specification of a
2 "stream-based operating system."

3 And I want to go through just very briefly the law
4 on that. The law says that -- as I know Your Honor is
5 familiar with -- that where the specification repeatedly
6 criticizes or distinguishes a particular aspect of the prior
7 art, that you can't then later bring that aspect back into the
8 claims because that is what the specification teaches that it
9 is not. And the Edwards Lifesciences case, the Astrazeneca
10 case and many, many others stand for that point.

11 A related but somewhat different point is this
12 concept of problem and solution. Very often patent
13 specification people say here is the problem we are trying to
14 solve, and here is the solution we are bringing to solve that
15 problem. And in this case and the law says that is highly
16 relevant to claim construction, and you can't make the
17 problem part of the solution; i.e., part of the claims.

18 So let's go to the specification. At the very
19 beginning section in the background of the invention where
20 they are telling you what this is all about -- and as I know
21 Your Honor is familiar with -- that is the section of the
22 patent where they say here is the problem we are trying to
23 solve and here is the issue we are dealing with. And over and
24 over again this patent says that the conventional operating
25 systems are bad, they confuse inexperienced users, they

1 require the user to invent pointless names for files and
2 construct organizational hierarchies that quickly become
3 obsolete. Named files are an invention of the '50s and '60s
4 and the hierarchical directories of the '60s. That is the
5 problem that he is trying to solve, and he says it over and
6 over again. Two paragraphs later that discusses the
7 disadvantages and criticisms --

8 THE COURT: Why couldn't such a stream be
9 hierarchical as well as non-hierarchical?

10 MR. POWERS: Because he describes it in a
11 non-hierarchical way. What I am trying to get away from --

12 THE COURT: Well, it is describing the problem, but
13 the solution doesn't necessarily have to be one or the other,
14 does it?

15 MR. POWERS: That's true in the theoretical sense,
16 but it is not true in the sense of this specification. What
17 he said is I am trying to get away from the idea that you have
18 these files and subfiles and folders and subfolders. What
19 they are accusing is an operating system that has files and
20 subfiles and folders and subfolders. They are accusing of
21 infringement a system that has its operating system based on
22 exactly this hierarchical structure that is being criticized
23 here. He is saying over and over again I don't want you to
24 have to name directories, I don't want you to have to name
25 files. That is what I am stopping.

1 How does he stop it? He stops it with something
2 that is totally non-hierarchical. All that exists, the only
3 thing that you use to organize your documents is time. It is
4 just when the thing was created. That is it. In his mind
5 that had an elegant simplicity to it that solved the problem
6 of hierarchies. And that is what a "stream" was. And we have
7 agreed that "stream" is a time-ordered sequence and all of
8 that, so the reality is he has by this concept of "stream"
9 eliminated hierarchies. And I don't think there is a fair
10 reading in the specification that goes any other way.

11 He then says explicitly the solution to these
12 problems is to use this document stream operating system,
13 which he described, of course, as this using only time based
14 as the parties have agreed. And the object of the present
15 invention is to provide a document stream operating system and
16 method which solves many, if not all, of the disadvantages of
17 the conventional operating systems.

18 And, finally, file names are only used if the user
19 chooses to invent such names. No requirement at all of doing
20 so, but that is squarely inconsistent with what they are
21 accusing in this case. He said this intrinsically obviously
22 many, many times. He also said it extrinsically many times.
23 In his articles he says I don't want to organize my computer
24 documents into files. I don't want to have to make up names.
25 I want to spend no time organizing my system.

1 And he said those names and directories should be
2 junked; they are just pointless, useless; I don't want them.
3 Yet, again, this is exactly what they are accusing here, so
4 this would be a classic case in which the specification says I
5 am not "X," I am trying to get away from "X." In this case, a
6 hierarchical operating system. And yet they are trying to
7 have "X" be what it can include. In our view, that is
8 improper.

9 THE COURT: Okay. Response?

10 MR. POWERS: There is a second dispute. Do you want
11 to do that afterwards?

12 THE COURT: Let me hear the response this far.

13 MR. STEIN: I think that Mr. Powers alluded to the
14 nub of the issue there which is they are including this extra
15 requirement in the claim because they think it will give rise
16 to a non-infringement argument if the term non-hierarchical is
17 anywhere in the claim. In fact, what Apple is doing is
18 precisely what is described in the patent. The patent
19 describes implementing a document stream or a stream-based
20 operating stream on top of a conventional operating system,
21 and there is no -- there is no description that you have to
22 eliminate capabilities from the conventional operating system
23 when you implement the document stream operating the system in
24 that way. And there is nothing incompatible about having the
25 two co-exist, and there is no reason to add that extraneous

1 limitation into the claim.

2 MR. POWERS: Your Honor, if I may, just very briefly
3 on that issue have the last word. The comment that Mr. Stein
4 just made is directly inconsistent with what the specification
5 and Dr. Gelernter said. He is saying I don't want to have to
6 ever do the files and subfiles and directory names and all
7 that. I don't want to have to deal with all that. The whole
8 point of his invention was you never have to do that. And
9 what he just described is accusing something where you have to
10 do that for everything. And then on top he wants to bolt on
11 something else but heap all of the problems of the basic
12 operating system, and that is exactly what Dr. Gelernter said
13 his invention was designed to eliminate.

14 THE COURT: Okay. Anything further on "steam-based
15 operating system"?

16 MR. POWERS: Yes, Your Honor. At Slide 62 there is
17 one further dispute, which is the highlighted language which
18 is, "in which, as each document is presented to the operating
19 system, the document is placed according to a time indicator
20 in the sequence of documents already stored relative to the
21 time indicators of the stored documents." And they are
22 concerned with -- the argument that Mirror Worlds makes to
23 that language specifically is that goes beyond the plain claim
24 language. And at this level I don't disagree with them
25 because claim construction is often a question of going beyond

1 the language that is just in the claims. And the issue is
2 what does the specification, the file history, and other
3 evidence teach you?

4 And, again, we took this straight out of a
5 definitional section of the patent. The patent says, "In
6 another words, that is"; and then as each -- and then the
7 language in haec verba, exactly the language I read that is in
8 our construction. So this is the definition of a
9 non-hierarchical operating system according to the
10 specification. And it starts with, "in other words, that is,"
11 which, of course, as the Edwards Lifesciences case says and
12 others, when you use that kind of language, that "signals an
13 intent to define the word to which it refers." So in our view
14 that is definitional. It is plainly intending to define that
15 language.

16 The only other point I want to make, Your Honor, is
17 a response to Mr. Stein's argument that this would be
18 excluding an embodiment in the patent, and they make this in
19 their reply brief and Mr. Stein just alluded to it. And I
20 want to address that issue directly because it is obviously an
21 important question.

22 In our view, this proposed construction would not
23 exclude any embodiment of the asserted claims. And, of
24 course, the starting principle, the important starting
25 principle is not everything in the specification is an

1 embodiment of every claim. Very often specifications contain
2 multiple types of -- descriptions of multiple types of claims,
3 and the patent has some claims that relate to some
4 embodiments, some claims that relate to other embodiments, and
5 sometimes, as the Johnson & Johnson case teaches, something in
6 the specification is not claimed at all.

7 In this case we actually have a clear statement in
8 the claims of which embodiment it is attached to. And if you
9 go to Column 14, Lines 43 to 51 -- I have got it up on the
10 screen, Your Honor -- this is actually the section of the
11 specification. This is Slide 66. This is exactly the section
12 to the specification to which Mirror Worlds referred in its
13 brief, arguing that embodiments were excluded. And this
14 portion of the specification describes two embodiments, two
15 ideas.

16 The first is implementation to which utilized
17 subsystems from other operating systems. That is an
18 embodiment which is claimed in certain of the claims in suit;
19 but as to that embodiment, there is very important language in
20 the specification. In that embodiment the specification says,
21 "In such implementations the graphic user interface of the
22 other operating system can be replaced by the present
23 invention viewports."

24 So what they are saying is, yes, you can use these
25 subsystems, but the main operating system is still our

1 stream-based, not the hierarchical operating system. And all
2 you are doing is using these subsystems below. And to reflect
3 the fact that you are using our operating system, not the
4 hierarchical one, you use our stream-based GUI.

5 So when we made that argument, Mirror Worlds said,
6 well, but, yeah, there is actually another embodiment which is
7 this last one. And they are right. There is another
8 embodiment. So this is the one they now appear to be really
9 arguing, which says alternatively the present invention can
10 operate as a document stream utility for the other operating
11 system.

12 The problem with Mirror Worlds' argument is that
13 there is no claim that is directed to that embodiment. There
14 is no claim that they have asserted which talks about being a
15 utility for another operating system. In fact, every claim
16 that is asserted talks about the claim being directed to an
17 operating system. And that is embodiment one or embodiment
18 two, not this last embodiment where it is not the operating
19 system. So in this instance the exact language to which
20 Mirror Worlds refers relates to something that is not the
21 subject of the asserted claims; and, therefore, the excluded
22 preferred embodiment or excluded embodiment simply doesn't
23 work.

24 The only other point I wanted to make, Your Honor,
25 is that their construction has this language "provides support

1 for streams," so it is a little ambiguous; but as I understand
2 what they are trying to get at, they are trying to say, well,
3 this is an operating system that could support streams but
4 doesn't necessarily use streams. Well, as an initial matter,
5 that is not stream-based. That is what the claims require.

6 So as an example, the Apple operating system and,
7 for that matter, Windows support Word; but they are not
8 Word-based. No one would say they are Word based. But
9 perhaps even more importantly, that example of that definition
10 they provided to the Court, that proposed construction would
11 ensnare exactly the email prior art they distinguished because
12 Windows -- or any operating system -- supports email and email
13 historically is stream-based and that is exactly what they
14 distinguished by saying that is not what we are. We are
15 different because everything in our system, every document --
16 not just email -- every single document is actually in that
17 main stream and that is the operating, organizing principle of
18 the operating system, so their proposed construction would
19 explicitly ensnare exactly the prior art they distinguished on
20 exactly the ground they talked about.

21 It is also ambiguous because something that provides
22 support for streams might not even have streams, which, of
23 course, is in our view -- would be inappropriate given the
24 nature of the description of the invention.

25 THE COURT: Okay.

1 MR. STEIN: Okay. There were a lot of points there.
2 I will try to hit them if I got them all down. The first
3 point was he referred to a portion of the specification -- Mr.
4 Powers referred to a portion of the specification that he said
5 contained a definition of either "stream-based operating
6 system" or document-stream operating system, but the section
7 actually was describing the present invention -- was not
8 providing a definition for those terms. It was describing the
9 invention. It was in the field of the invention section. The
10 Court can refer back to that.

11 In terms of whether we're picking embodiments --
12 Mr. Powers was saying that we are picking embodiments that
13 aren't covered by these two terms. The patents describe a
14 document stream operating system. They are entitled document
15 stream operating system. The parties agree that a document
16 stream operating system means the same thing as a
17 "stream-based operating system." All of the description in
18 the patent relates to what a document stream operating system
19 is.

20 He also referred to a section towards the end of the
21 patent where it talks about a document stream operating system
22 being implemented on top of a conventional operating system.
23 And then that section said in that case the graphical user
24 interface can be replaced with the -- can replace -- or the
25 interface for the conventional operating system can be

1 replaced with the interface for the document stream operating
2 system. It doesn't say that it has to be replaced. It is
3 another way -- you could replace it or give you a way of
4 accessing the information on the system, but it is not a
5 requirement.

6 And to the extent that Mr. Powers was making an
7 argument that the construction we proposed would read on prior
8 art, we disagree. But if he believes that and you adopt our
9 construction, then we can wait to see how that plays out. But
10 we don't believe our construction will read on prior art.

11 THE COURT: All right. We have been going for about
12 an hour and 20 minutes. Let's take about a 10-minute break
13 and then we will come back and pick up with the next one. Be
14 in recess for ten minutes.

15 (Recess was taken.)

16 THE COURT: Please be seated.

17 Let's go to "timestamp to identify." Who would like
18 to go first?

19 MR. STEIN: Do you want us to go to the same --

20 THE COURT: We are probably going to use both
21 podiums, so whichever one you would like to go to.

22 MR. STEIN: Well, it appears at this point that I
23 believe Apple has changed its position with respect to this
24 term in its reply brief, and the parties may no longer have a
25 dispute.

1 THE COURT: Oh, wonderful.

2 MR. STEIN: He may disagree. But the dispute was
3 whether or not a timestamp was a date and time value alone or
4 could include other additional information. In their original
5 proposed construction was "a date and time value that uniquely
6 identifies each data unit." Both experts agree that a
7 timestamp need not be a date and time value alone; that one of
8 skill in the art would understand there would be additional
9 information that could be included in the timestamp in order
10 to ensure that the timestamp was unique. That is not
11 reflected in Apple's construction.

12 I think that the natural reading, the reading that
13 we were giving it was they required the timestamp to be only a
14 date and time value. In their reply brief in connection
15 with -- it might have been their motion that they -- yeah,
16 their summary judgment of indefiniteness reply brief they
17 concede now that there is no dispute --

18 THE COURT: Okay. Let me just -- what is the
19 dispute, Mr. Powers, is there one?

20 MR. POWERS: Well, there is one, Your Honor. Let me
21 make sure we get our slides up. There we go. There are --
22 with regard to timestamp, there are three issues. And I think
23 one of those issues is no longer a dispute. But two remain.
24 The first issue was originally whether the timestamp must
25 uniquely identify each document. That was one of the

1 disputes. Their reply brief appears to concede that. I want
2 to make sure that is clear on the record they do concede that.
3 But if they do concede that, that is no longer a dispute.
4 That is in our construction. It is not in our construction.
5 So the "uniquely" aspect needs to be added.

6 THE COURT: Let me see, are you in agreement that it
7 uniquely identifies each document?

8 MR. STEIN: Yes.

9 THE COURT: Okay.

10 MR. POWERS: So that issue is gone. The next
11 question -- there are then two remaining issues. The next
12 question is by what means does a timestamp uniquely -- as
13 defined in the claims, as used in the claims, by what means
14 must it uniquely identify each document? I can skip forward.
15 Their position now is that it need not be just date and time
16 alone.

17 Let me go back to Slide 76, Your Honor, their
18 position, their reply brief on claim construction is that both
19 experts agree that a timestamp may include additional
20 information to ensure uniqueness. What that argument combines
21 is two separate issues. First, we do agree that in order to
22 ensure uniqueness, date and time are not sufficient. That is
23 the basis -- you would have to add additional information.
24 That is the basis for the indefiniteness motion because the
25 specification nowhere teaches how you would do that, what

1 additional information it would be, or anything required to do
2 that. That is the argument to which Mr. Stein was referring.

3 But the claim construction issue is, as a matter of
4 claim construction -- not as a matter of what experts could
5 do, what people in the technology might do, the question as a
6 matter of claim construction is a timestamp under the meaning
7 of the claims a date and time value alone that uniquely
8 identifies each document. That comes straight out of the
9 definition in the file history. That is Slide 78, Your
10 Honor. That is Page 765. Again, this is the portion that is
11 explicitly definitional where they say here are definitions
12 based on the specification, and we are providing them below.

13 No. 5 is a timestamp, is a date/time used to
14 uniquely identify each data unit. It does not say a date/time
15 plus whatever additional information you might need. It is
16 exclusively date and time. That is why as a matter of claim
17 construction we adopted exactly their language used in the
18 definitional section of the file history for our
19 construction.

20 Our point which -- our point to which Mr. Stein
21 alludes is not that as a matter of claim construction a
22 timestamp can include additional information because the
23 definition -- that would be inconsistent with the definition.
24 Our point is that it is clear and admitted by both experts --
25 and this is now in the indefiniteness motion, not as a matter

1 of claim construction -- our point is that both experts admit
2 that (a) uniqueness is required for the claims for a
3 timestamp; and (b) that date and time alone can't do it.
4 Why? Because you can have multiple documents created at the
5 same date and time even if you do it as of a second.

6 And so for the purpose that both sides, both parties
7 and both experts agree that that timestamp -- the function it
8 must perform, it cannot do so based solely on what is in the
9 spec, and the claim is indefinite because it does not -- the
10 specification doesn't teach you how to do it. So as a matter
11 of claim construction is one thing. Indefiniteness is
12 another.

13 THE COURT: I understand your argument.

14 Response?

15 MR. STEIN: If I understand what Mr. Powers just
16 said, it looks like he is shifting position again on this. In
17 the reply brief on indefiniteness Apple stated that there is
18 no dispute that -- this is on Page 2 of their reply brief
19 regarding indefiniteness, Docket No. 168 -- there is no
20 dispute that under Apple's proposed construction of the
21 "timestamp to identify" limitation a timestamp must include
22 both a date and time value and additional information to
23 uniquely identify each data unit.

24 And it is talking about Apple's construction of the
25 term at issue here "timestamp to identify." It was a

1 concession we believe that our construction -- that it was not
2 just a date and time value alone was correct. I think it is
3 very explicit. It is based on the expert testimony of both
4 our expert and Apple's expert who understood as one skilled in
5 the art would --

6 THE COURT: I think he is arguing that is trumped by
7 the prosecution history definition.

8 MR. STEIN: I think --

9 THE COURT: Is it or is it not?

10 MR. STEIN: No, the prosecution history says a
11 date/time -- I forget the exact --

12 THE COURT: Would you put the prosecution history
13 back up?

14 MR. POWERS: Yes, Your Honor.

15 THE COURT: There it is.

16 MR. STEIN: That sentence is not the model of
17 clarity in the prosecution history, but it was meant -- it was
18 not meant to convey because it couldn't be meant to convey
19 that the timestamp had to be a date and time alone. There is
20 a component -- there is an aspect of the timestamp that is
21 based on the date and time, but no one skilled in the art --
22 well everyone -- anyone skilled in the art reading the patent
23 would understand that there are very common situations in
24 which the date and time for a particular -- for two data units
25 may be the same.

1 And I guess, frankly, in addition to that point, the
2 issue of this date/time being unique is very tangential to the
3 invention in terms of the uniqueness of that. The point of
4 the timestamp is to place the data unit in the stream so that
5 a user could locate the data unit later on. As Apple's expert
6 acknowledged, the user is not going to use the timestamp
7 itself to later locate the data unit. It is going to use the
8 date and time to approximate where that data unit is in the
9 stream. So the full value of the timestamp, the timestamp
10 plus the additional information that might be necessary to
11 ensure uniqueness is very tangential.

12 The way the invention works in operation is that the
13 user uses time as an intuitive way to get a ballpark of where
14 the document of interest is, goes to that time, and then
15 browses the browse card -- the document of representations and
16 the stream around that time to locate the specific document.

17 THE COURT: All right. Thank you.

18 Let's go to No. 6, "glance views," Mr. Powers.

19 MR. POWERS: Yes, Your Honor, No. 6.

20 MR. STEIN: Excuse me. I don't know if we are going
21 to get to this, but there was a separate issue regarding
22 indefiniteness that Mr. Powers mentioned. It wasn't
23 necessarily on the list. I would like to address it.

24 THE COURT: All right.

25 MR. STEIN: When it comes to indefiniteness, the

1 argument is not that "timestamp to identify" is indefinite.
2 Apple proposed a definition. Both parties have. That is not
3 the issue. The issue is whether there is a means for
4 limitation, means for -- I think it was selecting a timestamp
5 to identify each data unit. And really the issue is whether
6 there is sufficient structure described in the specification
7 for selecting the data unit.

8 Now, Apple's argument appears to be that there is
9 insufficient description of the structure of the timestamp
10 itself, but that goes really -- it is an enablement argument,
11 not an indefiniteness argument. They have a definition for
12 timestamp. With respect to the means for selecting a
13 timestamp, the issue is how the patent describes that
14 mechanism. And there are mechanisms described in the patent
15 for selecting a timestamp, and it is only by conflating those
16 two separate and distinct issues that they argue that other
17 term, the "means for" term is indefinite.

18 THE COURT: "Glance views."

19 MR. POWERS: Your Honor, may I have a brief response
20 on the indefiniteness issue?

21 THE COURT: No.

22 MR. POWERS: So with regard to "glance views," Your
23 Honor, we have got on Slide 83 a place in the claim in which
24 that term appears. This is '427, Claim 1, we are talking
25 about the display facility further displaying a cursor or

1 pointer and responding to user-controlled sliding without
2 clicking of the cursor over the stack to display a glance view
3 of a document whose document representation is currently
4 touched by the cursor or pointer.

5 So this is an instance in which the claim is really
6 highly specific, not conceptual at all but highly specific
7 about -- in the claim, not in the specification, to how a
8 "glance view" works and what it does. It is saying that you
9 don't see a "glance view" until you slide a cursor over one of
10 the document --

11 THE COURT: But, Mr. Powers, it says that in the
12 claim. Why repeat it in the definition?

13 MR. POWERS: It does, and to the extent there is no
14 dispute about that, as long as it is clear from the overall
15 construction, we certainly have no objection to that. So the
16 issue that we have with regard to "glance view," I just want
17 to put up their Figure 1 so the context is clear. In Figure 1
18 there is a document that is called out on the far left, Your
19 Honor, that is numbered 100. And there is a series of
20 documents that you don't really see any of the content at all.
21 You just see sort of the outline of the document. This patent
22 and the claim itself refers to three specific things that have
23 to be given specific and different meanings.

24 One is the document itself, of course. And second
25 is the document representation, which are those outlines, if

1 you will, of the documents where you can't really see
2 anything. And the "glance view" is the one that pops up, as
3 the claim says, when your cursor goes over that particular
4 document representation. So what the claim is teaching is
5 exactly that, and what the claim is requiring is that.

6 Now, I agree with Your Honor that as long as it is
7 clear -- as long as no argument may be made based on whatever
8 construction is adopted that the other language of the claim
9 doesn't apply, then it doesn't need to be repeated twice. We
10 certainly agree with that. But it is part of the essence of
11 the "glance view." That's what it is. And the concern that
12 we have here is that when you look at Mirror Worlds'
13 construction, Mirror Worlds' construction merely says "an
14 abbreviated presentation of the document." That could be
15 anything.

16 And what that doesn't capture is that this "glance
17 view" is the thing that provides more information when your
18 cursor touches it and it pops out so you can see if that is
19 the document that you really want. That is the whole purpose
20 of the "glance view" is that you are scanning through these
21 documents --

22 THE COURT: Well, for example, a music album where
23 the document might contain all of the songs but the cover
24 would be perhaps a "glance view."

25 MR. POWERS: Exactly. You could have that. You

1 could have a variety of things; but an abbreviated
2 presentation of a document, that could just be a shrunken
3 document representation. That is a very vague statement about
4 what an abbreviated presentation of a document is.

5 THE COURT: What are you saying by your definition
6 it should be?

7 MR. POWERS: What we are saying is two things, and
8 the two things are important. The first is this cause and
9 effect point that we discussed that is explicitly in the claim
10 that only appears when the document representation is touched
11 by the cursor.

12 THE COURT: Well, let's stop right there. Do we
13 have any disagreement that the claim calls for it is not going
14 to appear until it is touched by the cursor?

15 MR. STEIN: I think we do. I think that a document
16 representation could appear -- there could be one up on the
17 screen, for example, of the document and it could remain
18 there, for example, until like another document -- document
19 representation is touched.

20 MR. POWERS: So we do have a dispute on exactly the
21 core language of the claim, Your Honor, and that is why we put
22 it there to try to eliminate that dispute. So, Your Honor,
23 there are two issues. One is we think that language is
24 absolutely required by the plain language of the claim, but
25 the second point is at the bottom of our construction where it

1 says it provides additional information about the document
2 than the document representation, and that is an important
3 distinction between our construction and Mirror Worlds'
4 construction because their construction really says it is
5 really something less than the whole document.

6 Now, that could be a document representation and
7 they are not willing to say it isn't. That is exactly what
8 the document specification says it can't be. There have to be
9 different things. At a minimum we know that because this
10 claim says you don't even see the "glance view" until you
11 touch the document representation with the cursor. So the
12 document representation is on the screen, you touch it with
13 the cursor, and up pops the "glance view," which wasn't
14 visible until then. So under their construction the document
15 representation and the "glance view" could be the very same
16 thing because it is so vague what is -- theirs is anything
17 less than the full document itself. And that is squarely
18 inconsistent with the language of the claim.

19 Their own expert admitted that where he says, yes,
20 the "glance view" isn't visible until the cursor touches the
21 document representation, and the specification is replete with
22 evidence about that. And the purpose of this "glance view" is
23 to help the user identify the document, as it says, by
24 providing the user some idea of the document's contents. The
25 document representation, when we go back, doesn't do that at

1 all. And the whole purpose of the "glance view" as the
2 specification teaches is to give the user some idea of what
3 the document is that that representation represents so they
4 can decide if that is the one they want. But that certainly
5 wouldn't be true if it is the document representation itself.
6 And their construction is so vague that it would clearly
7 include a document representation.

8 And the patent itself makes quite clear that the
9 purpose is to provide the user some idea of the contents. And
10 even in their original brief they acknowledge -- this is at
11 Page 13, Your Honor. The purpose of the "glance view" is to
12 help the user identify the document. That means it is giving
13 you more information than you had before. And their
14 construction does not reflect that. Their construction is so
15 vague that it could cover anything that is less than the
16 entire document itself, so on that ground I think --

17 THE COURT: Theirs is that it can contain, you are
18 saying anything as long as it is not -- wait a minute. I'm
19 getting confused because you are saying it has to provide
20 additional information about the document than is represented
21 in the document or than is represented in the document
22 representation?

23 MR. POWERS: The latter. If we go back to Slide 90,
24 Your Honor.

25 THE COURT: And you are saying that cannot be the

1 same as the document representation?

2 MR. POWERS: Precisely. And the reason for that --
3 so if we go to Slide 90 it is the parties' two constructions.

4 THE COURT: And you are saying it has to be more
5 than the document representation but less than the document?

6 MR. POWERS: Precisely.

7 THE COURT: Where do you find all of the support for
8 that?

9 MR. POWERS: Well, there is two pieces to that.

10 THE COURT: And isn't that really talking about when
11 and how rather than what a "glance view" is?

12 MR. POWERS: No, it is exactly what it is because it
13 is that it is in the middle between the document
14 representation and the document. And there is a little bit of
15 confusion between the parties' constructions because they are
16 really addressing it from different ends. Their construction
17 with regard to Slide 90 is that it is an abbreviated version
18 of a document, so it is something less than the whole
19 document. We don't know what that is. Ours is going the
20 other direction and saying it provides more information about
21 the document than the document representation.

22 Now, how do we know that, is your question? We know
23 that from the specification that says the purpose of that is
24 to help the user -- this is at the '227 patent, Column 7, Line
25 64, is to help the user identify a document to provide the

1 user with some idea of the document's contents.

2 THE COURT: But it could do that by displaying, say,
3 a small portion of the document, say, the subject line or
4 something --

5 MR. POWERS: Exactly.

6 THE COURT: -- could it not?

7 MR. POWERS: We are not saying -- let's go back to
8 their construction. We are not saying that a "glance view" is
9 not an abbreviated presentation of a document. That's true.
10 It just doesn't go far enough because their construction is
11 clearly less than the whole document. We agree with that.
12 The issue is, is it anything less than the whole document? Is
13 it anything -- anything in the world that is less than the
14 whole document? The answer, as we know, it cannot be a
15 document representation. Why? Because it doesn't even appear
16 until you touch the document representation. That is straight
17 out of the claim language. That is not out of the
18 specification --

19 THE COURT: How do you know what is on a document
20 representation? Does a document representation not contain
21 any data?

22 MR. POWERS: Well, in the specification it contains
23 no data. That is Figure 1, and there is no embodiment that
24 does otherwise.

25 THE COURT: Where is that in Figure 1?

1 MR. POWERS: I have it up on the screen. The
2 unannotated version is at Slide 84, and so the docket
3 representations are just those outlines of documents, the
4 whole stream. Everything in that stream is a document
5 representation. And the cursor 10 is on that one that has a
6 little shading on it.

7 THE COURT: Let me ask you this: If the cursor
8 touched the top page -- which would clearly have the whole
9 thing that is on the "glance view" -- wouldn't that
10 representation of Page 1 contain the -- all of the data that
11 is on the "glance view"?

12 MR. POWERS: That patent doesn't say that. The
13 patent shows nothing on the first page.

14 THE COURT: Well, you are referring to Figure 1, so
15 that is what I am looking at.

16 MR. POWERS: And Figure 1 has no information on the
17 first page. It is blank.

18 THE COURT: Well --

19 MR. POWERS: The "glance view" that is 100 is of the
20 shaded representation that is about seven or eight from the
21 upper left. So it is saying you have this stream of things
22 that give you no information, and you are trying to find which
23 one is the one you want. And this is straight out of the
24 claim. You scroll your cursor over it without clicking it.

25 THE COURT: I understand. But it seems to me it

1 would a bit limiting that says on that representation it can't
2 show anything other than a colored line.

3 MR. POWERS: That is what the figure shows. That is
4 what they are trying to convey --

5 THE COURT: Response?

6 MR. POWERS: -- that you don't need a document
7 representation to provide information because that is the
8 purpose of the "glance view." There is nothing in the spec
9 that teaches otherwise.

10 THE COURT: Response?

11 MR. STEIN: Two points. First, as Your Honor
12 recognized, there is claim language already there that
13 describes when a "glance view" is displayed; and that should
14 not be part of the construction of "glance view." It is in
15 the claim. It is different claim language. I think that
16 claim language is very straightforward and clear on its face
17 and doesn't require construction. Apple didn't identify that
18 other claim language as requiring construction and, therefore,
19 I don't think it is appropriate to include it in the
20 construction of "glance view" itself. Second --

21 Mr. Powers, could you put that slide back up?

22 MR. POWERS: Which one?

23 MR. STEIN: 84.

24 MR. POWERS: Sure.

25 MR. STEIN: That is a representation of the

1 graphical user interface. But it is one embodiment. It
2 differs even from the embodiment described in the patent in
3 that the patent describes each document representation in that
4 stream displaying the top line, and there are other possible
5 embodiments of this invention where those document
6 representations contain more information.

7 For example, in the '999 patent if you look at
8 Figure 1 the document representations in the stream contain
9 much more information than they are in that particular figure.
10 The claims aren't limited to what is displayed in the document
11 representation. Mr. Powers is right that the patent describes
12 the purpose of what the "glance view" is is to give the user
13 some idea of what document is currently being browsed; and it
14 provides additional information that would give the user a
15 sense of the document.

16 But only the first document in that stream is
17 visible to the user. All of the rest are obscured, so it is
18 perfectly within the scope of the claims to envision an
19 embodiment in which the "glance views" are, in fact, the
20 information what is -- or the information that would be
21 displayed to the side is the same information. You can't see
22 it. You don't know it until in this particular claim you
23 point and point with a cursor or some other technique at that
24 particular document and then you can see the obscured
25 information.

1 And it is also within the scope of the claim that
2 that information could appear in the place in the stream.
3 There is nothing within the plain terms of the claim that
4 would require it to be displayed off to the side like it is in
5 that particular embodiment. It could just be displayed in the
6 stream. That one would pop up. It might obscure some of the
7 documents in front of it, but you still would be able to see
8 the other document representations in the stream, and Apple is
9 trying to exclude that type of embodiment. We believe it is
10 because they think it would give rise to a non-infringement
11 argument.

12 MR. POWERS: May I respond very briefly, Your Honor?

13 THE COURT: Yes.

14 MR. POWERS: I think, perhaps, the most import of
15 Mr. Stein's argument was his admission that what makes a
16 glance view a "glance view" is that it does provide more
17 information, which is exactly what he just said, than the user
18 has otherwise from the document representations. That is
19 exactly what our construction says, and it is exactly what
20 their construction does not say.

21 And under their construction it is just anything
22 less than the whole document which clearly includes a document
23 representation. And we know from the claim that the "glance
24 view" can't be the same as a document representation because
25 the claim says you don't even see the "glance view" until you

1 touch the document representation that it relates to and out
2 pops the "glance view." So we know that their construction
3 can't be right, and we know that our construction which says
4 it gives more information than the document representation, is
5 consistent with what Mr. Stein just admitted and is exactly
6 what the specification says.

7 And the last point I wanted to make, Your Honor, is
8 that Mr. Stein was arguing about all sorts of potential
9 embodiments that one could imagine. But those aren't taught
10 in the specification. This specification is what we have to
11 use for claim construction, not what embodiments can be
12 imagined now.

13 THE COURT: Let's move on to "receding,
14 foreshortened stack." I guess my question is, is there really
15 any dispute? You seem to be fairly close on this. Can either
16 one of you live with the others?

17 MR. POWERS: Yes, Your Honor, there is one major
18 dispute.

19 THE COURT: Okay. What is that?

20 MR. POWERS: The dispute is that their construction
21 does not include the concept of "foreshortened."

22 THE COURT: Of what?

23 MR. POWERS: "Foreshortened," which is one of the
24 terms in the claim. It does include the concept of "receding"
25 but it does not include the concept of "foreshortened" which

1 is explicitly required by the claim. That is precisely what
2 the dispute is.

3 MR. STEIN: I would characterize it differently.

4 MR. POWERS: Who would Your Honor like to go first
5 on this one?

6 THE COURT: Who went first on the last one?

7 MR. POWERS: I think --

8 THE COURT: All right. Plaintiff can --

9 MR. POWERS: -- Mr. Stein.

10 THE COURT: -- go first on this one. That is you.

11 MR. STEIN: I would characterize the dispute is that
12 Apple was attempting to limit this term to the preferred --
13 to the embodiment shown in Figure 1 of the patent that was
14 just displayed; and that the terms are broader than that;
15 that the concept of the "receding, foreshortened stack" the
16 terms within it are related terms. "Receding" and
17 "foreshortened" both relate to similar concepts. The parties
18 have agreed to construe them as a whole, not separating out
19 the terms.

20 But the "foreshortened" is reflected in the
21 perspective used in Mirror Worlds' proposed construction. But
22 more importantly we don't agree that the claim is limited to a
23 display where the document representations get smaller. That
24 is not required by either the term "receding" or
25 "foreshortened"; and it is Apple's attempt to limit this claim

1 term to Claim 1 -- Figure 1 of the patent.

2 THE COURT: Response?

3 MR. POWERS: Thank you, Your Honor. Counsel has
4 argued that we are trying to limit it to the preferred
5 embodiment or the only embodiment. And what we are limiting
6 it to is the language in the claim because the claim says
7 "receding, foreshortened stack." Both are required, not just
8 one or the other.

9 And when you look at the figure it, of course, shows
10 both. It is "receding" and "foreshortened." This is the
11 figure we have seen several times. And I wanted to put up the
12 parties' constructions -- this is Slide 98, Your Honor --
13 because I think it is important to understand where they
14 differ, and we have highlighted them at Slide 99 to show that.
15 Both constructions do have the concept of "receding." They
16 express it in different terms. We believe our language is
17 clearer to a jury. We don't like the language of illusion
18 because we think it is unclear.

19 But both of those are trying to capture the concept
20 of "receding" no doubt. But "receding" and "foreshortened"
21 are distinct concepts. They mean different things, and their
22 construction does not capture "foreshortened" at all.
23 Foreshortened, as its name implies, means something smaller,
24 shorter. And when we look at Figure 1 -- and I agree with
25 Counsel this is not the be all and end all. You don't stop by

1 looking at Figure 1. You don't just import it because that is
2 what Figure 1 does.

3 But it is clear in Figure 1 they do get smaller from
4 front to back. There is no doubt about it. It can't be
5 denied. And I want to now look at their construction because
6 their construction plainly does not require any change in
7 size. This is Slide 102, Your Honor. Their construction is
8 on the left. "A representation of a stack that uses
9 perspective to create the illusion of increasing distance."
10 Perspective just means a two-dimensional representation that
11 creates the illusion of a three-dimensional object.

12 The figure on the right meets that construction,
13 Mirror Worlds' construction. It uses perspective by the use
14 of overlapping documents to create the illusion of increasing
15 distance, but it does not foreshorten in any sense. The
16 documents are the same size. So it has "receding" for sure;
17 and "perspective" which is a term that includes
18 foreshortening -- foreshortening can be the use of
19 perspective, but perspective does not require the use of
20 foreshortening. That is the key point.

21 And when we look at the sources of intrinsic
22 evidence, the claim cites "foreshortening" as a separate
23 requirement. Their construction clearly does not include it.
24 They acknowledge that in interpreting Figure 1 it is
25 foreshortened with the successive documents smaller in size.

1 This is their statement in reexamination where they are
2 telling the Patent Office what "foreshortening" means. The
3 successive documents are smaller in size, which is exactly our
4 construction. So what they have told the Patent Office is
5 consistent with what we are saying the construction should be
6 and what is omitted from their construction.

7 THE COURT: Let me back up to your prior screen and
8 let me ask you, are the first and second documents, is the
9 second document foreshortened from the first document?

10 MR. POWERS: On the bottom right do you mean?

11 THE COURT: The top of the stack, is it
12 foreshortened from the first document?

13 MR. POWERS: Well, it is sort of hard to tell, but
14 that is -- the point of the claim is that the stack is
15 foreshortened, so when you look at this stack from front to
16 back, there is no doubt that the stack is foreshortened. And
17 obviously if you are going to take the two that are the
18 closest together, the degree of foreshortening will be the
19 least discernible. But the stack as a whole is undeniably
20 foreshortened, and their statement to the Patent Office is
21 exactly that, that successive documents are smaller in size.

22 So that, although in those two specific ones I
23 haven't brought a ruler to try to measure it, but the concept
24 as it is displaying, as Mirror Worlds admitted to the Patent
25 Office is exactly that; that each document is successively

1 smaller in size so that the stack when viewed as a stack is
2 foreshortened. And that concept is conspicuously missing from
3 their construction.

4 It is also really important I think -- there is a
5 point in the file history I want to bring out, Your Honor.
6 There is a prior reference called Cowart, C-O-W-A-R-T. The
7 Cowart had overlapping windows where the windows did not get
8 smaller. And here is a picture of Cowart at Slide 107, so
9 that is exactly what we showed earlier of the use of
10 perspective creates the illusion of distance but no change in
11 size. That was a prior art reference that was cited, and they
12 hadn't responded to it.

13 So what did they respond? They responded that
14 Cowart shows an orthogonal of windows; that is the windows do
15 not get smaller towards the bottom of the stack. They made
16 exactly the distinction that we are saying must be contained
17 in the claim construction and that is omitted from their claim
18 construction. And the very bottom says Outlook and Cowart do
19 not recognize this key aspect of streams. It is not
20 tangential. It is key. By teaching away from such
21 diminishment. Indeed both Outlook and Cowart present all
22 displayed data units as the same size.

23 So this concept of reduced size contained in our
24 construction and omitted from theirs is not only critical from
25 claim -- it comes straight out of the claim, but also is

1 critical from the file history.

2 THE COURT: Okay. Mr. Stein, what is your response
3 to that, the file history?

4 MR. STEIN: There are other ways of -- just in
5 general there are other ways of showing perspective,
6 foreshortening than the specific embodiment shown in Figure
7 1. Now, Cowart and the example that was shown earlier by Mr.
8 Powers from an artist and technical point of view, images of
9 rectangles that were displayed do not show perspective one on
10 top of another if there is no other visual cue to displaying
11 perspective. A visual cue could be shading, for example, or
12 could be distorting the lines to show that there is some type
13 of projection in space. And there are other ways of showing
14 perspective. But Apple is attempting to limit the ways of
15 foreshortening and showing perspective to the specific example
16 shown in the patent; and, again, that is because they think
17 that will give rise to a non-infringement argument. But there
18 are other ways of showing perspective than the one shown in
19 the patent. And the claim isn't limited to that particular
20 example and would be improper.

21 THE COURT: But the claim uses the word
22 "foreshortened."

23 MR. STEIN: That's correct, but the term
24 "foreshortened" -- and I don't have a dictionary definition
25 right in front of me but we cite a couple to the Court --

1 relates to the use of perspective to show projection in
2 space. There are various ways of doing that. It is not the
3 only way of doing that is decreasing -- what is the words that
4 Apple uses? Making the document representation smaller.
5 There are other ways of showing projection in space that do
6 not involve that. The argument with respect to Cowart is that
7 it does not show a projection in space.

8 THE COURT: Okay. Let's go on to the next one.

9 "Archiving," Mr. Powers.

10 MR. POWERS: Thank you, Your Honor. So for
11 "archiving" this is going to be the term where their
12 consistent use of a term is going to drive, in our view, the
13 proper claim construction. We put up at Slide 112 --

14 THE COURT: Do you agree that it can include
15 copying?

16 MR. POWERS: Absolutely, Your Honor. In fact, the
17 way it includes copying is part of its process. The way that
18 you archive -- and I don't think there is a technical dispute
19 about this -- is that first you copy and then you move it and
20 then you delete it from where it is presently.

21 THE COURT: Do you have to delete it?

22 MR. POWERS: That's what makes it an archive as
23 opposed to just a copy. That is a point that what we are
24 talking about from the intrinsic evidence. And is it one of
25 those terms that people can use sloppily inconsistently? Of

1 course, there is a lot of terms like that. But in this case,
2 in this specification they consistently used it in one way and
3 for one purpose. And the language that we have got here it
4 comes straight out of the specification, moved by the server
5 from immediately accessible storage to cheaper, long-term
6 storage.

7 The whole point of this is that you are moving it
8 where you don't want to clutter your expensive storage because
9 you are not using it anymore to a place where it is cheaper to
10 store and you can go get it if you need to, but you are not
11 going to need it very often. That is over and over again in
12 the specification. Column 10 is an example of that. Later on
13 archived document needs to be reloaded. If it were just
14 copied and not deleted, you wouldn't need to reload it. So
15 The consistent usage of the term "archived" here is that is
16 what he meant by "archived"; and there is a technical reason
17 for that in his mind and in this invention. And, of course,
18 the job of claim construction is to --

19 THE COURT: So by moving you really mean copying and
20 deleting?

21 MR. POWERS: That is the process by which you move,
22 yes, exactly.

23 THE COURT: Response?

24 MR. STEIN: The term "archiving" is simply not
25 limited to moving. The example -- it is another example of

1 Apple trying to import a limitation from the specific example
2 in the specification into the claim.

3 THE COURT: Let me ask you this, Mr. Stein: Could
4 you live with your definition if it said "copying or moving"?

5 MR. STEIN: Or moving? Yes.

6 THE COURT: Could defendant live with that?

7 MR. POWERS: No, Your Honor, because we think if
8 copying or moving meant copying and then not deleting, we
9 think it is inconsistent with the specification.

10 THE COURT: Where is there in the specification that
11 it says in order to archive something that the original has to
12 be deleted?

13 MR. POWERS: Can we go back to our slides, please?

14 The point of this is that you locate it and reload
15 it. So the idea is that you are moving it from this
16 immediately accessible storage, so you are obviously not
17 keeping it there. That is what the whole point of what they
18 are discussing is that is why you are archiving. You are not
19 using it regularly anymore, you don't want to use up expensive
20 storage so you wouldn't copy it and just keep it there. Now
21 you have got it in both places. You are not accomplishing the
22 purpose of the specification. And that is why he keeps
23 talking about reloading. You wouldn't reload it if it was
24 still there. So one of the points --

25 THE COURT: I keep thinking, though, I mean if I am

1 going to save something like out of a Word document or
2 something and I copy it; and then when I paste it, I am in
3 essence archiving it but I am not necessarily deleting it. I
4 may come back and delete it later, but I am nevertheless
5 archiving that particular paragraph that I have copied. Am I
6 not?

7 MR. POWERS: What you have done is a copy move, what
8 you done is a copy command. And if you do an archive
9 command --

10 THE COURT: And I have archived it, right?

11 MR. POWERS: No, you have saved it. "Archiving" is
12 a term that doesn't just mean copying and saving. It means
13 taking it and moving it some place else for a purpose and not
14 having it where it was before. And we asked Dr. Gelernter
15 that exact question and this isn't an embodiment or anything
16 else. We are asking about his invention and he says -- we
17 asked him, you said archived in the context of your invention,
18 what did you mean? He didn't say copy and keep it. He said
19 moving it from relatively faster more expensive storage to
20 relatively cheaper less accessible long-term storage.

21 That is not just copying a paragraph from your Word
22 document. That is accomplishing a totally different function.
23 An archive has a different purpose as specified in this
24 specification, which is to achieve an objective that merely
25 copying doesn't. And if the word "copying" is used as the

1 definition, it would be contrary to not only what the
2 specification teaches consistently but contrary to what the
3 actual inventor said he meant.

4 THE COURT: Okay. Response?

5 MR. STEIN: Well, I don't believe that defining
6 "archiving" is including both copying and moving. It would be
7 inconsistent with the specification. Again, it is an example
8 given in the specification. Both parties' experts agree that
9 all of the known archiving utilities in the relevant time
10 frame back in 1996 permitted users to copy documents into an
11 archive and not delete the original. In fact, Apple's expert
12 testified that an archiving utility that did not permit that
13 functionality would be an extremely bad idea, so we don't
14 believe that the claim should be limited in that way.

15 THE COURT: Okay. "Document organizing facility."
16 Whose turn is it?

17 MR. POWERS: I believe it is Mr. Stein's.

18 THE COURT: Mr. Stein.

19 MR. STEIN: Okay. There are two issues with the
20 "document organizing facility." The first is whether 35 USC
21 Section 112, Paragraph 6 should apply to that term; in other
22 words, whether that term should be construed as a so-called
23 means-plus-function term. And the second issue is whether if
24 the term is construed in that manner it is indefinite.

25 Regarding the first issue, the law is quite clear

1 that if the claim term does not use the words "means for"
2 there is an incredibly strong presumption that Section 112,
3 Paragraph 6 does not apply. The cases have held that as long
4 as -- I a reading from a case that was cited in our briefs.
5 It was Roy-G-Biv -- well, it is in the brief. That it is
6 sufficient if the claim term is used in common parlance or by
7 persons of skill in the pertinent art to designate structure
8 even if the term covers a broad class of structure and even if
9 the term identifies structure by their function.

10 Many courts have held that limitations that are
11 similar to the term that was used in this claim does convey
12 structure. In fact, Apple's expert during his deposition used
13 the same term "facility" to refer to other types of software
14 structure such as a user interface facility or facility that
15 generates an amount of space.

16 There are cases that use the term to refer to
17 software modules, and more recently there was a case by Judge
18 Love in which he held that claim terms of the form -- computer
19 code for displaying a plurality of identifiers and computer
20 code for working in conjunction with a network browser, and
21 then it goes on, was sufficient structure to take that term
22 outside of Paragraph 112, Paragraph 6. That case is Aloft
23 Media v. Adobe Systems, 570 F.Supp. --

24 THE COURT: Let me hear a response. How do you
25 overcome the presumption, Mr. Powers?

1 MR. POWERS: Your Honor, the way we overcome the
2 presumption is that "facility" is a purely generic term. If
3 you look at dictionaries it basically says it is something
4 that does something. And when you compare it to the terms
5 the Federal Circuit has found does overcome the presumption,
6 mechanism, means, element, device, those are equivalent to
7 "facility" in terms of what they convey. And all of those
8 have been held to be governed by 112, 6 despite the absence of
9 the term "means."

10 And the second point I wanted to make -- and I think
11 this is an interesting point that if you just -- if you took
12 Mirror Worlds' proposed construction of the term -- and this
13 is at Slide 127, Your Honor. If you took Mirror Worlds's
14 proposed construction of the term "document organizing
15 facility," which is "software that organizes documents" --
16 that is their proposed construction -- if you took that
17 construction and treated it as if it were the claim language,
18 it would still be governed by 12, 6 because software that
19 performs a function -- which is all theirs is, software that
20 organizes documents -- is not structure under the law.

21 The WMS Gaming case -- and I know Your Honor is
22 deeply familiar with this law -- provided specifically that
23 the structure is not the software performing a function, but a
24 particular algorithm by which it does perform that function.
25 So their proposed construction is merely that a software that

1 organizes documents is classic algorithm-less language which
2 under WMS Gaming and its progeny is not structure. And if
3 their proposed construction isn't structure, then neither
4 obviously is the term itself.

5 Your decision in *i4i*, of course, upheld that as
6 well; that you have to have the particular algorithm, and
7 there is no structure unless it -- and in the *Computer*
8 *Acceleration Corp* case, the holding there specifically was
9 structure of software that performs a claim function is
10 inadequate because this merely restates the function and thus
11 is not sufficient disclosure of structure. So in terms of
12 whether it is governed by 112, 6, *facility* is equivalent -- no
13 more descriptive of structure than *element*, *device*, *mechanism*,
14 other terms that have been held by the Federal Circuit to be
15 governed by 112, 6 and --

16 THE COURT: What about *resistor/capacitor*, does it
17 rise to that level --

18 MR. POWERS: Not at all. *Resistor* and *capacitor* are
19 structures. They are elements that people know about. A
20 "*facility*" is just a word that means something.

21 THE COURT: Okay. Response?

22 MR. STEIN: Well, first of all, courts have, in
23 fact, found that terms using similar formulations were not
24 subject to 112, 6. I just mentioned one by Judge Love. In
25 that case he describes how -- in that case the term was

1 computer code for doing something. He explains how that would
2 convey structure to one of ordinary skill in the art and
3 compares that to claim terms such as a circuit for doing
4 something, which courts have also held conveys adequate
5 structure.

6 THE COURT: I don't think Apple has rebutted the
7 presumption on this one. Do you want to argue the definition,
8 Mr. Powers.

9 MR. POWERS: In our view that definition would be
10 equally vague and purely functional. If their definition is
11 "software that organizes documents," that itself connotes no
12 structure. There is no algorithm. The law is clear you have
13 to have an algorithm.

14 THE COURT: Are you back to arguing structure? I
15 have already said I didn't think you have met the presumption.
16 So assuming we are talking definition, you are arguing for
17 the -- that "document organizing facility" would mean "the
18 portion of a stream-based operating system whose purpose is to
19 organize documents," right?

20 MR. POWERS: And we would view that as being
21 indefinite for the reasons stated in our motion.

22 THE COURT: All right.

23 Okay. Let's go on then to the means-plus-function
24 terms that are the subject of the motion for summary
25 judgment. I think that was next on your list, wasn't it?

1 MR. POWERS: It was, Your Honor.

2 THE COURT: I think I can save us some time on this
3 one. I'm not sure that plaintiff has overcome the presumption
4 the other way on these. Do you want to persuade me otherwise?

5 MR. STEIN: Well, the first term we addressed was
6 "means for selecting a timestamp to identify each data unit."
7 This term we addressed briefly earlier, but Apple's argument
8 basically conflates two separate issues. Basically, the two
9 issues are the required description in the specification for a
10 non-means-plus-function limitation, which is here timestamp.
11 And the issue of the required description in the specification
12 for a means-plus-function limitation in here that is "means
13 for selecting a timestamp."

14 And the term "timestamp" both parties agree it can
15 be construed. It is not indefinite. The issue is not
16 indefiniteness with respect to "timestamp." Perhaps Apple may
17 want to argue that it is enablement. They haven't argued that
18 yet. But the question is not whether the term can be
19 construed. Both parties have proposed a construction. I
20 guess whether there is sufficient description in the
21 specification so that one of ordinary skill in the art can
22 make that timestamp. We think clearly it is there. Both
23 parties' experts agree that there was sufficient description;
24 that they would know how to make a timestamp based on what was
25 described in the specification. Apple's expert acknowledged

1 that it was not anything complicated. It was very
2 straightforward to accomplish that.

3 So the issue with respect to "timestamp" isn't the
4 issue for today. It has to do with enablement. But we think
5 when we come to it we will prevail.

6 The second issue is "means for selecting." It
7 appeared that Apple was arguing previously that the claim
8 required a means for generating the timestamp; that the patent
9 did not disclose sufficient structure for generating the
10 timestamp. I mean, Apple agreed in its reply brief, however,
11 that generating the timestamp is not part of the recited
12 function. The recited function is selecting the timestamp.
13 And the patent describes various mechanisms for selecting a
14 timestamp.

15 One is Figures 4 and 5 of the patent that allows the
16 user to reset the time point which then is used for the basis
17 for the timestamp. Another is the current time it also
18 describes using a software agent to select the time point
19 which is used in connection with the timestamp. So there is
20 no dispute that there are various ways of selecting the
21 timestamp. Again, the important part of the timestamp from
22 the user's perspective is where the thing is going to be
23 placed in the stream. That is clearly described in the
24 specification of the patents.

25 THE COURT: Okay. Response?

1 MR. POWERS: Did Mr. Stein persuade you or do you
2 want to move to the second part of the analysis?

3 THE COURT: Excuse me?

4 MR. POWERS: I asked if Mr. Stein persuaded you or
5 whether we want to move to the second part --

6 THE COURT: I think you can move.

7 MR. POWERS: Thank you.

8 Let's go to the means-plus-function section, please,
9 Bill.

10 So now I am only going to address the question of
11 whether -- if all of them are Section 112, 6 is there
12 sufficient structure disclosed in the specification. And the
13 first issue is this "means for selecting a timestamp to
14 identify." Let me first make clear, Mr. Stein is exactly
15 right, we are not arguing that the term "timestamp" is
16 indefinite. That is not our position. That is a term which
17 we have construed it, and it requires a unique identifier and
18 which they have now conceded.

19 Now, the question is when you have a term where it
20 says "means for selecting a timestamp to identify" is does the
21 specification disclose structure that is capable of having
22 that unique identifier, which we have now all agreed is part
23 of the meaning of "timestamped." As to that, it is undisputed
24 that a date and time timestamp alone, if that is the only
25 information, which is what the definition in the specification

1 and the file history provided, if it is date and time alone,
2 that is not enough to be unique.

3 But the problem is that is all that is disclosed in
4 the specification, so we are not arguing here an enablement
5 issue. The question isn't whether one skilled in the art
6 could imagine a way to do it uniquely by adding some other
7 information. The question is whether the "means for selecting
8 the timestamp" structure is disclosed in the specification.
9 And both experts agree that there is no structure in the
10 specification for selecting anything based on that supposed
11 additional information. Their expert says the patent simply
12 leaves that to one of ordinary skill in the art to figure it
13 out. But the law says that is not enough for purposes of
14 indefiniteness on 112, 6. The Blackboard case says the fact
15 that ordinarily skilled artisans could carry out the recited
16 functions in a variety of ways, is exactly what Mirror Worlds
17 argues here.

18 THE COURT: Okay. Thank you.

19 Mr. Stein, where is the structure?

20 MR. STEIN: Well, both parties agree that there is
21 structure for a "timestamp" that includes date and time
22 information. The issue is whether or not the additional
23 information required -- the additional in the timestamp that
24 may be required for uniqueness needs to be disclosed in the
25 specification. And it is our position, based on the case law,

1 that you don't need to fill in all of the routine details of
2 the structure that corresponds to the means-plus-function
3 limitation. We have cited cases in our briefs that
4 demonstrate that courts acknowledge that those sorts of
5 details don't need to be filled in one routine. As long as
6 there is basically structure in the spec to hang your hat on,
7 which we have here the timestamp -- the data structure -- the
8 date and time information is merely a structural element, and
9 it is our position that creating that unique timestamp that
10 relies on adding additional information is routine. Apple's
11 expert admitted that it was a straightforward, easy task for
12 him. It did not take much time at all to come up with -- to
13 devise various ways of adding that missing element.

14 Our expert, Mirror Worlds' expert similarly admitted
15 a declaration and testified that extra piece that is not
16 explicitly described in the specification is routine; and that
17 one of ordinary skill in the art would have no problem filling
18 it in --

19 THE COURT: But can you refer me to any specific
20 place in the specification that provides the structure?

21 MR. STEIN: Other than the timestamp and the date
22 and time information in the timestamp, no. The additional
23 information that we have talked about and that both experts
24 acknowledge must be in there, it is the only logical way to
25 read the specifications of the patent-in-suit, that additional

1 information must be there. Both experts testified that that
2 would be a routine exercise for one of skill in the art to
3 fill in that one missing piece.

4 THE COURT: I'll have the rest of those -- I will
5 just have those submitted on the brief then. I would like
6 some argument on "data unit." Who would like to lead off with
7 that?

8 MR. POWERS: I'm happy to lead off, Your Honor.

9 THE COURT: All right.

10 MR. POWERS: With regard to "data unit," the issue
11 is actually quite narrow. And I have got on Slide 177 the
12 parties' competing constructions. They are really
13 substantively identical but for Mirror Worlds' insertion of
14 the term "collection of data."

15 THE COURT: Well, but I will tell you the problem
16 that I have with both of the proposed definitions, aside from
17 examples, but in my mind it almost seems circular in that we
18 are talking about a collection of data or an item of
19 significance to the user that the user considers as a unit.
20 Where does that leave one?

21 MR. POWERS: Well, I will tell you our intent, and
22 perhaps it is not as clear as it could be. What we think the
23 dispute between the parties is that their construction of a
24 collection of data could mean all of my email.

25 THE COURT: Could be what?

1 MR. POWERS: All of my email or all of my email from
2 Steve. And we don't think a "data unit" within the meaning of
3 these claims and as the specification teaches it, can -- one
4 unit can be all my email from Steve because then you can't go
5 and select individual emails within that, which the
6 specification teaches is the whole point of this.

7 THE COURT: Would you agree with "a collection of
8 data that cannot be further divided"?

9 MR. POWERS: I think that is fair, yes. That
10 captures our concept.

11 THE COURT: Could plaintiff live with that?

12 MR. STEIN: No, as an example there could be files
13 containing, say, multiple PowerPoint slides or multiple tables
14 that a user considers --

15 THE COURT: But if it is a "data unit" are you
16 saying that a "data unit" can be further divided?

17 MR. STEIN: It is a unit in the sense that is
18 something the user considers as a unit. So theoretically you
19 could divide this PowerPoint presentation into multiple files
20 each with a single slide in it, so I'm not sure where the
21 bounds are when you say it can't be divided. You can divide a
22 file into multiple files, and it would still be functional.
23 So the word "data unit" basically was -- is used in the
24 patent because the term "document" itself fails to convey the
25 breadth of the types of things that might be in a stream. So

1 a stream could be --

2 THE COURT: But the whole claim in the patent talks
3 about manipulating these units. It doesn't talk about
4 subdividing the units.

5 MR. STEIN: Well, right. That is why the parties
6 agreed to include the phrase at the end that the user
7 considers as a unit. It doesn't mean that the data units
8 could not be subdivided if a user chose to, for example,
9 dividing up a single file into multiple files. But for the
10 purposes of how it is used, the user doesn't normally do that.
11 But I think the requirement that you can't do it would
12 unnecessarily remove things.

13 THE COURT: So you are both in agreement with the
14 clause "of significance to the user that the user considers as
15 a unit"?

16 MR. STEIN: I think so.

17 MR. POWERS: Yes, Your Honor, that is in both
18 constructions. I would just --

19 THE COURT: So if you are resting the determination
20 of what a unit is in a user, Mr. Powers, why could a user not
21 define a "data unit" as something that would be capable of
22 being divided?

23 MR. POWERS: Well, because of the nature of the use
24 of "data unit" inside the claim and inside the specification.
25 I have got up on Slide 179 where this appears in the '227,

1 Claim 1. And, again, it is talking about the main stream
2 receives each "data unit" received by or generated by. That
3 clearly means you receive an email. That is an email. The
4 user considers that a "data unit." That is clear and the
5 collection of data that we are concerned about --

6 THE COURT: What if a user receives a file that
7 contains multiple emails?

8 MR. POWERS: If that is received at one time -- so
9 Your Honor makes a good point. So, for example, let's say I
10 received an email that had an attachment to it, as received
11 that is a single "data unit." If it has a pdf attached to it,
12 that is the thing I received. I consider that to be a single
13 "data unit," even though it has an attachment. Our concern
14 with "collection of data" is that it is so vague that they
15 could argue that, again, all of my emails from Steve -- if I
16 have a folder in my system that says all emails from Steve,
17 they can try to argue that that is considered by me to be a
18 unit, which I would disagree with, because that is just the
19 way I have taken to organizing some of the things that have
20 come into my system.

21 But the claim is talking about everything that is
22 generated by or comes in, is a "data unit." And that is what
23 we were trying to capture. I think ours captures it better.
24 I think theirs introduces ambiguity that will create arguments
25 about what the construction means.

1 THE COURT: Okay. Very good. I think I understand
2 the parties' arguments on that one.

3 Let's move to Apple's '101, so we have some time for
4 that. What terms did you want to argue, Mr. Powers?

5 MR. POWERS: My colleague Sonal Mehta will handle
6 this portion if it is okay for the Court?

7 THE COURT: Okay. Ms. Mehta.

8 MS. MEHTA: Good afternoon, Your Honor.

9 THE COURT: Good afternoon.

10 MS. MEHTA: I will be very brief.

11 THE COURT: Good.

12 MS. MEHTA: I think there is really only a couple of
13 things we need to talk about. I think the first thing that is
14 worth talking about is "graphical iconic representation,"
15 which is the parties' primary dispute on the '101 patent. And
16 I think if the Court is interested in hearing a little bit
17 about means-plus-function we can get into that, although I
18 suspect that the Court is probably fully up to speed on that,
19 based on all of the other discussion.

20 On "graphical iconic representation" really what we
21 are talking about -- I think it is worth stepping back for
22 just a moment to talk about what the Piles invention is about.
23 We heard earlier this afternoon about the desktop metaphor and
24 different ways of organizing information consistent with the
25 desktop metaphor. The Piles invention is along the same

1 lines. What the people at Apple came up with when they came
2 up with Piles was this idea of organizing documents on your
3 screen such that you could have a stack of documents, a pile
4 of documents, and you could browse them. So you could click
5 on the top or hover over the top, and the document that that
6 represents would pop up and you could see what it is about.
7 You can scroll down. You can see what another document is
8 about. It is a way to interface with a pile of documents in a
9 more intuitive and visually-appealing way. That is what the
10 patent is about.

11 One of the central points of the patent is that you
12 have to have that pile. You have to have on the screen the
13 pile or the stack that the user interfaces with. And that is
14 what the disputed limitation is about. So the disputed
15 limitation is about -- and there is no disputes that the
16 limitation itself goes to the concept of the pile. Everyone
17 agrees that "graphical iconic representation" goes to the
18 pile. The only question is whether that pile is static and it
19 is a small static stack or whether it can be a dynamic -- it
20 can also be a dynamic stack.

21 And there is a few different things built into that.
22 I am going to break them down, and I think that will be
23 helpful for the Court hopefully. But the primary dispute is
24 whether or not you have to have a single icon that represents
25 your stack. So you have a pile and it is one icon with maybe

1 five documents on it. Even if there is a hundred documents in
2 the system, that icon only represents five or whether you can
3 have a pile that is actually a collection of icons
4 representing all of the different documents in the stack.

5 And the collection can grow as the pile grows, and
6 it can shrink as the pile shrinks. That is the dispute. So
7 Apple's construction is consistent with that idea that a pile
8 is simply -- or "graphical iconic representation" is simply a
9 collection of two or more document icons displayed together.
10 That is the pile.

11 Mirror Worlds' construction has three additional
12 concepts that are meant to limit the claim further, and those
13 three additional concepts are: One, that it is a single icon;
14 two, that it is static; and, three, that it is small. So
15 those are three additional limitations that they want to build
16 into the pile, and I want to talk about each of those very
17 briefly.

18 So the first thing is let's talk first about why a
19 pile is a pile. What the patent says very clearly in the
20 detailed description of the invention and throughout the
21 specification is, is your pile is a collection of document
22 icons. What you do is you add and the pile grows and you
23 subtract and the pile can shrink. And in the pile you have an
24 icon for each document in the pile. Very simple. That is
25 what the detailed description of the invention says. And the

1 summary of the invention describes what I described earlier,
2 which is the concept of allowing the user to browse through
3 this pile. That is the whole point of the invention, a way to
4 browse through a pile that is more accessible to the user.

5 So what are the disputes? The first and I think
6 most important dispute is whether or not this icon has to be
7 static or not. And what the specification says is the icon of
8 the pile, this graphical representation, can be either
9 dynamic, meaning it can shift as documents are added or
10 subtracted, or it can be static. It can be both. And Mirror
11 Worlds is trying to actually limit it to just one of the two
12 embodiments in the specification. And interestingly enough it
13 is trying to limit it to the one that is not described as the
14 preferred embodiment, which as Your Honor knows, is rarely if
15 ever the right way to approach these things. The
16 specification clearly says that the pile is dynamic in the
17 preferred embodiment and can be both, but certainly it should
18 at least be allowed to be dynamic.

19 Another reason why the static representation
20 limitation is improper is that in the file history Apple
21 specifically explained exactly what we have explained in our
22 briefing and I have explained today, which is that the point
23 of this dynamic graphical representation is that you should be
24 allowed to browse through or rifle through documents in the
25 pile. This is, again, a file history statement that confirms

1 that that was the heart of the invention. And this is
2 something that a static representation would not permit.

3 Finally, I think -- this is a relatively minor
4 dispute, but the question is whether Mirror Worlds'
5 construction that the term should be limited to a small static
6 picture is appropriate or not. And I think here the issue is
7 what does it mean to be small? That is an uncertain term and
8 there is nothing in the claim or in the specification that
9 suggests you should limit the icon to something that is small.
10 But, again, that is not really the heart of the dispute. The
11 heart of the dispute goes to whether it is static or not.

12 I think that is it on "graphical iconic
13 representation," unless Your Honor has anything further.

14 THE COURT: Response?

15 MR. STEIN: The claims at issue in this patent are
16 quite clear that those are directed to one of those two
17 embodiments that Ms. Mehta just described. It is directed to
18 the static icon embodiment. We step through in detail the
19 claim language in our briefs. There is only one logical way
20 to interpret those in that claim. It is talking about an icon
21 of the entire collection. The other claims in the patent when
22 referring to the other embodiments that have the dynamic
23 graphical representation, use different terminology in the
24 claim. They talked about selecting a particular document
25 representation or -- a particular document representation

1 within the graphical representation of the collection never
2 referring to it as a "graphical iconic representation." And
3 the file history is incontrovertible on this point.

4 There was a portion of the file history that we
5 cited in our briefs. It is at Page 9 of our responsive brief
6 where the patentee was distinguishing prior art where you
7 could point at an icon, but only one particular type of
8 proxy -- that's the term they used in this patent -- would be
9 displayed no matter where on the icon you pointed.

10 And in distinguishing that, they explained that the
11 same term used in the parent application, that is a "graphical
12 iconic representation of a collection of said first plurality
13 of documents," represents or is directed to the display of
14 selecting a series of indicia including second indicia, third
15 indicia by positioning the cursor on a second and third
16 position respectively -- I am reading from the Office
17 Action -- on the same icon of the collection. It is clearly
18 using those claims to claim a particular embodiment.

19 It is the one with an icon that doesn't use icon in
20 the claims in any other context. "Icon" is a well-known term
21 in the art to refer to a small picture that represents
22 something, an object, whatever it is; and basically that is
23 what -- and, oh, the specification also describes that
24 particular icon claimed here as a small static icon. That is
25 where Mirror Worlds is getting its proposed construction

1 from.

2 THE COURT: All right. What is next?

3 MS. MEHTA: If we could switch over, I think the
4 last thing, Your Honor, is 112, 6. Here there is three
5 limitations, but I think really the same issue applies to all
6 three, so we can be quite quick about this, is the question of
7 what corresponding structure should go to these limitations
8 that cover "a means for displaying and interacting with the
9 pile in the Piles patent."

10 And what Apple has done is it has gone to the
11 specification, as you would in a 112, 6 claim, and looked for
12 the structure that would allow a person to actually perform
13 the claim function. So for the "means for displaying a pile
14 or graphical iconic representation limitation," we have gone
15 and we have looked for what the display means would be. And
16 the specification quite clearly says what they are. It says,
17 "The apparatus of the invention displays graphical
18 representations of a plurality of documents." And then it
19 goes on to say that it does that by a display means such as a
20 video display screen.

21 It also goes on to talk about the controllers that
22 you would use to connect the screen to the operating system so
23 that you can actually interact with it. That is what Apple's
24 proposed construction includes. It includes your display
25 screen and the controllers that allow you to manipulate the

1 display using the user interface.

2 What we have from Mirror Worlds is a very different
3 approach which is similar to their approach on the Mirror
4 Worlds patents, which is rather than look to the specification
5 for the structure, they identify generic executable code that
6 performs the function. And for the reasons Mr. Powers already
7 explained, that is legally insufficient. That is not
8 structure. The specification here in the Piles patent tells
9 us exactly what the structure is for displaying the pile. And
10 that is the corresponding structure to be assigned to the
11 claim limitation under 112, 6, not just any executable code
12 that displays the pile or allows you to interact with the
13 pile.

14 And the same is true for each of the other
15 means-plus-function limitations where, again, Apple has gone
16 to the specification and pulled out the column and line
17 number, exactly what the structure is, a display, a
18 controller, a mouse that allows you to select a document
19 versus just executable code that would perform the function
20 with no algorithm.

21 THE COURT: Okay. Response?

22 MR. STEIN: We don't think there is really a big
23 issue with respect to this term or that particular argument.
24 Our main point is that their proposed construction is
25 basically completely hardware oriented. Basically what they

1 have identified is a means for displaying anything. It is
2 just the display device and drivers. There is nothing in the
3 identification of the corresponding structure that relates to
4 displaying the particular thing that that means for displaying
5 displays.

6 THE COURT: Okay. Thank you.

7 All right. Is that everything?

8 MS. MEHTA: Yes, Your Honor. Thank you.

9 THE COURT: Anything else the Court can help you
10 with today?

11 MR. STEIN: We're good. Thank you.

12 THE COURT: What about where are you with regard to
13 mediation? Have y'all been to mediation yet? Mr. Carroll --

14 MR. CARROLL: I'm told we have, Your Honor.

15 THE COURT: You are what?

16 MR. CARROLL: I'm told we have.

17 MR. POWERS: I can confirm we have.

18 THE COURT: You have?

19 MR. POWERS: Have.

20 THE COURT: Okay. Are you going back?

21 MR. POWERS: I think it was fairly clear from the
22 mediation that we are going to need Your Honor's rulings here
23 to narrow the gap. So I suspect in the normal course when we
24 get Your Honor's constructions, we will probably be back
25 before a mediator again. I'm sure both parties would support

1 that.

2 MR. DIAMANTE: Yes, Your Honor, once we have the
3 official rulings, we probably will go forward with the
4 mediation. We have no problem with that, Your Honor.

5 THE COURT: When are you set for trial?

6 MR. POWERS: September.

7 THE COURT: Of this year?

8 MR. DIAMANTE: Yes, sir.

9 THE COURT: Would it be helpful to you for me to get
10 you a preliminary ruling of claim constructions and then get
11 the opinion to you in a month or six weeks, or would you
12 rather wait on the full opinion.

13 MR. POWERS: We would, Your Honor.

14 MR. DIAMANTE: Six weeks would be fine, Your Honor.

15 THE COURT: I will see if I can do that. Realize I
16 can always change them. I am -- usually I pretty much
17 stick -- when I issue a preliminary it will pretty much stay
18 the same. I'm not inviting further briefing on it. If you
19 don't like it, you will just have to live with it

20 All right. Thank you very much. Be adjourned.

21 (Hearing concluded.)

22

23

24

25

C E R T I F I C A T I O N

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I certify that the foregoing is a correct transcript from the record of proceedings in the above-entitled matter.

/s/ Shea Sloan
SHEA SLOAN, CSR, RPR
OFFICIAL COURT REPORTER
STATE OF TEXAS NO. 3081