

EXHIBIT 14

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF TEXAS
TYLER DIVISION

BEDROCK COMPUTER)
TECHNOLOGIES LLC)

DOCKET NO. 6:09cv269

-vs-)

Tyler, Texas
8:50 a.m.

YAHOO!, INC.)

April 29, 2011

TRANSCRIPT OF TRIAL
MORNING SESSION
BEFORE THE HONORABLE LEONARD DAVIS,
UNITED STATES DISTRICT JUDGE

A P P E A R A N C E S

FOR THE PLAINTIFF:

MR. DOUGLAS A. CAWLEY
MR. THEODORE STEVENSON, III
MR. SCOTT W. HEJNY
MR. JASON D. CASSADY
McKOOL SMITH
300 Crescent Court, Ste. 500
Dallas, TX 75201

MR. ROBERT M. PARKER
MR. ROBERT CHRISTOPHER BUNT
PARKER, BUNT & AINSWORTH
100 E. Ferguson, Ste. 1114
Tyler, TX 75702

COURT REPORTERS:

MS. JUDY WERLINGER
MS. SHEA SLOAN

Proceedings taken by Machine Stenotype; transcript was
produced by a Computer.

1 ANSWER: Yes.

2 QUESTION: And, again, you said that when
3 you sent e-mails to Mr. Absher, you had no reason to be
4 dishonest; isn't that true?

5 ANSWER: No. Absolutely.

6 QUESTION: Now, isn't it true, in that
7 paragraph, sir, you wrote to Mr. Absher stating: My
8 analysis showed that the code written by me does not
9 actually collide with the aforementioned patent. My
10 code uses quite different techniques?

11 ANSWER: Yes.

12 QUESTION: And isn't it also true, sir,
13 that the current Linux kernel actually contains logic,
14 which could be considered infringing the patent?

15 ANSWER: Yes.

16 QUESTION: Okay. And isn't it also true
17 that you could not find any references describing the
18 idea in the patent before 1999? Isn't that true, sir?

19 ANSWER: No. It was a mistake.

20 Actually, I found a lot of references
21 dated back to 1985 about this technique. I just didn't
22 have any references at that point.

23 QUESTION: Well, sir, in the e-mail
24 that's Exhibit 8, you've already said that you were
25 truthful when you wrote this e-mail, right?

1 ANSWER: Yes, I was truthful. I didn't
2 lie. I just didn't have the information. I got it --

3 QUESTION: I understand. But in this
4 e-mail, didn't you write: I could not find any
5 references describing the idea before 1999?

6 ANSWER: I found them quickly after that.
7 To December 15, I have already investigated the case and
8 find all the papers, found who -- I didn't find actually
9 who invented this technique, but I found investigations
10 of analysis of technique dated ten years before that, at
11 least 1985.

12 QUESTION: Okay. Well, sir, my question
13 is, in this e-mail, isn't it true that you wrote: I
14 could not find any references describing the idea before
15 1999?

16 ANSWER: No. It is not true. I was
17 truthful when I wrote it, but I just didn't have that
18 information. So this sentence is not true.

19 I am truthful, but -- I am truthful, but
20 statement is not true. I didn't lie, but the statement
21 is not true. I just didn't know that it wasn't true at
22 that time when I wrote this.

23 QUESTION: Now, Mr. Kuznetsov, isn't it
24 true, sir, that the Defendant's position can be
25 difficult to defend, and you believe that they should

1 seek an expert in loopholes of patent rules?

2 ANSWER: Yes. Yes, I wrote that as well.

3 QUESTION: Is it a true statement, sir,
4 that the Defendant's position can be difficult to
5 defend, and you believe they should seek an expert in
6 loopholes of patent rules?

7 ANSWER: No. It was a wrong statement.
8 I thought that it's true when I wrote this. But after I
9 remembered that this code is actually inherited from my
10 code of 1995, I returned my opinion to the opinion which
11 I had a year ago. It is not true.

12 QUESTION: Yes or no, Mr. Kuznetsov, did
13 you say you should seek an expert in loopholes of patent
14 rules?

15 ANSWER: Yes.

16 QUESTION: Okay.

17 ANSWER: This is not true.

18 (End of video clip.)

19 THE COURT: All right. Thank you.
20 Who will be your next witness?

21 MR. CAWLEY: Your Honor, at this time, we
22 would call to the stand Mr. David Filo.

23 THE COURT: All right, Mr. Filo.

24 MR. CAWLEY: May I proceed, Your Honor?

25 THE COURT: Yes, you may.

1 behind. It's not -- is not this enough to invalidate
2 the patent?

3 Q. Exactly. And we will hear the rest of his
4 deposition this afternoon, correct?

5 A. That's my understanding.

6 Q. And have you had an opportunity to look at
7 the -- I think Mr. Cawley asked you and you said you had
8 had an opportunity now to look at the -- what we call
9 the old prior art Linux code or the Kuznetsov code or
10 the '95 code?

11 A. Yes, ma'am.

12 Q. And do you have a copy in front of you, sir?

13 A. I do.

14 Q. And what language is this code written in?

15 A. This is written in C.

16 Q. And do you read and program in C?

17 A. Yes, ma'am.

18 MS. DOAN: We're on Exhibit 48, and it
19 starts around Page 132, I think, is where the lines are.

20 Q. (By Ms. Doan) And have you reviewed this old
21 prior art '95 Linux code?

22 A. Yes, ma'am, I have.

23 Q. And what version are you looking at, please,
24 sir? Is it 2.0.1?

25 A. I'm looking at -- yes, 2. -- well, sorry.

1 This is Linux 2.0.1, that's correct.

2 Q. Okay. And you understand that there's three
3 different versions that we're all talking about, all
4 basically have the same type of code in it, correct?

5 A. Yes, ma'am. Well, three versions? Sorry.

6 Q. Right. Of the old Linux code?

7 A. The old Linux code, yes.

8 Q. Okay. And does Exhibit No. 48, the old Linux
9 code, have on-the-fly garbage collection with a hashing
10 table and external chaining?

11 A. Yes, ma'am.

12 Q. And can you tell us where that is in the prior
13 art?

14 A. Sure. So -- I'm not sure I have exactly the
15 same thing you have, but let me try.

16 If you could go to Line -- let's start at Line
17 1446.

18 That's not it. I'm going to need -- I
19 don't -- this is a different -- try 1365. I have two
20 different printouts here. Sorry.

21 Q. Okay. That's fine. 1365?

22 A. Yes, that's correct.

23 Q. Okay. Does that match the version we're
24 talking about?

25 A. Yes, that matches what I have here.

1 Q. Exhibit 48? Okay.

2 A. Yes.

3 Q. And tell us what where -- where in the code,
4 in the 1995 Alexey Kuznetsov code, it has on-the-fly
5 garbage collection with external chaining and a linked
6 list.

7 A. Okay. Well, this is -- if we could go back
8 previously to the code -- but this is a hash -- this is
9 within a hash table. And Line 1365 represents the
10 beginning of walking the linked list within the hash
11 table.

12 I think you've seen this structure before,
13 where you have a while loop, and that represents -- you
14 know, this while loop that starts on Line 1365 and ends
15 on Line 1383, that is the code that represents walking
16 the linked list. And, again, I think we've looked at
17 this before in some other examples.

18 And the idea is you start with the first
19 record and you iterate through. While we're walking
20 through the list here, if you look at Line 1369, we will
21 see that there is a check to see if -- here we see this
22 Cache_TIMEOUT, and basically what this is doing is
23 checking to see if this particular record in the linked
24 list has expired.

25 We identify that it has expired, and

1 immediately in Lines -- well, in the Lines 1372 through
2 1378, that is where it's removing the expired record.

3 And so this is, again, all within the same
4 access of the linked list while we're walking it. It
5 has identified and removed the expired record.

6 And if you go down further to Line 1382,
7 that's just updating the pointer to continue walking the
8 list.

9 And then, as I said, as you drop down to 1384,
10 you now have exited the list; and you have completed
11 walking the list.

12 Q. All right. So that on Line 13 -- 1378 there,
13 it says `rt_free(rth)`. Can you tell us what that means?

14 A. `Rt_free`, that is what is removing -- well,
15 it's in combination with 13 -- it's actually a
16 combination of 1372 through 1378. You have to do those
17 multiple operations to do the actual removal. And
18 that's kind of the final step in removing that record.

19 Q. That removes the record?

20 A. That's correct.

21 Q. Okay. So does Exhibit No. 48, the lines we
22 just went over, does that --

23 A. But it doesn't -- the record was actually
24 removed above that --

25 Q. Okay.

1 A. -- in 1372. What that does is actually free
2 the memory. As we've talked about before, once the
3 record is kind of deleted from the list, it is now
4 rt_free on Line 1378, is what is returning the record to
5 the operating system to be used for something else.

6 Q. I see. So what is the line that actually
7 removes the record from the external chain?

8 A. Actually, it's 1372, which is what changes the
9 pointer and skips over and is effectively taking that
10 record out of the list.

11 Q. Okay. So does Exhibit No. 48 -- Defendant's
12 Exhibit No. 48 describe on-the-fly garbage collection
13 with external chaining in a linked list?

14 A. Yes, ma'am, it does.

15 Q. Does it also have the automatic removal of
16 expired records?

17 A. Yes, ma'am. I talked -- just talked about
18 that. It identifies the records and removes them while
19 it's walking the list.

20 Q. And this code in Exhibit No. 48 was available
21 in 1995 and 1996, approximately one to two years before
22 the '120 patent was even applied for, correct?

23 A. Yes, ma'am.

24 Q. I think you talked about this a little bit
25 earlier. Yahoo! had Linux in late '95 or early '96?

1 A. That's correct.

2 Q. Does the 1995 -- does DX Exhibit No. 48
3 invalidate the '120 patent?

4 A. I believe it does.

5 Q. And, of course, you read the patent?

6 A. Right.

7 Q. And you studied it since your deposition --

8 A. Yes, ma'am.

9 Q. -- to be able to come and talk to us here
10 today about it?

11 A. Yes, ma'am.

12 Q. And you've reviewed other patents in the past?

13 A. I have.

14 Q. And you've reviewed Judge Davis' claim
15 construction?

16 A. Yes, I have.

17 Q. And you are applying the terms as Judge Davis
18 has construed them in this patent?

19 A. Yes, ma'am.

20 Q. Thank you, sir.

21 Have you also reviewed the NRL code?

22 A. I have.

23 MS. DOAN: Casey, I believe that is
24 Exhibit 215 -- oh, 37. I'm sorry. 37.

25 Q. (By Ms. Doan) Do you have that in front of

1 you, sir?

2 A. Yes, ma'am. I hope these lines match up.

3 Q. Well, I have NRL Code No. 37, and it's dated
4 9/28/1995 in the upper right-hand corner.

5 Is that what you have?

6 A. I have the file before that.

7 Q. The first page?

8 A. But this actually looks a little bit
9 different, but, again, I think the line numbers will
10 match up.

11 Q. Okay. You've got key.c; is that right?

12 A. Yes, ma'am.

13 Q. Okay. So the lines should match up?

14 A. They should.

15 So if you go to Line, I guess, 1332, to see if
16 it matches.

17 It does not match. Sorry.

18 Q. That's okay. Let me give you my copy of
19 Exhibit No. 37.

20 A. Okay. It would be Line 1397.

21 Okay. Sorry. We're close.

22 All right. So that says key acquire. That's
23 just the -- that's the function I guess that I'll talk
24 about first. Let's go to --

25 Q. Are --

1 A. Sorry.

2 Q. That's all right. Go ahead.

3 A. This code is a little harder to read. It's
4 got a lot of kind of debugging, slash -- debugging
5 information that kind of confuses things, but...

6 Q. What is debugging information?

7 A. It's information that the computer prints out
8 to explain what's happening, for humans to read. And so
9 instead of just doing kind of its work to run the
10 computer, it's also printing this stuff out.

11 Q. Is this also written in the language or the
12 computer language C?

13 A. Yes, it is.

14 Q. And, of course, you read and write in C?

15 A. Yes, ma'am.

16 Q. Now, I think we covered this earlier, but
17 Exhibit No. 37 is the key.c file to the NRL code; is
18 that right?

19 A. Yes, ma'am.

20 Q. Okay. And where are we -- what's happening on
21 Line 1397?

22 A. Well, that's just the start of it. I wanted
23 to check to see if it was same.

24 If you go down to Line 1431, this represents
25 the -- where you see the word "for," unfortunately, this

1 is a little different than what we've seen in the past.
2 When we've looked at walking a linked list, we've seen
3 the word "while."

4 This "for" is very similar to that, almost
5 equivalent. It's got some other stuff in there, but,
6 effectively -- I don't want to go into too much detail
7 here, I think, but the for loop -- it's called a for
8 loop instead of the while loop, and it's very similar to
9 that.

10 And if you go down to -- you know, that for
11 loop extends from 1431 down to 1459.

12 Q. 1459?

13 A. Yes, ma'am.

14 So that represents the loop that is walking
15 the list.

16 Q. Okay.

17 A. So, again, this represents a linked list and
18 it is -- this code is walking that list, and we can see
19 at the top, it says for. And it says ap = key
20 acquirelist next; ap; ap = ap next). The ap = ap next
21 on 1431, that represents moving the pointer to the next
22 record.

23 Q. Okay.

24 A. If you look at Line -- and I don't want to go
25 through all this code, but if you look at Line 1445, we

1 look for a condition that checks to see, in this case,
2 has the record expired. And you can kind of see ap
3 expiretime is less than time.tv_sec.

4 This is identifying expired records while it's
5 walking the list, and then what it does with that -- in
6 fact, if you read the comments -- and, again, this is
7 not the computer code but it's comments, so it may not
8 necessarily match. But it says since we're already
9 looking at this list, we may as well delete expired
10 entries as we scan through the list.

11 And if you look down at Line 1454 and 145 --
12 well, 1454 removes the record from the list. And then
13 we have a similar free, as we had before with the
14 rt_free in 1455, that frees up the memory to give it
15 back to the operating system to do something else.

16 Q. So Lines 1431 through 1459 are the part that's
17 the on-the-fly garbage collection while walking a linked
18 list; is that right?

19 A. That's correct. So this is a linked -- yes.

20 Q. Okay. And is there another part of this same
21 file, key.c in the NRL code, that talks about the hash
22 table with external chaining?

23 A. Yes, ma'am. It's going to be -- I'm going to
24 have to find it again, but around 615. Let's see if
25 it's close. Oh, 6 -- 6 -- 649.

1 Q. Okay.

2 A. So there are many examples in this file that
3 look at hash table or that -- this file is full of
4 routines and stuff that work on a hash table with
5 external chaining.

6 But I'll take you to Line 675, and the code
7 says `prevnode = &keytable [indx]`. And what that
8 represents, the key table is the hash table. The index
9 is -- in this case, it's being passed into the code.
10 That's the hash value.

11 And this next part of the code is, again,
12 walking -- is walking the linked list.

13 Q. Okay.

14 A. So this part of it -- sorry. So 676 is,
15 again, this for loop construct that I talked about.

16 And, again, you can see where it walks the
17 list by starting at the front, which is the `keynode =`
18 `keytable [indx].next`. And then it advances the pointer
19 to go to the next element by -- at the -- the last part
20 of that which says `keynode = keynode.next`), it starts on
21 676. It ends on 685. This code is a little --

22 Q. We can tell that from sort of the closed
23 bracket or closed paren?

24 A. Well, actually, so -- yeah, it stops at 682.

25 Q. 682?

1 A. And the point of this isn't so much to talk
2 about walking the list, but it's just to show that this
3 is a hash table, and the hash table has -- each element
4 of the hash table is an external chain or linked list.

5 Q. Okay.

6 A. So this represents a hash table with external
7 chaining.

8 Q. So Lines 675 to 682 of Exhibit No. 37
9 describes a hash table with external chaining; is that
10 correct?

11 A. Yes, ma'am, although you have to kind of go --
12 the keytable -- I think that's -- that's accurate, yes,
13 ma'am.

14 Q. All right. So within the key.c of the NRL
15 code, you had one part of the code that talked about a
16 hash table with external chaining, and another part of
17 the card -- code that talked about on-the-fly garbage
18 collection with a linked list; is that right?

19 A. Yes, ma'am.

20 Q. And combined these two references, these two
21 lines, sections of lines that you've talked about from
22 the NRL code, do they invalidate the '120 patent?

23 A. I believe they do.

24 Q. And would these have been -- the hash table
25 with external chaining and linked list within on-the-fly

1 garbage collection, would that have been something to --
2 that was well-known that you could interchange within
3 the -- a person of ordinary skill in the art back in
4 1995?

5 A. I believe so. And we've talked earlier about
6 the -- the hash tables with external chaining that's
7 been well-known back to the '70s or '60s, even further
8 back.

9 And the part that we looked at earlier which
10 walked the list, identified the expired entries and
11 removed them, that represents walking the list and
12 automatically expiring some of the -- or automatically
13 removing some of the expired records. So those two
14 concepts are in this file.

15 I think the concept of walking the list, this
16 represents -- I don't know how far back that goes. I
17 wouldn't suggest it goes back to the '60s, but this is
18 an example in 1995 that represents that concept. So
19 those two concepts together, my belief is, invalidates
20 the patent.

21 Q. Okay. And combined together would be under
22 the theory of obviousness, right?

23 A. Yes. I mean, external -- external chaining in
24 a hash table or, again, a linked list, that linked list,
25 if there's some way to operate on a linked list that's

1 found -- discovered elsewhere, that's obvious to apply
2 that to any use of a linked list.

3 In this case, the linked list happens to be in
4 the hash table. Linked list could be used for lots of
5 different things. They could be used standalone. They
6 could be used in hash tables. They could be used in
7 other data structures.

8 So taking the capability of automatically
9 expiring, automatically removing expired entries in a
10 linked list and combining that with the hash table is,
11 to me, very obvious.

12 Q. Okay. And does the NRL code inval --
13 invalidate the '120 patent, in your opinion?

14 A. I believe it does.

15 Q. All right. And, of course, you know that Dan
16 McDonald will be testifying later on in this case about
17 this to explain the e-mail that Mr. Cawley was talking
18 about?

19 A. Yes, ma'am.

20 Q. Now, if you would review the actual accused
21 code in this case, 2.6.9 and 2.6.18?

22 A. I have.

23 Q. And you're aware that the reason we focus on
24 those two is because the majority of the 196,000 servers
25 with the accused Linux candidate code are in these two

1 versions; is that right?

2 A. Yes, ma'am.

3 Q. So, for example, he -- well, I don't know
4 where it went anymore.

5 So, for example, there are two versions of
6 generation ID code. Do you recall that, that Dr. Jones
7 went through yesterday?

8 A. Yes, ma'am.

9 Q. But there's only one offline server at Yahoo!
10 with each of those two versions, right?

11 A. There used to be.

12 Q. Okay. So the vast majority of the servers
13 we're talking about is in 2.6.9 and 2.6.18, right?

14 A. Yes.

15 Q. All right. Now, let's look at 2.6.9, and I
16 believe that's Exhibit No. DX -- Defendant's Exhibit
17 No. 74.

18 A. Yes, ma'am. I have that one this time.
19 That's good.

20 Q. And does 2.6., this Linux candidate code
21 version, identify a record in the same access of a
22 linked list?

23 A. No, it does not.

24 Q. How do you know that?

25 A. Well, looking at the code -- and we have --

1 you guys, you have looked at this code before. So if we
2 go down to Line --

3 Q. I think they have a copy of the code, the
4 2.6.9.

5 A. Okay.

6 Q. And is that --

7 MS. DOAN: Is that the green version or
8 the yellow version? Who's got our copy?

9 Q. (By Ms. Doan) It says 2.6.9 at the top?
10 2.6.9?

11 A. Yes. Sorry. Yes, this is -- again, I have
12 the Exhibit 74.

13 And so at Line 776 --

14 Q. 776?

15 A. -- that's the rt_intern_hash function that we
16 have been talking about.

17 And if we go down to Line 795, I think you
18 will be familiar with the while loop that begins walking
19 the linked list. And I'll skip over the rest of it,
20 because I think you are somewhat familiar with it.

21 But 795 begins walking the list and the end of
22 that list is -- sorry -- the end of that while loop is
23 on 836.

24 Q. Okay.

25 A. And, again, we've looked at this, but this is

1 the while loop that walks through the list, each entry;
2 and while it's walking through the list, one of the
3 things it does is it scores each -- each record.

4 Q. How do we know that? Where are those lines?

5 A. Yes. So that's on Line 826 through 829.

6 Q. 826 through 829?

7 A. Sorry. 824 through 829.

8 Q. Okay.

9 A. The 824 line is actually computing the score,
10 and then we're keeping track of the lowest score in 826
11 through 829.

12 So this is walking the list and is identifying
13 records or -- it's identifying candidates.

14 And on 835, that's near the end of the while
15 loop. That's updating the pointer, which goes to the
16 next record. And, again, the while loop that begins
17 Line 795 is completed on 836.

18 So by the time we get to Line 838, we have
19 completed walking the list. We have gone through every
20 single element and analyzed every single element, and we
21 have effectively walked off the end of the list. And we
22 have completed the access of that list.

23 Q. So that would be between -- the first access
24 of the list is between 795 and --

25 A. 837.

1 Q. -- 837?

2 A. Yes.

3 Q. Okay. And what happens in that first access
4 between 795 and 837?

5 A. Well, there are a couple of things. It looks
6 for a match, but assuming there's no match, it's
7 doing -- it's doing the scoring for the candidates and
8 keeping track of the lowest score.

9 Q. Are there any records that are removed in the
10 Linux candidate code 2.6.9 in the first access -- or the
11 first walking all the way down the list from 795 to 837?

12 A. No, ma'am. As in the earlier example, we saw
13 where it actually removed it while it was walking the
14 list. In this example, there is no removal while it's
15 walking the list.

16 Q. Where does the removal take place?

17 A. It takes place after the first access, and you
18 see the lines 838 down to -- call it 847 where it's
19 checking to see if there's a candidate and to see how
20 long the linked list is. I guess -- and you guys,
21 again, have looked at this code and are possibly
22 familiar with it.

23 And on Lines 846 and 847 -- well, 846 is what
24 takes it out of the list, and 847 is what's freeing the
25 memory to go back to the operating system.

1 Q. So Lines 838 to 847 would be the second
2 access?

3 A. Yes, ma'am. That's going back and accessing
4 the list a second time. Again, the first list was
5 completed at 837.

6 Q. And you understand that Judge Davis has
7 instructed us that the '120 patent would require, when
8 the linked list is accessed, both identification and
9 removal of expired records occurs during the same access
10 of a linked list; is that right?

11 A. Yes, ma'am.

12 Q. And do both identification and removal of the
13 record happen in the same access in the 2.6.9 code?

14 A. No, ma'am.

15 Q. Now, we heard something yesterday about like a
16 spin_lock, spin_lock from Dr. Jones.

17 Do you recall that?

18 A. Yes, ma'am.

19 Q. Okay. So does the existence of a spin_lock or
20 spin_unlock in the Linux candidate code determine the
21 access?

22 A. No, ma'am. And again, there's no lock --
23 there's no mention of a lock in the patent, and so, you
24 know, locks are -- locks are fairly arbitrary in where
25 they get placed in computer code. It can vary a lot,

1 depending on what type of lock you use and that type of
2 thing.

3 And so I think, again, as has been talked
4 about, there is no mention of the word lock in the
5 patent. So I don't see how that would -- would apply
6 to -- to considering where the access is.

7 Q. Okay. And as a technician, as you are, why
8 would a lock not define the access? Why would a lock
9 matter or not?

10 A. Why would a lock matter or not?

11 Well, again, there are many different ways to
12 do locking, and you could -- you could lock huge parts
13 of the operating system and do many, many operations
14 while you have things locked.

15 And, you know, there are things like giant
16 locks in kernels that lock the system for very long
17 periods of time, do many, many operations. And to
18 consider all that stuff to be a single access just is
19 kind of a silly idea.

20 Q. All right. And if you look at Exhibit No. --
21 it's DX77, that's the 2.6.18 Route.c?

22 A. Yes, ma'am.

23 Q. 77. That's another version of the candidate
24 code?

25 A. Yes, ma'am.

1 Q. Are -- I know the line numbers are different,
2 but essentially is it the same thing, walking the --

3 A. Yeah. As has been talked about earlier, the
4 differences between 2.6.9 and 2.6.18 are mostly for the
5 purposes of -- these discussions are cosmetic. There
6 are differences in the actual code, but not important to
7 walking the list, identifying records, removing them,
8 and et cetera.

9 Q. Sure. So we all have our copies of the green
10 code.

11 A. Oh, sorry.

12 Q. Can you just take us and tell us which
13 lines --

14 A. Yes. Right.

15 Q. -- are the first access and the second?

16 A. So Line 937 --

17 Q. Okay.

18 A. Oh, no. Sorry. I'm sorry. Line 934.

19 Q. Hold on a second. Mr. Morisseau just pointed
20 out to me, I think the green code is actually a version
21 of 2.6.27. It's not 2.6.18 that we're talking about
22 here.

23 So do we have an example of 2.6.18 to show the
24 jury?

25 MR. MORISSEAU: The jurors do not have

1 that.

2 MS. DOAN: They don't have that? Okay.

3 THE WITNESS: We can show it on the
4 screen.

5 MS. DOAN: We can show it on the screen.

6 Sorry about that. That's fine. Sorry
7 about that.

8 A. I think I said 934 -- or Line 934. So that's
9 exactly what we just looked at. It's the while loop.
10 Starts at 934, and this while loop ends on 9 -- or 7 --
11 sorry -- 979.

12 Q. (By Ms. Doan) Okay.

13 A. And then --

14 Q. And that was the first access?

15 A. That's the first access. That's walking the
16 list, looking at every single record in the list. You
17 see the scoring on 967.

18 Q. I tell you what. Hold on one second.

19 MS. DOAN: Judge Davis, we have a copy of
20 the 2.6.18 code. Can we pass them out to the jury real
21 quick so they can follow along?

22 Judge Davis, we actually have copies of
23 this code. Can we pass it out to the jury real quick?

24 THE COURT: That's fine.

25 (Pause.)

1 Q. (By Ms. Doan) All right. This is Defendants'
2 Exhibit 77. And I'm sorry. I think you were on Line
3 934 to 979?

4 A. Yes. 934, which, again, is the start of the
5 while loop. And that while loop goes from 934 down to
6 979.

7 Q. How do you know that the first access ends
8 there as opposed to what Dr. Jones was telling us
9 yesterday?

10 A. Well, again, at 979 -- or sorry -- 980, which
11 is the first time you've -- you know, have completed the
12 while loop -- 980 isn't really any code, but it's kind
13 of blank. And so the next statement to execute would be
14 981.

15 But at that point, when you've completed the
16 while loop, you have gone through every single record,
17 and you have analyzed every single record, and you have
18 walked the entire list, and you've effectively -- like
19 as I said, you've walked off the end of the list.

20 Q. So you're at the very end of the list?

21 A. And you looked at the last pointer, and it was
22 empty, and so now you're -- have nowhere to go, so
23 you're coming off the list.

24 Q. So once you go all the way -- that was -- when
25 Dr. Jones talked about yesterday with the sticky notes,

1 it was all the way to the eight records at the end of
2 the linked list?

3 A. And you go to the ninth record and you realize
4 there is none, and that's when you stop the loop.

5 Q. You have to go back into the --

6 A. That's the end of that access.

7 Q. Okay. And so where does the second access
8 take place?

9 A. Again, this is very similar to the previous
10 code we just talked about, and that's Line 981 where it
11 checks to see if there are any candidates. And if there
12 are and the list is longer than eight or whatever the
13 elasticity is set to, it removes the record from the
14 list in Line 989, and then it frees and returns to the
15 operating system, the memory associated with that, on
16 Line 990.

17 Q. And again, with respect to 2.6.18, is your
18 same analysis, with respect to -- does it matter about
19 the spin_lock/unlock?

20 A. It's the same.

21 Q. And does the 2.6.18 remove expired records as
22 required by Judge Davis in his claim construction?

23 A. I don't believe they do -- it does.

24 Q. Okay. And why not?

25 A. Well, so if you look at -- go back up to Line

1 967 and look at that -- look down through 973, call
2 it --

3 Q. 973?

4 A. Well, just do a --

5 THE WITNESS: Yeah, there you go.

6 Well, do it in one highlight. That's
7 confusing. Can you just do one highlight instead of
8 two?

9 Q. (By Ms. Doan) Yeah. So from 9 -- give me
10 those -- the spread of those lines. From 966 to --

11 THE WITNESS: Just do one highlight
12 that's 966 -- or no -- sorry. Yeah, 967 -- okay.

13 That's fine. But it doesn't line up, so
14 if you could just kill the highlights and do -- kill the
15 other one, and do 967 through whatever I said, 9 -- or
16 972.

17 MS. DOAN: Can you do that if it's on
18 different pages? He has two pages, so he can't really
19 do that.

20 THE WITNESS: Oh, sorry. Sorry. I
21 didn't understand that.

22 MS. DOAN: That's okay.

23 THE WITNESS: Okay. All right. So it's
24 not going to quite line up, but...

25 A. All right. Okay. So this -- and Lines 967,

1 this is computing the score for that record in the list.
2 And this score is -- there's not a score about whether
3 the record is obsolete; this is a score -- has a bunch
4 of different factors that go into it, but it's simply a
5 score. And then we keep track of the lowest score.

6 And so in some sense, this is like pulling
7 straws; and because the score that's lowest is going to
8 get kicked out, it's kind of pulling straws, and the one
9 with -- whoever ends -- the record with the smallest
10 straw is going to get kicked out.

11 Now, it has nothing to do with whether the
12 record is expired or obsolete. These records that are
13 identified are all valid data; they are useful in the
14 future; and they could be used to do the -- you know,
15 the route lookup if it was there.

16 So there's nothing obsolete about these
17 entries. And again, we're just kind of picking one
18 somewhat at random, which one to pull out, and these are
19 all useful data and not obsolete or expired.

20 Q. So by applying Judge Davis's claim
21 construction of the word expired, which says obsolete
22 and, therefore, no longer needed or desired in the
23 storage system because of some condition, event, or
24 period of time, the 2.6.18 and 2.6.9 Linux code do not
25 meet that definition of expired record?

1 A. Yes. We've looked at the 2.6.18, but the
2 same -- the same reasoning applies to 2.6.9, which that
3 code is -- I believe it's identical character for
4 character.

5 Q. In your opinion, does the Linux candidate
6 code -- sorry.

7 In your opinion, does the Linux candidate code
8 infringe the '120 patent?

9 A. I believe it does not.

10 Q. And whether it's Version 2.6.18 or 2.6.9 or
11 any of the other versions that we covered yesterday with
12 Dr. Jones?

13 A. Anything with the candidate code, I believe,
14 does not.

15 Q. Okay. You haven't looked at the generation ID
16 code?

17 A. I haven't looked at that.

18 Q. Why not?

19 A. I saw it for the first time -- we have --
20 sorry. We had two servers running that code. These
21 servers had never performed a single function for the
22 Yahoo! website. They were in a test lab. Once we
23 realized that they were running generation ID code, we
24 immediately wiped those servers clean.

25 So we no longer have those. We had them. I

1 didn't think it was important to look at that, given
2 that the other 196,338 servers or whatever have the
3 older 2.6.9 and 2.6.18, so that's what I focused on.

4 Q. Okay. Thank you, sir. I want to switch gears
5 for a little bit --

6 A. Sure.

7 Q. -- and talk about now denial of service
8 attacks, because I know that's something that Mr. Cawley
9 covered with you as well.

10 A. Yes, ma'am.

11 Q. Has Yahoo!'s system ever been a target for a
12 denial of service attack?

13 A. Yes.

14 Q. And was Yahoo! a target for denial of service
15 attacks in 2005 before the candidate code was ever
16 written?

17 A. Yes, ma'am.

18 Q. And was Yahoo! a target for denial of service
19 attacks after the candidate code in the Linux operating
20 system?

21 A. Yes, ma'am.

22 Q. When you say we're a target for a denial of
23 service attack, what do you mean by that?

24 A. Target just means, you know, we -- there are a
25 lot of people out there trying to do malicious things,

1 and we have a lot of services we offer, and we serve a
2 lot of people, and we are one of the biggest targets on
3 the web. And because there are a number of people that
4 are out there doing malicious things, we end up being a
5 target for many of them.

6 Q. I had one other question about this code. I'm
7 so sorry. Do you mind going back to that in 2677 --
8 Exhibit No. 277 (sic)?

9 A. Okay.

10 Q. Because I want to make sure -- we're all
11 talking about so many different lines of code. The
12 2.6.18 code, that's the entire Route.c; that's the
13 entire route cache, correct?

14 And if you'll look at the very front page, it
15 says at the top front line --

16 A. Yes, ma'am.

17 Q. -- jEdit - Source Code_2.6.18_route.c?

18 A. Yes.

19 Q. Okay. That's the entire route cache?

20 A. I haven't verified that this exhibit
21 represents every single line of that file, but the route
22 cache is in that file.

23 Q. There's basically -- I think the copy we have
24 has about 3178 lines?

25 A. I think mine has -- mine has a few more than

1 that, but --

2 Q. Actually, it does. It's got 3214, right?

3 A. 3214. Yeah.

4 Q. 3214 --

5 A. 3214. I mean, I haven't -- I haven't
6 actually -- I mean, I have downloaded the source code,
7 but I haven't compared it to this to see if they're
8 actually -- this printout matches what you can download.

9 Q. Okay. And basically, Bedrock is talking about
10 41 of these lines in 2.6.18, correct?

11 A. My understanding is it's about 40. I haven't
12 counted it personally.

13 Q. Okay. And you mentioned that you had
14 downloaded this code recently?

15 A. Yes, ma'am.

16 Q. Why did you do that?

17 A. Well, for purposes of analysis. I downloaded
18 both the 2.6.9 Linux kernel and the 2.6.8 Linux kernel.

19 Q. And can any of us do that in the courtroom?

20 A. Yes, ma'am. It's readily available. Many
21 places you can go, but kind of -- source of truth or
22 the -- kind of the main place to go would be kernel.org.

23 Q. And we're showing a webpage up here from
24 www.kernel.org?

25 A. Correct. And this has -- you can pretty much