

Exhibit I

1 IN THE UNITED STATES DISTRICT COURT
2 FOR THE EASTERN DISTRICT OF TEXAS
3 TYLER DIVISION

3 WI-LAN, INC.)
4) DOCKET NO. 6:10cv521
5 -vs-)
6 ALCATEL-LUCENT USA, INC.,) Tyler, Texas
7 ET AL) 12:09 p.m.
8) July 12, 2013

7 *****

8 WI-LAN, INC.)
9) DOCKET NO. 6:13cv252
10 -vs-)
11 HTC CORPORATION,
12 ET AL)
13

14 TRANSCRIPT OF TRIAL
15 AFTERNOON SESSION
16 BEFORE THE HONORABLE LEONARD DAVIS,
17 UNITED STATES CHIEF DISTRICT JUDGE, AND A JURY

18
19
20 COURT REPORTERS: MS. SHEA SLOAN
21 MS. JUDY WERLINGER
22 211 W. Ferguson
Tyler, Texas 75702
shea_sloan@txed.uscourts.gov

23
24 Proceedings taken by Machine Stenotype; transcript was
25 produced by a Computer.

1 THE COURT: Yes, you may.

2 MR. BORGMAN: Try this one.

3 THE WITNESS: Thank you.

4 MR. BORGMAN: Is that one any better?

5 THE WITNESS: I don't know.

6 MR. BORGMAN: May I approach again, Your
7 Honor?

8 THE COURT: Yes, you may.

9 MR. BORGMAN: See if that works.

10 THE WITNESS: Yes, this one works.

11 Q. (By Mr. Borgman) All right. You were going to
12 tell us, I believe, why IS-95-A fails to disclose TDM
13 techniques, in your opinion?

14 A. Yes.

15 So within IS-95-A, there is this channel
16 called the paging channel, and it does provide for
17 transmission of messages in certain assigned slots. But
18 these slots are rigorously set up, and they are
19 allocated to an individual mobile, an end phone.

20 So -- and the thing that actually assigns the
21 data within that channel is the mobile station's MIN.
22 That stands for mobile identity number. In other words,
23 it's an indication of the handset that the data is going
24 to.

25 So within this paging channel, it doesn't look

1 at characteristics of the data. It doesn't look at the
2 data that it's about to send and make -- and say this is
3 a particular type of data. It actually just looks at
4 which unit this mobile is actually going to be sent to,
5 and it sends it to that.

6 There's no characteristics of the data taken
7 into account as part of this.

8 Q. Why is the mobile station, MIN, another
9 acronym -- what's MIN?

10 A. MIN stands for mobile identification number.

11 Q. Why is the mobile station's MIN not data --
12 excuse me -- characteristics associated with the data
13 items?

14 A. Because it's -- it's a characteristic that's
15 associated with the user. This is a number for the
16 end-user. It has nothing to do with the data that's
17 being scheduled.

18 Q. All right. Now, did Mr. Lanning point to --
19 point to the MIN as characteristics associated with data
20 items?

21 A. Yes. Yes, he did. I just put the conclusion
22 here, IS-95-A paging channel. This rigidly allocates
23 time slots based on user ID, regardless of the data
24 characteristics.

25 But I think this was your question with

1 A. Dr. Wicker says this; Dr. Akl says this.

2 Dr. Olivier says that orthogonal -- that PN --
3 orthogonal codes are different from PN codes; that PN
4 codes are not orthogonal. And I say this, as well.

5 Q. And how about Mr. Lanning?

6 A. Mr. Lanning disagrees.

7 Q. All right. And, again, why is that important
8 with respect to Gitlin?

9 A. Well, it's important because it shows that
10 Gitlin is using a different type of code. Gitlin is
11 using a PN code, a code that is not orthogonal. It's
12 very different from the patents-in-suit.

13 Q. And if Gitlin does not use orthogonal codes,
14 can Gitlin anticipate any of the claims that are at
15 issue in the case?

16 A. No, it can't.

17 Q. All right. Is there anything else missing
18 from the Gitlin patent?

19 A. Yes, there is.

20 Q. And what's that?

21 A. Gitlin also fails to disclose TDM techniques
22 as construed by the Court.

23 Q. And why do you say that, Dr. Wells?

24 A. Well, if we have a look at one of the figures
25 within Gitlin -- this is Figure 7 from within Gitlin,

1 and what this is showing is, this is showing what Gitlin
2 calls a codes time slices.

3 He has codes here, and then he has time slots
4 going across here, and he slices that code in time space
5 up into all these different regions here.

6 Then what Dr. Gitlin does is, he allocates
7 those pieces of space to what's shown at the bottom
8 here, which are different types of users. Talks about
9 high-speed users, talks about medium-speed users, and
10 low-speed users.

11 And what these are, as Mr. Lanning confirmed
12 this morning, these are people that pay for different
13 levels of service.

14 So, for example, if you paid for high-speed
15 access, you would be a high-speed user. If you were
16 someone that wanted an economy package, then you would
17 be a low-speed user.

18 And so what this is doing is, it's allocating
19 data, once again, based on characteristics of the user
20 and not based on the characteristics of the data.

21 So it doesn't matter, for example, whether
22 this is a piece of voice traffic, whether it's a piece
23 of text message, whether it's a website. That's not
24 taken into account. It's the characteristic of the user
25 within Gitlin. So it doesn't disclose TDM techniques.

1 Q. And why does -- why do the user
2 characteristics not disclose the use of TDM techniques?

3 A. Because these are characteristics associated
4 with the user. There is nothing within Gitlin that
5 talks about characteristics associated with data.

6 Q. Is there anything else in Gitlin that shows us
7 that these characteristics are associated with the user
8 and not with the data?

9 A. Yes. Yes, there is. If you read Gitlin in
10 its entirety, it talks about what the problem is that
11 the patent is trying to solve, and it talks about what
12 the solution is that it proposes.

13 And you can see here, I've highlighted here
14 that the whole purpose of Gitlin was that the systems
15 are for a low-cost access for lower-speed users. You
16 can see that in both of those.

17 Dr. Gitlin was at AT&T, and they were trying
18 to get low-cost access for low-speed users. They were
19 focusing on the users of these systems.

20 Q. And how does that compare to the claimed
21 invention in the '326, '211, and '819 patents?

22 A. Okay. So the invention in the -- in the three
23 patents-in-suit is different because what the -- the
24 invent -- what the patents are about is looking at
25 characteristics associated with the data.