

# EXHIBIT K

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

IN THE UNITED STATES DISTRICT COURT  
FOR THE EASTERN DISTRICT OF VIRGINIA  
Norfolk Division

I/P ENGINE, INC.,	)	
	)	
Plaintiff,	)	CIVIL ACTION
	)	
V.	)	2:11cv512
	)	
AOL, INC., et als.,	)	
	)	
Defendants	)	

TRANSCRIPT OF PROCEEDINGS

Norfolk, Virginia

June 5, 2012

(MARKMAN HEARING)

Before: THE HONORABLE RAYMOND A. JACKSON  
United States District Judge

1 Appearances:

2                   DICKSTEIN SHAPIRO  
 3           By:   JEFFREY K. SHERWOOD, ESQUIRE  
                  FRANK C. CIMINO, JR., ESQUIRE  
                  CHARLES J. MONTERIO, JR., ESQUIRE  
 4   and  
                  JONATHAN FALKLER, ESQUIRE  
 5   and  
                  CRENSHAW, WARE & MARTIN  
 6           By:   W. RYAN SNOW, ESQUIRE  
                  Counsel for Plaintiff  
 7  
 8                   FINNEGAN HENDERSON FARABOW GARRETT & DUNNER  
 9           By:   ROBERT L. BURNS, II, ESQUIRE  
                  Counsel for AOL, Inc.  
 10  
 11                   QUINN EMANUEL URQUHART OLIVER & HEDGES  
 12           By:   DAVID A. PERLSON, ESQUIRE  
                  ANTONIO R. SISTOS, ESQUIRE  
                  JOSHUA SOHN, ESQUIRE  
    and  
 13           KAUFMAN & CANOLES, P.C.  
 14           By:   STEPHEN E. NOONA, ESQUIRE  
                  Counsel for Google, Inc.

15  
16   \*   \*   \*  
17  
18  
19  
20  
21  
22  
23  
24  
25

1 (Court convened at 10:05 a.m.)

2 THE DEPUTY CLERK: I/P Engine, Inc. versus AOL,  
3 et al, civil action 2:11cv512.

4 Mr. Snow, are the plaintiffs ready to proceed?

5 MR. SNOW: We are.

6 THE DEPUTY CLERK: Mr. Noona, are the defendants  
7 ready to proceed?

8 MR. NOONA: We are.

9 THE COURT: Good morning, ladies, gentlemen. We  
10 are here this morning for a Markman hearing. Last week I  
11 had a telephonic conference call with local counsel. I  
12 wasn't able to reach primary counsel, so I just wanted to  
13 get a message to local counsel that I had some concerns  
14 about what you were asking me to construe in this case.  
15 I have spent a great deal more time looking at what you  
16 submitted and what you have asked the Court to construe,  
17 and the Court has reached a conclusion that there are  
18 some terms that the Court does not intend to construe in  
19 this case.

20 First, before I do that, let me find out whether  
21 you, after my call, have modified what you want me to  
22 construe before I tell you what I will not construe.

23 Speaking for I/P Engine, who will be taking the  
24 lead?

25 MR. SHERWOOD: Your Honor, Jeff Sherwood for I/P

1 Engine.

2 THE COURT: Okay. And Mr. Perlson?

3 MR. PERLSON: Yes, your Honor.

4 THE COURT: Okay. After my conversation have  
5 you narrowed the list of what you have submitted for  
6 construction, or do you still have the same list?

7 MR. PERLSON: Your Honor, we had filed a motion  
8 to actually limit the number of terms to be construed to  
9 ten and I was prepared to address that motion with the  
10 Court this morning. Basically, my proposal is, if we  
11 start at the top of Schedule C --

12 THE COURT: You can have a seat now,  
13 Mr. Sherwood --

14 MR. PERLSON: Okay.

15 THE COURT: I mean, Mr. Perlson, until he  
16 finishes and let's see where he's going here.

17 MR. PERLSON: Yes, sir.

18 MR. SHERWOOD: My proposal, your Honor, is that  
19 we start with the first term that appears in Exhibit C of  
20 the joint claim construction statement, which is the  
21 defendants' list, and then we count down 10.

22 Now, I appreciate the Court has already told us  
23 that there are some terms that the Court doesn't intend  
24 to construe, so obviously my proposal is subject to what  
25 the Court has already decided.

1           But, as I think the Court is probably very well  
2 aware, we filed a motion on this, and, frankly, where we  
3 started in this discussion with the defendants is they  
4 started with a much, much larger number of terms.

5           THE COURT: Well, you know where the Court  
6 started. If you read my order, I said ten terms, and I  
7 fully expected the parties to come together and to come  
8 up with just ten terms to be construed.

9           MR. SHERWOOD: Yes, your Honor, I do  
10 understand. We proposed four, two of which have been  
11 agreed to by the parties.

12          THE COURT: So that leaves two?

13          MR. SHERWOOD: So that leaves only two from our  
14 point of view, yes, your Honor, that's right.

15          THE COURT: So these other 16 or 17 I'm looking  
16 at, are these all, you are saying, from the defendant?

17          MR. SHERWOOD: Yes, your Honor, that's correct.

18          THE COURT: Well, I'm sure that's not the case.  
19 Not that you are wrong, but I'm sure that they have  
20 narrowed that. I will be waiting to hear that because if  
21 you are only asking the Court to construe two and the  
22 others that are mostly in dispute are from the defendant,  
23 I'm sure the defendant understands the Court means just  
24 what it said, it will construe ten. That leaves eight.

25          MR. SHERWOOD: Well, your Honor, if we look at

1 Exhibit C, the joint claim construction statement, which  
2 is their list of terms -- this is their document, your  
3 Honor, not ours.

4 THE COURT: Hold up one second.

5 All right. Go on.

6 MR. SHERWOOD: If you look at this document, we  
7 can see that -- if you just look at the item numbered 1,  
8 there are two terms there. They have grouped them  
9 together, but there are no words in common between those  
10 two terms, there are no record citations in common  
11 between those two terms, and the Court is going to have  
12 to do a different analysis with respect to each of them.  
13 So my point is that if you start at the top of this list  
14 and you work your way down, you will go well past ten.

15 As I said to the Court a minute ago, we only  
16 proposed four, two of which have been agreed to.  
17 Scanning network and combining are the two that we  
18 propose are still outstanding for the Court to decide.

19 THE COURT: Scanning network and what?

20 MR. SHERWOOD: Combining.

21 THE COURT: Okay. I think I expressed some  
22 concern on the phone about combining.

23 MR. SHERWOOD: I understand you did, your Honor,  
24 yes.

25 THE COURT: Okay.

1           MR. SHERWOOD: So my proposal is that we don't  
2 do any more than the first ten that appear here, your  
3 Honor.

4           THE COURT: Well, the Court is going to have to  
5 decide which ten it's going to be.

6           MR. SHERWOOD: I appreciate that, your Honor.  
7 Thank you.

8           THE COURT: You are proposing only the first ten  
9 and ignoring the numbers on the page?

10          MR. SHERWOOD: Right. In other words, these  
11 have subparts, but we know subparts count as separate  
12 issues.

13          THE COURT: Well, that would mean we would go  
14 probably no farther than No. 7 on this page.

15          MR. SHERWOOD: Your Honor, actually if we went  
16 to No. 7, we would still have 12, and let me point out to  
17 you why that's the case.

18                 If you look at No. 4, this has addressed two  
19 different claims in two different patents. So it's the  
20 separateness of systems in the '420 Claim 10 and then  
21 another claim in another patent, claim in the '664. So  
22 it's actually two issues, not one, and the same with item  
23 No. 6, your Honor. So I would propose to the Court that  
24 the Court cannot construe Item 6 at all.

25                 The term "user" has been agreed to between the

1 parties. So all we are talking about is whether the jury  
2 can understand, first, an individual.

3 THE COURT: Well, Mr. Sherwood, just maybe I can  
4 facilitate things by telling you what the Court looked at  
5 to see what the Court said it was not going to construe  
6 and let's see where we are.

7 MR. SHERWOOD: Okay. That would be fine, your  
8 Honor.

9 THE COURT: Please stand up, Mr. Perlson.

10 The Court looked at a couple of them and I  
11 understand you wanted certain things construed here,  
12 Counsel, but the Court looked at it and the Court is of  
13 the opinion that a person with ordinary skill in the art  
14 ought to be able to read this claim and be able to  
15 function and understand what it means without the Court  
16 construing the claim. One of those the Court indicated  
17 it will not do is individual user/first user. It's not  
18 going to construe that.

19 The separateness of the claim system, the Court  
20 is not going to construe it. It's not going to do it.

21 Information relevant to a query, No. 7B, the  
22 Court is not going to construe it.

23 Combining. I understand your arguments. The  
24 Court is not going to construe combining, either. That's  
25 one, two, three, four that the Court is not going to

1 address, and I will go through there and see where you  
2 are now, sir, with electing the number that you will  
3 raise.

4           Now, I don't know what that takes care of on  
5 your list. I will have to go back and take a look, but  
6 those are the four the Court has determined it will not  
7 construe, despite the argument of counsel. The Court  
8 read your argument. The Court even took the time to go  
9 through the claims to give it it's best understanding of  
10 where it's going, but the Court is just not going to do  
11 it.

12           Okay. Now, you heard the argument that he  
13 raised and you certainly understood the concern the Court  
14 had about construing ten terms, and the Court was  
15 concerned when it read all of these subparts in here. I  
16 mean, subparts, it's a part, which means it counts as an  
17 individual claim. So you exceeded what the Court said it  
18 would do.

19           Now let's see where are you now with what you  
20 want to construe, understanding what the Court will  
21 construe.

22           MR. PERLSON: Understood, your Honor. What I  
23 was going to suggest was that you don't construe  
24 combining, which you have already indicated you won't,  
25 and that we don't construe the antecedent basis terms

1 which are in No. 9, and I think that that should resolve  
2 the Court's concerns regarding the number of terms at  
3 issue, taking in mind what you have already ruled.

4 THE COURT: Okay. You take out No. 9 and you  
5 take out those I have indicated, and that should cut you  
6 back down. Is that your position?

7 MR. PERLSON: I think so, yes.

8 THE COURT: All right. Any issues with that,  
9 Mr. Perlson?

10 MR. SHERWOOD: Sherwood.

11 THE COURT: Sherwood, I mean.

12 MR. SHERWOOD: It's all right.

13 THE COURT: All right. I think maybe we are  
14 pretty close to the ten.

15 MR. SHERWOOD: Your Honor, I have no problem  
16 with Mr. Perlson's proposal. I would propose to the  
17 Court that the order of steps issues which they have  
18 raised, also the Court not construe those. There are  
19 actually several issues there. It involves two different  
20 claims and several sequencing issues.

21 THE COURT: Well, I will be candid with you,  
22 Mr. Sherwood. The Court has some concerns about the  
23 order of steps also. I have gone backwards and forward  
24 on the order of steps, and it appears as though it should  
25 be common sense, but the Court decided to wait to see

1 what you gentlemen had to say about it this morning, but  
2 do not be surprised if you get a memo back from the Court  
3 where the Court refuses to construe it because the Court  
4 has some concern about it.

5 I didn't know what else you had to offer other  
6 than what was in your memo, but the concern you express  
7 is something the Court has had about the order of steps  
8 on this thing, but I will leave it open and give the  
9 defendants a chance to say what they want to say about it  
10 and see what is the question.

11 MR. SHERWOOD: That's fine, your Honor.

12 May I ask one other question of the Court?

13 THE COURT: Sure.

14 MR. SHERWOOD: And that is, does the Court have  
15 a structure or a format with respect to this morning's  
16 hearing that you want us to follow?

17 THE COURT: What I thought I had made clear what  
18 I would do is to give you a chance to present in a few  
19 minutes any type of introductory explanation you wish to  
20 offer regarding the patents, and then after that we would  
21 turn to the plaintiff and hear what the plaintiff has to  
22 say about the terms it wishes to have construed in its  
23 view, and then from there we would go to the defendant  
24 and have the defendant make its presentation, and then to  
25 the extent we have time, we will go back for any

1 rebuttal.

2 MR. SHERWOOD: Very good, your Honor.

3 THE COURT: And the Court is not anticipating  
4 that there will be any extrinsic evidence here this  
5 morning, so we will be, basically, going based on  
6 intrinsic evidence, your argument, your pleadings.

7 MR. SHERWOOD: Thank you, your Honor.

8 THE COURT: All right. In terms of time, at  
9 this juncture the Court hasn't slapped any limitation on  
10 anyone, but we need to be reasonable. I think that each  
11 side ought to be able to get out what it has to say  
12 within probably an hour or hour and a half. You ought to  
13 be able to do it.

14 You have got two terms, and you have got  
15 probably about seven. You ought to be able to do it in  
16 far less time than that. I'm just saying we have time.

17 MR. SHERWOOD: Thank you, your Honor. Just one  
18 point of clarification. So you would expect us to  
19 present just on the two terms that we proposed, or on all  
20 of them?

21 THE COURT: Oh, no. You can present on those  
22 they have, too. I am trying to get a full understanding  
23 of what your view is on the terms. So, no, I'm not going  
24 to limit you to talking just about the two you have. I'm  
25 interested in a full education here.

1 MR. SHERWOOD: Okay. I have split our  
2 presentation up with my partner, Mr. Cimino, your Honor,  
3 if that pleases the Court.

4 THE COURT: Fine. The Court has no problem with  
5 that.

6 All right. That being the case, I'm prepared to  
7 go forward with any type of presentation you wish to  
8 make, Mr. Cimino, regarding the operation, description,  
9 efforts, etc., of these patents.

10 MR. CIMINO: Good morning, your Honor. Frank  
11 Cimino for I/P Engine. The way we structured the  
12 presentation based on the Court's comments on Friday was  
13 to do a brief overview of the patents and a tutorial for  
14 the Court to try and focus in on the technology that is  
15 going to form the backdrop for the claim constructions  
16 the Court will be considering. Hopefully, we have done a  
17 good job to simplify that as much as possible for the  
18 Court. And then we thought that defendants would be able  
19 to do their tutorial, and then we would go into argument  
20 on the claim terms.

21 Is that how the Court understood?

22 THE COURT: That's fine. We are on the same --

23 MR. CIMINO: Same page?

24 THE COURT: Yes, sir.

25 MR. CIMINO: All right, your Honor. The

1 patents-in-suit, I/P Engine alleges that Google and  
2 others infringed two asserted patents, U.S. Patent No.  
3 6,314,420 and --

4 COURT REPORTER: Slow down for me, please.

5 MR. CIMINO: I'm sorry. And 6,775,664. I'm  
6 Italian. It's hard to talk slow.

7 THE COURT: You are going to have to; otherwise,  
8 you are going to have nothing but jibberish in the  
9 record.

10 MR. CIMINO: Yes, your Honor. Here are the two  
11 inventors, your Honor. The U. S. Patent and Trademark  
12 Office awarded these patents to Mr. Andrew Lang and  
13 Mr. Tom Kosak. They were top technical people in the  
14 search engine company, Lycos, in the late '90s.

15 I'm not sure if the Court remembers the company  
16 Lycos, but at the time of the invention, at the time they  
17 filed for these patents in 1998 Lycos was one of the  
18 dominant search engines on the market.

19 As you can see here, Mr. Lang was the chief  
20 technical officer of Lycos and is currently the chief  
21 technical officer and the CEO of I/P Engine.

22 Mr. Kosak, he was the director of engineering at  
23 Lycos, also held the position of chief technical officer  
24 and is currently a technical consultant for I/P Engine.

25 1998, just to put this into perspective, when

1 they filed for the patent that's one of the  
2 patents-in-suit, Lycos.com was the seventh most visited  
3 web site. In 1998 to 2002, they sort of went on a  
4 shopping spree purchasing more than two dozen web  
5 companies on the Internet.

6           Then in 2000 because of its filter technology,  
7 among other things, for producing search results, Lycos  
8 was acquired by Terra Networks for \$12 billion.

9           Okay. Patents-in-suit, the first one is the  
10 '420, entitled collaborative/adaptive search engine. The  
11 second one is the '664 patent. It's entitled Information  
12 filter system, and method for integrated content-based  
13 and collaborative/adaptive feedback queries. It's quite  
14 a mouthful.

15           These are foundational search engine patents,  
16 your Honor. At a high level, they involved improving  
17 search results. So what do I mean by improving search  
18 results?

19           In search engines that we use today, you usually  
20 have a box where you put in your search request. It's  
21 called a query. When you put in your search request, you  
22 are provided back with certain links. Those are the  
23 search results.

24           What happens in between the search query and the  
25 results is the methodology that produces for you the most

1 relevant results to your query. The goal of the search  
2 engine is to come up with a methodology that will find  
3 the best sites that are of interest to the person who put  
4 in the user query.

5           A lot of times, almost all the time, the only  
6 information that you have about what the user is looking  
7 for is the query itself. So, again, in my example if I  
8 use the word "grill" in a search engine because I'm  
9 looking to buy a new barbecue, I will get links,  
10 hopefully, that are related to what I am looking for,  
11 barbecue grills, maybe grills on sale, maybe how to  
12 grill.

13           The claims in the '420 and the '664 patent  
14 relate to combining two specific measures in that  
15 methodology that happens in the search engine, two  
16 specific measures to improve search results. Those  
17 specific measures are content and collaborative data.

18           Here's sort of an abstraction, your Honor, to  
19 try and illustrate the core essence of what Mr. Lang and  
20 Mr. Kosak invented.

21           On the left you have content. Generally  
22 speaking, in a search engine environment this is how well  
23 a piece of information matches the search query. So,  
24 again, if I'm looking for grill, what I mean by content  
25 data, is that the information that I am looking for, the

1 web page, for example, is a content matching my query.  
2 What do I mean? Does it have the word "grill" in it?  
3 Key words are a popular form of content data. What if it  
4 has the word "grill" in it 15 times? When you are  
5 determining how well something matches the query with  
6 content-based data only, you can judge how well it  
7 matches by the number of times the word you are looking  
8 for appears. If it appears once, maybe you have a low  
9 relevance level for content. If it appears 15 or 20  
10 times, then you have a high level of content data. And  
11 you if have some type of threshold in deciding with the  
12 server, you can see that in a content-based filtering  
13 system only, you would provide the user with the one that  
14 has 15 hits rather than the one that has one hit.  
15 Okay. On the other side here, we have  
16 collaborative feedback. That's another filtering  
17 technique. Collaborative analysis evaluates feedback  
18 received from other users with similar interests or  
19 needs.  
20 What's that mean in the search engine world? So  
21 I'm about to search and look for the word "grill" and see  
22 what I get back. Ten people before me might have done a  
23 search for a grill. They have similar interests. They  
24 have a similar need. They are looking for a grill. When  
25 the web site results show up, in the past it was ten

1 times, people click on things. The system remembers what  
2 people looked at when it looked at the word "grill." It  
3 can capture that collaborative data and use it in  
4 deciding what to provide the next user who looks at  
5 grill.

6           So let's say I search for grill and I get ten  
7 results. The first result in a collaborative filtering  
8 scheme is the link that the last ten people clicked on,  
9 the one that people clicked on the most when they are  
10 provided their results and they see stubs about their  
11 results and say this one looks best.

12           A quick illustration. Say I own a web site for  
13 selling patio furniture and I understand someone is  
14 looking for a grill, I also want to get patio furniture.  
15 So I take my web site and I put the word "grill" all over  
16 it. So when someone is searching for grill on a content  
17 base, my score will be high. It's got grill written in  
18 there.

19           So when the web site comes up, if the person  
20 actually changes his mind and decides to leave looking  
21 for grill and say, hey, let me impulse buy patio  
22 furniture, I did a good job.

23           The benefit of Mr. Lang and Mr. Kosak's  
24 invention is most of the time if you looking for grill,  
25 you won't click on patio furniture. It won't develop

1 collaborative data associated with the search query  
2 grill. But there may be another web site that doesn't  
3 have as high a content. Grill is not all over the web  
4 site, maybe once, maybe that's a sale on grills. That,  
5 and everyone's clicking in the best. That moves it up to  
6 the top. So the one that is content based with grill all  
7 over it, if people actually don't like it, it will move  
8 down in the list and sometimes be filtered out.

9           So what Mr. Lang and Mr. Kosak did was said  
10 let's do a combination of content data, how well it  
11 satisfies the user query, with collaborative feedback  
12 data, what users who look for the same thing clicked on  
13 at the time, combine it together and come up with an  
14 overall relevance to the query system and then let's  
15 filter and select based on that.

16           Let me show you quickly where this concept is  
17 embodied in the claims, and then I will walk through an  
18 example and show your Honor how this works in the  
19 specification.

20           So here's Claim 25 from the '420 patent. The  
21 first thing we have here is a content analysis, how well  
22 the item matches the search query. You can see it says  
23 content profile data, how closely that web site you are  
24 looking at or document you are looking at matches the  
25 content in the search query.

1           Next we have collaborative data that's embodied  
2 in the claim, and here you can see it combines the  
3 feedback data with the content data in filtering what  
4 they call here informon, and I will get to that in a  
5 second. It's a coined term, if the Court hasn't picked  
6 that up yet, that the inventors use to mean, basically,  
7 information. So we have got content, collaborative and  
8 combined both, filtering.

9           Claim 26 of the '664 patent is similar,  
10 searching for information relevant to a query. That's  
11 the content analysis. It doesn't have the word you are  
12 searching for in it.

13           Receiving information found to be relevant to  
14 that query by other users. That's the feedback.

15           And combining, you combine that to determine  
16 what you are going to serve the actual user.

17           Okay. If I can for a second, your Honor --  
18 excuse me for a second. If I would like to take a step  
19 back, the patents-in-suit are actually improvements over  
20 a prior work by Mr. Lang and Mr. Kosak. Prior to their  
21 time at Lycos they worked on software for filtering news  
22 articles and other content.

23           The '799 patent here is the parent application  
24 to the patent-in-suit. It was filed in 1996. This  
25 patent also talks about filtering items based on content

1 and collaborative information, but here the difference  
2 was they were looking for articles that were matched  
3 through a user profile.

4           User profile is a type of information retrieval  
5 system that's different from a demand search engine. So  
6 back in 1996 they received information from various  
7 networks and information sources you would sign up and  
8 tell them what you like. You would tell them I like  
9 sports. So over time it would serve the user with that  
10 profile, sports articles, but exclude other things.

11           So how do we get to the patents-in-suit? It  
12 works like this. The '799 patent was the parent that  
13 dealt with user profiles, content related to user  
14 profiles, and collaborative data.

15           Mr. Lang and Mr. Kosak were at their own  
16 start-up here called WiseWire at the time. They were  
17 focused on these types of user profile systems. They did  
18 a lot of collaboration with Lycos over the years and  
19 eventually Lycos purchased WiseWire and provided top  
20 technical positions for Mr. Lang and Mr. Kosak.

21           While they were at Lycos, Mr. Lang and Mr. Kosak  
22 adapted their core technology from the parent patent so  
23 that it could be integrated with search engines, which  
24 are quite different. The user profile systems would work  
25 over time. Once a week maybe an article would be written

1 that was relevant. It would send you that article once a  
2 week.

3           Demand search environment is more intensive.  
4 You put in a query and you would expect immediate  
5 results. So how do you get this system to work in a  
6 demand search environment? That was the key.

7           The '420 patent describes how to make this  
8 work. So I will walk you through this drawing, your  
9 Honor, from start to finish. Now, the drawing in the  
10 parts of the patent are pretty complicated, so I have  
11 tried to remove some of the nonessential parts and focus  
12 just on the key concepts for the Court to deal with the  
13 current Markman issues.

14           The specification describes a search engine  
15 system that makes searches for what the inventors called  
16 informons. That's a coined term. If the Court is  
17 familiar with the lexicographer exception in construing  
18 claim terms, here's the perfect example. They define it  
19 in the new patent to be news articles, web sites, other  
20 information such as advertisement.

21           Fig. 9 is an example of embodiment, but it  
22 provides good context for showing how the system works.  
23 It combines collaborative data and content data. So I  
24 have highlighted the important structures here. The  
25 claims in the case involve demand searches, which are

1 one-time searches for user information. Demand searches  
2 are contrasted in the patent with what's called wire  
3 searches, which are continuous or ongoing searches.

4           The ongoing or continuous search embodiments,  
5 your Honor, are not involved in this case so we've  
6 dehighlighted some of the technology used to make those  
7 work.

8           Okay. So in a demand search when a user shown  
9 here decides he wants to get information from the search  
10 engine, he initiates a search. Here, for example, he  
11 says, I want to look for a grill. The system first  
12 passes a query into a content-based filter structure  
13 which then passes the query over to the spider system  
14 which scans the network. The spider system, the example  
15 here, scans the network to find informons that are  
16 related to the query. It's looking for what's in the  
17 ballpark. Get me close. I'm just trying to see what's  
18 the universe of documents that will potentially be  
19 relevant. The patent refers to this in some places as  
20 raw informons.

21           Two things to note about this green part, your  
22 Honor, where it's pulling the raw informons from,  
23 specifically the patent describes an alternate embodiment  
24 where instead of going out to the network and pulling  
25 these raw informons, the system could search in advance

1 and store it in that box there that says memory.

2           So you do the search ahead of time and store  
3 things in memory. Then when you do a demand search, you  
4 don't have to go out to the network again; you can search  
5 in that memory.

6           The patent also talks about there are networking  
7 on any type of network. This is an embodiment for the  
8 Internet in this drawing, but it goes on to say that  
9 there are at least three examples of the network this  
10 search engine would work with: the Internet, an  
11 enterprise-wide network sometimes called a wide area  
12 network, or an intranet, sometimes called a local area  
13 network. What this means is the system can be adapted to  
14 work on the Internet, a corporate network, or a network  
15 database and still provide the same useful, better search  
16 results.

17           Okay. After the items are collected, the  
18 relevant informons are passed to the search return  
19 processor shown here in red.

20           Now, down here in the search return processor,  
21 this is where the important stuff happens. It brings in  
22 the raw informons and it figures out which ones to  
23 actually turn into user results. How does it do that?  
24 It takes the content data for each informon that's been  
25 developed and the collaborative data for each informon

1 that's been developed and combines that information into  
2 what the patent calls a complete rating predictor.

3           Items that are sufficiently relevant are  
4 returned to the user from the search processor and there  
5 the user is happy. You can go to grills.com, or Best  
6 grills 1998, or whatever. And the use of that complete  
7 rating predictor in combination with content and  
8 collaborative data is what pushes the best results to the  
9 top of the page, your Honor.

10           Now, I said in the red box that's where the  
11 combining happens. Let me show you exactly how that  
12 happens. So the specification says that that search  
13 return processor will combine content and collaborative  
14 data in accordance with the structure shown in Fig. 6.  
15 Another very complicated drawing that I have tried to  
16 simplify to the bare basics, your Honor.

17           Fig. 6 describes how content data and  
18 collaborative data can be combined. We won't go into all  
19 the details here, but the general idea is that content  
20 data described in the figure here is structured feature  
21 information -- that's what it's called in the spec -- is  
22 used to come up with a rating predictor, which is a  
23 rating based upon how well the content of that document  
24 matches the query.

25           So let's say, just for simplicity purposes,

1 that's a 7, the rank rating is a 7. Fig. 6 also shows  
2 the collaborative data input at 415. The same things  
3 happens there, it moves over and you get a rating  
4 predictor. Let's say again for simplicity 5.

5           So now we know that we have a document that  
6 content matches the 7, collaborative matches the 5. What  
7 does the patent say to do?

8           It says that these rating predictors are  
9 combining for some folk combination function. The  
10 combination function is described in the specification as  
11 anything from a simple, weighted, additive function to a  
12 far more complex neural network function. We will stay  
13 simple, just average.

14           6 is the overall complete rating predictor. So,  
15 you see, by combining these two pieces of data, you  
16 change the value and the ranking of the document or  
17 article.

18           Let me back up a second and put this in sort of  
19 practical terms. Here let's assume the threshold for  
20 producing the document to the user for providing it to  
21 the user is 7. Under content analysis only, your Honor,  
22 this document will make it. It's a 7. A 7 or better, it  
23 goes. But maybe this document is not so good.  
24 Content-wise it's like the example I gave earlier where  
25 it's patio furniture, but I know people looking for

1 grills might purchase my patio furniture so I stick grill  
2 all over the web site. So from the collaborative what  
3 you see, it's low. People don't really like this that  
4 much. It's not one of the top choices.

5           So when you use Mr. Lang and Mr. Kosak's  
6 invention it changes from 7 in a content-only world to a  
7 predictor of 6. We are now under the threshold of 7.  
8 This document will be excluded. Where in the  
9 content-only world it would be served up, here it gets  
10 excluded.

11           Let me flip that around and show you the other  
12 way. Let's assume here that the threshold is 6, a 6 or  
13 above. Here the content is not so good but people really  
14 seem to like this link. It's got a lot of collaborative  
15 feedback. When you do the combination, it pushes me up  
16 the scale so that the collaborative feedback help balance  
17 out the low content. I mean, obviously, you have to have  
18 high content and high collaborative, but this helps  
19 people get the best results based on the information  
20 that's out there. So Lang and Kosak through their  
21 invention came up with an improved way to filter search  
22 results combining the content analysis and collaborative  
23 feedback to provide superior results.

24           In this litigation, I/P Engine accuses each of  
25 the defendants of creating and using infringing apparatus

1 and using infringing methods to present relevant  
2 advertisements to users of the search engines by  
3 combining content data and collaborative data, and this  
4 is how some of the defendants generate, essentially, all  
5 of their income.

6           Okay. That concludes my tutorial on opening  
7 statement, your Honor.

8           THE COURT: Thank you very much.

9           MR. PERLSON: Good morning, your Honor.

10          THE COURT: Good morning.

11          MR. PERLSON: I will try to -- plaintiff went  
12 over some of the similar concepts that we were going to  
13 go over and I will try to avoid repetition as much as I  
14 can, although there probably will be at least a little  
15 bit.

16                 Here, just as an overview, we have the two  
17 patents at issue filed in December of 1998. One thing I  
18 would just note is that these patents share the same  
19 specification and so I think you will probably hear from  
20 both of us just referring to one of them, not both of  
21 them.

22                 As plaintiff had indicated, the patents concern  
23 a search engine system that combines collaborative-based  
24 filtering with content-based filtering and the patent  
25 does this with either a demand or a wire search. And as

1 plaintiff has indicated, only the demand searches are  
2 relevant here.

3           The patent describes what content-based  
4 filtering is. It says, "Content-based filtering is a  
5 process of filtering by extracting features from the  
6 informons, e.g., the text of a document, to determine the  
7 informon's relevance.

8           Then additionally it describes this  
9 collaborative filtering which uses the reactions of other  
10 users with similar interests and needs. It says,  
11 "Collaborative filtering, on the other hand, is the  
12 process of filtering informons, e.g., documents, by  
13 determining what informons other users with similar  
14 interests or needs found to be relevant."

15           And another concept that is relevant for the  
16 patent is the scanning or spidering method the patent  
17 refers to. In plaintiffs discussed Fig. 9 in slide 11 of  
18 their presentation, one of the things they referenced was  
19 the spider that goes through and it does the scanning,  
20 and this is sort of a visual explanation of what a spider  
21 does and how it crawls. Basically what it will do is it  
22 will go out -- if you put in the search term Jaguar, it  
23 will go out and it will look at a bunch of different web  
24 sites, and then it will go and, essentially, crawl from  
25 each of the different pages of the web site, and then it

1 will move on to the next one, and the next one, and the  
2 next one. So that's what the scanning process entails.

3           Then what you would come up with is a bunch of  
4 sites. You have a bunch of sites that likely will have  
5 at least Jaguar in some way.

6           Then the patents refer to the content filtering,  
7 and so what you need to do is -- so you have got a bunch  
8 of sites back, but, you know, maybe some of them only had  
9 Jaguar in there once and you want something that has it  
10 like 10 or 15 times to make sure that it's, you know,  
11 really relevant to this search term Jaguar, so you cut  
12 out some of them.

13           But the problem with that is that while useful  
14 content filtering can't do everything because you have,  
15 for example, Jaguar can refer to multiple things. It's a  
16 big cat, it's Jacksonville Jaguars, or a car. So with  
17 content filtering it only gets you so far. So here what  
18 the inventors did is that they sought to combine  
19 content-based filtering with collaborative filtering to  
20 try to improve the results of searching, and so  
21 collaborative filtering, again, determines what informons  
22 other users with similar interests or needs find to be  
23 relevant and it uses that in connection with the search.

24           How it does that is that, essentially, it  
25 assigns people to certain groups, and here it is sort of

1 shown visually we have the person who likes cats, someone  
2 who likes the Jacksonville Jaguars, and someone who likes  
3 the cars. And, you know, these are sort of -- the patent  
4 talks about putting people in these various communities,  
5 as we have referred to here.

6           And then what happens is that the car lover will  
7 get results -- the filter will be applied so that they  
8 get results that other car lovers liked. So that way  
9 other car lovers who are searching for Jaguars, they  
10 wanted their Jaguars and so they have JAGUARS.com and  
11 CARS.com.

12           Then similarly you have if someone likes cats,  
13 other people who like cats, you know, they have liked  
14 CUTE-CAT.net or maybe NATIONALGEOGRAPHIC.com. So that's  
15 the stuff that they get. So that's how, basically, you  
16 have the situation where you have some users who -- or  
17 one community, they would get a certain set of results  
18 based on Jaguar and then users in another community would  
19 get others, and that's how the collaborative filtering is  
20 applied here.

21           Again, there's these two types of searches.  
22 There's the demand search, which is just a regular search  
23 engine query, as the patent says, and then you have wire  
24 search, which is just a sort of this continuous search  
25 which, again, is not at issue here.

1           And then the final thing I'll note, your Honor,  
2 is that when these patents were issued, the PTO found  
3 that search engines had already used content-based  
4 filtering and collaborative filtering, that that had  
5 already been done, and it said that it allowed the  
6 patents on the basis of their existence of the wire, and  
7 it said this in an office action in the first patent. Of  
8 course, not all the patents actually require a wire, and  
9 the applicants didn't indicate to the PTO that that was  
10 the case. And then another patent issued, again, with  
11 little back and forth with the patent office. So that  
12 will be something that we will be addressing probably  
13 later in the case.

14           THE COURT: Okay. Thank you very much.

15           MR. SHERWOOD: Your Honor, since we have  
16 disposed of a few claim terms, and in particular I was  
17 going to talk for a minute about the agreed upon claim  
18 terms because I think they would inform the Court with  
19 respect to the construction of terms that the Court, I  
20 think, has decided not to construe. So I am going to  
21 pass by that and move on to the first term that I would  
22 like to talk about, which is one of the defendant's  
23 terms, and that's collaborative feedback data. This  
24 slide shows, I think, fairly what the essence of the  
25 disagreement is between the two parties.

1           If I may have the Court's indulgence, I have a  
2 board here that just contains the claim language itself  
3 that I think might help to illustrate one of my points,  
4 and that is that the term that is being construed here as  
5 it resides in this claim is collaborative feedback data.

6           I think we can all agree that data is  
7 information. Whether you want to call it data or  
8 information, I think that that's a neutral dispute. The  
9 plaintiff made a point about that in their briefs. We  
10 thought data information was a little more user friendly  
11 term, but I don't think there's a big deal there. But  
12 what the claim language says is that that data comes from  
13 somewhere. It comes from system users.

14           Now, when you look back at my slide, what you  
15 can see is that they are writing additional limitation  
16 into this claim. They are saying that it comes from  
17 users with similar interests or needs. So, we would have  
18 two source limitations here, your Honor. It would be  
19 from users with similar needs and interests, according to  
20 the defendant; and then according to the claim language  
21 that comes after the term that's being construed, it  
22 would be from system users.

23           Now, I would submit to the Court that it's  
24 either nonsensical, nobody would write that way, or it's  
25 leaving certain language out of the claim because we

1 would only need to have a one-source limitation, not two.  
2 IPE's construction, on the other hand, your  
3 Honor, does not propose a second source limitation. But  
4 instead, what it does is it proposes to explain  
5 collaborative feedback data is the information concerning  
6 what informs users with similar interests or needs  
7 found to be relevant. So the point here is we are still  
8 just talking about data or information. We are not  
9 talking about where it's coming from. This fits  
10 harmoniously and appropriately within the claim language  
11 itself, your Honor.

12 I should point out, we have the same -- it's  
13 exactly the same with respect to Claim 25, also  
14 collaborative feedback data as the term to be construed  
15 with a separate source limitation that resides outside  
16 the claim term, your Honor. And this term only applies  
17 to the '420 as well. It's not applicable to the '664.

18 So, your Honor, interestingly, both parties  
19 point to the same language in the specification to  
20 support their constructions, and what I would point out  
21 to the Court with respect to this specification language  
22 which appears here at the bottom of the slide is that it  
23 is referring to the same thing that I'm talking about  
24 here, which is the informs that the other users with  
25 similar interests or needs have found to be relevant.

1           Now, this is a demand search environment, as I  
2 think the Court just heard, and I think maybe I should  
3 explain the importance of that here just briefly.

4           The patents actually have two different systems  
5 that are in them, and we are only asserting the demand  
6 search claims. We are not asserting wire search claims.

7           So when we want to know what somebody else  
8 thinks is relevant, we don't have a profile, as  
9 Mr. Perlson alluded to in his presentation, where we  
10 might know something about the user. These are all  
11 one-time searches that somebody just goes to the web and  
12 they randomly decide they want to search for something.  
13 Nobody knows anything about them. All they know is the  
14 query that they entered. So the point is that when we  
15 look to see who has similar needs or interests, what we  
16 are looking at is who else made that same search? Who  
17 else made the same query? Who asked for grills? Who  
18 asked for Jaguar? And what did they click on? What did  
19 they find relevant to their query? That is the  
20 collaborative feedback data that is described in these  
21 two claims here, your Honor.

22           As I say, there's no source limitation with  
23 respect to the information. Every system user is going  
24 to be making clicks, doing queries and clicking on things  
25 that are results of their queries, and that is going to

1 be the source of the data, but it's the source of the  
2 data because that's what the claim says, it comes from  
3 the system users.

4           So, your Honor, this next slide we have tried to  
5 illustrate what the difference is between the two  
6 parties' constructions. The specification language both  
7 parties rely upon appears in the left under the blue  
8 heading, and the key part we have put in brackets at  
9 capital [A], the language, that's really what's being  
10 construed. "What informons other users with similar  
11 interests or needs found to be relevant."

12           And you will see the plaintiff's proposal tracks  
13 that language very closely. We used information instead  
14 of data. As I said, we are agnostic about that. If the  
15 Court feels that data is a better term to use, we have no  
16 problem with that.

17           What we propose is that this term be construed  
18 to mean information concerning what informons other users  
19 with similar interests or needs found to be relevant.

20           Now, the defendants' proposal imports some of  
21 that into their claim construction, but as I have already  
22 pointed out by highlighting this claim language, they put  
23 in additional source limitation, your Honor, which is not  
24 appropriate. It either renders the claim language, as I  
25 said, nonsensical or superfluous.

1           Your Honor, I have a couple of things I wanted  
2 to point out from the plaintiff's slides which I just saw  
3 this morning, so if the Court would bear with me for one  
4 second here.

5           The defendants make the argument, and you will  
6 hear this when they get up to present their materials,  
7 repeatedly that IPE's construction does not include the  
8 collaborative element. What I just want to point out to  
9 the Court is the collaborative element is that which you  
10 collect from the other system users who made the same  
11 query as to what they found relevant to that query, and  
12 our claim construction contains all of that without  
13 muddying up the claim language with additional source  
14 limitation.

15           If I may now, your Honor, I would like to turn  
16 to the next claim term, unless the Court has any  
17 questions?

18           THE COURT: No, that's fine. The Court  
19 understands it.

20           MR. SHERWOOD: Thank you.

21           And we see a similar kind of issue here with  
22 respect to the '664 and the two claims that are at issue  
23 here. The language is different because patent lawyers,  
24 I have learned over doing these cases, like to express  
25 the same concepts in different ways. We could probably

1 cut down the number of patents we have in our system if  
2 they didn't do that, but that's what they do.

3           And so here what we are talking about, again, is  
4 a feedback system for receiving information found to be  
5 relevant to the query by other users. Our first position  
6 with respect to this term, actually, your Honor, is that  
7 we don't think the Court needs to construe it. The Court  
8 has already declined to construe the term "relevant." We  
9 have an agreement with respect to the meaning of the term  
10 "relevance," which I suspect is going to inform the  
11 parties with respect to the meaning of the term  
12 "relevant." And we have an agreement with respect to the  
13 term "users," and we have an agreement with respect to  
14 the term "query." So it seems to me there's not really a  
15 whole lot left for the jury to have to figure out, just  
16 some plain words that reside in between those words that  
17 we already have an agreement for.

18           But, in any event, if we look at the  
19 defendant -- actually, your Honor, let me point one other  
20 thing out to you. This patent drawing that we have down  
21 at the bottom of this slide is from Fig. 9 of the patent  
22 and it illustrates the system of receiving what I'm going  
23 to call feedback or collaborative data. And what you can  
24 see is that down at the bottom left there's a box that  
25 says other user and it shows an arrow going up to the

1 feedback processor that Mr. Cimino talked about earlier.

2 All that this claim term here is describing is  
3 the receipt into that processor of the informons that the  
4 other users found to be relevant to the query. It's  
5 nothing more than that, your Honor. And as I have  
6 pointed out, there's a separate source limitation here,  
7 too, just as there is in the '420 patent for this  
8 information. There's no need to have two source  
9 limitations here, any more than there is in the '420  
10 patent.

11 The defense wants to layer on here by saying  
12 that the information can only come from certain users.  
13 It can only come from other users, which is what we see  
14 in the patent, but they want to add that it can also only  
15 come from users with similar interests or needs. But we  
16 already know it's coming from users with similar  
17 interests or needs because, in fact, they are the ones  
18 who clicked on the search results. That's what we are  
19 analyzing, and the patent and the claim construction that  
20 the plaintiff has proposed are very clear with respect to  
21 that, I think, your Honor.

22 There's some additional issues with respect to  
23 the defendants' construction. They put in the word  
24 "determining," as the Court can see. The claim language  
25 is receiving. Receiving and determining are not

1 synonymous, your Honor. There's no suggestion in the  
2 patent that they are the same, and I think we can tell,  
3 again, from just plain English usage that they are, in  
4 fact, very different things.

5           In addition, as I have already alluded to, they  
6 would equate other users with users with similar  
7 interests or needs. Those two are not the same, and I  
8 would suggest to the Court, in fact, that it's redundant  
9 because we know that these are users with similar  
10 interests or needs because of the fact that they have  
11 clicked -- they have entered similar queries and they  
12 have clicked on informons that the system is going to  
13 determine are relevant to the query.

14           It's a noninfringement position which defendants  
15 are pretty honest about, which is they are saying in our  
16 system we don't keep track of information about the  
17 users. We don't know whether their interests are similar  
18 or their needs are similar. That's the profile system.  
19 Those are other claims in the patents which we are not  
20 asserting.

21           The only way to know whether people have similar  
22 interests or needs, just as is explained in the patent,  
23 is to look and see what they click on, and that's exactly  
24 what this claim construction would entail.

25           Your Honor, I would reserve my remarks on that

1 claims term with respect to their slides for any rebuttal  
2 the Court permits --

3 THE COURT: Okay.

4 MR. SHERWOOD: -- and go on to the next term,  
5 which is demand search.

6 The first slide we have here sets forth the  
7 parties competing constructions, and here I think there's  
8 a real difference in point of view between the parties.  
9 This isn't one where we are just parsing language that's  
10 connecting agreed terms.

11 Can I have the next slide, please?

12 THE COURT: Excuse me. Did you skip one,  
13 scanning network?

14 MR. SHERWOOD: Yes, your Honor. Mr. Cimino is  
15 going to address that one. Our order got a little bit  
16 jumbled as the result of where we are this morning.

17 THE COURT: All right. That's fine.

18 MR. SHERWOOD: In fact, this is the last one  
19 that I'm going to address because the Court has declined  
20 to construe others that I would have addressed.

21 What I think the Court can see from this slide  
22 is the I/P Engine proposed construction is fully  
23 supported in the specification. The language that's  
24 quoted here, in fact, comes from the abstract, as you can  
25 see, and that language refers to a demand search as a

1 one-shot or a demand search.

2           The two terms, "one-shot" or "demand" are being  
3 used synonymously here. In other words, we are being  
4 told they mean the same thing. And, by the way, this is  
5 the kind of search that people typically do these days.  
6 We don't have profile systems as described elsewhere in  
7 the patent. We do one-time searches. And we may do many  
8 of them, but they are all unique, individual, one-time  
9 searches. And so our claim construction is intended to  
10 reflect that reality as shown in the patent with respect  
11 to demand searches.

12           The claim construction that IPE proposes also  
13 demonstrates that the search is being done upon a user  
14 request. So we understand from this claim construction  
15 that the user has made a search request, has made a  
16 query, and the search engine is doing the request.  
17 That's what's understood and comprehended by a demand  
18 search.

19           The defendants' construction is not nearly so  
20 tidy and easy to understand, your Honor. For one thing,  
21 it's not clear whether the user or the search engine is  
22 performing the action here because the way that they  
23 phrased this is a search engine query. A search engine  
24 to me is modifying what the search engine is doing, but  
25 the demand search is what the user does and how the

1 search engine responds to the user's request.

2           In their briefs, the defendants talk a good deal  
3 about what a normal search engine would do. I don't know  
4 what that means, a normal search engine. That concept  
5 does not appear anywhere in the patent. It begs for  
6 further claim construction beyond that which the Court is  
7 already going to do. And it's not even defined by the  
8 defendant's presentation.

9           I also have some concerns about the confusion  
10 that this construction could create relative to the other  
11 parts of the patent that are not being asserted. That's  
12 an issue that the defendants raise. They say, well, we  
13 are not asserting -- plaintiffs are not asserting the  
14 wire search claims; therefore, it doesn't matter whether  
15 this claim construction draws distinction between a  
16 demand search and a wire search.

17           Well, your Honor, I think, first of all, that's  
18 not really giving all of the terms in the patent full and  
19 fair clarity and meaning.

20           Second of all, when we get to trying this case,  
21 if we do, we are going to have various passages and  
22 phrasings from the patent that will be quoted and  
23 presented to the jury, and in the end the jurors are  
24 going to get the patents and they are going to see and be  
25 confused because there are two different types of queries

1 in these patents and the defendants' construction is  
2 making no effort to distinguish between the two of them  
3 while the IPE construction makes that distinction very  
4 clear.

5           And with that, your Honor, I will seek to defer  
6 to my colleague, Mr. Cimino --

7           THE COURT: All right.

8           MR. SHERWOOD: -- unless the Court has any  
9 questions about a demand search.

10          THE COURT: No.

11          MR. CIMINO: Your Honor, I'm going to present  
12 scanning a network. The issue with scanning a network is  
13 the word "scanning." The phrase also contains the word  
14 "network." There doesn't appear to be much dispute that  
15 a network is a group of two computers, two or more  
16 connecting computers as proposed by I/P Engine. We  
17 submitted ordinary meaning evidence in our opening briefs  
18 about network, or a significant amount of it. We also  
19 cited the specification examples that it could be the  
20 Internet, it could be a corporate extranet, it could be a  
21 corporate intranet to show that it could be a wide area  
22 network, a local area network. And in its reply, the  
23 defendants really don't take any issue with that.

24           So what we are really talking about is a single  
25 question about what scanning means, and in particular it

1 seems as if the two sides have set up the argument as  
2 follows: The scanning gets construed to be its ordinary  
3 and customary meaning as proposed by I/P Engine, which  
4 they interpret it to be a specialized device used on the  
5 Internet called a spider or a crawler which is an example  
6 in the specification of how you scan a network in the  
7 Internet environment, as defendants propose.

8           So in our analysis here, I believe it's pretty  
9 straightforward. You could find the answer right in  
10 *Phillips* case. In *Phillips* the Federal Circuit said to  
11 give words their ordinary and customary meaning at the  
12 time of the invention. For everyday English words like  
13 scanning the Court went on to say that claim construction  
14 in such cases involves little more than application of  
15 widely accepted meaning of commonly understood words.  
16 That case is cited in our opening brief.

17           So what's the ordinary and customary meaning of  
18 scanning? In its ordinary sense it means to look for  
19 something, to search for something. Maybe we are not  
20 looking as hard or as diligently as if we are searching.  
21 Maybe scanning is a little less diligent than searching,  
22 but it's still looking for something.

23           If you scan a newspaper looking for an article  
24 of interest, you flip through the pages. If someone, for  
25 example, for me, tells me that there's a good article

1 about the Baltimore Ravens, I will search it diligently  
2 until I find it. So it's the difference between how hard  
3 you may be looking for something, but in both cases you  
4 are talking about looking for something.

5           Here's two quick examples of the ordinary usage  
6 of the word "scanning." They are scanning the beach for  
7 a red umbrella. They are looking for that red umbrella.  
8 Similarly if you are scanning a page to look for a word,  
9 if you are scanning a page to find a word, you are  
10 looking for that word. If you are scanning the Internet  
11 to look for relevant documents to find relevant  
12 documents, you are looking for those documents.

13           The ordinary meaning of the word "scan" is  
14 looking for. We cited some dictionary evidence in our  
15 brief to give the Court some objective evidence of the  
16 plain meaning of the word "scan" and "scanning" at the  
17 time the patent was filed, and particularly we cited  
18 those dictionaries to show that it does not mean  
19 spidering or crawling. Not any of the dictionary  
20 evidence that we have cited to support the ordinary and  
21 customary meaning of the word "scan" or "scanning" says  
22 spider or crawler. The dictionaries there all define the  
23 word "scan" in a way that, basically, is looking for. It  
24 used words like examine, observation, glancing, all words  
25 that connote looking for, all show that the ordinary

1 definition of the word "scanning" is looking for.

2           We have a couple others for the Court's  
3 interest. Here's the American Heritage Dictionary which  
4 was published at the time they filed for the invention.  
5 You can see here that the definition of scanning for  
6 information is to look, "To look over quickly and  
7 systematically." The second definition: "To look over  
8 or leaf through hastily." Again, to look for.

9           The Computer Science dictionary down at 6 is  
10 particularly interesting. It says the plain and ordinary  
11 meaning in the computer science arts is "To search  
12 (stored data) automatically for specific data." Again,  
13 not a spider.

14           THE COURT: I take it, you are concluding by  
15 going to these extrinsic sources that there's  
16 insufficient information in the claim to explain to a  
17 person with ordinary understanding and skill what  
18 scanning means?

19           MR. CIMINO: I think that scanning means as an  
20 ordinary meaning in 1998 and the example provided by the  
21 specification, as I will show you, when the plain meaning  
22 is consistent with -- the plain meaning of scanning is  
23 used consistently by the patentees when they describe  
24 what scanning network says shows that they actually used  
25 the plain and ordinary meaning in 1998.

1           THE COURT: So we really don't need to go over  
2 to the dictionary, if that be the case.

3           MR. CIMINO: Yes, that's true, your Honor. I  
4 wanted to provide -- I mean, I think scanning has the  
5 normal meaning, but, you know, my meaning, just so the  
6 Court knows, I was objective in figuring out what I  
7 thought scanning meant, but I wanted to cite an objective  
8 source that was time lined at the time of the invention.  
9 *Phillips* authorizes us to do that as evidence for the  
10 plain and ordinary meaning.

11           Quickly, defendants cited the dictionary also.  
12 They cited the dictionary for the word "search," and they  
13 basically said, hey, search means look for. You are  
14 really equating search and scan.

15           So the interesting thing about defendants'  
16 dictionary, we actually bought the dictionary and cited  
17 the definition of scan, which wasn't provided in their  
18 briefs. If you take a look, again, there's nothing in  
19 here about spider. It's all consistent with look for.  
20 But if you take a look down at the synonyms here in the  
21 Random House Unbridged Dictionary, it actually uses the  
22 word "search" as a synonym for scan.

23           All the way in the right-hand column, last line  
24 there. We must have missed the red underlining there.  
25 So the same dictionary that they cite to say search

1 doesn't mean scan, when you look at the definition for  
2 scan it actually gives an express synonym that search and  
3 scan are the same.

4           Okay. So that's the plain and ordinary meaning.  
5 *Phillips* says to look at that first. Cite a dictionary  
6 definition simply to give an objective source to  
7 understand what we all understand that term to mean.

8           Let's look at the specification now, as *Phillips*  
9 says, to see if the word "scan" is used consistently for  
10 meaning or whether they have imparted some specialized  
11 meaning to the word "scanning."

12           Look at the main quote that both parties have  
13 cited for the purposes of how scan is used in the  
14 specification. The specification says, "A spider system  
15 scans a network to find informons for a current demand  
16 search." So the use of the word "scan" here is simply  
17 saying that the spider system is looking for  
18 information. You could substitute looking for as a  
19 synonym and it's still consistent.

20           Interestingly it says that you are scanning in  
21 order to find information. You find things by looking  
22 for them. Again, that ordinary usage of the word "scan"  
23 is consistent.

24           The spider system is an example of the type of  
25 acknowledging you would use to look for that information

1 on the network. So defendants' proposed construction.  
2 Defendants propose that scanning means spidering or  
3 crawling. Again, that is the example of how you scan a  
4 network in Mr. Lang and Mr. Kosak's invention.

5           They do not equate the word in any place in the  
6 specification. For them to have the word "scanning"  
7 means spidering, they have to do one of three things, and  
8 only two are relevant. First they have to show that  
9 scanning, the plain and ordinary meaning of scanning is  
10 spider. They can't do that. There's no evidence in the  
11 record for that. Now, I just showed the Court some of  
12 the evidence of the plain and ordinary meaning that shows  
13 us what to look for.

14           They basically concede that, your Honor. In  
15 their briefs they say the specification essentially  
16 redefines the word "scanning" to be spidering. That's  
17 the second way you can do it. The third way is with a  
18 prosecution history disclaimer, but there's no argument  
19 that the prosecution history is relevant here.

20           So the one way to get scan equal to spider is to  
21 show that there was the lexicographer exception. The  
22 specification defines scanning to be spidering. They  
23 can't do that. There's no clear redefinition of the word  
24 "scanning." Certainly this doesn't do it. It's just  
25 describing how a spider works.

1           And importantly, your Honor, these inventors,  
2 they knew quite well how to invoke the lexicographer  
3 exception when they wanted to. For example, they say in  
4 Column 3 of the '420 patent, "As used herein, the term  
5 "informon" comprehends an information entered in the  
6 potential or actual interest to a particular user." They  
7 define informon.

8           As also used here also in Column 3, "The term  
9 "user" is an individual in communication with the  
10 network." They define user.

11           Same thing for relevance. I won't bore the  
12 Court with the actual definition. Same thing with  
13 content-based filtering, they say that that is the  
14 purposes of the patent. Collaborative filtering, they  
15 say that that is in the patent. Content filtering, they  
16 say that that is in the patent.

17           Where they wanted to invoke a special definition  
18 under the lexicographer exception, they didn't. They  
19 knew how to do it. They did not do it for spider; they  
20 did not do it for scanning. So plain meaning controls.  
21 The fact that they knew how to do it but didn't do it for  
22 scanning is persuasive evidence of their intent not to  
23 use anything but the plain and ordinary meaning.

24           What then is happening here is that the  
25 defendants are seeking to narrow the claim for the

1 purposes of a noninfringement defense. They want  
2 spidering to be in the claim.

3 Another point to look at in terms of what  
4 scanning means is the actual words chosen by the  
5 inventors in their claims. They talked about a spider as  
6 an example in the specification, and the purpose of it is  
7 to scan a network in their example. But in the claims,  
8 they had a choice. Do we claim a spider system, do we  
9 claim something that spiders the Internet, or do we claim  
10 something that scans the numbers so we are broader?

11 THE COURT: Is it your view that the reference  
12 to spidering or crawling from the specification is being  
13 used to limit the claim language?

14 MR. CIMINO: My view?

15 THE COURT: Yes.

16 MR. CIMINO: It does not limit the claim  
17 language, your Honor, if I understand the question  
18 correctly. It is an example of one way to scan a  
19 network.

20 You know, at the end of the patent the inventors  
21 actually say in Column 26, lines 54 to 59, "It must be  
22 understood that the illustrated embodiments have been set  
23 forth only for purposes of example and it should not be  
24 taken as limiting the invention as defined by the  
25 following claims." If they wanted to have spider in the

1 claim and have their claims limited to spider, they knew  
2 how to do it. They specifically chose the word  
3 "scanning" to mean looking for information so that you  
4 could use a spider or use anything else.

5           One other point on the spidering. The  
6 defendants have submitted the definitions of what a  
7 spider does. Every one that I have looked at relates to  
8 the Internet. Spidering is how you search the Internet.

9           If the Court recalls, there is an express  
10 reference in this patent about networking. The network  
11 can be the Internet, or it could be a corporate network,  
12 or it could be an intranet. There's no evidence in any  
13 of the documents that they cited that a spider was known  
14 to search corporate databases in 1998. It would be  
15 inconsistent with the definition of network. It would  
16 essentially require network to become Internet if you  
17 read spider into the claim.

18           Okay, your Honor. There's a second scanning  
19 issue from a different claim. This claim doesn't say  
20 scanning a network. It says a scanning system for doing  
21 something.

22           Defendants interpret it in accordance with the  
23 contextual claim language as suggested by *Phillips*, and I  
24 will show you that in a second, your Honor.

25           The defendants have basically, I guess, a

1 two-step goal in their construction. First they want a  
2 scanning system to be a system that scans the network.  
3 And then hoping they win their claim construction on  
4 scanning a network, then say this claim also requires  
5 spidering. So it's a two-step process to get spidering  
6 into the claim when there's no basis for reading that in  
7 or any intent by the patentees for having the claims be  
8 so limited.

9           The contextual language in the claim really  
10 makes this an open-and-shut claim construction issue. It  
11 defines what the scanning system is. It's a system used  
12 to search for information.

13           Let's look at the full claim language. So we  
14 use scanning system as shorthand for the Court's benefit  
15 to identify claim terms, but it's probably not correct to  
16 do so. We are not talking about a scanning system in  
17 abstract. This is one of those claim terms, your Honor,  
18 that actually defines what the system is supposed to do  
19 in the claim itself. So the actual language is a  
20 scanning system for searching for information relevant to  
21 a query.

22           The word "scanning" here, as we talked about,  
23 means simply looking for. But when you go to figure out  
24 what scanning system does, the claim tells you that. It  
25 is for searching for information. It's not for

1 spidering; it's for searching for information. This  
2 limitation, your Honor, says nothing about a network.  
3 I'm not sure where we get network into this claim. They  
4 purposely decided not to say where they are going to  
5 search, only what they are searching for. Patentees are  
6 allowed to do that. They have claimed their invention in  
7 multiple different ways to make sure they obtain the best  
8 and fullest scope of claims they can. There's no basis  
9 in this claim to read in scanning a network and then  
10 subsequently reading a spider.

11           So why would they call this a scanning system?  
12 They could have called it a searching system or just a  
13 system. This is another patent claims drafting technique  
14 that is used, basically, to label this element. I'm sure  
15 the Court is familiar when you refer to elements later in  
16 the claim, you would say said searching system or said  
17 scanning system. If they didn't put a label in front of  
18 this one, scanning system, you would become very  
19 worried. It would make patent claims worse than they  
20 already are.

21           It would say -- you would refer to it later by  
22 saying said system for searching for information relevant  
23 to a query every time you reference this element. So  
24 what people do is put this phrase before system so it's  
25 an indicator of what I am referring back to later. So

1 when they see said scanning system later in the claim,  
2 you know you are talking about the system for searching  
3 for information. It's a scanning system for searching  
4 for information.

5           What if they said first system, another popular  
6 way of differentiating what elements, a first system for  
7 searching information. It doesn't mean it has to be the  
8 number one system. The claim language itself, the  
9 functional part of the claim language defines what this  
10 element must do.

11           So here's the defendants' proposal. "A system  
12 used to scan a network." I see three problems with their  
13 proposal. The first problem with defendants' proposal is  
14 their way of getting spider back into the claim without  
15 any justification. The same argument as the last  
16 argument apply, your Honor. There was no special  
17 lexicographer exception. Spider is an example of how to  
18 scan a network. So even if this was interpreted to be  
19 scanning the network, it should still simply be looking  
20 at the network for information, whatever the claim says  
21 the function is supposed to be.

22           The second problem is the location limitation I  
23 mentioned a second ago. If you look at the claim, your  
24 Honor, it says, "a scanning system for searching for  
25 information relevant to a query associated with a first

1 user in a plurality of users." Where does network come  
2 from? You can search anywhere. This term allows you to  
3 search anywhere.

4           The third problem is that the doctrine of claim  
5 differentiation, or here a basis of understanding the  
6 intent of the patentee to claim one thing in one place  
7 and another thing in another place. That's why I have  
8 shown the pending Claim 24 here. The pending Claim 24  
9 relies upon all limitations of Claim 1 and adds more.  
10 And how these claims are supposed to work is the  
11 independent claim is broader, the dependent claim was  
12 narrower. So if there's something claimed in a dependent  
13 claim, to make sure that the claim has a different scope,  
14 the independent claim is supposed to be broader. So  
15 Claim 24 is actually instructive here, your Honor, to  
16 show that scanning a network should not be pushed into  
17 Claim 1 because it's separately claimed later.

18           So the first and the main claim, you have  
19 searching for information as a function. Then we state  
20 the search system of Claim 1 further comprises scanning a  
21 network. That tells you that Claim 1 does not have  
22 scanning a network in it. Otherwise, if it did, the two  
23 scopes of the claim would be the same and you would have  
24 a problem with the pending claims.

25           But even without claim differentiation, which

1 importantly, the Fifth Circuit has said is a guide not a  
2 rigid rule, even without this the difference in wording  
3 between these two claims is informative. It shows that  
4 for Claim 1 the patentees did not want to claim a network  
5 limitation, where in 24 they did. So it also helps to  
6 look at these claims asserted and unasserted to see the  
7 difference in scope to help you understand what the  
8 patentee was trying to claim. That is also from the  
9 *Phillips* case, your Honor. And what we see here is that  
10 second limitation in Claim 24 is what they have added to  
11 their construction.

12           That's all I have on scanning, your Honor.

13           THE COURT: All right.

14           MR. CIMINO: I'm going to move past the  
15 PowerPoint provided and get to different systems, or the  
16 order of steps. Different systems is off the table.

17           Order of method limitations, yes. So, your  
18 Honor, I guess to start with, generally speaking, method  
19 claims do not have to be performed in the order recited.  
20 The defendants appear to concede that and have not argued  
21 to this Court that every step, A, B, C and D, has to be  
22 performed in that specific order, but they have parsed  
23 through the claims and have found words that they say  
24 must happen before other words and, therefore, conclude  
25 that one step must happen before another step.

1           The words aren't really ambiguous. It's not a  
2 typical claim construction issue. They were relying  
3 merely on a sort of antecedent basis where one word  
4 refers to another, and then logic where something is  
5 using a metric, you must already have calculated that  
6 metric, things like that. We propose no construction is  
7 necessary for this. This is, obviously, a  
8 noninfringement position for them and it seems most  
9 appropriate to have the order of limitations hashed out  
10 in context when experts are analyzing the infringement  
11 issues.

12           If an expert takes the position that something  
13 happens prior to another step when that makes no sense,  
14 that expert is going to have a tough time, obviously. So  
15 we believe that this is not a good use of the Court's  
16 resources and that it should be left for expert  
17 discovery.

18           That said, I do want to point out a couple of  
19 things that I believe are wrong with defendants'  
20 position. This is a figure from their brief and I  
21 believe it's intended to show which elements must have  
22 been done beforehand to show which steps happened before  
23 other steps. They say that step A must happen before  
24 step B, steps B and C must be performed before step D.

25           For two reasons that second part of the phrase

1 is not true. Steps B and C do not have to be performed  
2 before step D. If you take a look here, the claim talks  
3 about filtering in two different places. In step B it  
4 says you are filtering the informons on the basis of  
5 applicable content profile data for relevance to the  
6 query. Then in D, which the defendants say must happen  
7 second, it says, combine feedback data with content  
8 profile data in filtering the informons.

9           There's nothing in this claim that prevents you  
10 from doing the filtering as one step. It doesn't suggest  
11 a second filtering process. It says in doing your  
12 filtering, you can add collaborative content data  
13 together. And if that happens, these two arrows are not  
14 correct.

15           Secondly, your Honor, this is a process that  
16 takes -- if you recall, the environment for this is a  
17 search engine. You are pulling all of these raw  
18 informons down out of the Internet with the network or  
19 the database and then you are analyzing to see which ones  
20 to put up. One step could be gathering informons while  
21 the informons that have already been gathered are being  
22 filtered for content and data. This doesn't have to  
23 happen instantaneously. It could be an iterative process  
24 as you go through more than one informon. That's a  
25 second reason why the steps don't have to be done in the

1 order suggested by defendants.

2           And, again, those two issues might not even be  
3 relevant for infringement purposes and the better choice,  
4 we submit, is to simply let the experts deal with the  
5 order of method limitations.

6           Here's the other claim, your Honor, from the  
7 '664 patent, Claim 26.

8           They say step c1 must be performed before step  
9 c2, again showing the arrows of which pieces of  
10 information or which claim element relates to other  
11 elements that you need based on what they say logic or  
12 grammar.

13           This claim talks about combining from c1 and  
14 filtering in c2. While they can be separate processes,  
15 there's nothing in the specifications that suggest that  
16 they can't be done simultaneously. You can combine and  
17 filter at the same time.

18           Fig. 6 that we went through on the tutorial  
19 shows the combining and the filtering happening all in  
20 the search return processor. So while they happen  
21 exactly the same time, well, if there's more informons  
22 coming in and being processed, yes, one informon will  
23 have its information combined and filtered, but you will  
24 be taking information from a second piece of information  
25 at the same time. So these don't have to be done at the

1 same time.

2           And, again, this is a place where experts will  
3 be useful, and it would also be useful to have the  
4 context of the specific noninfringement positions being  
5 taken by experts to figure out whether it makes sense or  
6 not.

7           And my last one was different systems, so I  
8 think I am finished, your Honor.

9           THE COURT: All right.

10          MR. CIMINO: Thank you.

11          THE COURT: I thank you.

12          Before you respond, we are going to take about a  
13 ten-minute break and then we will come back and we will  
14 go forward with your presentation.

15          MR. CIMINO: Thank you, your Honor.

16          (A recess was taken at 11:35 a.m., after which  
17 court reconvened at 11:55 a.m.)

18          THE COURT: Okay, Mr. Perlson.

19          MR. PERLSON: I'm going to start with query  
20 found in the feedback system for receiving information  
21 found to be relevant to the query by other users. The  
22 terms that are shown here in the constructions, and the  
23 plaintiff indicated that they don't think that there  
24 needs to be a construction of this term, and we would  
25 submit, your Honor, that there absolutely needs to be.

1 There clearly is a dispute here, as exemplified by the  
2 argument.

3           Here the dispute is whether the other users that  
4 are referred to here are users with similar interests or  
5 needs. As the intrinsic evidence makes clear, that's  
6 what the defendants provide or whether it can include any  
7 users with any interests.

8           So the first point I want to make, and there  
9 really doesn't seem to be much dispute here on this, is  
10 that the collaborative element is a critical aspect of  
11 the claim. Again and again the patent talks about it in  
12 terms of the present invention. It's the title of the  
13 patent. It's all over the abstract, and I think that  
14 even the plaintiffs in their slide 5 and then again in  
15 their brief, again and again and again, as shown in our  
16 slide 24, has indicated that collaborative feedback or  
17 collaborative filtering has to be part of any claim of  
18 this patent.

19           So now that we know that, we have to then say  
20 what does that mean? So, you know, so how is that  
21 important for this term? Well, the specification says  
22 what collaborative filtering is. It explicitly says it.  
23 It says, "Collaborative filtering, on the other hand,"  
24 and it's distinguishing content-based filtering. It says  
25 collaborative-based filtering, "is the process of

1 filtering informons, e.g., documents, by determining what  
2 informons other users with similar interests or needs  
3 found to be relevant."

4           That is absolutely critical to the collaborative  
5 filtering. If that's not there, then it's not  
6 collaborative filtering. And we know that collaborative  
7 filtering is critical to the invention, so there really  
8 should be no dispute that that is appropriately here,  
9 especially because there's no dispute that the  
10 collaborative aspect has to be in the claims.

11           And, in fact, there also should be no dispute  
12 that these other users are the users with similar  
13 interests or needs. It's in their opening brief. They  
14 said it. They said, "Collaborative filtering, on the  
15 other hand, determines relevance based on feedback from  
16 other users - it looks to what items other users with  
17 similar interests or needs found to be relevant." This  
18 is from page 3 of plaintiffs opening brief. It's our  
19 slide 26. So they said this explicitly and, of course,  
20 they said it appropriately. They cited the  
21 specification, that same thing that we said. That's the  
22 definition. So there really shouldn't be any dispute  
23 here. This is the meaning we are trying to get in here  
24 and that's the meaning and what the claim term says.

25           And both of the parties' constructions for

1 collaborative feedback do include this similar interests  
2 or needs, you know, but they don't want to include it  
3 here. So, you know, there's no dispute that the claims  
4 have a collaborative element and there's no dispute that  
5 the collaborative element requires users with similar  
6 interests or needs. So, just as a matter of common  
7 sense, the other users in the feedback system for  
8 receiving information found to be relevant to other users  
9 must be the other users with similar interests or needs.  
10 And we would submit, your Honor, that without this, if  
11 the notion of other users of similar interests or needs  
12 is not a part of this, then the collaborative filtering  
13 is gone, that it no longer requires collaborative  
14 filtering, which would be contrary to all the intrinsic  
15 evidence.

16           So I will give you an example. So here this  
17 sort of harkens back to what we were discussing in the  
18 overview. You know, we have our three users with the  
19 three different interests all searching for Jaguars, and  
20 this is on slide 28.

21           Now, if you have their filter, you use the  
22 collaborative filtering, the person who liked cats gets a  
23 web site about cats, the person who likes Jacksonville  
24 Jaguar football gets their football, and the person who  
25 likes cars gets cars, and that's because they are in

1 these groups. And the person who likes cats gets it  
2 filtered down to a cat site because other people who like  
3 cats had indicated an interest in that web site. The  
4 same is true for Jacksonville Jaguars. Other people who  
5 like football indicated they liked the Jacksonville  
6 Jaguar site. And then for the cars, other people who  
7 liked cars have indicated they like this CARS.com site.

8           But if you don't have this grouping, these  
9 communities that the patent talks about, you would  
10 essentially get what's just content-based filter. You  
11 get the situation where the cat person might get the  
12 result about the football team, the CARS.com get the  
13 football person's interest, and person who likes cars may  
14 get their cats.

15           Plaintiff, one of the things that they had  
16 indicated in their statement is all you need to do in  
17 terms for collaborative filtering is just look at what  
18 somebody clicked on in reference to this query of cats  
19 and that that's what this collaborative filtering is all  
20 about, and that's all you need to show a user of similar  
21 interests or needs.

22           Well, first of all, your Honor, the patent never  
23 said that. They never pointed to the specification in  
24 connection with that. It never says that at all, ever.  
25 And they didn't cite to anything that says that.

1           And this shows, this slide shows that that just  
2 cannot be the case because if all collaborative filtering  
3 required is that somebody in the past had clicked on it,  
4 then your cat user would be able to get Jaguar because  
5 some football lover clicked on Jaguar, then the football  
6 lover would get cars because a car lover clicked on it,  
7 and so on. So that is not what the patent is talking  
8 about. What the patent is talking about very clearly are  
9 that people are put into groups.

10           I think one of the other things that the  
11 plaintiff said is that there's no notion of user  
12 profiles, or something like that, in the patent and that  
13 you just are doing all of these searches on the fly and  
14 that you don't know anything about any of the users, and  
15 that's just, again, completely contrary to what the  
16 patent says.

17           If you look at Column 3 from line 50 to Column  
18 4, line 4, it explicitly talks about these profiles, and  
19 I will read some of it. It's a bit long, but I think  
20 it's important. It first refers to a user, but then it  
21 says, "Because an individual user can be interested in  
22 multiple categories of information, the user can be  
23 considered to be multiple clients, each having a unique  
24 profile or set of attributes. Each member client profile  
25 is representative of a particular group of preferences.

1 Collectively the member client profiles associated with  
2 each other is the user profile."

3           And then it goes on to say, "that the present  
4 invention can apply the learned knowledge of one of the  
5 users member clients to others of the member users member  
6 clients so that the importance of the learned knowledge,  
7 e.g., the user's preference for a particular author or  
8 one interest area as represented by the member client can  
9 increase the importance of that particular factor."

10           And I won't go on to read the whole thing, but  
11 it -- and then later on it says, starting in Column 3,  
12 line 66, it goes on to say, "a community is a group of  
13 clients called member clients that had similar member  
14 client profiles, i.e., that share a subset of attributes  
15 or interests."

16           And then it goes down -- later on in Column 4 is  
17 where we see the definition of collaborative filtering,  
18 line 26. "Collaborative filtering, on the other hand, is  
19 the process of filtering informons, e.g., documents, by  
20 determining what information other users with similar  
21 interests or needs found to be relevant." That is what  
22 the collaborative filtering is about, your Honor. And if  
23 we don't have similar interests or needs, then it's just  
24 gone. It's meaningless.

25           Now, plaintiff argues that we don't need to

1 construe this because it's the plain words of the claim,  
2 and we would say, your Honor, that the intrinsic evidence  
3 makes absolutely clear that the other users that are  
4 being referred to here are the users with similar  
5 interests or needs. They don't want it. They say, you  
6 know, we are, I guess, setting up a noninfringement  
7 argument, but this is their patent. That's what the  
8 patent talks about, and we would submit that if you don't  
9 have that language in there, if you don't have the  
10 similar users, interests or needs, then there is no  
11 collaborative filtering.

12           And we know, and there's no dispute, that it  
13 must be present in each of these claims. One of skill in  
14 the art would absolutely read this to require it and  
15 would understand that that's exactly what the patent is  
16 talking about in this phrase.

17           Now, you know, plaintiff, they make an argument  
18 about determining and receiving. You know, I don't think  
19 that's an argument at all. That's never been something  
20 that we've really determined. I think we put it in  
21 there. They never -- before the briefing we didn't know  
22 that that was an issue, and we would submit, your Honor,  
23 that it's not. So unless you have any questions on that,  
24 I will go on to collaborative feedback data.

25           So here the dispute is somewhat similar

1 although I would submit, your Honor, that it is somewhat  
2 also related to what this collaborative feedback data  
3 is. So here the dispute is whether collaborative  
4 feedback data must come from users with similar interests  
5 or needs, as we say, or whether it can just concern such  
6 users in some unknown way. So one of the things that  
7 plaintiff had referred to is that the patent talks about  
8 how the collaborative feedback data comes from users. I  
9 mean, there's no dispute that it comes from users, and  
10 the patent talks about that it comes from system users.

11 We would submit, your Honor, that the contents  
12 of that, what we are talking about are those users of  
13 similar interests or needs that we all agree are  
14 providing this collaborative feedback data.

15 Now, plaintiff argues that the system users, I  
16 guess, are a different set of users. So I'm not sure who  
17 these system users are, unless they are the users with  
18 similar interests or needs. And so there really  
19 shouldn't be any dispute that this data is coming from  
20 the users with other similar interests or needs. Again,  
21 if it didn't, then I don't really know what the point of  
22 collaborative feedback is.

23 THE COURT: Both parties appear to be saying  
24 somewhat the same thing in a different way.

25 MR. PERLSON: Somewhat. I mean, I guess the

1 dispute is that -- frankly, your Honor, I'm not entirely  
2 sure what concern means when they say that it's data  
3 concerning other users. The patent makes clear in both  
4 the claims, and then again in the specification, as we  
5 point out on slide 37, that this collaborative feedback  
6 data has to come from these other users with similar  
7 interests.

8 I don't know what it means to have it concern  
9 these other users. I mean, what does that -- I think  
10 that's just some loosey-goosey language that plaintiff  
11 wants wiggle room down the road.

12 And, notably, there is no support whatsoever for  
13 plaintiff's construction. Never have they pointed to  
14 anything in the intrinsic evidence that suggests that you  
15 can use this concerning language. So you can contrast  
16 that with ours, which has language that's consistent with  
17 the claims, with the from users aspect. It's stated in  
18 the specification, as we put here, and we would submit,  
19 your Honor, that that is the most appropriate  
20 construction.

21 THE COURT: Okay. Thank you.

22 MR. PERLSON: Now, I think the next dispute here  
23 to talk about is the scanning terms, and the disputes are  
24 related. I think that they are grounded in some degree  
25 in the first term here. The key dispute, I think, is

1 really whether scanning should be construed as spidering  
2 or crawling, as the intrinsic evidence shows, or whether  
3 scanning just means the same thing as searching, looking  
4 for items. So there's really no dispute here that every  
5 single reference to scanning in the specification is in  
6 regards to spidering.

7 THE COURT: Let me ask you something. How do  
8 you define scanning? How do you define the term  
9 "scanning"?

10 MR. PERLSON: Just alone?

11 THE COURT: Yes.

12 MR. PERLSON: Crawling or spidering.

13 THE COURT: In this patent.

14 MR. PERLSON: Oh, I'm sorry.

15 THE COURT: Define the word "scanning." In  
16 ordinary parlance, twelfth grade education, Joe Blow  
17 never went to college, never did engineering, scanning,  
18 how do you define it?

19 MR. PERLSON: Well, I don't know, your Honor.  
20 It would depend on the context as shown by the 12  
21 different definitions that plaintiff showed you earlier,  
22 right? There's like 12 or 13 different definitions, and  
23 words, like any other word in English, depends on your  
24 context. I don't think that there is one singular plain  
25 meaning of scanning, and that's why, your Honor, in

1 *Phillips*, what *Phillips* says, is that -- I think they  
2 quoted some of this, but I don't think that they really  
3 gave you the whole thing, is what *Phillips* says is that  
4 "The words of the claim are generally given their  
5 ordinary and customary meaning, the meaning that the  
6 claim term would have to a person of ordinary skill in  
7 the art in question at the time of the invention."

8           And we would submit, your Honor, that the  
9 ordinary person of skill in the art in this patent  
10 related to Internet search would absolutely view the  
11 scanning to be the spidering in light of the disclosure  
12 of the specification.

13           Now, what plaintiff wants to do, because they  
14 want to broaden this to be anything, is that they want to  
15 go back to before *Phillips*. They want to go to the *Texas*  
16 *Digital* line of cases where you did just what they are  
17 doing, that you go to a common dictionary, you find the  
18 absolute broadest construction that you can have, and  
19 then you apply it. But *Phillips* says we are not supposed  
20 to do that anymore. And so, your Honor, I think that you  
21 had asked in connection with the dictionary and why they  
22 are using extrinsic evidence? That's absolutely why they  
23 are using extrinsic evidence. You don't need extrinsic  
24 evidence here because --

25           THE COURT: We don't need what?

1           MR. PERLSON: Extrinsic evidence on this claim  
2 because the patent makes very clear that scanning is  
3 spidering, and that's the only thing that ever is  
4 referenced. There's no suggestion in any way that it can  
5 be anything else.

6           THE COURT: Take me back to the claim language  
7 here. I'm going back to the patent. Take me back to one  
8 of those usages of spidering. Take me back to one of  
9 them.

10          MR. PERLSON: From the specifications?

11          THE COURT: Yes. Take me back to the  
12 specification page, and etc.

13          MR. PERLSON: Yes. This is slide 45, your  
14 Honor, of our deck. I think this is what you are  
15 referring to? This is from references from the  
16 specification.

17          THE COURT: All right. Now take me to the one  
18 on crawling. You used the term "crawling."

19          MR. PERLSON: Your Honor, crawling is a synonym  
20 for spider. If you want to remove crawling and just have  
21 spider, that's fine.

22          THE COURT: Okay. I don't remember reading  
23 crawling in there anywhere.

24          MR. PERLSON: Okay. Fair enough. I actually  
25 don't think that there's any dispute that spidering and

1 crawling are the same thing, but if you wanted to take  
2 out crawling, that's fine.

3 THE COURT: Well, I don't know that crawling and  
4 spidering in common parlance mean the same thing. Your  
5 comment doesn't spider around, does it?

6 MR. PERLSON: I suppose it would definitely  
7 depend upon the parlance that we are talking about.

8 THE COURT: Okay. We will just forget about  
9 crawling here.

10 MR. PERLSON: That's fine, your Honor.

11 THE COURT: Okay.

12 MR. PERLSON: So, now, you know, I don't think  
13 that we need them, but I think that even some of these  
14 dictionaries that plaintiff refer to talk about this  
15 scanning being, you know, sequential, looking for things  
16 sequentially. That's the spidering. That's what we are  
17 talking about.

18 If you can go to the spidering on -- I guess  
19 it's slide 6. So this is -- you know, we talked about  
20 this in the technology overview, this going from, you  
21 know, web site to web site, this just sequential looking  
22 at all -- you look at these various different web sites  
23 and the pages on the web sites. That's the spidering. I  
24 don't think there's really -- and it's sequentially. You  
25 go one by one by one by one. I don't think there's

1 really any dispute that that's what that means.

2           Now, one of the things, plaintiff indicated that  
3 spidering was not appropriate because spidering is used  
4 in reference to the Internet and that the patent is not  
5 limited to the Internet. But the patent talks about that  
6 it's applicable to networks. So what does the patent say  
7 regarding what you do with networks? Well, if you go to  
8 Column 6, lines 37 to 49, it talks about the networks and  
9 it says that the main embodiment here is related to  
10 Internet search engine, but then it also goes on to say  
11 starting at line 43, and this is in Column 6, that "a  
12 skilled artisan would recognize that apparatus can be  
13 used with other types of networks, including, for  
14 example, an enterprise-wide network." It says, you know,  
15 or "intranet," that sort of thing. But it doesn't  
16 provide a different way of scanning for those. Instead  
17 it's referring to using the same things in columns that  
18 are referred to in Fig. 8 and 9 just above, and those  
19 talked about spidering. So, absolutely, the invention  
20 contemplated that any of these networks could be  
21 spidered, which is, of course, true, and that one of  
22 skill in the art would have known.

23           And as plaintiff, itself, had acknowledged,  
24 certainly if you were not able to spider an intranet or a  
25 network, plaintiff would have said, hey, don't use spider

1 in here, but they didn't because they knew that you  
2 could.

3           Now, plaintiff, they are saying scanning is  
4 looking for items, which is just searching. I mean, I  
5 think that there really can be no dispute that they are  
6 saying that scanning is used in the same way as searching  
7 here, and I think plaintiffs, basically, acknowledge  
8 that.

9           Looking for items, that never shows up in the  
10 specifications, certainly. And it also doesn't require  
11 even the sequential item-by-item looking that, you know,  
12 some of their own definitions require. So we would  
13 submit, your Honor, that the best meaning here is  
14 spidering. And, in fact, the claims make clear that  
15 scanning is really a subset of spidering. I mean, I'm  
16 sorry, the other way around. Scanning is a subset of  
17 searching. So, for example, if you look at Claim 26 and  
18 38, and this is in our slide 50, Claim 26 recites the  
19 step of searching for information and then dependent  
20 Claim 38 says, "wherein the searching step comprises  
21 scanning a network." I don't think it would really make  
22 a lot of sense if it wasn't something that was more  
23 narrow than that, and it also shows that they knew how to  
24 use both of these terms.

25           You know, sometimes they use searching and

1 sometimes they use scanning. Searching was used in a  
2 broader sense, and that was intentional. So they really  
3 should not be construed to be the same, especially when  
4 scanning is always used in the context of spidering in  
5 the specification and search is used more broadly.

6 Now, plaintiff, rather than focusing on the in  
7 intrinsic evidence, they talked about how, you know,  
8 someone is scanning the beach or scanning a newspaper.  
9 You know, this patent is not about scanning a beach or  
10 scanning a newspaper, and *Phillips* says that you look at  
11 what the ordinary person in the art, in light of the  
12 specification, would interpret this term to mean, and  
13 here scanning is spidering.

14 And, again, I would submit that what plaintiff  
15 is doing is trying to go to that *Texas Digital* case where  
16 you try to find the broadest definition that you can.

17 Now, the next step here is scanning system. I  
18 think the disputes, as plaintiff, I think, correctly  
19 noted, is additionally, again, whether scanning can be  
20 any search for information or whether it's the scanning  
21 that we are talking about in the patent, in the context  
22 of the patent, and, additionally, whether we are scanning  
23 a network.

24 THE COURT: So you define a scanning system as a  
25 system used to scan the network, right?

1 MR. PERLSON: Right, your Honor.

2 THE COURT: When you scan the network, what are  
3 you doing?

4 MR. PERLSON: You are spidering.

5 THE COURT: So, in effect, you are saying a  
6 system used to spider the network?

7 MR. PERLSON: Correct. It's a spidering  
8 system. It's a system that spiders the network.

9 THE COURT: Now, why would you be spidering the  
10 network?

11 MR. PERLSON: I'm sorry?

12 THE COURT: Why would you be spidering the  
13 network? What are you doing when you are spidering the  
14 network?

15 MR. PERLSON: Well, you are doing exactly what  
16 the patent says you are doing.

17 THE COURT: Well, what are you doing?

18 MR. PERLSON: Well, it's -- let me go back to  
19 the --

20 THE COURT: What are you doing when you are  
21 spidering the network?

22 What are you doing when you are spidering the  
23 network?

24 MR. PERLSON: Well, I'm not really spidering the  
25 network, your Honor, but I understand.

1 THE COURT: No, but you know what I'm talking  
2 about.

3 MR. PERLSON: Well, it's exactly what's right  
4 here. You are setting out a computer program that goes  
5 out and then it looks for page after page after page.

6 THE COURT: It's looking for something?

7 MR. PERLSON: (Laughter)

8 THE COURT: It's looking for something?  
9 Searching for something?

10 MR. PERLSON: That is not all it's doing. It's  
11 doing it in a very particular way and it's doing it in  
12 the meaning that comes up with spidering in that's it's  
13 looking throughout the network page by page by page.

14 THE COURT: Spidering is searching the network?

15 MR. PERLSON: It is a type of searching, but it  
16 is not -- they are not one in the same. It's a much  
17 narrower version of searching.

18 THE COURT: That's interesting.

19 Go on.

20 MR. PERLSON: Okay. If we can go back to 55,  
21 please.

22 In terms of whether we are scanning a network, I  
23 mean, this is what the patent is about. The patent says,  
24 "The present invention relates to information processing  
25 systems for large or massive networks such as the

1 Internet..." So the scanning system of the patent,  
2 that's what it's doing. It's spidering the network.  
3 Otherwise, if you just had your laptop or your phone,  
4 it's not a huge network, so you wouldn't need to spider  
5 it, and so that's why the patent -- the whole point of  
6 the patent is you are looking at this vast network for  
7 vast amounts of information.

8           Even the claims themselves, like, for example,  
9 Claim 25, it talks about the first element is scanning a  
10 network, and then it refers back saying, "from the  
11 scanning system."

12           So this claim shows that the scanning system is  
13 something that scans a network. That's necessarily true  
14 from the claims. And, in fact, if it wasn't, then this  
15 wouldn't make any sense because there would be no  
16 antecedent basis for the scanning system. So the claim  
17 necessarily requires a scanning system is something that  
18 scans the network. Otherwise, this wouldn't make any  
19 sense.

20           Now, plaintiff had said that scanning system can  
21 be the same as searching, and then he points at Claim 24  
22 that they have this claim differentiation argument. But,  
23 actually, this claim adds that the scanning network is  
24 upon a demand search request. So what's added is not  
25 just scanning a network. You are adding the limitation

1 of "upon a demand search."

2           And if you look down there further on the slide,  
3 they only directed you to the scanning aspect of it, but  
4 in actuality what the claim is really doing is  
5 presupposing that the scanning system scans the network  
6 and then adds a limitation of demand search. So the  
7 limitation of scanning a network is not what's being  
8 added there.

9           And finally, your Honor, I guess I would note  
10 that plaintiff's construction really seeks to eliminate  
11 scanning entirely. If you look at -- this is 59, slide  
12 59. They basically said that a scanning system is a  
13 system to search for information.

14           So, you know, we saw on their slide 27, they  
15 sort of have their constructions -- this is their  
16 slide -- next to the claims, and the claim reads, "A  
17 scanning system for searching for information," but their  
18 construction is, "A system used to search for  
19 information." So they are essentially eliminating the  
20 meaning of scanning. Scanning is meaningless under their  
21 construction, and, again, we would submit that there is a  
22 different meaning for scanning than searching. They are  
23 not the same, and that scanning is a much narrower type  
24 of system than the patent describes as something that  
25 spiders, that spiders a network.

1 THE COURT: So scanning is not a form of search?

2 MR. PERLSON: I think you can search using a  
3 scanning system.

4 THE COURT: Just like spidering, according to  
5 you, is a form of searching.

6 MR. PERLSON: It is a way to search, yes, but  
7 it's not one in the same. I mean, so a spidering system  
8 can be used for searching, but not all search systems use  
9 spidering. So they are not synonyms. One is much  
10 narrower, and here the patent makes clear that the  
11 scanning that we are talking about is spidering and it's  
12 used to search the network, to look at -- you know, but  
13 it's not the same thing as just looking for items in any  
14 way. It's looking for it in a particular way, and that's  
15 what the intrinsic evidence makes clear and that's what  
16 one skilled in the art would view this patent as.

17 THE COURT: Has anyone here made clear what  
18 spidering really is? Spidering is a form of searching  
19 the network. You, in effect, said it's a form of  
20 scanning the network. How is spidering different from  
21 scanning?

22 MR. PERLSON: Well, you know, I think that --

23 THE COURT: Yeah, how is spidering different  
24 from scanning?

25 MR. PERLSON: Well, I would say in this patent,

1 I mean, they are used -- spidering is the scanning that's  
2 used in this patent. I mean, spidering is a term of art  
3 that has a very specific meaning that deals with what I  
4 just described above, that you are going out to the  
5 Internet and looking for and crawling -- that's actually  
6 why we probably used crawling because it's a little  
7 easier to use. It's, in effect, crawling from page to  
8 page to page looking at what's on that page, and you are  
9 looking at all of them sequentially.

10 THE COURT: Okay. So what do you do when you  
11 are scanning?

12 MR. PERLSON: I'm sorry?

13 THE COURT: What do you do when you are  
14 scanning?

15 MR. PERLSON: What do you do --

16 THE COURT: Yeah, when you are scanning, within  
17 the context of this patent?

18 MR. PERLSON: Well, you collect the information  
19 that you get from this sequential web site by web site  
20 crawl, and then you have that, and then you look at that,  
21 and then you have a set of results. The results, you  
22 have a series of web pages that you have now crawled and  
23 you have discovered, and then the patent talks about what  
24 you do next with them. And what you do next with them is  
25 apply this content-based filtering. And then after that,

1 you have the collaborative data filtering, because the  
2 crawling gives you a lot of results. It gives you a  
3 whole slew of results because you are going out and you  
4 are looking at all sorts of sites and you are looking at  
5 all sorts of pages on the sites.

6 I don't think there's any dispute about that's  
7 what spidering means, that I am describing it correctly.  
8 I don't think, actually, there would be any dispute among  
9 the experts as to what spidering is, because it's  
10 something with a very particular meaning.

11 THE COURT: All right. Thank you.

12 MR. PERLSON: So unless your Honor has any  
13 further questions, I will go on to demand search.

14 THE COURT: No. Go on.

15 MR. PERLSON: Okay.

16 So, your Honor, here the key that's used is --  
17 the computer is faster than I am.

18 So, with demand search, the specification is  
19 clear as to what we are talking about. The specification  
20 shows that it's just a regular search engine query.

21 Now, plaintiff said up here that the  
22 specification does not describe a demand search as a  
23 normal search engine would. Well, I guess, it doesn't  
24 use the words "normal search engine query" but it  
25 certainly does say, "regular search engine query" as we

1 are shown here and as were shown in Column 63. It says,  
2 "On the other hand, a regular search engine is operated  
3 to make immediate or short-term "demand" searches."

4           And then in the next one here, this is from  
5 Column 24, line 3 to 8, says, "Otherwise, block 28C  
6 commands a demand search by a regular query engine."

7           And then further in Column 23, lines 32, it  
8 says, "In the operation of conventional search engines at  
9 portal web sites, user queries are searched on demand to  
10 find relevant information across the web." That's what  
11 we are talking about with the demand search, and so our  
12 construction is a search engine query.

13           Now, plaintiff says that you are not going to  
14 know what a search engine query is. Your Honor, I think  
15 that that is something that's a very easy thing for a lay  
16 jury to apply something that many of them might use in  
17 their day-to-day lives.

18           Now, let's contrast that with what plaintiff has  
19 suggested we use. Plaintiff says, "We say demand search  
20 a is one-time search performed upon a user request." I  
21 would submit, your Honor, that the jury is not going to  
22 have any idea what to do with that. A one-time search is  
23 going to be poorly confusing. If I do cats once, does  
24 that mean I can't ever do cats again or that nobody could  
25 ever do cats again? It makes no sense. The only context

1 in which it could possibly make any sense is to define  
2 what a wire is because a wire is different than a demand  
3 search.

4 THE COURT: Does a search engine query tell a  
5 jury who's making the inquiry or what the source of the  
6 inquiry is? A search engine query, we are talking about  
7 a search engine query. Now, is that the engineer  
8 operating the system, or something, conducting a query to  
9 make sure the system is working technically and  
10 properly? What is a search engine query? Does it tell  
11 the jury who is making the inquiry, the search?

12 MR. PERLSON: Sure. Well, we could say a search  
13 engine query performed upon a user request if that would  
14 resolve it.

15 THE COURT: A search engine query performed upon  
16 a user request --

17 MR. PERLSON: That's from the plaintiff's  
18 language.

19 THE COURT: How different is that, other than  
20 the one-time business from a search performed upon a user  
21 request that the plaintiff is suggesting?

22 MR. PERLSON: Well, I think that the key is that  
23 a demand search is a search to a search engine, and  
24 plaintiff is going to -- I think that the reason why they  
25 are resisting this search engine language, which is

1 directly from the specification again and again, is that  
2 they are going to try to point to something that is not a  
3 search engine query, your Honor. They are going to point  
4 to something that they are going to say is a database  
5 lookup in an internal system is a search engine query,  
6 even though again and again and again the patent makes  
7 absolutely clear that a demand search is a query of a  
8 search engine.

9           THE COURT: Can you have multiple demand  
10 searches?

11           MR. PERLSON: Well, sure, I mean, someone could  
12 make all sorts of demand searches. I mean, I'm sure they  
13 did at the time and, you know, people make search engine  
14 queries all the time. That's why it just doesn't make  
15 sense. I may search for cars one day and then search for  
16 cars the next day. Is that now no longer a one-time  
17 demand search? I don't know.

18           I can understand it from the context, I guess,  
19 of a wire. I mean, the wire is a continuous. So the  
20 only reason the one-time is in there is to actually give  
21 meaning to this notion of the wire that has no relevance  
22 in this case, and if we inject this --

23           THE COURT: You know where the language  
24 "one-time" came from. You did see it in the patent,  
25 right?

1           MR. PERLSON: Oh, yes, and they use that to  
2 distinguish wire searches from a demand search. We would  
3 submit, your Honor, that the search engine query is  
4 exactly what it says. It says that the demand search is  
5 a regular search engine query. I mean, that's what the  
6 patent says and that's all we are saying.

7           THE COURT: Well, isn't the search engine query  
8 a little vague also? I just ask you who is making the --  
9 from the standpoint of a jury, you say it's a search  
10 engine query.

11          MR. PERLSON: I don't think so. I think that it  
12 would be very clear that you have your search box and you  
13 type in Jaguar, and that's your search engine query. I  
14 think that's a very easy thing for a jury to grasp.

15          THE COURT: All right.

16          MR. PERLSON: I will take order of steps next.

17          Now, your Honor, I know that you had indicated  
18 some concern regarding the order of steps and you are  
19 probably wondering why it is that we are asking you to do  
20 this. I think that, you know, I tend to agree that there  
21 really shouldn't be any dispute about something like  
22 this, but what we tend to find, frankly, is that what you  
23 get is that you have the plaintiff pointing to multiple  
24 disparate parts of the system that are done in, perhaps,  
25 a different order than put out by the claims, and that

1 despite the order of the claims that is very clear from  
2 the specification that it is not followed and so what we  
3 proposed is that, you know, let's agree on what the order  
4 of steps is here.

5           It's not like -- this is not some crazy, unusual  
6 thing that we are doing by seeking the construction of  
7 the order of steps.

8           THE COURT: Have the parties sat down to try to  
9 agree on what the order of the steps are? You have a  
10 difference on what the definition means, but have you  
11 tried to do what you just asked the Court to do? Have  
12 the parties sat down and figured out what the order of  
13 the steps are?

14           MR. PERLSON: We did -- I mean, I think in our  
15 meet and confer we certainly tried to make an effort to  
16 do that. We tried to engage and say, you know, what it  
17 is that they have a problem with regarding, you know, our  
18 explanation of why they should be in, and they didn't  
19 agree. I mean, you, know, we certainly tried.

20           THE COURT: I want to be clear on what you  
21 didn't agree on. You didn't agree on the fact that you  
22 should sit down and figure out the order of steps or you  
23 didn't agree on the order of the steps?

24           MR. PERLSON: I think initially we didn't agree  
25 on both of the points, and I think that plaintiff still

1 doesn't think that we should be even talking about it or  
2 any need to talk about it. They want to just punt it  
3 down the road to when their expert gets up and talks  
4 about it. But, you know, we would submit, your Honor,  
5 then this is a perfectly appropriate thing for a claim  
6 construction and that the Federal Circuit in the cases  
7 that we cite, in the *Loral Fairchild* and *Interactive*  
8 *Gift*, have construed the order of steps. It's a  
9 perfectly appropriate thing for claim construction.

10 THE COURT: You know, wouldn't that depend upon  
11 what type of patent case you are in and what type of  
12 steps you are dealing with? Whether it's appropriate for  
13 claim construction will vary from case to case; isn't  
14 that correct?

15 MR. PERLSON: I suppose that's possible.

16 THE COURT: Because the Court is not all that  
17 certain -- you cite a couple of Federal Circuit cases  
18 here. I don't know what the Court was construing there.  
19 Perhaps that construction of the steps would be  
20 appropriate in those cases, whereas in this case it may  
21 very well be unnecessary.

22 MR. PERLSON: Well, your Honor, I would say that  
23 we have a dispute here. I mean, *02 Micro* says that if  
24 there's a dispute, it should be resolved and, you know,  
25 let them explain why we are wrong. They accused us of

1 asserting logic, that there's some logic to the claims.  
2 Certainly, your Honor, I don't think there's anything  
3 wrong with injecting logic into this process. I mean, I  
4 just don't know how they can dispute some of these  
5 things, and so I would say probably the waste of dispute  
6 is their refusal to engage on something that there really  
7 shouldn't be any dispute about.

8 THE COURT: According to you, these steps are  
9 logical and obvious from the patent. Is that your  
10 position?

11 MR. PERLSON: Yes. I don't think that someone  
12 could read them in a different way than what we have  
13 said.

14 THE COURT: Okay. Because according to the  
15 plaintiffs, they appear to be saying the same thing, that  
16 the claim language doesn't need any construction because  
17 it is logically reflected in the claim language.

18 MR. PERLSON: Well, your Honor, they --

19 THE COURT: You both seem to be saying the same  
20 thing, but you don't want to say what it really is.

21 MR. PERLSON: Well, I think that we do say what  
22 it really is and that they are refusing to say what it  
23 really is because down the road they want to have wiggle  
24 room in case they want to say something different.

25 THE COURT: Though they say it's logically clear

1 from the claim.

2 MR. PERLSON: Yeah, but what is logically clear?  
3 Is it what we say, or is it something else? I don't  
4 know. And that's why we have a dispute, your Honor.

5 I mean, we could have a similar thing with they  
6 could say, your Honor, we don't need to construe any  
7 claim because we are going to read the patent like it  
8 says in the patent. Well, that's why we are here, your  
9 Honor, because, you know, there's these disputes.

10 THE COURT: Well -- I understand your position.

11 MR. PERLSON: Okay. So, you know, I will just  
12 go into this briefly because I don't think it really  
13 requires a heck of a lot of explanation here. I mean,  
14 you have steps A and B and we think they have to be  
15 performed before C and D. Before C, because C combines  
16 the output of A and B. So I don't know how there could  
17 be any dispute that when C is talking about combining  
18 what's in A and B, there's no way that A and B could  
19 happen after C.

20 And then similarly in the next one, we have this  
21 c1 and c2. C2 refers to what is combined in c1. Well,  
22 it has to happen afterwards because it's already been  
23 combined. You know, they say that it could happen at the  
24 same time. Well, you know, I suppose maybe in some other  
25 system it's conceivable that you could come up with that,

1 but that's not what they claim. They said that in c1  
2 it's combining, and then in c2 you do something with  
3 what's combined.

4           And then again in the next one, in Claim 25, we  
5 have you're receiving in 2, you are receiving information  
6 from the scanning system. Again, referring back to  
7 scanning a network in the first claim. And then later on  
8 in terms of the other steps, once again we are referring  
9 back and referring back to something that was already  
10 done.

11           Just one real quick point. I think that  
12 plaintiff had talked about how there was filtering  
13 mentioned twice and, you know, we would submit, your  
14 Honor, that that's actually a different -- there's two  
15 steps going on here. There's a filtering that's going on  
16 in B with the content filtering and then there's D that's  
17 a further weeding out and filtering in relation to after  
18 it's been combined. So that's the resolution of that.

19           So I think, unless your Honor wants to hear any  
20 more on that particular issue, that's our presentation.

21           THE COURT: Well, thank you. You may be back.

22           I have a question, Mr. Sherwood.

23           MR. SHERWOOD: Yes, your Honor. I want to start  
24 with this last one he just discussed, order of steps.

25           MR. CIMINO: I can address that, your Honor.

1           THE COURT: All right. If you take the position  
2 that no construction is necessary, you know, because if  
3 you look at the language of the claim, it's logical as  
4 reflected in the claim language, what is your  
5 disagreement with these steps that defendant has laid out  
6 here? He says he's looked at the claim and laid them out  
7 step by step. What is your disagreement with that?

8           MR. CIMINO: So, the disagreement we have I  
9 mentioned when I gave my first argument. There is a  
10 filtering step and then there's a combining and  
11 filtering. That claim term can appropriately be talking  
12 about filtering at the same time. You could be doing  
13 filtering with the two pieces of data or the single piece  
14 of data sequentially. So that one we cannot agree to.

15           I don't know if it's going to have any meaning  
16 later on -- I suspect it won't -- but we cannot agree as  
17 a matter of law that you are required to go in order  
18 because I can see where you can do the combining step and  
19 the filtering step simultaneously.

20           I also mention to your Honor that you can do the  
21 whole process of the claim simultaneously because you  
22 have multiple informons. You could be collecting some  
23 informons and processing them while you are collecting  
24 other informons. So it happens in sort of a pipeline.  
25 So similar steps could be occurring while other ones are

1 occurring at the same time.

2           That view of the claim applies for both of the  
3 claims that they have identified, and we have which claim  
4 terms in our PowerPoint presentation the Court has to  
5 construe which terms can happen at the same time.

6           Now, just to go back a second, the process about  
7 sitting down, they originally wanted all of us to agree  
8 every step in the claim happens in order. We said no.  
9 First of all, they proposed 18 or something claim terms.  
10 It was well above the limit. We filed a motion to compel  
11 immediately, and we focused on negotiating with them on  
12 things that mattered.

13           Oh, we did agree to one. There was a letter  
14 back and forth. They said, well, this has to happen  
15 first. We said, yea, okay, that one we can see how that  
16 one wouldn't happen first. Their response back was,  
17 well, how about this one? How about this one? How about  
18 this one? One step after another trying to get us to  
19 concede without the benefit of any experts that these  
20 steps happen in order.

21           There is a flow to the claim, but it is legally  
22 permitted for these steps to happen simultaneously. And  
23 from a technical standpoint, I believe that they can  
24 happen simultaneously. Do I think defendants do it  
25 simultaneously? I'm not sure yet. We are still waiting

1 for discovery, and the experts are still waiting out  
2 there.

3 THE COURT: So you have not had the benefit of  
4 any kind of expertise to help you understand whether  
5 these steps can be performed simultaneously?

6 MR. CIMINO: We have talked to our own experts  
7 who agree that they can happen simultaneously. We didn't  
8 make any of that of record. But my point is, we haven't  
9 taken depositions yet of the defendants to see how their  
10 products work and have our expert take a look at  
11 infringement issues other than by written contentions.  
12 This to me, is where this whole issue will sort of shake  
13 out.

14 THE COURT: All right. Anything you want to say  
15 about anything else?

16 MR. SHERWOOD: Your Honor, may I speak briefly?

17 THE COURT: Sure. You can go back to crawling  
18 and spidering, if you would like?

19 MR. SHERWOOD: No. I think we have plowed that  
20 ground pretty well, your Honor. I don't have any  
21 intention of raising that. But I would like to talk  
22 about collaborative feedback data, and if I could just  
23 retrieve my board again.

24 We put the easel down, so I'm just going to hold  
25 this here, your Honor, and be real clear about what we

1 mean by collaborative feedback data. What the claim says  
2 is "Information found to be relevant to the query by  
3 other users." So using the plaintiff's example of  
4 Jaguars, in Jacksonville if you type in Jaguar into your  
5 search box, you are going to get a bunch of results and  
6 the people in Jacksonville are going to pick the football  
7 result. That's the information that is going to be most  
8 relevant to other users who typed in the same query.

9           And if we could have now slide 8, and if you  
10 look here, your Honor, at our proposed construction, it  
11 is exactly what we are proposing here: "Information  
12 concerning what other users found to be relevant to the  
13 query," what the people in Jacksonville thought was  
14 relevant to their query when they typed in Jaguar. It  
15 was football; it wasn't a cat and it probably wasn't a  
16 car. I'm not quite as sure about that. That's the  
17 feedback data. It's in our claim construction.

18           THE COURT: But how do you deal with the  
19 reference to the language of "other users with similar  
20 interests or needs?" How do you deal with that language  
21 that you find in the patent?

22           MR. SHERWOOD: Their similar interests or need,  
23 again, in the context of a demand search environment,  
24 which is what these claims are about, is reflected in the  
25 query. They were interested in knowing something about

1 Jaguar. They had a need to know something about Jaguar.

2 Just to take another example, somebody wants to  
3 know -- I mean, I actually do this. I commute on a bus.  
4 I want to know when the bus is going to come. I type in  
5 a search in which I will type in the name of the bus.  
6 That reflects the need or interest that I have.

7 THE COURT: Well, you know, the language is used  
8 in a claim for some reason. It's just not superfluous.  
9 It's there for a purpose. So from what you are telling  
10 me, you make no reference to the phraseology "other users  
11 with similar interests or needs."

12 MR. SHERWOOD: Well, your Honor, that's not in  
13 this claim language.

14 THE COURT: I know it's not in that claim  
15 language.

16 MR. SHERWOOD: It's not in the '420, either,  
17 your Honor.

18 THE COURT: Excuse me. I jumped back to  
19 something. Go on. You are right. Go right on. I will  
20 come back to that.

21 MR. SHERWOOD: Secondly, your Honor, Mr. Perlson  
22 made some reference to groups in his argument, and I  
23 would like the Court to understand that the specification  
24 that is in these two patents is actually in other older  
25 patents and there is no claim language in this patent or

1 in the '420 about groups. It's a complete red herring,  
2 your Honor. It has nothing to do with these two patents.

3           These specifications can be used to form a basis  
4 of multiple patents and so we would need to find some  
5 claim language in these claims before we start talking  
6 about communities, and groups, and other things that are  
7 disclosed in the specification that are not claimed here.

8           THE COURT: The Court sees how it got confused  
9 on the last question. We were talking about  
10 collaborative feedback, but up on my screen I had looked  
11 up at the top there and it had the definition of  
12 "feedback system for receiving information found to be  
13 relevant to queries." We had something different on the  
14 screen up here, though you had something else out there.  
15 So that's how that happened.

16           MR. SHERWOOD: I'm sorry for any confusion, your  
17 Honor.

18           THE COURT: That's all right. We have got it  
19 now.

20           MR. SHERWOOD: Then the last thing we would like  
21 to cover is our slide 6. Our proposed claim construction  
22 here appears in the middle, your Honor. Mr. Perlson  
23 raised an issue about using the word "concerning." I  
24 think it would be fine if we substituted the word  
25 "about." Because all we are saying is we want

1 information about what other people, other users found to  
2 be relevant to the query. Concerning is just simply a  
3 word that's connecting the rest of the claim  
4 construction. So if about is better, then that's fine.  
5 I think it is a nonissue.

6           Lastly, with respect to collaborative feedback  
7 data, I want to point out, your Honor, that there was  
8 never any argument directed to the problem of multiple  
9 source limitations, which I explained earlier in my  
10 argument and I won't go back through it again. It's in  
11 our briefs as well.

12           Quickly, with respect to demand search, the  
13 defendants seem to want to have some sort of concept like  
14 regular or normal built into their claim construction.  
15 In my years in the law, I am not sure that I have found  
16 those words, those adjectives like reasonable, like  
17 regular, like normal are going to add anything to the  
18 meaning of this term for the jury. I think, as the Court  
19 pointed out, they are actually confusing because you  
20 don't know who's doing what.

21           And the last point I want to make, your Honor,  
22 with respect to demand search is that the claim  
23 construction that IPE has proposed is designed to draw a  
24 distinction between a continuing search, which is also  
25 disclosed in this patent but it's in claims that are not

1 asserted, which is actually the query that's done by the  
2 search engine. So what would happen is you would say, I  
3 have an interest in Jaguars. Go out every week and find  
4 out what you can that would be of interest to me. You  
5 are not doing that search every week, but the search  
6 engine is doing it.

7 THE COURT: Let me go back to the one time.  
8 Certainly the term "one time" is used here, but you can  
9 repeat and have repetitive one-time searches, couldn't  
10 you?

11 MR. SHERWOOD: Yes, your Honor, absolutely.

12 THE COURT: Okay. So do you really need  
13 one-time there to explain that you are focusing upon a  
14 search performed upon a user's request in order to have a  
15 clear understanding of what's going on here, because that  
16 same user could make multiple inquiries?

17 MR. SHERWOOD: It's a good question, your  
18 Honor. Let me see if I can expand a little on what we  
19 mean by it. It is a unique, single request. So you go  
20 to the computer. You sit down. You type in your  
21 search. It's one event. That's the end of it. It has  
22 no further life to it.

23 THE COURT: So is maybe the better explanation a  
24 single search performed upon a user request as opposed to  
25 a one-time? I understand that it's used in the patent

1 here, but somehow or another I'm trying to figure out  
2 whether that's just as misleading as, perhaps, the term  
3 "search engine query" is in explaining what's going on  
4 here.

5 MR. SHERWOOD: Well, your Honor, I think raises  
6 a good point, which is to say that we are really looking  
7 here at a unitary event. It may be that single actually  
8 is the more descriptive adjective than one shot. It's  
9 not really a time thing. It's a one-time thing -- I say  
10 one time, one event.

11 THE COURT: One event.

12 MR. SHERWOOD: Yeah. It has no further life to  
13 it.

14 THE COURT: I have got you.

15 MR. SHERWOOD: Your Honor, I have nothing  
16 further.

17 THE COURT: Okay. I thank you, sir.

18 MR. PERLSON: A couple quick points, your Honor.

19 THE COURT: You want to improve on something he  
20 said?

21 MR. SHERWOOD: Yes, but I want to agree with  
22 him, your Honor.

23 THE COURT: All right. I will hear from you.

24 MR. PERLSON: Your Honor, just a few points.  
25 First, again, in reference to the fact that this

1 collaborative filtering looks at the prior query and like  
2 the Jacksonville Jaguar, it looks at the actual query  
3 itself and, again, the patent never, ever says that. It  
4 never says that collaborative filtering is focused on  
5 what the query is. That is what the patent talks about  
6 as content-based filtering. So that argument eliminates  
7 collaborative filtering.

8           Now, they criticize us for going to the detailed  
9 description of the embodiments at the very beginning of  
10 this notion that introduces the similar interests, and  
11 profiles, and such. Well, of course, that's what the  
12 collaborative filtering refers back to here, and this is,  
13 again, in Columns 3 and 4. And they reference the fact  
14 that this was a continuation of the '799 patent and that  
15 somehow we are supposed to ignore this very specific  
16 thing in the beginning.

17           Well, as even plaintiff said earlier, what this  
18 patent is, is building on the '799 patent and applying it  
19 to search. So when they are talking about content  
20 filtering, and collaborative filtering, and the other  
21 groups of people with similar interests, and they didn't  
22 talk about it in '799 in the context of search, but it's  
23 still applicable here because, as they have conceded,  
24 this patent is about combining content-based filtering  
25 with collaborative filtering and search.

1           So we would submit, your Honor, that it's  
2 absolutely appropriate to look at these portions of the  
3 specification. In fact, I'm not sure that there would be  
4 anywhere else that would provide you with the guidance on  
5 what we are talking about. And, indeed, if you look at  
6 the specification in Column 26 and the discussion of the  
7 demand search, and this is in the area where they added  
8 to what was originally there, they refer back to this  
9 stuff. They say, for example, in Column 26, line 25, "A  
10 feedback processor is structured like the mind pool  
11 system to provide collaborative feedback data for  
12 integration with the content-based data in the  
13 measurement of informon relevancy by the filter." I  
14 mean, they are referring back to the stuff that was  
15 before.

16           On the demand search, again, I think the claim  
17 itself, first of all, says where it comes from. If you  
18 look, it says it's a demand search from a user. So I  
19 think that that's really not an issue, but what is  
20 important is that it's a search engine query, as the  
21 patent says. Again, if it's not a search engine query,  
22 down the road plaintiff is going to say that some other  
23 thing that is not a search engine query, that is not a  
24 demand search under the patent, meets this limitation and  
25 that's why it's important that the construction include

1 the fact that it's a search engine query.

2 THE COURT: From the user.

3 MR. PERLSON: From the user. I mean, which the  
4 patent already says, your Honor.

5 And, finally, I will note for the order of  
6 steps, you know, I'm not sure why they need reference to  
7 our systems to determine whether there's an order of  
8 steps in this patent. We would submit that that is what  
9 really should be the issue here, not what our systems do.

10 THE COURT: Okay. Thank you, gentlemen.

11 All right. Gentlemen, the Court would recommend  
12 that you check with my court reporter about ordering up  
13 the transcript of this hearing. I think it may be useful  
14 for the Court. The Court has some notes, but the notes  
15 can get cold. That would be useful, and we will try to  
16 get back to you as quickly as possible. The parties  
17 usually hold off on filing any type of dispositive  
18 motions until they can get the claims construction  
19 opinion back and the Court will try to get it back as  
20 expeditiously as possible.

21 In the meanwhile -- I have to say this. In the  
22 meanwhile, if you see fit to go on and resolve this case  
23 without bothering with this Court again, that's fine with  
24 the Court, too. I want you to know that the Court always  
25 encourages you to talk to each other. Mr. Noona and --

1 where is local counsel?

2 MR. SNOW: Here, your Honor.

3 THE COURT: Okay. Local counsel will tell you  
4 that. So in the meanwhile, I'm sure you will move  
5 forward with narrowing the issues that you disagree on.

6 With that, there's nothing else until I get my  
7 hands on the transcript, and from there we will move as  
8 quickly as possible.

9 Thank you very much.

10 (This hearing concluded at 12:56 p.m.)

11 \* \* \*

12

13 CERTIFICATION

14 I certify that the foregoing is a correct  
15 transcript of the record of proceedings in the  
16 above-entitled matter.

17

18 X \_\_\_\_\_ /s/ \_\_\_\_\_ X

19 Sharon B. Borden, RMR, FCRR

20

21 X June 7, 2012 X

22

Date

23

24

25